

IN THE COURT OF COMMON PLEAS
LORAIN COUNTY, OHIO

J. TERRY ROBINSON, Administrator
of the Estate of ELSIE A. ROBINSON,

Case No. 99 CV 122855

Plaintiff,

vs.

LYNN CHRISMER, JR., M.D., et al.,

Defendants.

~~~~~

VIDEOTAPED DEPOSITION OF

ALLEN SOLOMON, M.D.

July 14, 2004

10:08 a.m.

2150 Pennsylvania Avenue, Northwest, Suite 4/414

Washington, D.C. 20036

ALDA MANDELL, Registered Professional Reporter and Notary Public

|                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                            |                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                |
|------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------|----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------|
| <p style="text-align: right;">Page 2</p> <p>1 APPEARANCES</p> <p>2 .</p> <p>3 ON BEHALF OF PLAINTIFF:</p> <p>4 BECKER, MISHKIND &amp; CO., LPA</p> <p>5 JOHN W. BURNETT, ESQUIRE</p> <p>6 134 Middle Lane</p> <p>7 Elyria, Ohio 44035</p> <p>8 (440) 323-7070</p> <p>9 .</p> <p>10 ON BEHALF OF DEFENDANT DR. HULYALKAR:</p> <p>11 ROETZEL &amp; ANDRESS</p> <p>12 DOUGLAS G. LEAK, ESQUIRE</p> <p>13 One Cleveland Center - 9th Floor</p> <p>14 1375 East 9th Street</p> <p>15 Cleveland, Ohio 44114</p> <p>16 (216) 615-4835</p> <p>17 .</p> <p>18 .</p> <p>19 ALSO PRESENT: DANA CAMPBELL - Video Operator</p> <p>20 .</p> <p>21 .</p> <p>22 .</p> <p>23 .</p> <p>24 .</p> <p>25 .</p>                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                  | <p style="text-align: right;">Page 4</p> <p>1 represent the plaintiffs in this case.</p> <p>2 MR. LEAK: I am Doug Leak. I</p> <p>3 represent Dr. Hulyalkar in this case.</p> <p>4 THE VIDEO OPERATOR: The court</p> <p>5 reporter today is Alda Mandell of Set Depo.</p> <p>6 Would the reporter please swear in the</p> <p>7 witness.</p> <p>8 ALLEN SOLOMON, M.D. having been</p> <p>9 duly sworn, testified as follows:</p> <p>10 EXAMINATION</p> <p>11 BY-MR.LEAK:</p> <p>12 Q. Can you please introduce yourself</p> <p>13 for the ladies and gentlemen of the jury.</p> <p>14 A. My name is Allen Solomon.</p> <p>15 Q. Dr. Solomon, what is your</p> <p>16 profession?</p> <p>17 A. I am a cardiologist.</p> <p>18 Q. Dr. Solomon, I am handing you what</p> <p>19 has been marked as Defendant's Exhibit A.</p> <p>20 Can you please identify that for the jury.</p> <p>21 A. This is my CV, my curriculum</p> <p>22 vitae.</p> <p>23 Q. And what is a curriculum vitae?</p> <p>24 A. It's a resume.</p> <p>25 Q. Doctor, I'd like to begin with</p>                                                                                                                                                                                                                                                                                                   |
| <p style="text-align: right;">Page 3</p> <p>1 Videotaped Deposition of Allen Solomon, M.D.</p> <p>2 July 14, 2004</p> <p>3 (Defendant's Exhibit-A was marked</p> <p>4 for identification and was attached to the</p> <p>5 transcript.)</p> <p>6 THE VIDEO OPERATOR: We are on</p> <p>7 record at 100743. Here begins tape number</p> <p>8 one in the deposition of Allen Solomon, M.D.</p> <p>9 in the matter of J. Terry Robinson,</p> <p>10 Administrator of the Estate of Elsie A.</p> <p>11 Robinson versus Lynn Chrismer, Jr., M.D., et</p> <p>12 al in the Court of Common Pleas for Lorain</p> <p>13 County, Ohio. Case Number 99 CV 122855.</p> <p>14 Today's date is July 14th, 2004.</p> <p>15 The time is 10:08:12. The video operator</p> <p>16 today is Dana Campbell of Set Depo. This</p> <p>17 video deposition is taking place at the</p> <p>18 office of George Washington University, 2150</p> <p>19 Pennsylvania Avenue, Northwest, Suite 4-413,</p> <p>20 Washington, D.C. and was noticed by Anna M.</p> <p>21 Carulas, counsel for the defendant, North Ohio</p> <p>22 Heart Center. Would the counsel please</p> <p>23 identify themselves and state whom they</p> <p>24 represent.</p> <p>25 MR. BURNETT: I'm John Burnett. I</p> | <p style="text-align: right;">Page 5</p> <p>1 going through your background a little bit</p> <p>2 with the jury. Can you take us through your</p> <p>3 education, starting with undergraduate.</p> <p>4 A. I went to undergraduate school at</p> <p>5 the University of Maryland in College Park.</p> <p>6 I then went to medical school at the</p> <p>7 University of Maryland, which is in Baltimore</p> <p>8 City. I then did my residency in internal</p> <p>9 medicine, still at the University of Maryland.</p> <p>10 I then came to Washington, D.C. where I did</p> <p>11 a cardiology fellowship at Georgetown</p> <p>12 University Hospital, followed by a cardiac</p> <p>13 electrophysiology fellowship, which is the</p> <p>14 study of heart arrhythmias, and then I began</p> <p>15 on staff at Georgetown University.</p> <p>16 Q. Doctor, with regard to</p> <p>17 electrophysiology, can you explain a little</p> <p>18 bit more about what that entails?</p> <p>19 A. Sure. It's the study of fast and</p> <p>20 slow heart rhythms. Essentially some people</p> <p>21 have problems when their heart rhythm goes</p> <p>22 too slow and some people have heart rhythms</p> <p>23 that go quite fast like atrial fibrillation</p> <p>24 in this case. And electrophysiologists are</p> <p>25 the physicians in charge of taking care of</p> |

|                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                    |                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                       |
|----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------|---------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------|
| <p style="text-align: right;">Page 6</p> <p>1 people with these heart rhythm abnormalities.</p> <p>2 Q. Doctor, where are you licensed to</p> <p>3 practice medicine?</p> <p>4 A. I'm licensed to practice in the</p> <p>5 state of Maryland and in the District of</p> <p>6 Columbia.</p> <p>7 Q. And are you board certified?</p> <p>8 A. I'm board certified in internal</p> <p>9 medicine, in cardiology and in cardiac</p> <p>10 electrophysiology.</p> <p>11 Q. Doctor, I have seen in your CV</p> <p>12 that you have received some awards. Can you</p> <p>13 please mention some of the awards that you</p> <p>14 believe are important to you?</p> <p>15 A. I think the awards that are most</p> <p>16 important to me are the teaching awards. One</p> <p>17 of my roles at the university is in teaching</p> <p>18 medical students, residents and fellows, and</p> <p>19 I've received several awards regarding my</p> <p>20 teaching of those folks.</p> <p>21 Q. And do you belong to any</p> <p>22 professional societies or hold any positions</p> <p>23 with any committees?</p> <p>24 A. I belong to the American Heart</p> <p>25 Association, the American College of</p>                                                       | <p style="text-align: right;">Page 8</p> <p>1 A. I lecture locally, regionally,</p> <p>2 nationally and I've even done some</p> <p>3 international talks as well.</p> <p>4 Q. And have you provided any</p> <p>5 publications for the medical literature out</p> <p>6 there or any medical journals?</p> <p>7 A. I've written approximately 60</p> <p>8 manuscripts and somewhat more than that</p> <p>9 abstracts.</p> <p>10 Q. Doctor, I'd like to turn to the</p> <p>11 nature of your practice. We're here in</p> <p>12 Washington, D.C. Can you explain for the</p> <p>13 jury what is the nature of your practice?</p> <p>14 A. My practice is divided between</p> <p>15 general cardiology and electrophysiology. My</p> <p>16 normal day would be probably about half the</p> <p>17 time I spend in the hospital seeing</p> <p>18 inpatients -- about a third of the time</p> <p>19 seeing inpatients, about a third of the time</p> <p>20 seeing outpatients, and about a third of the</p> <p>21 time in the laboratory doing procedures.</p> <p>22 Q. And what percentage of your</p> <p>23 professional time is devoted to the clinical</p> <p>24 practice of cardiology?</p> <p>25 A. Almost all of it, but certainly</p> |
| <p style="text-align: right;">Page 7</p> <p>1 Cardiology, a society called Heart Rhythm</p> <p>2 Association, which is for electrophysiologists,</p> <p>3 and I sit on several committees for each of</p> <p>4 these associations.</p> <p>5 Q. And do you presently have any</p> <p>6 academic positions here in the D.C. area?</p> <p>7 A. My current academic position is at</p> <p>8 George Washington University Hospital where I</p> <p>9 am in charge of the fellowship training</p> <p>10 program and I'm an associate professor of</p> <p>11 medicine.</p> <p>12 Q. And what does that involve, the</p> <p>13 fellowship training?</p> <p>14 A. There is a small administrative</p> <p>15 role which deals with making sure that each</p> <p>16 of the fellows going through the program,</p> <p>17 which are young men and women who have</p> <p>18 already completed their internal medicine</p> <p>19 residency training who are learning how to be</p> <p>20 cardiologists, to make sure they receive</p> <p>21 proper training in all the fields of</p> <p>22 cardiology so that they can then leave this</p> <p>23 program and practice as a cardiologist.</p> <p>24 Q. And do you lecture in your field</p> <p>25 of specialty?</p> | <p style="text-align: right;">Page 9</p> <p>1 more than 90 percent of it.</p> <p>2 Q. Doctor, I'd like to turn to your</p> <p>3 involvement in this case, and you have been</p> <p>4 retained by my law firm, Roetzel &amp; Andress,</p> <p>5 to be an expert. Have you ever worked or</p> <p>6 had any contact with my law firm before?</p> <p>7 A. This is the first and only time.</p> <p>8 Q. And do you routinely get involved</p> <p>9 in expert reviews of medical malpractice</p> <p>10 cases?</p> <p>11 A. It depends how you define</p> <p>12 routinely. I enjoy doing this. I learn a</p> <p>13 lot as a result of these. Helps sometimes in</p> <p>14 training the next generation of cardiologists.</p> <p>15 I would say on average maybe five cases a</p> <p>16 year I participate in.</p> <p>17 Q. Doctor, I want to turn to this</p> <p>18 case involving Mrs. Robinson. And you have</p> <p>19 reviewed records and depositions in this case?</p> <p>20 A. I have indeed.</p> <p>21 Q. Okay. And what have you reviewed</p> <p>22 in general?</p> <p>23 A. In general I reviewed multiple</p> <p>24 records concerning her medical condition before</p> <p>25 the hospitalization of February 2001 -- 1997,</p>     |

|                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                               |                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                 |
|-------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------|---------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------|
| <p style="text-align: right;">Page 10</p> <p>1 I apologize -- before February of 1997. I've</p> <p>2 specifically looked at the medical records</p> <p>3 involving this hospitalization which we're</p> <p>4 going to discuss today which was February and</p> <p>5 March of 1997. I've also looked at multiple</p> <p>6 depositions taken over the past few years.</p> <p>7 Q. And would that be depositions of</p> <p>8 doctors involved in the case?</p> <p>9 A. Either doctors involved in the case</p> <p>10 or experts for either side.</p> <p>11 Q. Doctor, I will be asking you some</p> <p>12 questions that ask for opinions. Are your</p> <p>13 opinions to a reasonable degree of medical</p> <p>14 probability in this case?</p> <p>15 A. Yes.</p> <p>16 Q. Doctor, let's first start with Mrs.</p> <p>17 Robinson's medical history. Can you please</p> <p>18 explain to the jury the significance of her</p> <p>19 medical history.</p> <p>20 A. Okay. I think, going into the</p> <p>21 hospitalization of February 1997, this was a</p> <p>22 72-year-old lady with multiple medical problems</p> <p>23 involving multiple organ systems. She clearly</p> <p>24 had a weakened dilated heart which we call a</p> <p>25 cardiomyopathy. She also had a chronic</p>                                                                                            | <p style="text-align: right;">Page 12</p> <p>1 flow to all of the important organs. And</p> <p>2 clearly that was weakened in her which would</p> <p>3 result in many symptoms like shortness of</p> <p>4 breath and exercise intolerance, things like</p> <p>5 that.</p> <p>6 She also had an arrhythmia which</p> <p>7 for many years was an intermittent problem</p> <p>8 which was controlled with medication; however,</p> <p>9 over the past year prior to this</p> <p>10 hospitalization it was really a chronic</p> <p>11 problem. Atrial fibrillation is the most</p> <p>12 common heart rhythm abnormality we see in</p> <p>13 which the top chambers of the heart, the</p> <p>14 atria, beat very rapidly and often that</p> <p>15 results in a very fast heart rate which gives</p> <p>16 people symptoms of shortness of breath,</p> <p>17 lightheadedness, dizziness, decreases in</p> <p>18 stamina, shortness of breath.</p> <p>19 And so the treatment of atrial</p> <p>20 fibrillation is to treat two major problems.</p> <p>21 First of all they're on treatments so their</p> <p>22 heart doesn't race, which alleviates many of</p> <p>23 those symptoms, and she was on a drug called</p> <p>24 Digoxin and a beta blocker called Atenolol to</p> <p>25 slow her heart rate down. In addition, these</p> |
| <p style="text-align: right;">Page 11</p> <p>1 arrhythmia known as atrial fibrillation. She</p> <p>2 also had severe emphysema involving her lungs.</p> <p>3 She had well known chronic kidney disease as</p> <p>4 well. She had poor circulation, what we call</p> <p>5 peripheral vascular disease. There's mention</p> <p>6 of deep venous thrombosis which is a disease</p> <p>7 involving the veins the her lower extremities.</p> <p>8 There is thought that have she may have had</p> <p>9 a blood clot in her lung called a pulmonary</p> <p>10 embolus. And there was even a mention of a</p> <p>11 stroke in the past. So clearly she had</p> <p>12 multiple medical problems going into this and</p> <p>13 she was obese as well.</p> <p>14 Q. With regard to her cardiac</p> <p>15 condition, you have mentioned the atrial</p> <p>16 fibrillation, cardiomyopathy. Can you explain</p> <p>17 a little bit more about her cardiac condition</p> <p>18 when she was admitted to Elyira Memorial</p> <p>19 Hospital on February 25th, 1997?</p> <p>20 A. Certainly. I think they fall into</p> <p>21 two major categories. One is more of a</p> <p>22 mechanical problem and that's her</p> <p>23 cardiomyopathy or weakened dilated heart. In</p> <p>24 fact the heart is a muscle which pumps blood</p> <p>25 throughout the circulation which provides blood</p> | <p style="text-align: right;">Page 13</p> <p>1 people are high risk for having strokes. The</p> <p>2 most important complication of atrial</p> <p>3 fibrillation is the risk of forming blood</p> <p>4 clots within your heart which can go</p> <p>5 anywhere, most importantly to your brain,</p> <p>6 which results in a stroke. In someone like</p> <p>7 her that risk may be as high as about 8</p> <p>8 percent each year. And as a result of that,</p> <p>9 we put people on blood thinners, most</p> <p>10 importantly Coumadin, which significantly</p> <p>11 decreases the risk of having a blood clot and</p> <p>12 a stroke.</p> <p>13 Q. And was Mrs. Robinson on Coumadin</p> <p>14 prior to February 25th, 1997?</p> <p>15 A. She was indeed.</p> <p>16 Q. You had mentioned she had some</p> <p>17 valve disease?</p> <p>18 A. Correct.</p> <p>19 Q. And what exactly is that?</p> <p>20 A. She also has -- there is a series</p> <p>21 of valves which are essentially -- should be</p> <p>22 one-way valves which allow blood to flow from</p> <p>23 the top chambers down to the bottom chambers.</p> <p>24 The one on the right side is called the</p> <p>25 tricuspid valve and the left side is called</p>                                                                                                 |

Page 14

1 the mitral valve. And both those valves  
2 leaked at least moderately, if not severely,  
3 the mitral and tricuspid valves. And clearly  
4 that can further deteriorate heart function  
5 because when the heart functions, ideally the  
6 blood should all go forward out the aorta to  
7 the body. When you have these leaky valves,  
8 some of it goes backwards into the lung or  
9 into the veins which further decreases the  
10 ability of your heart to pump blood  
11 effectively.

12 Q. And there's a term called ejection  
13 fraction. What exactly is that and what was  
14 Mrs. Robinson's?

15 A. Okay. Injection fraction is the  
16 ability of the heart to pump blood to the  
17 body. Essentially the heart should be able  
18 to eject or pump about half the blood with  
19 each beat. So a normal ejection fraction  
20 would be 50 percent, again meaning that you  
21 can eject half the blood from your heart with  
22 each beat. In her case it was somewhere  
23 between 25 percent and 30 percent depending  
24 on which echocardiogram you look at. But  
25 essentially her heart function was reduced by

Page 15

1 at least 50 percent.

2 On top of that, on top of her  
3 reduction in heart function, some of that was  
4 going actually the wrong way because of these  
5 regurgitant valves, these leaky valves, so in  
6 fact her heart function was actually worse  
7 than the reported 25 to 30 percent.

8 Q. Doctor, I want to go back to her  
9 condition with regard to risk for blood  
10 clotting and you already talked a little bit  
11 about that. How would you label Mrs.  
12 Robinson in terms of risk for blood clotting?

13 A. I think the risk is very high in  
14 her. Obviously what we do in all people, and  
15 the conversation that all physicians have with  
16 their patients when they're given a diagnosis  
17 of atrial fibrillation is we discuss the  
18 risks and benefits of either forming a blood  
19 clot if you don't take blood thinners or  
20 bleeding if you do. And that risk/benefit we  
21 weigh all the time. In this lady who has  
22 atrial fibrillation certainly puts her at risk  
23 for having a blood clot. If you add the  
24 fact that she has a weakened heart muscle, a  
25 cardiomyopathy, that further increases her

Page 16

1 chances of having a blood clot. If you  
2 throw in the fact that she's also had clots  
3 in her legs previously, again that further  
4 increases the risk. The fact that she may  
5 have had a stroke before would further  
6 increase that risk. So she has a number of  
7 risk factors which increase the risk of  
8 forming blood clots.

9 So clearly in her you would say  
10 she's at high risk for forming blood clots  
11 and in her, unless there was a very strong  
12 indication not to, this would be a lady you  
13 would put on blood thinners, Coumadin.

14 Q. Now, regarding Mrs. Robinson and  
15 the February 25th admission, what was the  
16 treatment plan for Mrs. Robinson upon  
17 presentation and after the initial workup?

18 A. I think that her initial  
19 presentation was mostly shortness of breath  
20 and cough, respiratory symptoms. In people  
21 with a history like hers of having heart  
22 failure history and having emphysema history,  
23 it's always a bit questionable which part of  
24 the shortness of breath is due to the heart  
25 and which part is due to the lungs. And I

Page 17

1 think their initial plan was to treat her  
2 lung function and try to give her medications  
3 to improve emphysema and wheezing and to give  
4 her a second set of medicines to improve her  
5 heart function as well and try to treat heart  
6 failure.

7 On top of that she was admitted  
8 yet again with rapid atrial fibrillation, a  
9 very fast heart rate, which were they were  
10 having more and more difficulty controlling  
11 with medications. And at that point one of  
12 the options is to do a procedure called a  
13 catheter ablation which essentially what  
14 happens is the atria beat very, very quickly  
15 and those electrical impulses go down to the  
16 ventricle below and can make the ventricle,  
17 which is your heartbeat, go very, very fast.  
18 The structure, the electrical structure, that  
19 allows the ventricle to go very fast is  
20 called the AV node. So if you can touch a  
21 catheter to that spot and deliver some heat  
22 or energy, which we call catheter ablation,  
23 you can essentially eliminate conduction  
24 through that electrical pathway through the AV  
25 node.

|                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                  |                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                     |
|------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------|---------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------|
| <p style="text-align: right;">Page 18</p> <p>1 The good part about that is you<br/> 2 heart can never race again out of control.<br/> 3 The bad part is you require a pacemaker<br/> 4 afterwards. But at least with this procedure<br/> 5 the pacemaker will make sure your heart rate<br/> 6 is always slow and regular or appropriate and<br/> 7 regular so that even though your heart will<br/> 8 consistently be in atrial fibrillation, your<br/> 9 heartbeat will essentially be 60 and regular<br/> 10 when you sleep, 80 and regular when you walk,<br/> 11 110 and regular when you jog, so that<br/> 12 people's symptoms of rapid heart rate all go<br/> 13 away.<br/> 14 Q. Now, we know that the procedure<br/> 15 was performed in this case by Dr. Moore.<br/> 16 A. Correct.<br/> 17 Q. How -- based upon your review of<br/> 18 the records, how did the procedure itself go?<br/> 19 A. Okay. Essentially it went fine.<br/> 20 The two parts of the procedure -- first part<br/> 21 would be to eliminate conduction or do the<br/> 22 ablation procedure of the AV node. Generally<br/> 23 we try to completely eliminate electrical<br/> 24 conduction from the top chambers to the<br/> 25 bottom chambers. He partially interrupted</p> | <p style="text-align: right;">Page 20</p> <p>1 generally stay overnight at most hospitals and<br/> 2 then be discharged the following day.<br/> 3 With regard to the Coumadin, which<br/> 4 is always a little tricky because you don't<br/> 5 want to do these procedures with the<br/> 6 anticoagulation level too high, what's<br/> 7 generally done is you stop the Coumadin<br/> 8 several days before, usually three days before<br/> 9 the procedure, just so the Coumadin level can<br/> 10 drift down. And in her case her Coumadin<br/> 11 level was fine on the day of admission. It<br/> 12 was low enough to safely perform the<br/> 13 procedure. And then we generally restart<br/> 14 Coumadin either that evening or the next<br/> 15 evening, hoping to get their Coumadin level<br/> 16 back up to the therapeutic range within the<br/> 17 next three to four days.<br/> 18 Often in the interim people will<br/> 19 use various forms of Heparin to get them<br/> 20 anticoagulated a little earlier because it<br/> 21 does take three or four days before the<br/> 22 Coumadin reaches its effective level. But<br/> 23 often that's done as an outpatient.<br/> 24 Q. With regard to the<br/> 25 anticoagulations, you talked about the</p> |
| <p style="text-align: right;">Page 19</p> <p>1 that conduction so -- and there was even some<br/> 2 talk later on of whether he would have to<br/> 3 finish up the procedure later on.<br/> 4 Despite the fact that he didn't<br/> 5 completely eliminate conduction, the heart rate<br/> 6 seemed to be well controlled through the rest<br/> 7 of the hospitalization. So at least when the<br/> 8 goal was to make sure her heart didn't race<br/> 9 any more, he seemed to accomplish that<br/> 10 although he did not complete entirely what he<br/> 11 intended to do from the beginning. They then<br/> 12 went on and did the pacemaker procedure<br/> 13 which, from reading through the medical<br/> 14 records, went without any complications at<br/> 15 all.<br/> 16 Q. Patients that undergo AV ablation<br/> 17 and the placement of the pacemaker, how are<br/> 18 they routinely generally treated<br/> 19 postoperatively?<br/> 20 A. With regard to the procedure itself<br/> 21 it's generally done these days as an<br/> 22 outpatient procedure in a 23-hour unit where<br/> 23 patients will come in in the morning, they'll<br/> 24 have their ablation, immediately followed by<br/> 25 their pacemaker implantation. They'll</p>       | <p style="text-align: right;">Page 21</p> <p>1 Coumadin. When is the Heparin utilized,<br/> 2 either before, during or after a procedure<br/> 3 like this?<br/> 4 A. Again much of this, like much of<br/> 5 medicine, is weighing risk and benefit. In<br/> 6 people that you think are at very low risk<br/> 7 for forming blood clots you may just start<br/> 8 them on Coumadin on the evening and wait<br/> 9 three or four days before they get fully<br/> 10 anticoagulated. In someone like Mrs.<br/> 11 Robinson, who's a much higher risk, we<br/> 12 generally start the Heparin very early. I<br/> 13 generally start it on the evening of the<br/> 14 procedure. Some people start it the next<br/> 15 morning. But generally Heparin is started<br/> 16 within 24 hours after the procedure and<br/> 17 generally Coumadin is given the evening of<br/> 18 the procedure.<br/> 19 Q. Now, you had mentioned that now<br/> 20 patients are on a 23 hour unit or<br/> 21 observation. What was the circumstances with<br/> 22 Mrs. Robinson back in February of 1997 and<br/> 23 the plan with her to follow her up?<br/> 24 A. I think the medical team taking<br/> 25 care of them wanted to be more conservative</p>                                 |

Page 22

1 because I think they realized that she was an  
2 older lady with multiple medical problems and  
3 rather than do this as an outpatient  
4 procedure, they elected to keep her in  
5 hospital so they could watch her more  
6 closely. They gave her Heparin, followed her  
7 blood counts on a daily basis, began the  
8 Coumadin, and then kept her for multiple days  
9 after the procedure, I gather so they could  
10 catch her more carefully and look for the  
11 complications that we've talked about.

12 Q. And we know that Dr. Hulyalkar was  
13 part of that postoperative care and treatment.  
14 Do you have an opinion as to whether or not  
15 his treatment plan postoperatively was within  
16 the standard of care?

17 A. I think it certainly was within  
18 the standard of care. I think in fact, he  
19 went above the standard of care by keeping  
20 her in the hospital for multiple days while  
21 he watched her.

22 Q. Based upon your review of the  
23 medical records, how did Mrs. Robinson do  
24 postoperatively?

25 A. Well, initially she certainly did

Page 23

1 very well. What we'd like to see as a result  
2 of the procedure is we like to see her heart  
3 not race and we like to see the pacemaker  
4 function appropriately. And both those things  
5 occurred following the procedure. So as far  
6 as the ablation went and the placement of the  
7 pacemaker, there didn't seem to be any  
8 initial complications from that. Certainly in  
9 the early part of her hospitalization her  
10 mental status remained fine, her blood  
11 pressure and pulse remained in the normal  
12 range, her hematocrit and hemoglobin, her  
13 blood counts, remained in the normal range.  
14 They gave her Heparin to immediately thin her  
15 blood and that for the most part was in the  
16 normal range. And they began Coumadin which  
17 gradually -- later on in her hospitalization,  
18 which gradually started to creep up towards  
19 normal, although it never reached a  
20 therapeutic level.

21 Q. During the postoperative period  
22 after the procedure, was it within the  
23 standard of care to continue the Heparin  
24 therapy?

25 A. I think those decisions are always

Page 24

1 the most difficult decisions that we wrestle  
2 with in medicine. In Mrs. Robinson, like in  
3 all patients, you want to weigh risk versus  
4 benefit. In her case she clearly was a sick  
5 woman with multiple medical problems and  
6 multiple things which put her at risk for  
7 having a blood clot. So I think the normal  
8 thing you do in someone like this is you  
9 weigh the risk of having a blood clot, which  
10 could be catastrophic in her, versus the risk  
11 of having a bleed, which could also be  
12 catastrophic, and you try to make your best  
13 guess of which is more likely to occur and  
14 you treat accordingly. I think the ckc this  
15 woman, as we talked initially, with a  
16 cardiomyopathy, with atrial fibrillation, with  
17 a past history of a stroke, with a past  
18 history of blood clots in her legs, you had  
19 to say that her risk of forming a blood clot  
20 was substantial and I think it was reasonable  
21 and certainly within the standard of care  
22 that you would anticoagulate her. You know  
23 that there's a risk of bleeding alongside  
24 that and that would be a reason, A, to keep  
25 her in the hospital a little longer; B, to

Page 25

1 watch her for any complications of bleeding;  
2 and C, to follow things like blood count and  
3 pulse and blood pressure on a regular basis.

4 Q. Doctor, we know that the procedure  
5 was on February 27th and then March 2nd she  
6 started to complain of right flank pain.  
7 Exactly what is right flank pain?

8 A. Okay. Well, right flank pain is  
9 just pain on your side, essentially on the  
10 right side. Usually it's the lower chest,  
11 the upper abdomen region.

12 Q. What is the significance of that  
13 if you're following a patient like Mrs.  
14 Robinson?

15 A. The significance could be anything.  
16 It could be a kidney problem, it could be a  
17 bleeding problem, it could be a lung problem.  
18 There's multiple things that could enter into  
19 your thought process. But the first thing you  
20 obviously want to do is take a look and  
21 examine the patient and try to sort out what  
22 is going on.

23 Q. And what is your understanding as  
24 to what was discovered around this time frame  
25 of March 2nd when she rendered these

1 complaints?

2 A. Okay. Well, when they went to  
3 examine her they found that she had a  
4 hematoma or a severe bruise involving the  
5 right side of her chest and upper abdomen.  
6 I think immediately in somebody that's on a  
7 blood thinner in which you see a hematoma,  
8 that's certainly the most likely cause of her  
9 pain. This is an inflammatory process. It's  
10 certainly painful in most people. It's not  
11 at all uncommon. In fact, it's very common  
12 that we put pacemakers in people who are on  
13 anticoagulation, on Coumadin. And quite  
14 commonly they'll have a hematoma or a big  
15 bruise and a collection of blood within the  
16 pacemaker pocket. That's unfortunately a rather  
17 common procedure.

18 Our tact is to watch it. You  
19 want to make sure that it doesn't expand in  
20 size. You want to make sure that it's  
21 located pretty superficially, that it doesn't  
22 go anywhere else. You want to make sure  
23 that their hemoglobin, their hematocrit, their  
24 blood counts are okay. And I think in this  
25 case you clearly saw she was having flank

1 pain, she was having this hematoma, and I  
2 think the first thing you want to do is make  
3 sure she's okay. You want to check her  
4 blood count. You want to check her pulse  
5 and blood pressure. And then the worst  
6 catastrophe that could be involved here is a  
7 significant bleed that you can only see the  
8 most superficial aspects of on the skin is  
9 that she could have a bleed involving -- sort  
10 of deep in her abdomen, what we call  
11 retroperitoneal or an intraperitoneal bleed,  
12 and I think that would come to a physician's  
13 attention right away. And the test of choice  
14 to try to try to see if that's present or  
15 not present would be a CAT scan.

16 Q. Is that what was done in this  
17 case?

18 A. Which was done in her case. And  
19 in fact, when they did the initial evaluation  
20 of this right flank pain, they clearly  
21 measured the size so that it could be  
22 followed on a regular basis, they checked her  
23 pulse and blood pressure, which were normal,  
24 they checked her hemoglobin and hematocrit,  
25 which were slightly lower than when she came

1 in but certainly well within an acceptable  
2 range. And they did the CAT scan to see if  
3 she had any severe bleeding and there was no  
4 evidence. It seemed to be a very localized  
5 bleeding area involving the skin and soft  
6 tissues.

7 Q. Was there any reference to the  
8 retroperitoneal area in the CT scan report?

9 A. In fact the report said no  
10 retroperitoneal bleeding and no intraperitoneal  
11 bleeding. So within the abdomen itself or  
12 behind.

13 Q. Doctor, do you have an opinion to  
14 a reasonable degree of medical probability as  
15 to how this hematoma was caused?

16 A. It almost certainly was caused by  
17 the ablation procedure. To do the ablation  
18 procedure you actually put a catheter within  
19 one of the veins in the groin and certainly  
20 what you hope to happen is you easily go  
21 into the vein and at the end you hold  
22 compression and a little plug seals the vein  
23 and you don't have any more problem.

24 If somebody's on blood thinner it  
25 often doesn't heal as well as you would like

1 and it's possible for it to open up at a  
2 later date often in response to something  
3 like coughing or laughter or moving in a  
4 certain position. But I think that's the  
5 most likely cause of the bleeding problem.

6 Q. Does that mean that something wrong  
7 occurred during the procedure?

8 A. No, it does not. If the procedure  
9 is done perfectly normally you still can have  
10 this type of complication. Obviously it  
11 could be done right or wrong and you could  
12 have this complication. It doesn't tell you  
13 either one. But it certainly could be done  
14 perfectly normally and you could have this.

15 Q. Doctor, knowing now that she has a  
16 hematoma, benefit of the CT scan, how is a  
17 patient like Mrs. Robinson to be followed by  
18 the physicians like Dr. Hulyalkar and Dr.  
19 Chrismer?

20 A. Sure. I think once you diagnose  
21 that she has a hematoma it's of utmost  
22 important that you follow to make sure that  
23 it doesn't change in size or character, that  
24 this is a stable process. What you want to  
25 do is you want to outline the edge of the



Page 30

1 hematoma to make sure it's not expanding with  
2 time.

3 Q. Did they do that?

4 A. And they did that.

5 Q. And what did that reveal?

6 A. Well, originally they made the  
7 outline and over the next several days it  
8 didn't seem to extend past that marking so it  
9 seemed to be stable in size.

10 Q. Go ahead. I'm sorry.

11 A. The second thing you want to do is  
12 look at the patient and make sure that they  
13 still look well and are speaking to you and  
14 are -- you know, look as they had before  
15 this came up.

16 Q. And was that done?

17 A. And that was done. And in fact,  
18 even on the morning of the 5th, the notes  
19 say feeling better, doing well. So the  
20 patient seems to be doing fine.

21 Q. And what else?

22 A. You also want to follow her  
23 hematocrit. You want to make sure that's not  
24 changing dramatically. So you want to check  
25 at least every day. You want to check her

Page 31

1 hematocrit and hemoglobin level to make sure  
2 that that's remaining stable.

3 Q. And what did that reveal in this  
4 case?

5 A. And up until the evening of the  
6 5th it had remained stable as well. And  
7 then lastly you want to check her vital signs  
8 and make sure her pulse and blood pressure  
9 remain in the normal range. And in fact her  
10 pulse and blood pressure were very much in  
11 the normal range right up until the last,  
12 say, 24 hours.

13 Q. Under what circumstances would a  
14 physician be required to stop the  
15 anticoagulation?

16 A. Again I think this is a judgment  
17 call. Again we're always weighing risk versus  
18 benefit. We've already established that she's  
19 at high risk for forming blood clots. So I  
20 think there's clearly a need for  
21 anticoagulation. At some point if the  
22 benefit of anticoagulation here and the risk  
23 is down here, you always want to keep the  
24 patient on. As that risk of bleeding  
25 increases further, it can eventually be more

Page 32

1 safe to actually stop the anticoagulation.

2 Specifically in her case, if the  
3 hematoma was expanding in size, if her blood  
4 count was dropping precipitously, if her blood  
5 pressure was dropping, if her pulse rate was  
6 increasing, her mental status was changing,  
7 then you'd be worried that this process was  
8 continuing. You'd obviously have to stop  
9 anticoagulation straight away.

10 Q. And what do the records indicate  
11 to you as to whether or not there's active  
12 bleeding or worsening bleeding in this case?

13 A. Again until the evening before her  
14 death all of the things, as far as how she  
15 felt, her vital signs, the size of the  
16 hematoma, the blood counts, were all quite  
17 stable. It wasn't until the evening of the  
18 5th where she began to have some changes in  
19 how she looked. She began to have some  
20 changes in her vital signs. She began to  
21 have some changes in the blood count. And  
22 clearly at that point you have to say some  
23 process is ongoing and you have to reassess  
24 the judgment of whether anticoagulation is  
25 proper or not.

Page 33

1 Q. What is your understanding for this  
2 time period of March 2nd through the evening  
3 of March 5th? Who was following Mrs.  
4 Robinson in the hospital?

5 A. It sounds like she was followed by  
6 multiple physicians. Dr. Chrismer, her  
7 internist, was there. Dr. Hulyalkar, her  
8 cardiologist was involved. There was house  
9 staff involved. There was nursing staff  
10 involved. There was consultants involved from  
11 nephrology as well as hematology.

12 Q. Doctor, you have talked about  
13 hemoglobin, hematocrit, and I just want to  
14 address what exactly is that and what is that  
15 measuring?

16 A. Essentially it's the red blood cell  
17 count and it measures how much -- it measures  
18 what your blood count is. It measures  
19 whether you're bleeding or whether you're not  
20 bleeding. All of us should have a normal  
21 hemoglobin and hematocrit which should only  
22 change if either you're losing blood or  
23 you're destroying blood.

24 Q. Doctor, I want to address some of  
25 the criticisms that the plaintiffs' experts

Page 34

1 have raised in this case. The first one is  
2 do you have an opinion as to whether Dr.  
3 Hulyalkar was required to stop anticoagulation  
4 before the evening of March 5th, 1997?

5 A. Again I would say that that is a  
6 judgment call and you use your best judgment  
7 as a physician to weigh risk versus benefit.  
8 I think in a lady who seems to be stable,  
9 not bleeding actively, I think you still  
10 worry about -- the risk of clotting is higher  
11 than the risk of bleeding and I think it's  
12 perfectly reasonable to have continued  
13 anticoagulation.

14 Q. The plaintiffs have also suggested  
15 that there should have been serial H and H,  
16 hemoglobin, hematocrit tests done. Do you  
17 have an opinion regarding that issue?

18 A. Well, I certainly think there needs  
19 to be serial hemoglobin and hematocrit. We  
20 can argue what the right frequency is. But  
21 at least every blood value they received up  
22 until the evening of the 5th was pretty close  
23 to the same value. So it was clearly  
24 stable. I think what would dictate you to  
25 increase the frequency or get one off your

Page 35

1 normal schedule would be a change in clinical  
2 status. So if suddenly her blood pressure  
3 dropped or suddenly her hematoma expanded or  
4 suddenly she was unresponsive, I think at  
5 that point you certainly want to interrupt  
6 your normal schedule. But I think as long  
7 as the patient is clinically doing fine, I  
8 don't think you have to sort of change your  
9 schedule.

10 Q. And speaking of a change in  
11 status, was there a change in her status at  
12 some point?

13 A. Well, her status seemed to be very  
14 stable until the evening of March 5th.

15 Q. Doctor, plaintiffs' experts also  
16 suggest that there should have been serial CT  
17 scans performed after the March 3rd one. Do  
18 you agree with that opinion?

19 A. I think that would only be  
20 dictated by a change in the patient's  
21 clinical status. I think -- again, looking  
22 back I think it's easy to say that she was  
23 stable at the time of the initial CAT scan  
24 and something happened later. It would be  
25 nice to have received a CT scan right before

Page 36

1 the more significant bleed happened. But  
2 retrospectively it's easy to know when that  
3 is. Prospectively, you don't know when the  
4 bleed was. So it is possible that they  
5 would have done a CT scan the next day, it  
6 might have looked exactly like the first one  
7 and falsely reassured the physicians that she  
8 was at no risk. So I think the standard of  
9 care is to get a CT to rule out a  
10 catastrophic bleed initially, which was done,  
11 and then to get a second one only if her  
12 clinical status dictates it.

13 Q. Well, let's go to the evening of  
14 March 5th, 1997.

15 A. Okay.

16 Q. What is your understanding, based  
17 upon a review of the medical records, as to  
18 what happened that night?

19 A. I think what we know now is  
20 clearly she began to bleed, probably from the  
21 initial puncture site from her ablation which  
22 was done now approximately six days earlier.  
23 Actually would be seven or eight days  
24 earlier. But she started to bleed and that  
25 bleeding resulted in a drop in her

Page 37

1 hematocrit, a drop in her blood pressure, a  
2 change in her mental status. So something  
3 acutely seemed to happen on the evening of  
4 March the 5th.

5 Q. And when you have a patient like  
6 that under those circumstances, what are you  
7 supposed to do if you're following that  
8 patient?

9 A. Okay. I think you want to  
10 stabilize them as quickly as possible. This  
11 patient, as we discussed earlier, is  
12 chronically ill who has had an acute event,  
13 you want to move to a more intensive setting.  
14 So either an intensive care unit, a coronary  
15 care unit, you certainly want to do.

16 Q. Was that done in this case?

17 A. That was done the evening of the  
18 5th. Yes. You also want to follow their  
19 blood counts. You want to make sure that if  
20 there is a drop in blood count, meaning  
21 they're bleeding actively, you want to replace  
22 that. So generally they get some volume,  
23 usually saline solution initially just to try  
24 to increase their blood pressure. And as  
25 soon as you can get it from the laboratory,

|                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                    |                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                  |
|----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------|----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------|
| <p style="text-align: right;">Page 38</p> <p>1 you want to get red cells. You want to give<br/> 2 them a blood transfusion and you want to give<br/> 3 them -- the question's what's the right<br/> 4 amount of blood and the right amount is blood<br/> 5 is whatever blood is required to get their<br/> 6 hematocrit towards normal, to get their pulse<br/> 7 and blood pressure back to normal, to get<br/> 8 their mental status back towards normal. And<br/> 9 you don't know beforehand what the right<br/> 10 amount is.<br/> 11 In this lady you have to be very<br/> 12 careful because what we know about giving<br/> 13 blood transfusions to people with a<br/> 14 cardiomyopathy with a weakened heart muscle,<br/> 15 it's very easy to give them too much blood<br/> 16 and put them into heart failure. So you<br/> 17 want to be very careful that you don't want<br/> 18 to give them too much blood and cause yet<br/> 19 another problem in an already compromised<br/> 20 patient. So you want to give them just<br/> 21 enough blood to get their blood pressure back<br/> 22 up to normal and get them somewhat more<br/> 23 stable.<br/> 24 Q. What did the blood count on the<br/> 25 evening of March 5th reveal?</p> | <p style="text-align: right;">Page 40</p> <p>1 blood transfusion a little later on. And all<br/> 2 of those things seemed to benefit her in that<br/> 3 at least from the late evening of the 5th<br/> 4 till the very early morning hours on the 6th,<br/> 5 her blood pressure improved into a much<br/> 6 better range and her blood count came up as<br/> 7 well.<br/> 8 Q. One thing I don't know if we<br/> 9 discussed was what was the status of the<br/> 10 anticoagulation therapy during this period of<br/> 11 time?<br/> 12 A. Well, her Coumadin level is -- as<br/> 13 you know, began a couple of days earlier and<br/> 14 it was beginning to increase. It still<br/> 15 hadn't reached the therapeutic range but it<br/> 16 was clearly increasing. And she was on<br/> 17 Heparin, which is a more instantaneous blood<br/> 18 thinner, and she had been on up until that<br/> 19 point. But immediately on the evening of the<br/> 20 5th, when they diagnosed this problem, it was<br/> 21 stopped.<br/> 22 Q. Doctor, do you have an opinion as<br/> 23 to whether or not these resuscitation efforts<br/> 24 administered were within the standard of care?<br/> 25 A. I believe they were within the</p> |
| <p style="text-align: right;">Page 39</p> <p>1 A. Her blood count had dropped from<br/> 2 somewhere in the mid 30's to about 19-1/2 I<br/> 3 believe, which clearly showed a significant<br/> 4 bleed.<br/> 5 Q. What's your understanding of who<br/> 6 was involved late evening on March 5th into<br/> 7 the early morning hours of March 6th in<br/> 8 addressing this change in status?<br/> 9 A. I don't know all the people<br/> 10 involved but certainly there were physicians<br/> 11 involved. I believe a hematologist was at<br/> 12 the bedside taking care of her. I know Dr.<br/> 13 Hulyalkar was called by telephone and was<br/> 14 given this information and I know he was<br/> 15 relaying it by telephone. I suspect there<br/> 16 were some house officers involved, although I<br/> 17 don't know that for certain. And clearly<br/> 18 there was the intensive care unit nursing<br/> 19 staff involved.<br/> 20 Q. And what efforts were undertaken<br/> 21 late evening of March 5th, early morning<br/> 22 hours of March 6th?<br/> 23 A. Well, as I said, initially she was<br/> 24 moved to an intensive care unit setting. She<br/> 25 was given saline initially, two units of</p>                                | <p style="text-align: right;">Page 41</p> <p>1 standard of care.<br/> 2 Q. And can you please explain why?<br/> 3 A. Again the goal is to get her<br/> 4 clinical status more stable and I think you<br/> 5 want to give her whatever amount of saline<br/> 6 and blood is required to get her blood<br/> 7 pressure to a more normal range, again<br/> 8 without going overboard and giving her too<br/> 9 much.<br/> 10 Q. What happened on March 6th with<br/> 11 Mrs. Robinson?<br/> 12 A. Well, obviously, although she<br/> 13 initially seemed to stabilize over the night,<br/> 14 early the morning of the 6th her bleeding<br/> 15 obviously became more pronounced and she<br/> 16 eventually bled extensively into what we call<br/> 17 the retroperitoneal space, which is the space<br/> 18 essentially behind her abdominal contents,<br/> 19 which is almost impossible to diagnose<br/> 20 clinically because you can't see that on<br/> 21 examination. But that's where she was<br/> 22 bleeding. And she bled at a rate faster<br/> 23 than they were able to resuscitate her.<br/> 24 Q. Doctor, some of the terms we've<br/> 25 heard in this case. Hypovolemic shock. What</p>                            |

Page 42

1 is that and does that apply here?

2 A. Yes, it does. Hypovolemic shock  
3 just means your blood pressure has dropped to  
4 an extent where you can't perfuse your organs  
5 properly as a result of losing volume. One  
6 of those volume is bleeding. Also it could  
7 be done by things like severe dehydration as  
8 well. But it was bleeding in her case that  
9 caused the shock.

10 Q. How about compensatory mechanism?  
11 What is that?

12 A. Compensatory mechanism essentially  
13 means that your body does things to try to  
14 return your status as close to normal as  
15 possible so if your blood pressure were to  
16 drop, the body has things like adrenaline  
17 which kick in to increase your heart rate, to  
18 increase your blood pressure, to increase the  
19 contractility of the heart to try to  
20 compensate for the fact that you're bleeding.  
21 Often the body can keep up with that for a  
22 period of time but the older you are, the  
23 more chronic medical conditions, I think the  
24 less ability you are to compensate and the  
25 less long that compensation can occur for.

Page 43

1 Q. And is that what happened with  
2 Mrs. Robinson in this case?

3 A. I believe so. Yes.

4 Q. Doctor, is there any way that this  
5 death was either predictable or foreseeable?

6 A. I don't think it was predictable  
7 or foreseeable. Again I think there's always  
8 a risk of bleeding in people like her and  
9 the doctors were fully well aware of that.  
10 But I don't think anyone could have known  
11 that on the evening of the 5th that this was  
12 going to happen.

13 Q. Doctor, we have covered a lot of  
14 issues already in this case but I briefly  
15 want you to express whether or not you have  
16 an opinion that Dr. Hulyalkar met the  
17 standard of care in this case. Do you have  
18 an opinion?

19 A. I believe he did meet the standard  
20 of care.

21 Q. And do you have an opinion to a  
22 reasonable degree of medical probability as to  
23 whether Mrs. Robinson's death was as a result  
24 of any deviation from the standard of care in  
25 this case?

Page 44

1 A. It was not.

2 MR. LEAK: Thank you, Doctor. I  
3 have no further questions.

4 EXAMINATION

5 BY-MR.BURNETT:

6 Q. Hi, Doctor. I'm John Burnett. We  
7 met before the deposition, sir.

8 A. Good morning.

9 Q. The standard of care. We've used  
10 that phrase. Can we agree that that is what  
11 a reasonably careful and prudent doctor would  
12 do under the same and similar circumstances?

13 A. Yes.

14 Q. Okay. And certainly Dr. Hulyalkar  
15 owed his patient, Mrs. Robinson, the duty of  
16 acting as a reasonably prudent and careful  
17 doctor. Is that fair?

18 A. Yes.

19 Q. Okay. Now, by the way, obviously  
20 if her physicians undertook the trouble to  
21 perform an ablation procedure and then a  
22 pacemaker insertion, there was an expectation  
23 that this would benefit this patient. Fair?

24 A. Correct.

25 Q. Okay. There was an expectation

Page 45

1 that it would stabilize her heart and she  
2 could lead a normal life for a period of  
3 time at least. Fair?

4 A. Correct.

5 Q. Okay. And she was 72, right?

6 A. Yes.

7 Q. Is that a yes?

8 A. Yes.

9 Q. Now, I want to discuss compensatory  
10 mechanisms with you.

11 A. Okay.

12 Q. And I may be restating some of the  
13 things we discussed but I want to make sure  
14 we agree. These compensatory mechanisms can  
15 allow a patient to maintain a somewhat stable  
16 blood pressure while they're experiencing a  
17 bleeding, even an internal bleeding. Fair?

18 A. That is correct.

19 Q. Okay. And this can help them  
20 survive the bleeding. Fair?

21 A. Correct.

22 Q. Now, if a person has heart  
23 problems, lung problems, and kidney disease,  
24 that person's compensatory mechanisms may not  
25 be as good as a person's compensatory

|                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                      |                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                    |
|------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------|--------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------|
| <p style="text-align: right;">Page 46</p> <p>1 mechanisms who doesn't have these conditions.<br/> 2 Fair?<br/> 3 A. I agree.<br/> 4 Q. Okay. Now, Mrs. Robinson had --<br/> 5 we talked about this -- she had heart<br/> 6 disease, lung disease, and kidney problems,<br/> 7 right?<br/> 8 A. Correct.<br/> 9 Q. Okay. Because of these conditions,<br/> 10 her compensatory mechanisms were not as good<br/> 11 as someone who didn't have these conditions.<br/> 12 Fair?<br/> 13 A. Fair.<br/> 14 Q. Okay. I mean her compensatory<br/> 15 mechanisms weren't as good as your or mine<br/> 16 would be right now. Fair?<br/> 17 A. I hope so.<br/> 18 Q. Okay. All right. Put another<br/> 19 way, because of those conditions she was less<br/> 20 likely to survive the type of bleeding event<br/> 21 she experienced than you or I might. Fair?<br/> 22 A. I think that's true.<br/> 23 Q. Okay. Now -- and I think you<br/> 24 stated this on your direct examination --<br/> 25 really the cause of death here was that her</p>                                                            | <p style="text-align: right;">Page 48</p> <p>1 initially, right?<br/> 2 A. Yes.<br/> 3 Q. Okay.<br/> 4 A. That's part of the procedure.<br/> 5 Q. Yeah. And cardiologists know<br/> 6 you've got to be aware that this may have<br/> 7 occurred even with the best of care.<br/> 8 A. That's correct.<br/> 9 Q. Okay. Now, just by virtue of the<br/> 10 ablation procedure itself, she was at risk<br/> 11 for internal bleeding because of the<br/> 12 possibility of puncturing a vein or artery,<br/> 13 right?<br/> 14 A. That risk is small but clearly<br/> 15 that risk exists.<br/> 16 Q. Okay. Now, we know that Coumadin<br/> 17 and Heparin are anticoagulants.<br/> 18 A. That's correct.<br/> 19 Q. Okay. And those are commonly<br/> 20 referred to by people as blood thinning<br/> 21 medication, right?<br/> 22 A. That's right.<br/> 23 Q. Okay. And the effect of blood<br/> 24 thinning medication on a person's ability to<br/> 25 cope with a cut or a puncture is that those</p>           |
| <p style="text-align: right;">Page 47</p> <p>1 compensatory mechanisms gave out and her heart<br/> 2 essentially failed. Is that fair?<br/> 3 A. I'm not sure I'd say her heart<br/> 4 failed but she clearly bled extensively and<br/> 5 was not able to meet her compensatory<br/> 6 mechanisms. I agree with that.<br/> 7 Q. Okay. All right. And this was<br/> 8 from the internal bleeding we discussed.<br/> 9 A. That is correct.<br/> 10 Q. All right. And we know that the<br/> 11 source of this bleeding was from the site of<br/> 12 the ablation procedure where a vein or artery<br/> 13 was punctured.<br/> 14 A. I agree.<br/> 15 Q. Fair? And that was punctured back<br/> 16 on February 27th, right?<br/> 17 A. Yes.<br/> 18 Q. Okay. And certainly that puncture<br/> 19 wound was never closed by anyone, by any<br/> 20 surgeon. Fair?<br/> 21 A. That's correct.<br/> 22 Q. Okay. Now, cardiologists know that<br/> 23 when there is an ablation procedure, there is<br/> 24 a risk of puncturing the vein or artery in<br/> 25 the groin where the procedure takes place</p> | <p style="text-align: right;">Page 49</p> <p>1 blood thinning medications inhibit the body's<br/> 2 ability to clot. Fair?<br/> 3 A. You're correct. Yes.<br/> 4 Q. Okay. And following the procedure<br/> 5 she was started back on Coumadin and she was<br/> 6 placed on Heparin, right?<br/> 7 A. That is correct.<br/> 8 Q. Okay. Dr. Hulyalkar put her on<br/> 9 these medications, didn't he?<br/> 10 A. Yes.<br/> 11 Q. Okay. And when they're on these<br/> 12 medications, by virtue of the fact that<br/> 13 they're on these medications, they are at<br/> 14 further risk for bleeding. Is that fair?<br/> 15 A. Yes.<br/> 16 Q. Okay. And this can be internal<br/> 17 bleeding?<br/> 18 A. Yes.<br/> 19 Q. Okay. There's also the risk of a<br/> 20 spontaneous retroperitoneal bleed as a result<br/> 21 of being on Heparin. Fair?<br/> 22 A. I don't know if I'd call it<br/> 23 spontaneous but clearly you can have a<br/> 24 retroperitoneal bleed following a catheter<br/> 25 procedure.</p> |

Page 50

Q. Okay. All right. Isn't there a risk from being on blood thinning medications that just by being on the medication themselves, you can have a spontaneous retroperitoneal bleed?

A. It's extremely rare but, yes, it's reported.

Q. Okay. So she essentially had three risk factors for bleeding in this case, didn't she? And I'll go through them. She had the ablation procedure. That created a risk, didn't it?

A. Yes.

Q. Okay. There was the possibility that any puncture wound created by the ablation procedure may not clot over because of the Coumadin and Heparin, the anticoagulants or blood thinners, right?

A. I think that's part of number one, but yes.

Q. Okay. And there was also this risk of a spontaneous retroperitoneal bleed?

A. Yes.

Q. Okay. Now, we already agreed that Mrs. Robinson was less likely to survive the

Page 52

I mean the more likely it would be that she would survive this bleed given her weakened physical condition.

A. That's correct.

Q. Okay. Now, active bleeding in a patient who's on blood thinning medication, I think we can probably agree that once active bleeding is discovered, the standard of care requires that the doctor stop the blood thinning medication until the wound is closed and there's no more risk of bleeding from that wound. Is that fair?

A. I think that still depends on what bleeding we're talking about and what the risk of forming a blood clot is. For instance, if we felt somebody was at very high risk for blood clot and the bleeding episode was a cut on their finger, you'd probably still say they should continue their blood thinner.

Q. Okay.

A. Again it's always weighing risk and benefits. If they had a little bit of blood in their stool, you may still opt to continue the anticoagulation even though you could

Page 51

bleeding event she eventually had than a person without her other -- her conditions, the heart disease, lung disease, kidney disease. Fair?

A. Fair.

Q. Okay. Her body's ability to compensate for blood loss was weakened. Fair?

A. I agree.

Q. Okay. Now, given her heart, lung and kidney disease that we discussed and the fact that she had the ablation procedure and was on blood thinning medication, is it fair for us to conclude that the standard of care called for Dr. Hulyalkar to monitor her very closely for bleeding?

A. Yes.

Q. And this is because her physical condition made it less likely to survive a serious internal bleed than, say, you or I. Fair?

A. Fair.

Q. Okay. And the earlier the bleed was caught and the site of the bleeding closed, the better it was for this patient.

Page 53

argue that they're actively bleeding internally. I think if you're talking about a more brisk bleed which is causing a drop in hematocrit, a drop in blood pressure, then certainly at that point you would say the risk of anticoagulation is greater than its benefit and you would stop the anticoagulation.

Q. What if we're concerned about bleeding from the site of the ablation procedure internally. If the doctor thinks there is active bleeding going on, is it fair to say that under those circumstances, the blood thinning medication should be stopped and there should be an effort to close the wound site. Fair?

A. I think it still depends on how you define active bleeding. As I said earlier, it is extremely common that after a pacemaker implant that people will get a hematoma over the pacemaker which is active bleeding. But we generally continue the Coumadin and we treat the -- we often treat the hematoma sometimes by evacuating, sometimes by using pressure bandages, but we never stop

Page 54

1 the anticoagulation.  
 2 So if you're defining the hematoma  
 3 in the skin as active bleeding, I would say  
 4 I don't think that would necessitate stopping  
 5 anticoagulation given the patient was otherwise  
 6 stable. If you're talking about an event  
 7 like happened on the evening of the 5th, I  
 8 would say clearly you would stop it at that  
 9 point.  
 10 Q. Okay. Now, stopping the blood  
 11 thinning medication enables the body's natural  
 12 healing; in other words, clotting mechanisms,  
 13 to kick in and help stop the bleeding  
 14 internally. Fair?  
 15 A. That's true. Yes.  
 16 Q. Okay. If there was a slow steady  
 17 bleed from the site of the ablation procedure  
 18 -- okay -- which occurred on February 27th --  
 19 A. Right.  
 20 Q. -- and this bleed continued  
 21 throughout the early part of March into the  
 22 3rd, 4th and 5th, and Dr. Hulyalkar knew  
 23 there was a bleed going on from that site,  
 24 can we agree that the standard of care would  
 25 require him to stop the anticoagulation, the

Page 55

1 blood thinning medication, and get a surgical  
 2 consult to close the site of the bleed before  
 3 resuming the anticoagulation?  
 4 A. I still think this is more of a  
 5 qualitative assessment. If continued bleeding  
 6 was a trickle that was so small that it  
 7 didn't cause any hemodynamic or clinical  
 8 consequences, I think that's not true. It  
 9 probably would have stopped eventually on its  
 10 own. If it was a little more brisk,  
 11 certainly the answer is yes, I would have  
 12 stopped anticoagulation.  
 13 Q. Okay. Let's talk about what  
 14 you're looking for to determine whether or  
 15 not the bleeding is a trickle or brisk.  
 16 A. Okay.  
 17 Q. Okay? We would be looking at a  
 18 manifestation of low blood pressure for  
 19 instance. Fair?  
 20 A. Yes.  
 21 Q. Okay? We would be looking for  
 22 decreased urine output. Fair?  
 23 A. Fair.  
 24 Q. Okay. We'd be looking for an  
 25 altered mental state, right?

Page 56

1 A. Correct.  
 2 Q. Okay. Maybe thready peripheral  
 3 pulse also?  
 4 A. Yes.  
 5 Q. Okay. And peripheral pulse means  
 6 what? Tell us what that means.  
 7 A. Usually it's the pulse in your  
 8 wrist.  
 9 Q. Okay. Now, these are things the  
 10 doctor can look at to see if a patient is  
 11 getting in trouble with an internal bleed.  
 12 A. That's correct.  
 13 Q. Fair? Now, you know Mrs. Robinson  
 14 had a history of hypertension, right? She  
 15 had high blood pressure?  
 16 A. Yes. She had a history in the  
 17 past. Yes.  
 18 Q. Okay. Now, we also know Dr.  
 19 Hulyalkar changed her medicine. He prescribed  
 20 Isordil and Hydralazine on March 3rd and  
 21 March 4th, correct?  
 22 A. That's right.  
 23 Q. Now, these are drugs that can  
 24 serve to lower a patient's blood pressure?  
 25 A. They do indeed.

Page 57

1 Q. Okay.  
 2 A. Also used to improve heart function  
 3 but yes, they also lower blood pressure.  
 4 Q. Okay. Now, I think by way of  
 5 history also she had kidney disease --  
 6 A. Yes.  
 7 Q. -- and needed diuretics for that,  
 8 right?  
 9 A. You usually don't use diuretics to  
 10 treat kidney disease.  
 11 Q. Okay.  
 12 A. She was on diuretics and she did  
 13 have kidney disease. I agree.  
 14 Q. She was on diuretics for what  
 15 reason then, please?  
 16 A. I suspect because she had a  
 17 weakened heart and that was to treat heart  
 18 failure. It also acts to treat high blood  
 19 pressure as well.  
 20 Q. Okay. Now, the diuretics help one  
 21 produce urine?  
 22 A. Yes.  
 23 Q. Okay. And you need to produce  
 24 urine, right?  
 25 A. Yes.

Page 58

1 Q. It's better to produce urine than  
2 not produce urine?  
3 A. I agree.  
4 Q. Okay. Now, we also know that Dr.  
5 Hulyalkar held her diuretic medication as of  
6 March 1st, right?  
7 A. Correct.  
8 Q. Okay. Furthermore, on the 5th he  
9 prescribed Atarax, right?  
10 A. Correct.  
11 Q. Okay. She received a dose at  
12 11:00 o'clock a.m. and had received some  
13 doses prior to that. Fair?  
14 A. Fair.  
15 Q. Okay. Atarax is a sedating  
16 antihistamine, right?  
17 A. That's correct.  
18 Q. Okay. Now, let's talk about some  
19 clinical findings as were set forth in the  
20 record. And sir, for your convenience I'm  
21 going to hand you what we've marked as  
22 Plaintiff's Exhibit 2. And if you would like  
23 to try to find that in the records you  
24 brought with you, feel free.  
25 A. If it's okay with you, I'll just

Page 59

1 look at this.  
2 Q. That's fine. Her urine output on  
3 the 4th was lower than on -- well, it was --  
4 I'm sorry. Let's talk about her urine  
5 output. The intake was 2533. And how is  
6 that measured? Do we call that units or  
7 milliliters?  
8 A. Her intake is -- yeah --  
9 milliliters or cc's.  
10 Q. Okay. It looks like 2,533 cc's?  
11 A. I agree.  
12 Q. And what was her output on the  
13 4th?  
14 A. It looks like it's 1400.  
15 Q. So her output was less than her  
16 input on that day?  
17 A. That is correct.  
18 Q. Okay. Now, let's talk about her  
19 output on the 5th. It was lower than -- her  
20 output on the 5th was lower than it was on  
21 the 4th, right?  
22 A. That is correct.  
23 Q. Okay. Her intake was 1681 and her  
24 output was 450. Is that fair?  
25 A. Yes. I agree.

Page 60

1 Q. Now, in your mind you can't tell  
2 me whether this was a result of Mrs.  
3 Robinson's kidneys shutting down from her  
4 body's compensatory mechanisms dealing with an  
5 ongoing internal bleed or from the diuretics  
6 being held, can you?  
7 A. That's correct.  
8 Q. Okay. Let's look at her blood  
9 pressure on the 4th. It's getting  
10 progressively lower as the day proceeds. Is  
11 that fair?  
12 A. Her blood pressure on the 4th?  
13 Q. Yes, sir.  
14 A. Is that your question?  
15 Q. Yes.  
16 A. Yes. It's somewhat lower in the  
17 evening than it is in the morning. I agree.  
18 Q. Let's go through them.  
19 A. Okay.  
20 Q. At 0200 in the morning it was 160  
21 over 98, right?  
22 A. Right.  
23 Q. At 0900 it was 157 over 78.  
24 A. Right.  
25 Q. At 1800 in the evening, it was 132

Page 61

1 over 70.  
2 A. Right.  
3 Q. And at 2200 -- that's 10:00  
4 o'clock at night --  
5 A. Right.  
6 Q. -- it was 132 over 70, right?  
7 A. That's correct. One of the things  
8 we often see in hospitalized patients is they  
9 tend to get their medications in the morning,  
10 often about 9:00 or 10:00 a.m., so it's not  
11 at all uncommon to see the evening blood  
12 pressures, when their medications are starting  
13 to wear off, be a little higher. They get  
14 their medications at 10:00 in the morning and  
15 it gets a little lower. And in fact  
16 obviously hers by the next evening is back to  
17 153 again.  
18 Q. Okay.  
19 A. So I wouldn't say that it's  
20 necessarily trending down, but it's clearly  
21 lower in the daytime and early evening than  
22 it is the night before. But then it picks  
23 right back up to essentially where it was.  
24 Q. Well, let's see how you respond to  
25 this question.



Page 62

1 A. Okay.  
2 Q. You can't tell me if the  
3 progressive lowering of the blood pressure is  
4 from her compensatory mechanism being slowly  
5 overwhelmed by a slow ongoing bleed from the  
6 ablation site or whether it's the desired  
7 effects of the Isordil and Hydralazine. Can  
8 you tell me that?  
9 A. Can you repeat the question one  
10 more time?  
11 Q. Sure.  
12 A. You want to know if the lowered  
13 blood pressure -- I can differentiate whether  
14 it's due to the medication or due to --  
15 Q. A slow ongoing bleed from the  
16 ablation site.  
17 A. I think that's correct.  
18 Q. Okay. Now, let's look at her  
19 blood pressure on the 5th. Is it fair to  
20 say it's getting lower then?  
21 A. I think what you can say is the  
22 first one on the 5th is back to 153 again.  
23 Q. Yes.  
24 A. So it's sort of back to where we  
25 just were. The next one -- subsequent ones

Page 63

1 were all lower and lower and lower. I agree  
2 with that. Yes.  
3 Q. And that 153 over 78 is at 2:00  
4 in the morning, right?  
5 A. That is correct.  
6 Q. And then we have -- at 8:15 we've  
7 got 110 over 62, right?  
8 A. Right.  
9 Q. And then we see another one at  
10 4:00 in the afternoon, or 1600, that's 90  
11 over 48.  
12 A. Right.  
13 Q. Okay. And then at 10:00 o'clock  
14 that evening, she's 70 over 48.  
15 A. That's correct.  
16 Q. That's really low, right?  
17 A. That's really low. Yes.  
18 Q. Again on the 5th you can't tell me  
19 if the decline in her blood pressure is from  
20 the compensatory -- her compensatory mechanisms  
21 being overwhelmed by a slow ongoing bleed  
22 from the ablation site or from the desired  
23 effect of the Isordil and Hydralazine. Fair?  
24 A. Fair.  
25 Q. Okay. Now, she also had an

Page 64

1 episode when she almost passed out mid to  
2 late morning on the 5th. Do you remember  
3 seeing that in the records?  
4 A. I do not remember seeing that.  
5 Q. Okay. I would like you to assume  
6 that she was being walked to the ladies room  
7 and she almost passed out.  
8 A. Okay.  
9 Q. And -- but she had been given  
10 Atarax. Okay. Would you assume that too,  
11 please, sir?  
12 A. Yes.  
13 Q. Given that she almost passed out  
14 mid to late morning on the 5th as she was  
15 walking to the ladies room and was being  
16 walked by a nurse, again you can't tell me  
17 whether that episode is from the Ativan  
18 prescribed by Dr. Hulyalkar or the decreased  
19 level of consciousness from her compensatory  
20 mechanisms being overwhelmed by a slow ongoing  
21 bleed from the ablation site?  
22 A. There's many, many causes of  
23 syncope, of fainting.  
24 Q. Okay.  
25 A. It's hard to know what caused it.

Page 65

1 Q. Okay. And she also had some  
2 problems with her peripheral areas, didn't  
3 she? She had a peripheral neuropathy?  
4 A. Yes. And poor circulation and  
5 problems with her veins as well. Yes.  
6 Q. So it would probably be hard to  
7 tell whether she had a thready pulse or not  
8 because of her poor circulation in her  
9 extremities.  
10 A. Usually poor circulation involves  
11 the legs rather than the arms. It is  
12 possible that what you said is true. But  
13 usually with a radial pulse or the pulse in  
14 your wrist you can still tell.  
15 Q. So Dr. Hulyalkar's actions in  
16 holding Mrs. Robinson's diuretics as of March  
17 1st, prescribing Isordil and Hydralazine and  
18 prescribing Ativan, potentially masked three  
19 things you would look to clinically to alert  
20 you if there was an ongoing slow bleed from  
21 an ablation site that we talked about. Fair?  
22 A. I certainly say that's a  
23 possibility. Yes.  
24 Q. Okay. Now, we know he was  
25 concerned about internal bleeding because he

|                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                 |                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                   |
|-------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------|---------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------|
| <p style="text-align: right;">Page 66</p> <p>1 did the CT scan on March 3rd, right?</p> <p>2 A. Right.</p> <p>3 Q. Okay. And this is because Mrs.</p> <p>4 Robinson had an area of ecchymosis on her</p> <p>5 right flank. Fair?</p> <p>6 A. That's correct.</p> <p>7 Q. Okay. Now, an area of ecchymosis,</p> <p>8 we also call it a hematoma, right?</p> <p>9 A. I think that ecchymosis is usually</p> <p>10 bleeding. Hematoma is usually a little more</p> <p>11 significant. That's actually a bigger</p> <p>12 collection of blood if you will.</p> <p>13 Q. Did she have hematoma or a</p> <p>14 bruising?</p> <p>15 A. It was defined as a hematoma.</p> <p>16 Q. Okay. And that can evidence</p> <p>17 itself as a black and blue mark on the skin?</p> <p>18 A. That is correct.</p> <p>19 Q. All right. And the CT scan</p> <p>20 actually showed a pooling of blood internally</p> <p>21 in her. Fair?</p> <p>22 A. Under the skin.</p> <p>23 Q. Okay.</p> <p>24 A. If that's internally, then yes.</p> <p>25 Q. Yeah. And we talked about the</p>                                 | <p style="text-align: right;">Page 68</p> <p>1 going on rather slowly and it could not be</p> <p>2 detected clinically.</p> <p>3 A. I think that's possible.</p> <p>4 MR. LEAK: Objection.</p> <p>5 BY MR. BURNETT:</p> <p>6 Q. Now, sometimes patients can</p> <p>7 continue to bleed and these compensatory</p> <p>8 mechanisms we talked about can allow their</p> <p>9 blood pressure to remain somewhat stable.</p> <p>10 Fair?</p> <p>11 A. Yes.</p> <p>12 Q. Okay. And by the way, I note</p> <p>13 that the nurses -- in the chart we see the</p> <p>14 nurses marked a line around the edge of the</p> <p>15 black and blue mark on her skin.</p> <p>16 A. Yes.</p> <p>17 Q. Okay. This is to determine --</p> <p>18 this is to ensure that it's not getting any</p> <p>19 larger, right?</p> <p>20 A. That's correct.</p> <p>21 Q. Because if it expands beyond that</p> <p>22 line, you've got to be alert that there's</p> <p>23 still bleeding going on, right?</p> <p>24 A. That's certainly one of the</p> <p>25 possibilities. Yes.</p>                                                                                                                                           |
| <p style="text-align: right;">Page 67</p> <p>1 source of that bleed being from the site from</p> <p>2 the ablation procedure.</p> <p>3 A. Yes.</p> <p>4 Q. Okay. Now, we know that after her</p> <p>5 death there was an autopsy.</p> <p>6 A. Correct.</p> <p>7 Q. And you've seen the autopsy.</p> <p>8 A. I have.</p> <p>9 Q. Okay. The autopsy showed a huge</p> <p>10 hemorrhage that is a bleed in the</p> <p>11 retroperitoneal space, the anterior abdominal</p> <p>12 wall on the right, and down into the cubitus</p> <p>13 and anterior chest wall. Is that fair?</p> <p>14 A. That's fair.</p> <p>15 Q. Okay. This bleed was also from</p> <p>16 the same site, that site of the ablation</p> <p>17 procedure. Fair?</p> <p>18 A. Yes.</p> <p>19 Q. Okay. So the blood loss causing</p> <p>20 her death and the blood loss we see in the</p> <p>21 CT scan on the 3rd was from the same site.</p> <p>22 A. Correct.</p> <p>23 Q. Okay. I think you'll agree with</p> <p>24 me that it's at least possible that, prior to</p> <p>25 the evening of March 5th, this bleed had been</p> | <p style="text-align: right;">Page 69</p> <p>1 Q. Okay. But I want to make sure</p> <p>2 that I understand if I'm correct here, that</p> <p>3 there can be continued bleeding internally</p> <p>4 that can occur without the black and blue</p> <p>5 mark expanding beyond the lines, okay, but go</p> <p>6 into different tissue planes internally. Is</p> <p>7 that fair?</p> <p>8 A. That is correct. And in fact with</p> <p>9 these retroperitoneal bleeds there's often no</p> <p>10 external signs that this is ongoing. We're</p> <p>11 sort of left with blood counts and clinical</p> <p>12 status of the patient.</p> <p>13 Q. Good. Okay. And since you're</p> <p>14 talking about blood counts at this point,</p> <p>15 let's talk about the hemoglobin and hematocrit</p> <p>16 test.</p> <p>17 A. Okay.</p> <p>18 Q. Again to reiterate, that can</p> <p>19 essentially tell a doctor -- among other</p> <p>20 things, it can tell a doctor if a patient is</p> <p>21 losing blood, right?</p> <p>22 A. That's correct.</p> <p>23 Q. And that would be even internal</p> <p>24 bleeding. If the blood is going out of the</p> <p>25 spaces where it's normally supposed to be,</p> |

|                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                   |                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                                |
|-------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------|--------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------|
| <p style="text-align: right;">Page 70</p> <p>1 that can reveal itself in a hemoglobin and<br/> 2 hematocrit test, right?<br/> 3 A. That's correct.<br/> 4 Q. And what's a normal hemoglobin and<br/> 5 hematocrit?<br/> 6 A. It's a little different in women<br/> 7 than men.<br/> 8 Q. Okay.<br/> 9 A. But it's generally in the mid<br/> 10 40's.<br/> 11 Q. And that's the hematocrit?<br/> 12 A. That's hematocrit.<br/> 13 Q. What's the hemoglobin?<br/> 14 A. Hemoglobin is generally about a<br/> 15 third of that. So it's about 15 in a man<br/> 16 and it's about 13 or 14 in a woman.<br/> 17 Q. Good. Okay. Now, you've<br/> 18 certainly ordered hemoglobin and hematocrit<br/> 19 tests to determine if one of your patients is<br/> 20 losing blood internally. Fair?<br/> 21 A. Yes.<br/> 22 Q. Okay. Now, there can be a lag<br/> 23 time between the onset of bleeding and the<br/> 24 drop in the hemoglobin and hematocrit. Is<br/> 25 that fair?</p>                                                                                                                                       | <p style="text-align: right;">Page 72</p> <p>1 Fair?<br/> 2 A. She clearly had been -- her<br/> 3 bleeding didn't start exactly that instant.<br/> 4 It started sometime before. It's hard to<br/> 5 know exactly when.<br/> 6 Q. Okay. And by the way, during this<br/> 7 whole period of time, you know, March 2nd,<br/> 8 March 3rd, March 4th, March 5th, up until<br/> 9 later that evening, she's still on the blood<br/> 10 thinning medicine, right?<br/> 11 A. That's correct.<br/> 12 Q. Okay. Now, the previous test that<br/> 13 was done by Dr. Hulyalkar was done some 40<br/> 14 hours earlier.<br/> 15 A. That's correct.<br/> 16 Q. Okay. And that was done at 4:00<br/> 17 o'clock in the morning on March 4th, right?<br/> 18 A. That's correct.<br/> 19 Q. A little low but essentially normal<br/> 20 on that test, right?<br/> 21 A. Yes.<br/> 22 Q. Okay. I think the major area of<br/> 23 -- between you and me -- of our disagreement<br/> 24 is whether Dr. Hulyalkar was required by the<br/> 25 standard of care to have done more hemoglobin</p> |
| <p style="text-align: right;">Page 71</p> <p>1 A. That's correct.<br/> 2 Q. Okay. That lag time can be<br/> 3 anywhere from four to eight hours. Fair?<br/> 4 A. I think that's fair.<br/> 5 Q. Okay. Now, we know that Dr.<br/> 6 Hulyalkar ordered a hemoglobin and hematocrit<br/> 7 test on the evening of the 5th.<br/> 8 A. That's correct.<br/> 9 Q. Okay. And as of 10:30 that<br/> 10 evening the hemoglobin and hematocrit of Mrs.<br/> 11 Robinson was 6.2 and 19.4, right?<br/> 12 A. Correct.<br/> 13 Q. She lost a lot of blood.<br/> 14 A. Correct.<br/> 15 Q. I think you don't agree with me it<br/> 16 was nearly 50 percent but it was certainly at<br/> 17 least 30 percent of her blood volume.<br/> 18 A. I think that's fair. I think she<br/> 19 had a significant bleed. Yeah.<br/> 20 Q. And it's likely that as of the<br/> 21 time of that test at 10:30 at night, there<br/> 22 was a lag time and we were four to eight<br/> 23 hours behind. It was conceivable that she<br/> 24 had actually -- her blood volume was actually<br/> 25 lower than what was reflected at 10:30.</p> | <p style="text-align: right;">Page 73</p> <p>1 and hematocrit tests within those 40 hour<br/> 2 period -- that 40 hour period. Is that<br/> 3 fair?<br/> 4 A. Okay.<br/> 5 Q. Okay. Or whether he should have<br/> 6 done more CT scans within that 40 hour<br/> 7 period.<br/> 8 A. Okay.<br/> 9 Q. All right? And I think you'll<br/> 10 agree with me that had Dr. Hulyalkar done<br/> 11 hemoglobin and hematocrit tests every four to<br/> 12 six hours within that time frame, it's<br/> 13 certainly at least possible that they would<br/> 14 have been progressively lower and would have<br/> 15 reflected a slow ongoing bleed.<br/> 16 MR. LEAK: Objection.<br/> 17 A. I think that's true. Yes.<br/> 18 BY MR. BURNETT:<br/> 19 Q. Okay. Or -- excuse me. If he<br/> 20 had done that, that -- and he had seen that<br/> 21 they were going progressively lower, that<br/> 22 would have tipped him off to a continued<br/> 23 internal bleed from the ablation site. Fair?<br/> 24 A. I think that's fair.<br/> 25 Q. Okay. And then he would have been</p>      |

Page 74

1 faced with the decision as to whether or not  
2 to stop the anticoagulants, the blood thinning  
3 medicine, and to get a surgical consult.  
4 Right?

5 A. Right. I think -- you know, it's  
6 easy knowing what happened knowing that her  
7 bleeding was severe on the evening of the  
8 5th, had he done something a few hours before  
9 then, he probably would have caught it  
10 earlier and maybe been able to intervene  
11 successfully. But you could argue for now  
12 many days she had been very, very stable and  
13 had he gotten CAT scans every six hours for  
14 the days before, everything probably would  
15 have looked fine and he would have been  
16 falsely reassured that she was not in any  
17 trouble.

18 Had he gotten -- you know, every  
19 four to six hours gotten a hemoglobin  
20 analysis on the 1st and the 2nd and the 3rd  
21 and the 4th, he would again have been falsely  
22 reassured that she was stable and not  
23 bleeding and would have been fine. I think  
24 it's easy to say after the fact that, gee,  
25 since she had this catastrophic event then,

Page 75

1 if we would have had the values right before  
2 then, we could have predicted it a little bit  
3 earlier. That is true I agree. But there  
4 was nothing clinically to suggest she was  
5 having any problems just because for the most  
6 part her blood pressure was pretty reasonable,  
7 she was awake, she was feeling pretty well.  
8 The hematoma hadn't expanded in size.

9 Q. But we also know that on the 4th  
10 and certainly on the 5th he had prescribed  
11 medications and held her diuretics in such a  
12 way that certainly changes clinically -- okay  
13 -- what might tip a physician off that a  
14 patient is experiencing an internal bleed  
15 could also be attributed to those medications  
16 or the fact of holding the diuretics.

17 A. I think that's true. But again,  
18 these are life sustaining medicines that are  
19 starting -- I guess now that she's almost a  
20 week out of her procedure and you're starting  
21 to think about discharge, you want to put her  
22 on the best medications to give her the best  
23 possible chance at a good quality of life and  
24 improved heart function, decreased  
25 hospitalization. So I think --

Page 76

1 Q. And I don't disagree with you.  
2 But I think again we're coming to the crux  
3 of our disagreement, and that is, given the  
4 fact that he had held the diuretics,  
5 prescribed the medicine, and was not doing  
6 serial hemoglobin and hematocrit tests, he  
7 could be falsely reassured that everything was  
8 okay during that period of time if she was  
9 experiencing a slow ongoing bleed because he  
10 could attribute altered mental state to the  
11 Ativan or the decrease in blood pressure to  
12 the Isordil.

13 A. I agree with that.

14 Q. Okay. And I think also when we  
15 talk about clinically, I think you told me in  
16 your deposition that as long as things are  
17 okay hemodynamically, there's no reason to  
18 suspect a bleed. Fair?

19 A. Yeah. I think in somebody who's  
20 now six or seven days out from the procedure,  
21 that would be a very unusual time. I think  
22 you're much more confident that things are  
23 stable at this point.

24 Q. But to really get a handle on her  
25 hemodynamics, you need to do a hemoglobin and

Page 77

1 hematocrit test, don't you?

2 A. It depends how -- hemodynamics are  
3 usually pulse and blood pressure. They had  
4 been measuring them on a routine basis.

5 Q. And just so the jury understands  
6 your position, again given the fact that the  
7 diuretics were held, Ativan was prescribed,  
8 Isordil was prescribed, under these  
9 circumstances he was still not outside the  
10 standard of care to have not done a  
11 hemoglobin and hematocrit test for that 40  
12 hour period of time.

13 A. I agree that was still within the  
14 standard of care.

15 Q. Okay. And it was still not  
16 outside the standard of care, in your  
17 opinion, not to have done a hemoglobin and  
18 hematocrit test at least once in the early  
19 morning hours of the 5th.

20 A. I think in retrospect, that clearly  
21 would have been helpful but I don't think  
22 there was any reason in her clinical  
23 situation to dictate that it was needed.

24 MR. BURNETT: Thank you. I don't  
25 have any other questions.

1 THE WITNESS: You're welcome.  
2 MR. LEAK: I have no further  
3 questions.  
4 THE VIDEO OPERATOR: This concludes  
5 the deposition. We are off record at  
6 11:17:59.  
7 (The deposition was concluded at  
8 11:17 a.m.)  
9 .  
10 .  
11 .  
12 .  
13 .  
14 .  
15 .  
16 .  
17 .  
18 .  
19 .  
20 .  
21 .  
22 .  
23 .  
24 .  
25 .

1 CERTIFICATE OF SHORTHAND REPORTER - NOTARY  
2 PUBLIC  
3 I, Alda Mandell, Registered  
4 Professional Reporter, the officer before whom  
5 the foregoing proceedings were taken, do  
6 hereby certify that the foregoing transcript  
7 is a true and correct record of the  
8 proceedings; that said proceedings were taken  
9 by me stenographically and thereafter reduced  
10 to typewriting under my supervision; and that  
11 I am neither counsel for, related to, nor  
12 employed by any of the parties to this case  
13 and have no interest, financial or otherwise,  
14 in its outcome.  
15 IN WITNESS WHEREOF, I have hereunto  
16 set my hand and affixed my notarial seal this  
17 20th day of July 2004.  
18 My commission expires: June 30,  
19 2006  
20  
21 NOTARY PUBLIC IN AND FOR THE  
22 DISTRICT OF COLUMBIA  
23 .  
24 .  
25 .

1 DESCRIPTION OF EXHIBITS  
2 EXHIBIT DESCRIPTION  
3 A Curriculum vitae  
4 (Attached to the Transcript)  
5 .  
6 .  
7 .  
8 .  
9 .  
10 .  
11 .  
12 .  
13 .  
14 .  
15 .  
16 .  
17 .  
18 .  
19 .  
20 .  
21 .  
22 .  
23 .  
24 .  
25 .