

1 THE STATE of OHIO,
2 COUNTY of STARK. : SS:

3 -----

4 IN THE COURT OF COMMON PLEAS

5 -----

6 MARLA I. SPREADBURY, et al., :
7 plaintiffs, :

8 vs. : case NO. 1998CV1681

9 : 1998CV00589

10 MERCY MEDICAL CENTER, et al., :
11 defendants.

12 -----

13 Deposition of ALEJANDRO SOS, M.D. a
14 defendant herein, called by the plaintiffs for the
15 purpose of cross-examination pursuant to the Ohio Rules
16 of civil Procedure, taken before Constance Campbell, a
17 Notary Public within and for the state of Ohio, at the
18 Timken Mercy Medical Center, 1320 Timken Mercy Drive,
19 Canton, Ohio, on WEDNESDAY, MAY 5TH, 1999, commencing at
20 11:20 a.m. pursuant to agreement of counsel.
21
22
23
24
25

1 APPEARANCES:

2 ON BEHALF OF THE PLAINTIFFS:

3 Donna Taylor Kolis, Esq.
4 Donna Taylor Kolis Co., LPA
5 330 standard Building
6 Cleveland, Ohio 44113
7 (216) 861-4300.

8 and

9 George Emershaw, Esq.
10 Melissa D. Berry, Esq.
11 Emershaw, Mushkat & Schneier
12 437 Quaker Square
13 Akron, Ohio 44308
14 (330) 376-5756.
15 -----

16 ON BEHALF OF THE DEFENDANT LAURA CAWTHON, M.D. and
17 RADIOLOGY SERVICES OF CANTON:

18 Michael Ockerman, Esq.
19 Buckingham, Doolittle & Burroughs
20 4518 Fulton Drive, NW
21 Canton, Ohio 44735
22 (330) 492-8717.
23 -----

24 ON BEHALF OF THE DEFENDANTS WALTER TELESZ, M.D. and
25 STARK COUNTY SURGEONS, INC. and ROBERT PACKER, M.D.:

Richard A. Vadnal, Esq.
Reminger & Reminger
The 113 Saint Clair Building
Cleveland, Ohio 44114
(216) 687-1311.

ON BEHALF OF THE DEFENDANTS ALEJANDRO SOS, M.D.
and ALEJANDRO SOS M.D., INC.:

Edward E. Taber, Esq.
Bonezzi, Switzer, Murphy & Polito
1400 Leader Building
Cleveland, Ohio 44114
(216) 875-2767.

1 APPEARANCES:

2 ON BEHALF OF THE DEFENDANTS MARK TAWIL, M.D.
3 and THORACIC SURGICAL ASSOCIATES, INC.:

4 Thomas A. Treadon, Esq.

5 Roetzel & Andress

6 222 South Main Street

7 Akron, Ohio 44308

8 (330) 376-2700.

9 -----

10
11
12
13 ON BEHALF OF THE DEFENDANT MERCY MEDICAL CENTER:

14
15 Shannon L. Shinaberry, Esq.

16 Day, Ketterer, Raley, Wright & Rybolt

17 800 William R. Day Buidling

18 Canton, Ohio 44701

19 (330) 455-0173.

20 -----

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X

WITNESS: ALEJANDRO SOS, M.D.

PAGE

Cross-examination by Miss Kolis 5

PLAINTIFF'S EXHIBITS MARKED

1 - Dr. Sos' curriculum vitae 84

2 - typed consultation report 84

3 - Dr. Sos' handwritten notes 84

(FOR COMPLETE INDEX, SEE APPENDIX)

(IF ASCII DISK ORDERED, SEE BACK COVER)

ALEJANDRO SOS, M.D.

of lawful age, a defendant herein, called by the plaintiffs for the purpose of cross-examination pursuant to the Ohio Rules of Civil Procedure, being first duly sworn, as hereinafter certified, was examined and testified as follows:

MISS KOLIS: Dr. Sos, good morning again. For identification purposes on the record of course, my name is Donna Kolis. I am one of the attorneys who have been retained to represent Marla Spreadbury and her husband in the action in which you were also named as a defendant.

My purpose this morning is hopefully to be brief and concise, but to ask you about your care and treatment of this patient, and observations you might have made.

CROSS-EXAMINATION

BY MISS KOLIS:

Q. Doctor, I suspect that you've at least had an opportunity prior to today to give a deposition?

A. Sure.

Q. I would remind you of the basic rules of deposition taking. We need for you to answer each and

1 every question verbally; do you understand that
2 requirement?

3 A. Yes, I do.

4 Q. If ■ ask a question that you do not understand,
5 please inform me that you do not understand the
6 question. Can ■ secure your agreement on that?

7 A. I do.

8 Q. Additionally if at any time during the deposition
9 you need to confer with Mr. Taber, just state so for the
10 record, that will be permitted.

11 A. May ■ say off the record?

12 Q. You can say ■ would like to speak with Mr. Taber,
13 that would be fine.

14 Lastly it would not be surprising to me
15 there might be some objections during this deposition.
16 If any attorney in this room objects to a question,
17 please do not answer the question until we have resolved
18 our differences and you are instructed by the court
19 reporter or your attorney to answer the question, okay?

20 A. Very good. ■ understand very well.

21 Q. For identification purposes you have handed to us
22 this morning the document that ■ assume is your current
23 curriculum vitae, that is a two page document?

24 A. Yes.

25 Q. we'll have it at the end of the deposition marked

1 as Plaintiffs' Exhibit 1.

2 Additionally you handed to me Just
3 before the commencement of the deposition a single sheet
4 of notes that you had prepared; is that an accurate
5 statement?

6 A. could you repeat?

7 Q. sure. You handed to me a single sheet of notes
8 that you had prepared, is that an accurate statement?

9 A. It's a correct statement.

10 Q. when did you prepare these notes, Dr. Sos?

11 A. Last night.

12 Q. You prepared them in anticipation of questions I
13 might ask today?

14 A. Yes, ■ prepared to avoid also the bulky chart, to
15 have the most important points here.

16 Q. Fair enough. This will be marked Plaintiffs'
17 Exhibit 2.

18 Doctor, for the record can you state
19 your name and your professional address?

20 A. Yes. My name is Alejandro, A-l-e-j-a-n-d-r-o,
21 last name sos, S-o-s. My office is located at 1445
22 Harrison Avenue, Northwest, in canton, Ohio.

23 Q. Doctor, I would like to just briefly go through
24 your medical background.

25 Looking at your CV, it appears that you

1 received your medical training in Spain, is that an
2 accurate statement?

3 A. That is in Akron.

4 Q. Let's go through it. You graduated from what
5 medical school?

6 A. Madrid Medical School, 1962.

7 Q. Following that you served in the Medical Corps for
8 the Spanish Army?

9 A. It was compulsory, yes.

10 Q. '62 to '63?

11 A. Yes.

12 Q. You did a neurosurgery residency also in Madrid?

13 A. Yes.

14 Q. '64 to '67, then you took your ECFMG in 1967?

15 A. Correct.

16 Q. You came to this country?

17 A. For training, correct.

18 Q. Then you essentially -- ■ say essentially, you
19 then began medical training in this country in 1968?

20 A. ■ began from scratch internship in '68, then I did
21 six months of neurology at Georgetown University in
22 Washington D.C. from January to June 30, 1969. Then I
23 did general surgery because these are requirements
24 before doing neurosurgery. we get to do one year of
25 neurosurgery.

1 University of Louisville Medical school,
2 Louisville, Kentucky one year, finished in June, 1970.
3 Then from '70 to June, '74, four years I spent in
4 Cincinnati doing medical training. After I finished
5 this training they asked me to stay a couple months to
6 help with the new chief resident. Also they gave me a
7 teaching and research Fellowship. I was there until the
8 end of '74, then I returned to Spain.

9 I was in Spain from '74 to '78 working
10 there in one of the university hospitals. There are
11 three medical schools in Madrid. since we were under
12 the dictator Francisco Franco, this is what it was
13 called, Francisco Franco Hospital. I was there '74 to
14 '78. Then I returned to the States.

15 Q. Then you took your FLEX examination, obtained a
16 license to practice medicine in 1979?

17 A. '79 I got the ohio license. I came to Kentucky --
18 no, I came here in 1980.

19 Q. You originally were in Kentucky for a year?

20 A. In Kentucky I took my examination. My wife is
21 from Kentucky, I took it there because she -- that's how
22 we worked it. As soon as I got the examination I had
23 already inquired about Canton, ohio. I went to canton,
24 ohio in 1980, the same building where I am now, same
25 hospital.

1 Q. Let me ask you a couple of questions about your
2 neurosurgical practice.

3 Your CV indicates that you have been in
4 Canton as a neurosurgeon since 1980?

5 A. That's correct.

6 Q. Your neurosurgical practice, do you have a partner
7 in that practice?

8 A. only the first year. ■ came with a neurosurgeon,
9 he left at the end of the year. He brought me here,
10 Dr. Gonzalez. ■ have been in solo practice since
11 January, 1981.

12 Q. To the present ■ assume?

13 A. To the present, yes.

14 Q. The CV indicates that you are on active staff at
15 Mercy Medical Center, have been so since 1980?

16 A. That's correct.

17 Q. You have courtesy privileges at Massillon?

18 A. Yes.

19 Q. Do you hold any positions within the hospital?

20 A. well, I belong to several committees, ICU
21 committee. ■ belong to the surgical department
22 committee for quality care, some other committees.

23 Q. In this hospital who is the chief of neurosurgery,
24 if is there is such a position?

25 A. There is no. Every specialty is in the Department

1 of Surgery. There is a chief of the Department of
2 Surgery. There are ENT doctors, obstetricians, there
3 are neurosurgeons, currently is me, we all are under the
4 chairmanship of Dr. Grayson.

5 Q. At any time since 1980 have you been appointed as
6 the chief of surgery?

7 A. No, because you have to run for it. I didn't run
8 because its meetings are every two weeks, it's too much.

9 Q. ■ I'm reading your CV correctly, is it fair to
10 state that you do not hold a Board certification in
11 neurosurgery?

12 A. No, ■ don't.

13 Q. You are currently Board eligible?

14 A. I'm Board eligible. I had the first part.

15 Q. You took that in 1994?

16 A. Yes.

17 Q. You have obviously privileges to do neurosurgery
18 at this hospital?

19 A. That's correct.

20 Q. undoubtedly. Tell me what your neurosurgical
21 practice consists of?

22 A. I do neurosurgery, everything but stereotactic
23 neurosurgery because we don't have the number of
24 patients, we don't have the equipment. otherwise I do
25 brain aneurysms, I do spinal cord tumors, I do

1 peripheral nerves. I do laminectomies for lumbar disks,
2 the lumbar spine. I do everything but stereotactic.

3 Q. Other than this instant lawsuit, have you been a
4 defendant in a medical negligence case before, Doctor?

5 MR. TABER: objection. You can
6 answer.

7 A. Yes.

8 Q. Do you know how many other times you've been sued?

9 MR. TABER: objection.

10 MISS KOLIS: You can have a
11 continuing objection to any and all questions about
12 litigation.

13 A. I think 11 times. I never lost any one.

14 Q. Those were all obviously here in stark County, the
15 cases that were filed against you?

16 A. Yes. This is the only place I practice.

17 I think I have 11 lawsuits, all of them
18 have been dismissed in the discovery period. But one
19 that we went to court I was so upset about that I talked
20 to my counsel, two days later the judge dismissed me.

21 Q. Have you in the past approximately 19 years of
22 being in this part of Ohio served as a medical expert on
23 behalf of any doctor?

24 A. No, I refuse. I had several approaches, people
25 approach me, I always refuse.

1 Q. Have you served as a medical expert witness on
2 behalf of a patient?

3 A. No. ■ refuse too.

4 Q. You just have not done any medical/legal
5 testifying?

6 A. It's too complicated, takes too much time.
7 Somebody will be mad at me anyway.

8 Q. Doctor, obviously the answer to the question, I
9 don't even have to ask it, you had an opportunity to
10 review the chart in preparation for today's deposition?

11 A. Yes, I did.

12 Q. You reviewed it as recently as last night?

13 A. As recently as last night, correct.

14 Q. when you did your chart review did you confine
15 yourself solely to notes you prepared or did you look at
16 further information?

17 A. I looked at further information in general, mainly
18 my concern was -- try to remember this case is two years
19 old, almost two years old -- tried to remember the
20 chronology of the events, the timing when I was
21 involved, when I returned the call for consultation, my
22 clinical impression at the time.

23 Q. Fair enough.

24 As part of the informal discovery
25 process in this case I provided to your attorney a set

1 of films that were performed on my client,
2 Mrs. Spreadbury. Those were x-rays, head CAT scans,
3 chest CAT scans and abdominal CAT scans. Doctor, have
4 you had an opportunity to review those films?

5 A. No, I didn't.

6 Q. Although I have some prepared questions I guess
7 what I would like to do is start with the notes you
8 took. Let's see if we can just carefully, slowly, read
9 into the record these pieces of information. I may
10 interrupt you, ask you some questions.

11 Before I ask the questions, the
12 information that you recorded, you recorded why? Do you
13 understand my question?

14 A. Yes. I recorded to answer promptly, accurately to
15 your questions about the vital signs, condition of the
16 patient, timing when the patient was rescued by the
17 emergency squad, time when she arrived at the hospital,
18 timing when I saw her.

19 Q. Did you put on this particular sheet information
20 you felt was medically important? I know that is
21 probably a silly way to ask the question. I'm trying to
22 figure out why you selected certain pieces of
23 information to include on these thoughts?

24 MR. TABER: objection. I think
25 he just answered that.

1 A. I think I -- again, to answer your questions
2 promptly and efficiently. I feel we have here much of
3 the information involving the very first two or three
4 days of the stay in the hospital of Mrs. Spreadbury.

5 Q. The first line looks like you're indicating vitals
6 133 over 86, correct?

7 A. Yes.

8 Q. Then I can't make out what is immediately
9 following that?

10 A. 130 over 86, that says good. That means that
11 pressure was good. Then blood pressure, good blood
12 pressure, okay. Pulse 107, they were very fast, that
13 was in the emergency department, ED. According to the
14 the emergency physician, Dr. Menia. The patient
15 probably, I say probably question mark or quotations was
16 moving all four limbs. That means all four.

17 Q. All four extremities at the time of presentation
18 to the emergency department?

19 A. Yes. To me it's not absolutely clear. The
20 abdomen was soft, the patient has been involved in MVA,
21 the past medical history, PMH, back surgery times three,
22 back three. That Dr. Telez was there when the patient
23 was intubated, that the patient was paralyzed for the
24 intubation.

25 Q. Stop right there, I want to ask you a couple short

1 questions at this juncture.

2 According to the best that I'm able to
3 time this, you would have been contacted to do a
4 consultation at about 11:26; do you agree with that?

5 A. Let me look at the notes here.

6 Q. Absolutely.

7 A. I might look in the chart. Yes, I have 11:26.

8 Q. Did you draw that information from looking at this
9 particular piece of paper, the sheet?

10 A. No, probably but let me look -- probably from my
11 consultation.

12 Q. From your consultation note?

13 A. Yes. I refer to 11:26 because on my typed
14 consultation, initial consultation on the last paragraph
15 I dictate, while I'm dictating this at 4:25. I said
16 before I follow this patient for several hours. Now
17 while dictating at 4:25 corroborates with the
18 transcriptionist wrote dictation 1629, it's recorded at
19 the time we dictate. ■ thought that I was there for
20 about four or five hours. I looked at the nurse's note,
21 I had to agree when I arrived there it was 11:26, 11:30
22 in the morning.

23 Q. You think that is when you first saw her?

24 A. Yes, because ■ was immediately when I was called,
25 sure. Also it's on the nurse's emergency room notes

1 they recorded time of physician arrival.

2 Q. You actually examined the patient physically
3 before you went down to CAT scan?

4 A. Yes. Let me see my note to be correct. Is
5 mentioned on the second paragraph, first line.

6 Q. You're discussing your transcribed consultation
7 report?

8 A. Yes, on the second paragraph. I dictated when I
9 arrived in the intensive care unit the patient is just
10 back from having computerized axial tomography of the
11 head.

12 Q. First of all, since you are now referring to your
13 consult report, this will eventually be marked Exhibit
14 3.

15 My question is in the second paragraph,
16 when ■ arrived in the intensive care unit, that's a
17 mistake, it wasn't the intensive care unit where you
18 first saw her, was it, Doctor?

19 A. As it's been two years, if I dictate the intensive
20 care unit, I arrived at the intensive care unit.

21 Q. when you arrived you think she was already in ICU,
22 not the emergency department?

23 A. I don't recall that.

24 Q. It may not be important, I'm just asking.

25 A. I tried to be maybe -- this is transcribed

1 intensive care unit. I know I was -- the first doctor
2 was Dr. Telesz, the second doctor was me.

3 Q. How do you know the first doctor was Dr. Telesz,
4 the second doctor was yourself?

5 A. The patient was intubated and paralyzed when
6 Dr. Telesz was there.

7 Q. How is it you would come to be called in on a
8 consultation in this kind of case?

9 A. At the time they called me because they suspected
10 a head injury.

11 Q. I didn't ask that question very well. were you
12 the on-call neurosurgeon for that day for the hospital's
13 emergency department?

14 A. yes.

15 Q. How many days a week are you the on-call doctor?

16 A. I'm continuously on call, there is no other
17 neurosurgeon here.

18 Q. Are you the neurosurgeon who would be called in a
19 head trauma case?

20 A. Yes.

21 Q. That's all I wanted to establish, thank you.

22 we've gotten up to the part you are
23 doing some background history. Dr. Telesz intubated the
24 patient and paralyzed her; is that right?

25 A. Yes.

1 Q. Did you talk with Dr. Telesz before you initially
2 examined this patient?

3 A. I don't recall. I know I saw Dr. Telesz, I don't
4 recall if I saw him before I examined the patient or
5 after I saw the patient.

6 Q. At the time that you initially saw her, she had
7 been given paralytics so she could be intubated; do you
8 agree with that?

9 A. Yes.

10 Q. Because she had been given those paralytics was it
11 possible for you to perform a complete and full
12 neurological examination?

13 A. No, it was not possible. This is why I mentioned
14 that when I dictated I had several hours with the
15 patient, I was waiting until she comes back from that.

16 Q. Eventually you make a note at the bottom of the
17 consultation report, the typed report on the first page
18 when you are dictating this at 4:25, she does not follow
19 commands, briefly moves the toes with very, very weak
20 movement; would you agree with that statement?

21 A. Yes.

22 Q. 4:25, which is several hours after the admit time
23 are you indicating there were positive neurological
24 signs that she was able to move her extremities?

25 A. Move the toes. She moved the toes for me.

1 Q. Did you perform a full neurological examination on
2 her before you dictated this note close to the end of
3 the day?

4 A. Yes, this is why I don't want to be repetitive.

5 Q. It's all right.

6 A. I stay four or five hours with her in and out to
7 make possibly a better neurological evaluation. when
8 the patients are paralyzed, the evaluation is
9 disappointing.

10 when the patient is paralyzed, they call
11 me from the emergency, I cannot evaluate the patient for
12 several hours.

13 This is why I stated when I saw she
14 moved the toes a little bit, so upper extremities was
15 almost no moving. when I arrived there the
16 pharmacological process has been taken care of. She was
17 moving very weak but she was moving her toes.

18 Q. Fair enough.

19 I guess let's move on to some notes that
20 you took. The line after where Dr. Telesz has her
21 intubated and paralyzed, you have a 12:39 note, you see
22 that? Can you read across that line?

23 A. 12:39 blood pressure 140 over 100, pulse was 80.
24 The pupil on the left was 3 millimeters, the right side
25 was 5. Ecchymosis, that means bruise on the chest. Has

1 chest tubes, they were not producing any blood.

2 According to the records pneumothorax, rib fractures,
3 there is four or six ribs on the right side were
4 fractured.

5 Q. In that line of information that you just read to
6 me, the examination of the pupils, the right is 5 and
7 left is 3, was that your physical observation or is that
8 someone else's?

9 A. No, my physical observation.

10 Q. That was your observation?

11 A. Yes.

12 Q. At that point what concerns did you have regarding
13 her general health from the accident?

14 MR. TABER: Are you asking him
15 about what his memory at that time was or --

16 Q. At that point at 12:39 you just answered you did
17 the pupil examination, you noted that ecchymosis, et
18 cetera?

19 A. Sure.

20 Q. Did you have an impression as to whether or not
21 she had a neurological injury at that point?

22 MR. TABER: she is asking if you
23 remember what you were thinking back a year and a half
24 ago.

25 A. I think that the patient -- what I was very likely

1 thinking at the time is I need to see the patient for
2 longer once she is out of the effects of the paralyzing
3 drugs to see if she has any manifestations of immediate
4 injuries. I need to see a CAT scan of the head to see
5 if she had an intracranial injury.

6 Most of the times when the pupils are as
7 unequal as they are here, it's a result of a direct
8 impact due to the accident. could be a fist fight on
9 the street, they hit on the eye, the pupil becomes
10 dilated and paralyzed. I was not too concerned about
11 the pupillary inequality.

12 Q. Eventually, to move to what I believe is in your
13 consult note, closer to the time that you completed your
14 exam for that day there was some improvement, correct,
15 in the pupillary response?

16 A. Let me see. Yes, it was down to 3 millimeters.
17 It was still larger, it was going down.

18 Q. It had actually reduced in size?

19 A. Yes, going more toward normal.

20 Q. Based upon the notes you have, not just these
21 notes, based upon your review of the chart, give me the
22 chronology of what you did for this patient on the 23rd.

23 A. well, first thing I did, I examined the patient,
24 then I checked, we always go the same way, we inspect
25 the patient, we look if there is any marks, any

1 abrasions, hematomas, lacerations and so on. That is
2 what I did.

3 I point out she's got a small laceration
4 on mid of the forehead. She had the abrasion on the --
5 ecchymosis on the chest due to the impact again, the
6 steering wheel or other reason.

7 Then we looked if there is a deformity
8 on the long bones, the arm, forearm, the femur and legs,
9 there was no deformity. we looked at rotation since the
10 patient's level of consciousness, it's not always done.
11 Sometimes we take the leg, the leg is rotated outward,
12 we look at it.

13 we check for the entirety of the neck,
14 any hematomas, any shift in the trachea, she didn't have
15 any.

16 As I mentioned we look at the head, the
17 head has the abrasion, unequal pupils, didn't have any
18 blood in the auditory canal, which probably told me no
19 skull fracture.

20 Q. Because there was no blood in the ears?

21 A. No blood in the ears.

22 we looked at the chest. On the chest I
23 recall my colleague appreciated the subcutaneous
24 emphysema. That means some free air, some crackling
25 when you push on the skin with your fingers, you feel

1 like the wrapping plastic with bubbles, you can crack
2 the bubbles of air. she has subcutaneous emphysema.

3 She has fractures from the -- we know
4 from the x-rays she has fractures. The abdomen is soft,
5 not distended at that time, at least not intra-abdominal
6 bleeding or major intra-abdominal trauma like is seen
7 with a liver or spleen with injury.

8 We get almost in front of the patient,
9 we push on the iliac crest to see if there is a rocking
10 sign, a fracture of the pelvis when you push, this is
11 moving, this was not present in this patient.

12 I took into account how the vital signs
13 were. I looked into -- I was anticipating, this is why
14 I waited so much more to see if she was moving an arm,
15 see if she was responsive, able to move the lower
16 extremities.

17 Q. Let's look at your written consultation report so
18 I can ask you some questions about that.

19 Doctor, this is the transcribed
20 consultation report 9-23?

21 A. Correct.

22 Q. Did you not handwrite a consultation note that
23 day?

24 A. This day I only wrote the note in the chart,
25 consultation dictated.

1 Q. That is the one I saw. You don't have a
2 recollection that you hand wrote out all the
3 information?

4 A. No, I just dictate.

5 Q. You dictated it?

6 A. I dictated. when there is trauma sometimes I only
7 write a written note, when there is trauma I dictate
8 this since we are involved today, may develop. I was
9 trying to be very accurate on the timing I dictate.

10 Q. Given that you just indicated that you dictated
11 this, it was transcribed the following morning; am I
12 reading this accurately 6:56 on --

13 A. No, same day, 9-23, 4:29 on the 23rd,
14 transcription.

15 Q. Maybe I misstated it.

16 A. Dictated on the 23rd.

17 Q. Transcribed on the morning of the 24th?

18 A. Yes.

19 Q. How would you have communicated your impressions
20 and your advice on this patient to other physicians in
21 the case at the close of the day?

22 A. I recall very well that I talked personally with
23 Dr. Telesz when he was in the intensive care unit. I
24 talked to Dr. Tawil I believe -- no, excuse me, Kralik,
25 I think I talked to Dr. Kralik, one time to Dr. Tawil.

1 Q. You have a specific recollection of talking with
2 Dr. Telesz?

3 A. Yes.

4 Q. Dr. Kralik?

5 A. And Dr. Tawil.

6 Q. This would all have been on the 23rd, before you
7 left the hospital?

8 A. Yes, has to be. Absolutely with Telesz has to be
9 on the 23rd, no question about that. ■ believe that you
10 know the time is almost two years now.

11 ■ know that ■ believe I talked to Dr. Tawil the
12 same day because we all came to the emergency room to
13 the ICU, to the CAT scan, we crossed paths there, you
14 know.

15 Q. Do you believe that you independently reported
16 your assessment to Dr. Tawil as well as Dr. Telesz?

17 A. To Dr. Telesz, 100 percent sure. To Dr. Tawil, I
18 may be misled by timing, I think ■ talked to Tawil, I
19 think I saw him there because Tawil was the very first
20 one that was called.

21 Q. Fair enough. Let's go back to your consult note.
22 I have to ask a silly question.

23 A. Yes.

24 Q. I'm working on a case in a hospital ■ haven't
25 worked with before; transcription in this hospital, you

1 dictate, who does the transcription?

2 A. Well, now I believe it's done in the hospital.

3 Q. Do you believe it was done in the hospital in
4 1997?

5 A. No, in 1997 I think we had a contract with a
6 company, we had a lot of trouble with them because
7 sometimes it was too many delays. Now I think it's done
8 in the hospital for us, but I think for the emergency
9 room it's done by a company that always times central
10 time. when they said at 6:00 p.m. central time, they
11 fax those.

12 Q. You didn't have an office employee who you would
13 give this to, it goes through an electronic service done
14 off site, returned to you?

15 A. This is dictated from the hospital, the hospital
16 takes care of these, yeah.

17 Q. The first paragraph of your consultation report
18 indicates that you were, if I'm getting this wrong you
19 tell me, at that time that Mrs. Spreadbury was where she
20 was, ICU or ED, that there were actually photographs of
21 the accident which you were able to examine?

22 A. That's correct. I believe those photographs, I
23 could be wrong, were shown to me by somebody in the
24 emergency room or somebody in the intensive care unit.
25 I saw the photographs.

1 Q. Were those Polaroid photographs?

2 A. Polaroid photographs, yes.

3 Q. Have you seen those photographs since the date of
4 this accident?

5 A. No.

6 Q. Do you know where the hospital keeps these
7 photographs?

8 A. When the photographs are made in the emergency
9 room. These photographs were at the scene. When they
10 are in the emergency room they are part of the records,
11 they are kept in the records.

12 Q. So you are unaware as to whether or not if the
13 emergency fire department actually gave those
14 photographs, if the photographs would remain part of the
15 hospital chart?

16 A. I don't think they would be part of the hospital
17 chart. They wouldn't be taken here.

18 Q. I'm just asking.

19 A. But I saw the photographs.

20 Q. Did the photographs in any way help you in the
21 assessment that you made of this patient?

22 A. Yes, the photographs I remember very well because
23 the air bag was completely flaccid, deployed, the left
24 front part of the car was destroyed, there was no door,
25 the seat was also broken, the windshield, all the frame

1 of the windshield was destroyed. My opinion was that I
2 don't know how the patient survived.

3 Also, probably the front wheel was
4 destroyed because the car was laying on the ground, the
5 car was not standing, the front of the car seemed to me
6 like that, was lying like that, lying on the ground.
7 The front tire was destroyed I believe. very dramatic.

8 Q. You have pretty much gone through your second
9 paragraph I believe, but I want to ask to make sure I
10 haven't missed any information.

11 It's indicated you are saying you saw
12 her, you did an exam?

13 A. An exam. I didn't do a neurological examination
14 because we needed the responses from the patient. Then
15 I inspected her, listened to the chest, listened to the
16 abdomen, felt the abdomen, felt the limbs, then I went
17 in and out until she was more awake to see if I could
18 get reflex responses and voluntary responses to pain or
19 voluntary responses responding to verbal commands. She
20 never responded to verbal commands. she did respond to
21 pain eventually, four hours later.

22 Q. Do you believe that you did some of this
23 examination before she went to CT? I'm asking based on
24 the way your report is written.

25 A. No, I say she returned to have a CT scan of the

1 head, then I know, not surprised these patients are sent
2 to CT scan immediately when they arrive to admission.
3 The timing of CT scan is in your record.

4 Q. There is why I'm asking if you knew. Your next
5 paragraph indicates I examined the x-rays, you see where
6 that paragraph begins?

7 A. Yes.

8 Q. Dr. Sos, did you personally look at the x-ray
9 films, the chest x-ray films taken on this patient?

10 A. Yes. Routine procedures, yes.

11 Q. Then you indicate and there was bilateral
12 hemothoraxes with maybe some free air in the mediastinum
13 and rib fractures?

14 A. Yes.

15 Q. This sentence, is that recording your impression
16 of what you believe you saw on the x-ray?

17 A. That is what I saw.

18 Q. Did you confer with the radiologist about any of
19 those chest findings?

20 MR. TABER: which ones?

21 Q. The bilateral hemothoraxes, the free air in the
22 mediastinum?

23 MR. TABER: I mean which
24 radiologist?

25 Q. Did you confer with a radiologist at that time on

1 the 23rd regarding the chest films?

2 A. I don't recall. I don't think so.

3 Q. Do you regularly read chest films in the trauma
4 department?

5 A. No, I look by myself every time I have a trauma
6 patient. I always look. I don't discuss with a
7 radiologist because it's out of my field, you know. I
8 look out of curiosity. I look at the abdomen, I look at
9 bone x-rays, I look at the chest x-ray. The chest x-ray
10 I usually always look. If the patient has to be on a
11 ventilator could be important to know if she has
12 fractures.

13 Q. So you had a neurological purpose in examining the
14 x-rays, correct?

15 A. Yes, mainly respiratory support of the patient.

16 Q. what kind of support were you contemplating?

17 A. Respiratory support in general, not just
18 Mrs. Spreadbury. Patients with injury as I thought this
19 patient was going to have diminished level of
20 consciousness, many times they need to be intubated, as
21 she was.

22 Q. she was already intubated before you looked at the
23 x-rays, correct?

24 A. Sure.

25 Q. Did you have an opinion, first of all from your

1 recollection in reviewing the chart, and what you
2 believe that you saw, would you agree that in the chest
3 films that were taken on the morning of the 23rd there
4 was a widened appearance of the mediastinum?

5 A. No, ■ don't have that recollection. Also
6 happened, all other patients, not only this patient.
7 Myself, if I lay on the table, they do a chest x-ray of
8 me, my mediastinum is going to be wide because I am
9 recumbant. Widening of the mediastinum is not a very
10 important landmark.

11 Q. Not very important?

12 A. The widening of the mediastinum when the chest
13 x-ray has been done with a patient recumbant, means
14 laying down, is not an important landmark. Is not a
15 very important landmark because everybody has a wide
16 mediastinum when we lay down for an x-ray. It's to be
17 thinking about, it's not important.

18 Q. Did you have an opinion as to the cause of you
19 called it maybe free air in the mediastinum? see how
20 you wrote that?

21 A. Yes.

22 Q. Did you discuss with anyone that you thought there
23 might be free air in the mediastinum?

24 A. Yes.

25 Q. who did you discuss it with?

1 A. Kralik.

2 Q. ■ have to ask, how do you recall i t was Dr. Kralik
3 you discussed i t with?

4 A. I may be wrong, it's the face ■ remember.

5 Q. why would you have discussed i t with Dr. Kralik?

6 A. Because he's the thoracic surgeon. He was in the
7 case.

8 Q. Did you at that point have a concern as to what
9 might be causing that free air in the mediastinum?

10 A. I thought that probably was because of the broken
11 ribs.

12 Q. Your next paragraph deals with the CT of the head;
13 is that right?

14 A. Yes.

15 Q. Did you talk with the radiologist who performed
16 the head CAT scan about the results?

17 A. I don't recall. In general, I not always talk to
18 them because I think I read better than they do. That's
19 true.

20 Q. Is i t your testimony or do you believe or
21 certainly did you read this head CAT scan on your own?

22 A. Yes, absolutely, I will see i t.

23 Q. what i s contained in this particular paragraph i s
24 your interpretation of the head CT that was performed
25 on 9-23?

1 A. Yes, my interpretation. Taken as my own
2 interpretation.

3 Q. Did you look at any other CAT scans of that day
4 other than the head CT's?

5 A. I don't believe so.

6 Q. Your interpretation of this particular head CT
7 revealed what in terms of damage from the accident, if
8 any?

9 A. Number one there was not any fracture on the
10 skull. only a little bit what I read here a subgaleal
11 swelling, the little bump we get when as little children
12 we fell. It's a small amount of blood under the scalp,
13 between the skin and head, the bone. This is of no
14 importance. There is no masses, no hematomas in the
15 brain, no hematomas outside the brain, no extra-axial.
16 The brain was in the midline. She had a little bit of
17 brain atrophy not related to the accident. She was
18 maybe too young to have brain atrophy being 43, there
19 are variations. Maybe the brain was not as full as
20 should be, the convolutions, convexity, no major
21 importance. I thought that the head was in excellent
22 shape, the brain.

23 Q. You looked at the head CT, this was your
24 interpretation, you communicated this information about
25 what you thought the CT of the head revealed, correct?

1 A. Yes. It's transcribed on the -- they are supposed
2 to read **it**.

3 Q. That day? Because this was not transcribed this
4 day.

5 A. when I talked to Telesz, he was -- I told him all
6 my impressions, I am 100 percent sure I tell him
7 everything. There is no question.

8 Q. Then back to the paragraph we already discussed to
9 make certain.

10 A. Excuse me, going back to your first question why I
11 remember **it** was Tawil. I say Kralik, probably was
12 wrong, because I meant Tawil. That Tawil was going to
13 do a bronchoscopy. Then maybe I talk to Tawil. I don't
14 know, I thought **it** was Kralik when I told him she has
15 free air. Maybe it was Tawil. As a matter of fact,
16 since they are a group sometimes one takes the work of
17 the other guys. Anyway, I interrupted you, I'm sorry.

18 Q. That's okay. Are you amending your answer that
19 you previously gave?

20 A. I say only that again I think so I talked to
21 Tawil. Later on in my dictation -- correction.

22 Again, I think that I talked to
23 Dr. Kralik about the free air on the mediastinum. could
24 be Tawil because I see later on in my dictation I say
25 Tawil is going to do the bronchoscopy. Sorry about the

1 confusion.

2 Q. That's all right. You dictated the note at 4:25
3 in the afternoon, clearly at that point she was in the
4 intensive care unit?

5 A. Yes.

6 Q. The lacerations that she had have been sutured,
7 she is now nodding her head to certain questions and
8 commands; is that what you wrote?

9 A. Yes.

10 Q. At that point, unlike your preliminary impression,
11 if you spoke to her, you would get a response?

12 A. A weak response.

13 Q. I understand that. This was a change, correct?

14 A. Yes.

15 Q. She was not responding to commands by nodding?

16 A. Yes.

17 Q. Was she opening her eyes?

18 A. I don't recall.

19 Q. we've already covered this, you gave her commands,
20 you said she briefly moved her toes with very, very weak
21 movement, correct?

22 A. yes.

23 Q. At the point in time which you were, she has been
24 at the hospital about ballparking it five hours, she now
25 has this brief response in moving the toes, you are

1 calling it very, very weak, did you have any concerns
2 about her neurological condition in the extremities
3 based upon that examination?

4 A. No, because you know she was not completely out of
5 the medication. Moved the toes, she nods yes, appears
6 to be recovering, appears to be recovering, I didn't say
7 is recovered from sedation. she was still under the
8 affects of the sedation.

9 Q. At the point you felt sedation was wearing off you
10 had nerve improvement over five hours, this was a
11 reassuring sign to you?

12 A. I think all these patients many times for two days
13 or so we don't know what is going on. At that point she
14 was waking up, seems to be waking up from the affects of
15 trauma and affects of sedation, affects of the anemia.
16 she was so anemic. She was moving the toes even weakly,
17 probably the next morning we had to assume would be
18 moving better with less pharmacological agents on board.

19 Q. Then you indicate she was going to have a
20 bronchoscopy by Dr. Tawil?

21 A. Yes.

22 Q. Did you talk to Dr. Tawil, did he tell you he was
23 going to do a bronchoscopy, is that how that ended up in
24 your notes?

25 A. That is very likely what happens.

1 Q. Do you know what the purpose for the bronchoscopy
2 was at that time?

3 A. They wanted to rule out a laceration to the
4 trachea, injury to the trachea or the primary bronchi
5 that could displace free air in the mediastinum.

6 Q. Is that what Dr. Tawil told you?

7 A. I don't know, that is what I tend to believe is
8 why they were doing the thing. I don't recall what he
9 told me, it's a year and a half, two years. I stated
10 here that as a bronchoscopy with thoracic surgeon, I
11 assume the only abnormal thing we found at time was free
12 air on the mediastinum, was to rule out tracheal injury,
13 bronchial injury. This is common sometimes the bronchia
14 can be ruptured, the patient leak air into the
15 mediastinum.

16 Q. Then you indicate from the neurological standpoint
17 the only thing we have to do is continue support. I
18 fully agree with Dr. Telesz' order to support this
19 patient.

20 MR. TABER: From the
21 neurosurgical standpoint?

22 Q. From a neurosurgical standpoint?

23 A. Yes.

24 Q. That's what you indicated. You completed your
25 review of the head trauma, you felt confident that was

1 all right?

A. Yes.

3 Q. Is that a fair way to state it?

4 A. I think I stopped at the neck.

5 Q. You were the neurosurgical consult, correct?

6 A. Yes, sure. I was satisfied with the patient's
7 situation. ■ was satisfied that we had gotten it from
8 the patient, me and the other physicians. These
9 patients are going to be sometimes in a coma for two,
10 three or five days.

11 The fact that six hours, five hours
12 later she started nodding, even poorly nodding to
13 command, wiggling a little bit of toes, ■ assume ■
14 mentioned the -- I didn't mention the upper extremities,
15 she was probably weakly moving the upper extremities.
16 Let's see how she is tomorrow, or later on.

17 Q. when you say the only thing we have to do is
18 continue support, what did you mean?

19 MR. TABER: It says -- don't
20 forget first part of the sentence, from the
21 neurosurgical standpoint the only thing --

22 Q. From a neurological standpoint what support were
23 you going to continue to offer the patient?

24 MR. OCKERMAN: objection. Go
25 ahead, Doctor.

1 A. Number one, to maintain the patient stable. This
2 is part that could be I.V.'s, blood she needs, et
3 cetera.

4 Number two, respiratory management.
5 Part of the support means she has been intubated, needs
6 to be on the vent, needs to be controlled on that.

7 Three, urine, follow catheter, she is
8 urinating the right amount. Kidneys in trauma sometimes
9 shut down. To see she continues to progress to gain
10 consciousness or remains unconscious.

11 All these patients they could have
12 injury, could be paralyzed like Mrs. spreadbury was.
13 Sometimes they have an injury to the spinal cord. They
14 have an injury in evolution of the brachial plexus, they
15 are moving the right arm, left is weak, has pain. Many
16 things we can't know until the patient is awake,
17 wiggling toes, flexing knees, flexing arms, moving to
18 command. She couldn't move to commands, she was still
19 out.

20 Q. I'm going to finish out this in a second. I want
21 to go back to your first page, I see something I want to
22 ask you I don't see in your notes, I want to ask you.

23 You are indicating a blood pressure of
24 163 over 90 in your consult report; do you see that?

25 A. Yes.

1 Q. second paragraph?

2 A. 163 over 90, respirations 90 on vent.

3 Q. Did that seem to be an elevated blood pressure at
4 that time?

5 A. she was getting vasopressors.

6 Q. That would account for it?

7 A. what we do with the patient in the field, that is
8 why the big discrepancy between the EMS report and the
9 arrival to the hospital, at the scene Mrs. Spreadbury's
10 blood pressure when she was still being extricated from
11 the car has a systolic pressure of 60. That we had to
12 assume she has to be completely unconscious with 60,
13 even with brain ischemia. At the scene was 60. First
14 blood pressure was 90, then dropped to 60.

15 MR. TABER: Doctor, if you need
16 to take a page.

17 THE WITNESS: No, ■ don't need to,
18 let them wait.

19 A. she arrived to the hospital, the blood pressure is
20 133 over 86. what happened is not that she is better.
21 What happened, she has been, quotation, resuscitated,
22 close quotation. Means that the paramedics, the
23 emergency physician and nurses give this patient a large
24 amount of normal saline. No dextrose water, normal
2s saline. with normal saline most of the time we get very

1 good response in the patient, that is why the new blood
2 pressure was 163 over 90, because she has been
3 resuscitated, has vasopressors, the ■ .V. I assume,
4 things like that. ■ wouldn't say for a normal patient,
5 for a normal individual it is hypertensive systolic,
6 163. 90 is borderline normal. For this patient, it's
7 to be preferred to have a high pressure, not only the
8 brain and spinal cord, the kidneys and liver.

9 MISS KOLIS: Hang on one second.

10 -----

11 (Discussion had off the record.)

12 -----

13 BY MISS KOLIS:

14 Q. while they are looking at that timed run report,
15 Doctor, where did you get the blood pressure number of
16 163 over 90 that you dictated into your report?

17 A. ■ don't know. probably from the nurses at bedside
18 clinical record.

19 Q. The clinical record ■ have, can you point out to
20 me where you got that one from, this is on the ED
21 nursing process form?

22 A. Must be on the ICU maybe.

23 Q. At 12:15 the pressure is 163 over 121 --

24 MR. TABER: Show us what you are
25 talking about.

1 Q. I'm just curious ~~if~~ at that time you were
2 referring to the bottom number is wrong or this is a
3 second pressure that happens to be 163 over 90 that I
4 didn't see somewhere before.

5 A. Did I say 163 over 90?

6 Q. Right.

7 A. Let me look for the -- we need ICU.

8 MR. TABER: ICU nurses' notes.

9 A. I don't know where I got it.

10 Q. what would a pressure of 163 over 121 indicate to
11 you, ~~if~~ anything, about the person's condition?

12 MR. TABER: 163 over what?

13 MISS KOLIS: 121.

14 A. This is what we call shortening between systolic
15 and diastolic.

16 Q. Right.

17 A. That -- well, the diastolic would be very elevated
18 you know. I don't think -- I think 121 could be the
19 wrong transcription. The 121 on diastolic, how was it
20 immediately before, normal, right? How can it go from
21 normal diastolic to 121 diastolic, I think maybe a 121
22 in the note, 121 for the copy, I don't see -- I didn't
23 see in the last few years anyone's diastolic of 121.

24 Q. If someone did have a diastolic of 121, what would
25 it indicate physiologically was going on in the body?

1 MR. TABER: Can we see that
2 again so we're sure?

3 MISS KOLIS: Sure.

4 A. 121, you know the pulse is 90. ■ don't think I
5 have any opinion about this 121 because ■ think it's
6 wrong. The pulse is 90, the pulse is not even grossly
7 tachycardic. Before the 100, 87 and 9:02 blood pressure
8 of 125 over 82, 10 minutes before, 163 over 121, I think
9 it's probably a mistake. Maybe it's not a mistake.

10 Q. what would lead you to believe that is a mistake?

11 A. Because 10 minutes before is normal. with
12 hemodynamic factors that are going to cause such a
13 change in blood pressure, we expect to have some changes
14 in pulse. Before the pulse was 87, then is 90.

15 Q. Right.

16 A. But anyway, if that was the blood pressure,
17 abnormal diastolic, very elevated.

18 Q. what would you consider an abnormally elevated
19 diastolic pressure?

20 MR. TABKER: For the record I'm
21 going to object. First of all, he wasn't the one that
22 took the reading, we're not sure he was there at that
23 time. It's someone else's note and speculative as to
24 what you are asking him. If you can answer that.

25 A. No, have no opinion.

1 Q. Let me ask you this question, Doctor: Do you take
2 blood pressures on these patients?

3 A. No.

4 Q. You rely upon the blood pressures that are
5 recorded in the chart by the nursing staff?

6 A. Most of the time.

7 Q. As a neurologist --

8 A. I'm sorry.

9 Q. I'm sorry, I take that back. As a neurosurgeon
10 variations in blood pressure can tell you something
11 about the patient's condition neurologically?

12 A. when we are dealing with the brain, head, yes.

13 Q. In that context, assuming that you were there
14 since you testified earlier today that you believe that
15 you were there at 11:26, this pressure that I've shown
16 you was recorded.

17 A. I know I was there because it's my record.

18 Q. You testified that you knew you were there at
19 11:26, this occurred at 12:15, what could be causing
20 that elevation in diastolic pressure at that time?

21 A. They didn't called me, I don't want to answer the
22 question. That's it, I was not the final -- the blood
23 pressure, I don't know the other factors. You have not
24 only the blood pressure, you need to examine the
25 patient. I'm the neurosurgeon, I don't want to make any

1 assumptions.

2 Q. what you are indicating is no one called you to
3 advise you of this blood pressure and ask your opinion
4 about what it might mean?

5 A. They are supposed to call me, I'm the
6 neurosurgeon, I'm on call, for the benefit of the jury,
7 I've been called there for head injury in the patient
8 with trauma, I examined the patient, the general
9 management of the patient is for the general surgeon.
10 Why I'm going to make an assumption that can be
11 erroneous because I'm the neurosurgeon about the blood
12 pressure, when this is not my primary role. My primary
13 role is to take care of the patient from the
14 neurological standpoint,

15 Q. Did you talk with Dr. Menia in the emergency
16 department?

17 A. I don't recall. I know Dr. Menia but I don't know
18 if I talked to him or not, ■ had to assume this is an
19 assumption that I had talked to him because I came to
20 the hospital to the emergency room, ■ went to the ICU,
21 he has to be around. I don't recall.

22 Q. So the information sharing that you were doing was
23 primarily with Dr. Telesz, is that what I'm
24 understanding you?

25 A. The emergency room would call me in this case or

1 in other cases following the request by the attending
2 physician, in this case Dr. Telesz, many, many times we
3 exchange information. Most every time the secretary may
4 call me, said the emergency room or emergency department
5 physician wants to talk to you. Dr. Menia may call me,
6 may talk to me. I don't have any recollection.

7 Q. You don't have a recollection of speaking with
8 Mr. Menia?

9 A. No. Maybe he did, I don't remember.

10 Q. Back to where we were. After you fully agreed
11 with Dr. Telesz' order to support the patient, you then
12 have in your consultation note a recitation of the
13 laboratory data, if you could look at that part of your
14 report.

15 A. Yes.

16 Q. Patient's CK is elevated to 344; do you see that?

17 A. Yes.

18 Q. Did you from a neurological standpoint have any
19 concern about an elevated CK?

20 A. Not neurological. Most of the time soft tissue
21 injury it indicates, especially muscle injury.

22 Q. In and around the heart?

23 A. They can make differential diagnosis by checking
24 different segments, you know. could be any muscle. Now
25 they distinguish, they can distinguish now very well if

1 it's heart muscle or other muscle, I don't know the
2 details, the different proteins that are the components
3 of the muscles. The proteins in the heart has some
4 peculiar chemical characteristics that indicate from the
5 peripheral muscles, the biceps or the quadriceps.

6 If you are in a crash or building
7 collapse injury, the femur is broken, the muscles on the
8 femur are going to be contused, they increase CK. Also
9 as you mentioned, the same patient has also a contusion
10 on the heart, he's going to have a chemical elevation.
11 They can be a difference in the lab between one and the
12 other. CK was elevated.

13 Q. Did that concern you enough you would have
14 discussed that with Dr. Telesz?

15 A. No, I don't think so because he knew already
16 anyway, he was there, it was elevated.

17 Q. close to the end of that paragraph you are going
18 through the white blood count 24,000, red count 3.18,
19 hemoglobin, 97 gram percent, hematocrit, 27.9 percent.

20 A. Yes.

21 Q. Then you indicate this will need to be observed
22 because further doppler in red cells and hematocrit are
23 to be expected. Is the word doppler incorrect in the
24 transcription?

25 A. I didn't correct it, it was wrong.

1 Q. what word would you or did you want to use?

2 A. Drop.

3 Q. That's what I thought it was.

4 why were you expecting a further drop in
5 red cells and hematocrit?

6 A. I say could be, could be expected. I don't know
7 they are able to, we should watch. If continues
8 dropping we have to be on the alert for something, could
9 be internal bleeding.

10 Q. From the neurological standpoint were you wanting
11 this to be watched, the drops in the numbers?

12 A. From a general surgical standpoint.

13 Q. Were you making general surgical observations in
14 this portion?

15 A. Regarding the support of the patient, hemoglobin
16 continues dropping we know there is internal bleeding,
17 could be liver, could be heart in this patient, could be
18 kidneys, usually the liver or spleen, or maybe a big
19 fracture in a big limb. That was not the case here.
20 she didn't have a fracture in the femur. we have a
21 patient with a fracture of the femur, they may have lost
22 two or three pints of blood in the thigh very easily.

23 Q. In any event, let me go back so I'm not confused,
24 we corrected the word drop, I read you said red cells
25 and hematocrit are to be expected; were you expecting

1 drops in the red cells and hematocrit?

2 A. I don't know why it's to be expected there, I
3 couldn't say. The paragraph should say this is well
4 noted to observe because further drops in red cells and
5 hematocrit has to be watched. Has to be watched for the
6 drop.

7 Q. From your point of view as the neurosurgeon who
8 had been called in on this case?

9 A. Yes.

10 Q. There was a possibility at this time there was an
11 internal blood loss that had not yet been detected; am I
12 phrasing that correctly?

13 A. Yes, you phrase correctly. This patient like any
14 other patient in the situation could be having internal
15 blood loss undetected, most common is subcapsular
16 splenic hematoma. The spleen tears, starts leaking for
17 several hours, the blood is under the capsule of the
18 spleen, the spleen gets distended, this is why we watch
19 in all these patients. she could have that.

20 Q. Can you palpate a spleen?

21 A. In this patient the abdomen was soft.

22 Q. There wasn't increase in size of the spleen?

23 A. I don't mean she has a lesion on the spleen. I
24 say in general in these patients we have to watch, the
25 most common cause of bleeding is the spleen.

1 Q. By the time that you finished your dictation had
2 you excluded she was having bleeding from her spleen,
3 her kidneys?

4 MR. TABER: I'll object to the
5 point he's a doctor examining the patient, I think you
6 are really getting into general surgical questions to
7 him. He's not going to ignore something.

8 Q. Obviously, Doctor, you took enough time with this
9 patient to examine the patient?

10 A. Yes, ma'am.

11 Q. To include in your consult report some
12 observations about these lowered hematocrit numbers; am
13 I stating that fairly?

14 A. Yes. Also in making diagnosis number four I point
15 with arrows, anemia likely secondary to blood loss, this
16 is to be followed.

17 Q. That was the next question I was going to ask you.
18 Did you have an opinion as to if there
19 was a bleed?

20 A. At the time?

21 Q. um-hum.

22 A. Has to be followed, it's not black and white, may
23 need four, five, six more hours in every patient, this
24 woman too.

25 Q. You communicated that to Dr. Telesz?

1 A. I told you I talked to him personally, not on the
2 phone, ■ talked to him personally. I think I saw him
3 two or three times on that day in ■ CU, I believe in
4 x-ray, I'm not sure.

5 Q. Is it your testimony, so ■ don't have to beat a
6 dead horse, that every admitting diagnosis which you
7 list, one, two, three and four, you would have
8 specifically discussed with Dr. Telesz?

9 A. Yes. Number one verbally and number two because
10 he is supposed to read the transcription. He is
11 supposed to know.

12 Q. can we both agree he couldn't have read a
13 transcription on that day?

14 A. I talked to him. There is no question my
15 recollection is very good about talking to him. I'm a
16 little more confused if I talked to Kralik or Tawil.

17 Q. You're 100 percent certain that you discussed all
18 these things with Dr. Telesz, the secondary issue you're
19 not certain -- let me back that up.

20 If you talked with Dr. Tawil or
21 Dr. Kralik, which of the four admitting diagnoses that
22 you recorded would you have discussed with them because
23 they were cardiothoracic surgeons?

24 A. I recall that I discussed with a thoracic surgeon,
25 I don't recall it was Tawil or Telesz, about the free

1 air in the mediastinum. A cardiothoracic surgeon told
2 me we are going to do a bronchoscopy. It's the group of
3 three who does the bronchoscopy, I don't know.

4 Then I am pretty sure, 100 percent sure
5 I mentioned the free air in the mediastinum, I don't
6 recall exactly, I think I was talking to Kralik, maybe
7 was Tawil. with Telesz personally I recall very well.
8 I talked to him two or three times about this patient
9 the same day.

10 Q. would you have discussed with whichever
11 cardiothoracic surgeon who was there your concern that
12 there was -- and Menia perhaps -- secondary to the blood
13 loss that needed to be followed?

14 MR. TABER: So we're clear, you
15 kind of can be interpreted two ways. You are asking
16 him one, if he remembers a specific conversation with a
17 specific person about a specific finding. secondly,
18 because I think he's answering your question, whether he
19 spoke with these people at all. I know your question
20 was pretty good, if you could make it clearer I
21 appreciate it.

22 Q. Let me concede for the purposes of the question I
23 accept that you are testify that you spoke with someone
24 in the cardiothoracic group, we don't know which one?

25 A. Yes.

1 Q. when I asked you what you would have communicated
2 to them out of the admitting diagnosis, you are certain
3 you would have communicated your concern there might be
4 free air in the mediastinum?

5 A. um-hum.

6 Q. Right?

7 A. Yes.

8 Q. Do you believe that you would have also discussed
9 or did you also discuss the fact that you felt that the
10 blood loss should be followed, the falling hematocrit
11 should be followed with the cardiothoracic surgeon?

12 A. Probably I told them in a general way, yes. I
13 know very well I mentioned about the free air. probably
14 I mentioned the anemia too.

15 Q. Is it possible that with a mediastinal injury
16 would you have been aware at that time -- let me reask
17 the question.

18 would you have been aware that an
19 injury, mediastinal injury could be accounting for the
20 blood loss that shows up in the hematocrit?

21 A. we're not aware of any mediastinal injury at that
22 time. The injury she had in retrospect, we know she
23 had, I wasn't aware at the time.

24 Q. I didn't ask that question very well. I wasn't
25 asking if you were aware of the precise injury.

1 In your list of things that could
2 possibly be causing a drop in hematocrit due to blood
3 loss in light of the chest injury she sustained and the
4 mediastinal free air that you believed you saw, did you
5 consider a possible explanation for the blood loss was a
6 mediastinal injury?

7 A. A very remote consideration because they are rare,
8 should be kept in mind.

9 Q. Let's go back to the notes you took. We left
10 those a while go, the notes you took, these. We were
11 going back through notes that you had taken out of the
12 chart.

13 By the way, before I do that, there are
14 no other notes from you that evening in the chart; would
15 you agree with that?

16 A. There is no --

17 Q. The evening of the 23rd?

18 A. The chart is available to you, These were the
19 records from the hospital.

20 Q. were you for any reason contacted by any
21 physicians or nurses from the time you left the hospital
22 on the 23rd but before 6:00 or 7:00 a.m. on the 24th
23 regarding this patient?

24 A. I don't think so.

25 Q. If you had received a telephone contact regarding

1 this patient, would that be reflected in your office
2 chart or some place else?

3 A. No, it would be reflected on the medical records,
4 this.

5 Q. Those medical records you would have come whatever
6 time you came into the hospital later, you would have
7 reflected that you were contacted?

8 A. Yes. Not in my office. My office is a skeleton
9 chart. If I came here for any reason, it should be
10 recorded on the chart.

11 Q. Going back to the notes that you took, in the
12 center section closer to the top where we last left off
13 reading, you went through the EMS run report?

14 A. Yes.

15 Q. You made note of the BP being 90; is that right?

16 A. Yes. Then the patient was diaphoretic, that means
17 perspiration profusely, cyanotic, means lack of oxygen,
18 the blood pressure dropped to 60. The pulse was very
19 fast, 130. At the scene the paramedics noticed
20 subcutaneous emphyzema on the left side of the chest,
21 abdomen was soft. The blood pressure was 90 at 10:42.

22 At 11:13, almost 30 minutes later they
23 are still on the scene, the blood pressure is 60
24 according to my notes as you have there.

25 Q. Those you took off the EMS report contained in the

1 hospital chart?

2 A. Yes.

3 Q. Right next to it you have the HG 9, you see that?

4 A. Hemoglobin was 9. The white count was 22,000.

5 Q. Is this information you reviewed for yourself at
6 the time you examined her on the 23rd?

7 A. This paper is what I did last night, reviewing the
8 chart.

9 Q. I understand that. what I'm saying is the
10 information you read to me, that information was
11 available to you in the emergency department?

12 A. Yes.

13 Q. where you first saw her, correct?

14 A. Yes.

15 Q. The little notes underneath says C spine and
16 pelvis?

17 A. It means normal.

18 Q. per?

19 A. Per radiologist.

20 Q. That is the word chest, you crossed that out under
21 C spine?

22 A. C spine and pelvis.

23 Q. C spine and pelvis.

24 A. chest was in the following line. when I was
25 writing the C spine, chest, pelvis normal, I realized

1 the chest was abnormal, I crossed chest out, immediately
2 underneath I wrote chest right pneumothorax, rib
3 fractures several. That is why I crossed chest out
4 there, it was not normal.

5 Q. chest tube placement?

6 A. Yes.

7 Q. That is because chest tubes had been placed prior
8 to the time you arrived or after you arrived?

9 A. I don't know, I have to review. Two chest tubes
10 inserted were properly draining. They were inserted
11 before I arrived.

12 Q. I think you mentioned some time much earlier that
13 those tubes were draining very little blood?

14 A. zero blood, yes.

15 Q. Then next review CT head okay?

16 A. Yes.

17 Q. That's your assessment or is that the assessment
18 of what the radiologist said on the scan?

19 A. That is my assessment. I believe I write per
20 radiologist CT of head okay. CT of chest no air,
21 pneumothorax, PND done, pneumothorax. CT of chest PN.

22 Q. I see that.

23 A. Pneumothorax, mediastinal air.

24 Q. In this particular one you didn't write per
25 radiology, Someone is going to ask you, did you look at

1 the chest CT?

2 A. I probably looked at it, I probably looked at the
3 CT, probably.

4 MR. TABER: When are you talking
5 about? she asked you that earlier about the chest.

6 A. I don't believe I looked. Maybe on the screen
7 when I went. Most of the time we have to go to
8 radiology to collect the films. If we don't go to
9 radiology, the films don't come to us.

10 Q. Let me go back, you are now saying you probably
11 looked at the chest CT on the 23rd?

12 A. I'm not trying to avoid answering your question, I
13 don't remember. I want you to believe me, I don't
14 remember. I assume we have all the films there. Out of
15 curiosity I may look at that.

16 Q. Let me ask a different question. Based on your
17 training, experience as a neurosurgeon, the function you
18 perform at this hospital, being called in in emergency
19 trauma situations and running your own practice, are you
20 capable of reading and interpreting chest CT's?

21 A. No. Chest CT's are supposed to be read by the
22 radiologist, by thoracic surgeons. I don't know even by
23 the general surgeons, probably not.

24 Q. If you aren't capable of reading them, I know this
25 is going to sound crazy, why would you look at them?

1 A. why would I look at them?

2 MR. TABER: He didn't say he
3 looked at them. Are you asking him to speculate?

4 MISS KOLIS: He is saying he
5 probably did, unless I misstated that testimony.

6 MR. TREADON: He also said out of
7 curiosity he may have looked at them. You are going on
8 assuming in your questions he did look at them.

9 A. To clarify, it's not my obligation to read any
10 chest x-ray. It's not my obligation to interpret any
11 chest x-ray. It's not my obligation to read CT of the
12 chest, not my responsibility to interpret any CT of the
13 chest. Not here, not in Connecticut, not in Washington,
14 D.C. not in Arkansas. ■ say Arkansas because Clinton is
15 from Arkansas.

16 Q. Mr. Emershaw wanted to know if that includes
17 Spain?

18 A. Includes Spain.

19 MR. TREADON: Includes canton.

20 Q. So that there is no mistake about the question
21 that ■ was asking you, Doctor --

22 A. I don't know if I looked at the CT of the chest.
23 Can I tell you for sure ■ didn't read, because I'm not
24 qualified to read that, it's complicated. If in the
25 realm of the central nervous system I think I can read.

1 Q. You said you can read head CT's, you feel you do
2 that better than the radiologists?

3 A. Yes.

4 Q. You have intubated by Menia?

5 A. Yes, that is the emergency room physician. on the
6 right I put some of the products, one of them he used,
7 the Norcuron 9 milligrams ■ .V., that produced sedation
8 and paralysis. Then I wrote under that Telesz in, Sos
9 in, Tawil in. That means they are all there,
10 chronological appearance by the physicians in the
11 emergency room in the hospital, I will say in the
12 hospital to see this patient. The first was Telesz --
13 the first was Menia, then Telesz, then S o and Tawil.

14 Q. underneath of that you then have some dates, you
15 see them?

16 A. Yes.

17 Q. Can you read what notes you wrote down for me?

18 A. I say 9-23-1997 consult Sos, I had a blood
19 pressure here that we discussed before, 163 over 90.
20 The pulse is 90. 3:2, underneath RL means right pupil
21 is 3 millimeters, left pupil was 2 millimeters.

22 Then 9-25, 11:26 -- no excuse me.

23 9-23, 9-25. 9-25 and 9-27 are dates that I stopped by
24 to see the patient. Consult Sos and 11:26 is the time
25 that I believe I was there. Then in parenthesis 16:25 D

1 means dictation, is the time I dictated. Then at the
2 extreme right is 10-30-97 because they called me on
3 consult a second time, I make a drawing on the chart
4 with a level of injury and I say TH5 level, was the
5 level of transection of the spinal cord, was thoracic
6 fifth. I have a drawing on the chart on the progress
7 notes, then consultation at this time I wrote by hand.

8 Q. underneath the dates you put 9-23, 9-25, 9-27,
9 those are three times you saw the patient, correct?

10 A. Yes.

11 Q. underneath that can you tell me what you have
12 written?

13 A. I say -- on the left?

14 Q. OR?

15 A. Operating room, 9-24-97. After drop in blood
16 pressure I underlined she got angiogram, surgery, repair
17 of transected aorta, coded. she arrested I think two or
18 three times in the operating room. She was given
19 Depo-Medrol, that probably as you know is a cortical
20 steroid used to prevent decrease in the degree of injury
21 to the spinal cord.

22 Q. when you are making the note of Depo-Medrol are
23 you referring to the initiation of that therapy
24 subsequent to surgery, or are you indicating that was
25 used during surgery?

1 A. I don't recall when they did it. I think they did
2 it, I think I may be wrong, I think they started
3 surgery. still it can be started really up to 9 or 10
4 hours after the injury. still statistically is
5 significant.

6 Q. As you were reading, you said that you had
7 underlined after drop in BP, why did you underline it?

8 A. The OR 9-24-97?

9 Q. Right.

10 A. After there is OR, drop in BP, I underline with
11 two.

12 Q. I know that. I'm asking if there is a reason you
13 specifically underlined "after drop in BP"?

14 A. she dropped her blood pressure, she became shocky
15 I assume. Drop pressure, blood pressure drop, she will
16 be shocky, drop in blood pressure, they did angiogram,
17 that is when they found a tear in the aorta.

18 Q. . 9-25 says I think Dr. Rosenberg?

19 A. Correct.

20 Q. Neurology. Right under says what?

21 A. Fladen, F-l-a-d-e-n. Dr. Fladen is an
22 ophthalmologist.

23 Q. Let's talk about 9-25 for a minute. You were in
24 to see the patient on 9-25 according to your notes and
25 chart notes and everything else I guess?

1 A. I think I saw her twice on 9-25, I may be wrong.
2 9-25 two notes, one at the top of the page, the other on
3 the bottom, I saw her in the morning, I don't know the
4 time, I didn't write the time. I saw her again the same
5 day, Dr. Telesz, two other doctors saw her after me.
6 9-25 one I can't read the handwriting, very short note.
7 Then Dr. Telesz with a fine line, six line note. Then
8 the five line. 9-25, 7:45 I saw the patient again.

9 Q. That is what I want to talk to you about.

10 Dr. Rosenberg is a neurologist you indicate; is that
11 correct?

12 A. Yes.

13 Q. Did you ask Rosenberg to see the patient?

14 A. No, I don't think so. probably was Dr. Telesz.

15 Q. At the time that Dr. Rosenberg came in to do his
16 consultation, his consult note indicates that you
17 were -- it says the patient was seen along with Dr. Sos
18 at bedside?

19 A. I was in the room. I walked in the room when he
20 was there. I was in the room when he walked in, I don't
21 remember. We shared the room for a few minutes.

22 Q. You were both in the room with the patient for a
23 few minutes is what you are telling me?

24 A. Yes. I guess I left to let him examine the
25 patient. we do that, if somebody arrives I leave while

1 the other examines.

2 Q. Did you on that day or any day subsequent to the
3 25th have a conversation with Dr. Rosenberg about the
4 cause of Mrs. Spreadbury's paraplegia?

5 A. I assume probably ■ did. For him or for me, was
6 obvious.

7 Q. what was the obvious cause of her paraplegia?

8 A. Obvious cause of paraplegia was an injury to the
9 aorta, descending aorta. From the aorta they come very
10 small branches that they again became smaller to the
11 spinal cord. To the neurosurgeon and neurologist this
12 is a well known catastrophe.

13 Q. Let me ask the question a different way.

14 On the second page of Dr. Rosenberg's
15 consult report -- you've had an opportunity to read that
16 I gather?

17 A. Let me read it.

18 MR. TABER: I'm sorry.

19 MISS KOLIS: second page,
20 Dr. Rosenberg's consult note, September 25, 1997.

21 A. He had one written, dictated.

22 Q. I'm looking at the dictated one which is easier to
23 read, you don't have to interpret his handwriting.

24 A. That is right.

25 Q. under his impression it says the patient most

1 likely has cord ischemia secondary to aortic
2 transection; do you see that?

3 A. Yes.

4 Q. Is that what you are trying to explain to me in
5 your answer, Mrs. spreadbury had a cord ischemia from
6 the transection of her aorta?

7 A. Yes.

8 Q. MR ■ was performed to exclude there had been a
9 separate spinal cord trauma; would you agree with that?

10 A. what?

11 Q. Goes on to says I have recommended a head CT as
12 well as MR ■. I'm abbreviating, he has spelled that out,
13 MRI of the entire spinal axis in order to rule out
14 spinal cord injury as the contemplated problem; you see
15 that?

16 A. I follow you.

17 Q. what you are saying is when I asked what was
18 perfectly obvious, it was perfectly obvious she became
19 paraplegic because of cord ischemia because of an aortic
20 transection; am I stating that simply enough?

21 A. Yes, at least to me that is what I believe, it's
22 obvious.

23 Q. You did not see Mrs. spreadbury on the 24th, did
24 you? Maybe I missed that. Did you see Mrs. Spreadbury
25 on the 24th?

1 A. I will need to look at my -- could I have the
2 records?

3 Q. sure, this?

4 A. Yes. I saw her on 9-24, 9-25, 9-26, 9-27, 9-28.
5 9-28 I'm off the case. The fact I don't have the note
6 doesn't mean I didn't see her.

7 Q. Did you write a progress note on the 24th?

8 A. No, not always we write a progress note.

9 Q. I didn't see one. I was thinking perhaps I missed
10 it.

11 A. No, this is why I asked for the red card. when I
12 see the patient I put it on the red card.

13 Q. You did see her on the 24th?

14 A. Yes.

15 Q. Do you have a specific recollection of the
16 circumstances under which you saw her on the 24th?

17 A. No, I don't recall.

18 Q. Do you know if she was already out of surgery when
19 you saw her?

20 A. I don't remember.

21 Q. You have no memory of the 24th?

22 A. You know it's 18 months.

23 Q. I know, a couple years.

24 A. I don't remember it.

25 Q. You wouldn't remember it. I probably don't

1 remember what I was doing 18 months ago.

2 MR. TREADON: How about 18 days
3 ago?

4 MISS KOLIS: It's probably on my
5 office calendar what I was doing 18 days ago.

6 Q. Your pink card, you keep this, your practice or
7 procedure is to record each and every date of a visit?

8 A. Every time I walk in the patient's room I write in
9 the date.

10 Q. Because you carry around your own folder?

11 A. Right.

12 Q. You may put a note in the chart?

13 A. I have the card with me in the pocket.

14 Q. Pink pocket cards?

15 A. It's faster. we all do, we keep cards with
16 patient's name.

17 Q. You came in, examined her on the 25th?

18 A. Yes.

19 Q. Your note says, I think I can read it, the top
20 says the patient is paraplegic, correct?

21 A. Yes.

22 Q. At that point you don't determine at what level
23 the ischemia occurred?

24 A. Let me see. I think I put a note there, I don't
25 recall where. I say patient is paraplegic, no sensation

1 from L-1 down. Level questionable because of patient's
2 poor level of consciousness. This is the same date
3 Rosenberg saw her in consult.

4 on the 25th, the very first time I saw
5 her there was no -- was not moving the legs, she didn't
6 have sensation. we didn't determine yet the level. The
7 level could be L-1.

8 Q. You couldn't be certain because the patient had
9 just been through surgery, she was not awake enough?

10 A. she wasn't awake enough yet.

11 Q. Your later note on that day seems like you came
12 back and saw her, I'm getting -- first of all I'm
13 guessing you saw her in the morning, your later note is
14 in the evening, 7:45, does that say discussed with
15 Dr. Kralik?

16 A. Yes, because Dr. Kralik -- I tried to recall, I
17 may be wrong, Dr. Kralik or Telesz, somebody thought
18 let's do another CT scan. Dr. Rosenberg wanted a CT of
19 the head, then a CT of the head was done.

20 For peace of mind I wrote my opinion the
21 CT today, means on 9-25, small amount of blood left
22 occipital with mass effect. compatible with hemorrhagic
23 contusion, so far of no clinical or surgical
24 significance.

25 Then they did the CT scan at the request

1 of Dr. Rosenberg, they asked me to see the scan or maybe
2 I went on my own. Really nothing justifies the
3 situation.

4 Q. It was confirmatory of what your initial
5 impression had been essentially on September 23rd?

6 A. Yes, that the head was clear.

7 Q. Doctor, did you and Dr. Kralik discuss on the 24th
8 or 25th as to whether or not there had been a misread of
9 the chest CAT scan?

10 MR. OCKERMAN: objection.

11 MR. TREADON: objection.

12 A. I only know as I asked him what was going on with
13 the patient, he told me we operated, he told me the 25th
14 or 26th she's paraplegic. He told me he told the
15 husband before surgery that the patient could be
16 paraplegic. I remember that not like it was yesterday,
17 I remember fair. The CT scan of the chest I didn't
18 discuss with him.

19 Q. At any time before this lawsuit was filed naming
20 you as a party, did anyone express an opinion to you
21 that the chest CAT scan on the 23rd had been misread?

22 A. well --

23 MR. OCKERMAN: objection.

24 A. You may not believe, nobody told me anything.
25 Nothing whatsoever.

1 Q. Back on your --

2 A. why are they going to discuss the CT with me of
3 the chest?

4 Q. wouldn't it have been a natural question for you,
5 you examined the woman neurologically on the 23rd?

6 A. Yes. The natural theory is to know a transection
7 of the aorta, is paraplegic, you know. The details of
8 the CT scan was misread, they wouldn't tell me if
9 misread, they wouldn't like for me to know. They
10 wouldn't like for me to know they misread. They don't
11 discuss with me what is misread. I know there are some
12 questions after I review the records, so on, they didn't
13 discuss with me was misread, no.

14 Q. You took some notes underneath the 9-25 notes, I
15 think PEG evaluation?

16 A. No. This is PEG tube. Tube they put through the
17 nasopharynx into the esophagus to the stomach, they make
18 a hole, PEG tube, P-E-G.

19 Q. That is what I said.

20 A. Tube for feeding.

21 Q. were you involved in this situation?

22 A. No. I say PEG endoscope on 10-8-1997 by
23 gastroenterologist. It did not occur to me on 10-9-97.
24 I think it was by Kralik. Endoscope 10-8-97, and
25 gastroenterotomy 10-9-97, Tawil. Tawil did it.

1 Q. The last section a word, the R, with before the
2 word?

3 A. Rockenstein.

4 Q. who is that?

5 A. Radiologist.

6 Q. Can you tell me 9-23, can you tell me --

7 A. 9-23 read superior mediastinal hemorrhage. Must
8 be the report of an x-ray from 9-23. Must be a report
9 of the x-ray procedure, whatever it is that was read by
10 Dr. Rockenstein.

11 Then 9-23 underneath CT I think said
12 chest. CT chest no extravasation of contrast. Again
13 9-23 arteriogram, parenthesis transection.

14 9-25, CT head, probably the name of
15 Rockenstein is there because maybe the 9-23 reads
16 superior mediastinal hemorrhage was read by him. The
17 other I assume may or may not have been read by him,
18 maybe we can see the x-rays.

19 Q. once again that was sort of your summarization of
20 things contained in the x-ray reports, not the readings
21 that you made on those films?

22 A. Yes. Report very likely read by Rockenstein.

23 Q. Doctor, are you on the quality assurance committee
24 of the hospital?

25 A. Yes, surgical.

1 Q. In the surgical?

2 A. Yes. Meeting every Wednesday at 5:15.

3 Q. You were -- I don't see it on your pink card, or
4 maybe I do see it on your pink card, you did another
5 consult?

6 A. On 10-30.

7 Q. Right, on 10-30-97?

8 A. Second card.

9 Q. You switched cards every month?

10 A. No, because I signed off the patient.

11 Q. You originally signed off the patient on 9-28-97,
12 you were called back in on consult?

13 A. They called me back, yes.

14 Q. This time I didn't see a typed report, I saw a
15 written report.

16 A. It's a written record, correct.

17 Q. I will apologize for the fact I cannot read this
18 handwriting, unfortunately have to --

19 A. Don't apologize, it's my fault. ■ read it for
20 you.

21 Q. what was the purpose of this consult?

22 A. The purpose was that they did CT of the head in
23 this patient, the radiologist, I don't know which one,
24 we can find in the records, read that the patient may
25 have quotation, subdural hygroma, h-y-g-r-o-m-a, then

1 they called me.

2 Q. Now can you tell us?

3 A. ■ saw the patient 10-30-1997. ■ say chart was
4 reviewed. I will read back ■ said later CT of brain
5 indicated, quotation, possible subdural hygroma.
6 Patient's chief complaint, cc, chief complaint,
7 diplopia, homonymous gaze, sees double when she looks to
8 the right. Right pupil larger than the left, parietic,
9 p-a-r-e-t-i-c from the date of original injury 9-23-97.
10 High brain function satisfactory. understands and
11 follows commands well, full range of motion on the upper
12 limbs. Paraplegia --

13 -----

14 (Interruption in proceedings.)

15 -----

16 BY MISS KOLIS:

17 Q. we got through the line --

18 A. we were in my consult, second consult.

19 Q. Right.

20 A. we are on the reading in my consultation from the
21 second time I saw the patient on October 30, 1997, it
22 says paraplegia at level T-5, thoracic five. There is
23 an area of profound analgia from T-5 to L-2 or 3 on the
24 left, T-5 to L-1 on the right. Patient feels
25 suppositories when inserted in the rectum. Is not aware

1 of need to move bowels or urinate, has Foley catheter.
2 Able to detect pinprick on distal lower limb areas.
3 Most of the time is wrong regarding toe position. what
4 happened is there is sensation or pinprick of the toes
5 for the different pathway, for the position of the toes
6 and vibration, this is the way I differentiate.
7 Moderate brain atrophy post injury as well as somewhat
8 age related with increase on subarachnoid space. The
9 patient had CT supine. I explain this is hard to read
10 when the patient is supine. The brain is down, the
11 frontal area gets more space, there is no mass effect,
12 in capital letters. Convolutions are not deformed,
13 believe there are no subdural hygromas. No neurological
14 plans, thank you.

15 what happened, they called me because
16 the radiologist read subdural hygroma. The space may be
17 a little bit increased because of the patient's age,
18 maybe the blood to the head has something to do with it,
19 I doubt it, and I thought the CT didn't reflect any
20 changes we had to be concerned about, the CT of the
21 head. she already established paraplegia, she is alert,
22 awake, she can tell me what she feels and what she
23 doesn't at the level of T-5.

24 Q. The question that I have for you, I appreciate you
25 very much reading the consult note into the record,

1 between the first exam that I mentioned of the head CT
2 and then this one, has there been a change in CT
3 findings or not in your opinion?

4 A. Practically no change.

5 Q. what did you think was accounting for her blurred
6 or blurred is wrong, double vision to the right?

7 A. You were right when you said blurred.

8 Q. It was really blurred, not double vision?

9 A. No, the patients describe double vision as blurred
10 because they see the object like that. They say I see
11 blur. when it is diplopia, the double picture is very
12 close, only a touch off, the patient sees blur. when
13 the diplopia is at its best the patient sees double.
14 When the images are almost fused completely they say
15 blurred. when the images are well separated they say
16 double.

17 I think the post-traumatic, one of the
18 reasons could be because the pupils are still different
19 sizes. Anyone that knows a little bit of photography
20 knows you open the diaphragm too much, the profundity,
21 the deeper depth of the field is different. with one
22 she can pinpoint, contract, focus well. when the eye is
23 larger, this image is projected different, then she
24 could have blurred vision, she could have diplopia. The
25 reason for double vision likely was trauma to the right

1 pupil,

2 Q. Are you talking about the original trauma from the
3 collision, not post trauma from the surgery?

4 A. No, no, trauma from the collision.

5 Q. ■ want to be sure, there was a suggestion that
6 there was some increased intracranial pressure?

7 A. somebody, yes. There is no increase of pressure.
8 This is why I said the convolutions are not deformed. It
9 means the convolutions came like that.

10 Q. It isn't flattened convolutions?

11 A. NO.

12 Q. That mitigates against an increased intracranial
13 pressure, what you just said?

14 A. Yes. I think the CT scan was normal, CT scan they
15 were concerned because maybe she has subdural hygroma .

16 Q. In this particular instance you ended up being
17 called in on consult regarding a CT, finding that
18 suggestion -- I can't pronounce the word, hygroma?

19 A. subdural hygroma.

20 Q. You ended up disagreeing with the read of the CAT
21 scan?

22 A. Yes.

23 Q. That's why subspecialists read CAT scans after the
24 radiologist interprets them?

25 A. No, the CAT scan really should be read by the

1 neuroradiologist. we have one here.

2 Q. who is -- I'm sorry?

3 A. Dr. McNulty. He didn't read it, they called me.
4 I don't think he read subdural hygroma .

5 Q. Going back to the question I was asking about
6 whether you are on the quality assurance committee, you
7 said you are on the surgery quality assurance committee?

8 A. Yes.

9 Q. If I understood, ■ might not have understood it
10 correctly --

11 A. wait a minute. ■ told you surgical, yes, surgical
12 quality assurance.

13 Q. At this facility in 1997 were you on the quality
14 assurance committee?

15 MR. TABER: Hold on, objection.
16 we're coming real close to what is privileged under Ohio
17 law. It's quite clear from statute, I don't want to nip
18 you off in the bud, if you are getting anywhere into the
19 substance of any quality assurance or anything like
20 that, ■ have no idea if that took place in this case, if
21 it did, it would be completely off limits. He would not
22 be permitted to answer any questions along that line.

23 MISS KOLIS: I promise you I will
24 not blurt out a question, try to draw out an answer.
25 Let me establish if there was one, then I'll give on the

1 record what my position is.

2 MR. TABER: The position is
3 statutory, it's not mine, it's the law of Ohio.

4 MISS KOLIS: I'm not going to ask
5 about peer review.

6 MR. TABER: You know the statute
7 was broadened with tort reform.

8 Q. In 1997 were you on the quality assurance
9 committee for the department of surgery?

10 A. I think so.

11 Q. The way you explained it to me, the chief of
12 surgery, that encompasses all surgical subspecialties,
13 neurosurgery, cardiothoracic surgery?

14 A. Yes, going back to when we were in the other room,
15 there are no independent surgical departments. The
16 chief of surgery is the chief of surgery. In a
17 department as powerful in the hospital as orthopedics
18 there is no department of orthopedics. There is a very
19 powerful orthopedic group, they are integrated in the
20 department of general surgery. They don't have a
21 chairman of the department. There is no department of
22 neurosurgery, there is no department of so and so.

23 Q. Did that QA committee perform morbidity/mortality
24 reviews on a monthly basis?

25 MR. TABER: You don't need to

1 answer. You know that is off limits, you can ask any
2 question, have her certify it, he'll not be answering.

3 MISS KOLIS: For the record, so
4 Mr. Taber and I don't have to fight with each other, we
5 will state our respective positions.

6 My interpretation of current Ohio law is
7 that clearly I cannot obtain information regarding a
8 specific peer review. It's likewise my interpretation,
9 however incorrect it might ultimately be determined,
10 that I am able to ask in any particular situation
11 whether a particular case was included in a morbidity
12 and mortality review and if a report was made of the
13 same.

14 Mr. Taber has respectfully disagreed
15 with that, has instructed his client not to answer the
16 questions. ■ do not want to keep us here and try to get
17 a judge on the phone. I'm perfectly willing to certify
18 that particular question to the court for resolution at
19 a later time.

20 I need to talk with Mr. Emershaw in the
21 hallway.

22 -----

23 (Recess had.)

24 -----

25 MISS KOLIS: I'm going to try to

1 make this one more question.

2 BY MISS KOLIS:

3 Q. Since September of 1997, at the time that
4 Mrs. spreadbury was admitted, were there written
5 guidelines as to how a consultant was to report the
6 findings of the consultation?

7 A. I think we understand that we talk to the person
8 who calls us. we talk to them. That has been for many
9 years, then the consult is written, or there is
10 information on the chart.

11 Q. That is pretty much --

12 A. we don't need to go behind the consultant chasing
13 him. They call me to the hospital, I do my consult, I
14 write, dictate, I write my order. If I see him I talk
15 to him, if I don't see him, I don't have to talk to him
16 but I talked to Telesz.

17 Q. My question is were you aware or were there in
18 existence on September 23, 1997 if you know, a policy,
19 procedure or protocol that was in writing that outlined
20 how you were to communicate the information?

21 A. I'm not aware. We communicated. we did
22 communicate. we have to amplify the answer. we see the
23 patient in consult, we had to answer the consult
24 promptly, especially in emergency, we had to write a
25 note or dictate.

1 Q. You are unaware whether this was spelled out in
2 written form?

3 A. I'm not aware just now this minute. I don't know
4 **i f** this is spelled out in the rules or regulations of
5 the hospital. I don't know, maybe it's written down, I
6 don't know.

7 Q. The chart indicated that you did talk with
8 Dr. Kralik on the 25th, remember we went through that
9 little note?

10 A. Yes.

11 Q. Do you have a recollection of Dr. Kralik
12 discussing with you or inquiring as to whether or not
13 you as a neurosurgeon had an opinion as to at what point
14 in time during the course of this patient's care she
15 became paraplegic?

16 A. I know he told me she was paraplegic, I asked him
17 what he thought he told the family. He thought he told
18 the family she could be paraplegic. when reviewing the
19 chart I saw the note before surgery risk of paraplegia
20 discussed with husband. Your question was **i f** he asked?

21 Q. Did he ask you **i f** you had an opinion since you are
22 a neurosurgeon who deals with paraplegics?

23 A. I certainly -- I don't know **i f** he asked me.

24 Probably we talked because this is a transection of the
25 aorta, ischemia to the cord, there is no other thing.

1 He will ask me, ■ will tell them that. He did ask me,
2 probably I told him that. He knew too.

3 Q. with a transection of the aorta you do not always
4 get the result of cord ischemia that results in
5 paraplegia; would you agree with that statement?

6 A. Transection of the aorta even in cases with
7 paraplegia, sometimes they evolve over several hours.
8 It depends where is the transection, it depends whether
9 there are clots or other causes.

10 It's not usual to have a transection,
11 immediately paraplegic, or it develops for six hours or
12 three days. It's a fact. We know because of the
13 vascular supply, there is only one artery going to the
14 spinal cord from the T-11 down on the left side. Always
15 on the left side. There is no other supply to the cord.
16 Sometimes patients, I have here in this hospital
17 patients like that when they have a cardiorespiratory
18 arrest, we resuscitated the patient, they are
19 hypotensive, they wake up, they are paraplegic. There
20 are critical arteries, she had arteries on the spinal
21 cord that supplied the cord. The other supply there is
22 no man's land in the middle. That is where a patient
23 gets ischemic paraplegia.

24 MISS KOLIS: ■ don't have any
25 further questions.

1 MR. TREADON: Nothing.

2 MR. TABER: we will read. waive
3 the seven days?

4 MISS KOLIS: Absolutely, with the
5 usual promise you will read it in 30 days.

6 MR. TABER: Yes.

7 -----

8 (Plaintiffs' Exhibits 1 through 3
9 marked for identification.)

10 -----

11

12

13 (Deposition concluded; signature not waived.)

14

15 -----

16

17

18

19

20

21

22

23

24

25

ERRATA SHEET

NOTATION

PAGE/LINE

A. That is correct

8 3

general surgery

8 25

I came to Canton

9 23

same office building

9 "4

Dr. Chryso

11 4

delete "I had the first part"

11 14

I followed

16 16

until she recovered somewhat from the medication

19 15

basal skull fracture

23 19

A. Yes

39 4

Foley catheter

40 7

~~discharge~~ catastrophe~~48 23~~

catastrophe

65 12

Rauchestein

72 3/15

arteries in the spine

83 20

that supply the cord. No other supply

83 21

I have read the foregoing transcript and
the same is true and accurate.

Alejandro SOS MD

Notary

ALEJANDRO SOS, M.D.

EDWARD E. TABER, ATTY.
NOTARY PUBLIC • STATE OF OHIO
My Commission Has No Expiration Date
Section 147.03 O.R.C.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

ERRATA SHEET

NOTATION

PAGE/LINE

-----	-----
-----	-----
-----	-----
-----	-----
-----	-----
-----	-----
-----	-----
-----	-----
-----	-----
-----	-----
-----	-----
-----	-----
-----	-----
-----	-----
-----	-----
-----	-----
-----	-----
-----	-----
-----	-----
-----	-----
-----	-----

I have read the foregoing transcript and
the same is true and accurate.

ALEJANDRO SOS, M.D.


1 The State of Ohio, :

2 county of Cuyahoga. : CERTIFICATE:

3 I, Constance Campbell, Notary Public within and for
4 the State of Ohio, do hereby certify that the within
5 named witness, ALEJANDRO SOS, M.D. was by me first duly
6 sworn to testify the truth in the cause aforesaid; that
7 the testimony then given was reduced by me to stenotypy
8 in the presence of said witness, subsequently
9 transcribed onto a computer under my direction, and that
10 the foregoing is a true and correct transcript of the
11 testimony so given as aforesaid.

12 I do further certify that this deposition was taken
13 at the time and place as specified in the foregoing
14 caption, and that I am not a relative, counsel or
15 attorney of either party, or otherwise interested in the
16 outcome of this action.

17 IN WITNESS WHEREOF, I have hereunto set my hand and
18 affixed my seal of office at Cleveland, ohio,
19 this 13th day of May, 1999.

20 -----
21

22 Constance Campbell, stenographic Reporter,
23 Notary Public/State of Ohio.

• 24 Commission expiration: January 14, 2003.

25

ALEJANDRO SOS, M.D.

	I	11:30	[5] 9:18 9:24 10:	[1] 48:18
'62		[1] 16:21	4 10:15 11:5	30
[1] 8:10		121	1981	[4] 8:22 56:22 74:
'63		[13] 42:23 43:10	[1] 10:11	21 84:5
[1] 8:10		43:13 43:18 43:19	1994	330
'64		43:21 43:21 43:22	[1] 11:15	[5] 2:4 2:9 2:14
[1] 8:14		43:23 43:24 44:4	1997	3:8 3:19
'67		44:5 44:8	[8] 27:4 27:5 65:	344
[1] 8:14		125	20 74:21 78:13 79:	[1] 47:16
'68		[1] 44:8	8 81:3 81:18	376-2700
[1] 8:20		12:15	1998CV00589	[1] 3:8
'70		[2] 42:23 45:19	[1] 1:8	376-5756
[1] 9:3		12:39	1998CV1681	[1] 2:9
'74		[3] 20:21 20:23	[1] 1:7	3:2
[4] 9:3 9:8 9:9 9:		21:16	1999	[1] 61:20
13		130	[2] 1:17 86:19	
'78		[2] 15:10 56:19	2	43
[2] 9:9 9:14		1320	2	[I] 34:18
'79		[1] 1:16	[3] 4:11 7:17 61:	437
[1] 9:17		133	21	[1] 2:8
1		[2] 15:6 41:20	2003	44113
1		13th	[1] 86:24	[1] 2:4
[3] 4:10 7:1 84:8		[1] 86:19	216	44114
10		14	[3] 2:5 2:19 2:24	[2] 2:19 2:24
[3] 44:8 44:11 63:		[1] 86:24	22,000	44308
3		140	[1] 57:4	[2] 2:9 3:7
10-30		[1] 20:23	222	44701
[1] 73:6		1400	[1] 3:6	[1] 3:18
10-30-1997		[1] 2:23	23	44735
[1] 74:3		1445	[1] 81:18	[1] 2:14
10-30-97		[1] 7:21	23rd	4518
[2] 62:2 73:7		1629	[14] 22:22 25:13	[1] 2:13
10-8-1997		[1] 16:18	25:16 26:6 26:9	455-0173
[1] 71:22		163	31:1 32:3 55:17	[1] 3:19
10-8-97		[12] 40:24 41:2	55:22 57:6 59:11	492-8717
[1] 71:24		42:2 42:6 42:16	70:5 70:21 71:5	[1] 2:14
10-9-97		42:23 43:3 43:5	24,000	4:25
[2] 71:23 71:25		43:10 43:12 44:8	[1] 48:18	[5] 16:15 16:17
100		61:19	24th	19:18 19:22 36:2
[6] 20:23 26:17		16:25	[9] 25:17 55:22	4:29
35:6 44:7 52:17		[I] 61:25	66:23 66:25 67:7	[1] 25:13
53:4		18	67:13 67:16 67:21	
107		[4] 67:22 68:1 68:	70:7	5
[1] 15:12		2 68:5	25	5
10:42		19	[1] 65:20	[3] 4:4 20:25 21:6
[1] 56:21		[1] 12:21	25th	5:15
11		1962	[6] 65:3 68:17 69:	[1] 73:2
[2] 12:13 12:17		[1] 8:6	4 70:8 70:13 82:8	5TH
113		1967	26th	[1] 1:17
[1] 2:18		[1] 8:14	[1] 70:14	6
11:13		1968	27.9	60
[1] 56:22		[1] 8:19	[1] 48:19	[6] 41:11 41:12
11:20		1969		41:13 41:14 56:18
[1] 1:18		[1] 8:22	3	56:23
11:26		1970	3	687-1311
[8] 16:4 16:7 16:		[1] 9:2	[8] 4:12 17:14 20:	[1] 2:19
13 16:21 45:15 45:		1979	24 21:7 22:16 61:	6:00
19 61:22 61:24		[1] 9:16	21 74:23 84:8	[2] 27:10 55:22
		1980	3.18	6:56

ALEJANDRO SOS, M.D.

[1] 25:12	[16] 40 24 41 2	21 28:4 34:7 34:17	Ahead
7	41 2 41 14 42 2	According	[1] 39:25
7:00	42 6 42 16 43 3	[5] 15:13 16:2 21:	Air
[1] 55:22	43 5 44 4 44 6 44:	2 56:24 63:24	[20] 23:24 24:2
7:45	14 56 15 56 21 61:	Account	28:23 30:12 30:21
[2] 64:8 69:14	19 61 20	[2] 24:12 41:6	32:19 32:23 33:9
8	97	Accounting	35:15 35:23 38:5
[30]	[1] 48 19	[2] 54:19 76:5	38:12 38:14 53:1
[1] 20:23	9:M	Accurate	53:5 54:4 54:13
[300]	[1] 44 7	[5] 7:4 7:8 8:2	55:4 58:20 58:23
[1] 3:17		25:9 85:22	Akron
[32]		Accurately	[3] 2:9 3:7 8:3
[1] 44:8	[18] 85 3 85 4 85:	[2] 14:14 25:12	Al
[34]	5 85 6 85 7 85 8	Action	[2] 1:6 1:8
[3] 4:10 4:11 4:12	85 9 85 10 85 11	[2] 5:12 86:16	Alejandro
[36]	85 12 85 13 85 14	Active	[9] 1:11 2:21 2:
[3] 15:6 15:10 41:	85 15 85 16 85 17	[1] 10:14	21 4:2 5:1 7:20 7:
20	85 18 85 19 85 20	Additionally	20 85:25 86:5
361-4300		[2] 6:8 7:2	Alert
[1] 2:5	[1] 85 24	Address	[2] 49:8 75:21
[37]		[1] 7:19	Almost
[2] 44:7 44:14	[18] 85 3 85 4 85:	Admission	[6] 13:19 20:15
375-2767	5 85 6 85 7 85 8	[1] 30:2	24:8 26:10 56:22
[1] 2:24	85 9 85 10 85 11	Admit	76:14
9	85 12 85 13 85 14	[1] 19:22	Amending
[3]	85 15 85 16 85 17	Admitted	[1] 35:18
[4] 57:3 57:4 61:	85 18 85 19 85 20	[1] 81:4	Amount
7 63:3	A	Admitting	[4] 34:12 40:8 41:
3-23	Am.	[3] 52:6 52:21 54:	24 69:21
[11] 24:20 25:13	[2] 118 55 22	2	Amplify
33:25 61:23 62:8	Abbreviating	Advice	[1] 81:22
72:6 72:7 72:8 72:	[1] 66 12	[1] 25:20	Analgia
11 72:13 72:15	Abdomen	[1] 46:3	[1] 74:23
3-23-1997	[7] 15 20 24 4 29:	Affects	Andress
[1] 61:18	16 29 16 31 85 0:	[4] 37:8 37:14 37:	[1] 3:5
3-23-97	21 56 21	15 37:15	Anemia
[1] 74:9	Admiral	Affixed	[3] 37:15 51:15
3-24	[2] 14 3 24 6	[1] 86:18	54:14
[1] 67:4	Able	Aforesaid	Anemic
3-24-97	[7] 16 2 19 24 24:	[2] 86:6 86:11	[1] 37:16
[2] 62:15 63:8	15 27 21 49 7 75:	Afternoon	Aneurysms
3-25	28 0 10	[1] 36:3	[1] 11:25
[15] 61:22 61:23	Abnormal	Age	Angiogram
61:23 62:8 63:18	[3] 38 11 44 17	[3] 5:2 75:8 75:17	[2] 62:16 63:16
63:23 63:24 64:1	58 1	Agents	Answer
64:2 64:6 64:8 67:	Abnormally	[1] 37:18	[17] 5:25 6:17 6:
4 69:21 71:14 72:	[1] 44 18	Ago	19 12:6 13:8 14:
14	Abrasion	[4] 21:24 68:1 68:	14 15:1 35:18 44:
9-26	[2] 23 4 23 17	3 68:5	24 45:21 66:5 78:
[1] 67:4	Abrasions	Agree	22 78:24 80:1 80:
9-27	[1] 23 1	[10] 16:4 16:21	15 81:22 81:23
[3] 61:23 62:8 67:	Absolutely	19:8 19:20 32:2	Answered
4	[5] 15 19 16 62 6:	38:18 52:12 55:15	[2] 14:25 21:16
9-28	83 32 28 44	66:9 83:5	Answering
[2] 67:4 67:5	Accept	Agreed	[3] 53:18 59:12
9-28-97	[1] 53 23	[1] 47:10	80:2
[1] 73:11	Accident	Agreement	Anticipating
90	[6] 21 13 22 8 27:	[2] 1:18 6:6	[1] 24:13
			Anticipation

ALEJANDRO SOS, M.D

<p>[1] 7:12 Anyway [4] 13:7 35:17 44:16 48:16 Aorta [10] 62:17 63:17 65:9 65:9 65:9 66:6 71:7 82:25 83:3 83:6 Aortic [2] 66:1 66:19 [2] 73:17 73:19 Appearance [2] 32:4 61:10 APPEARANCES [2] 2:1 3:1 APPENDIX [1] 4:15 Appointed [1] 11:5</p>	<p>[1] 51:15 Arteries [2] 83:20 83:20 Arteriogram [1] 72:13 Artery [1] 83:13 Assessment [5] 26:16 28:21 58:17 A Assume [12] 6:22 10:12 37:17 38:11 39:13 41:12 42:3 46:18 59:14 63:15 65:5 72:17 Assuming [2] 45:13 60:8</p>	<p align="center">B</p> <p>Background [2] 7:24 18:23 Bag [1] 28:23 Ballparking [1] 36:24 Based [5] 22:20 22:21 Basis [1] 79:24 Beat [1] 52:5 Became [4] 63:14 65:10 66:18 82:15 Becomes</p>	<p>Black [1] 51:22 Bleed [1] 51:19 Bleeding [5] 24:6 49:9 49:16 50:25 51:2 Blood [49] 15:11 15:11 23:18 34:12 41:3 41:10 41:14 42:1 42:15 44:13 4" - - 45:4 45 45:24 46:3 48:18 49:22 50:15 50:17 53:12 54:10 55:2 55:5 EL</p>
<p>pprecaate [2] 53:21 75:24 pprecaate</p>	<p>[2] 46:10 46:19 Assumptions</p>	<p>Bedside [2] 42:17 64:18</p>	<p>58:21 58:23 58:14 61:18 62:15 63:14 63:15</p>
<p>Approach [1] 12:25 Approaches [1] 12:24 Area [2] 74:23 75:11 Areas [1] 75:2 Arkansas [3] 60:14 60:14 60:15 Arm [3] 23:8 24:14 40:15 Arms [1] 40:17 Army [1] 8:8 Arrest [1] 83:18 Arrested [1] 62:17 Arrival [2] 17:1 41:9 [1] 30:2 Arrived 17:9 17:16 17:20 17:21 20:15 41:19 58:8 58:8 58:11 Arrives [1] 64:25 Arrows</p>	<p>[7] 72:23 78:6 78:7 78:12 78:14 78:19 79:8 Atrophy [3] 34:17 34:18 75:7 Attending [1] 47:1 Attorney [4] 6:16 6:19 13:25 86:15 Attorneys [1] 5:11 Auditory [1] 23:18 Available [2] 55:18 57:11 Avenue [1] 7:22 [2] 7:14 59:12 Awake [5] 29:17 40:16 69:9 69:10 75:22 Aware [9] 54:16 54:18 74:25 81:17 81:21 82:3 Axial [2] 17:10 34:15 Axis [1] 66:13</p>	<p>Begins [1] 30:6 Behalf [8] 2:2 2:11 2:16 2:21 3:1 3:13 12:23 13:2 Behind [1] 81:12 Belong [2] 10:20 10:21 Benefit [1] 46:6 Berry [1] 2:7 Best [2] 16:2 76:13 Better [5] 20:7 33:18 37:18 41:20 61:2 [5] 34:13 41:8 43:14 48:11 76:1 Biceps [1] 48:5 Big [3] 41:8 49:18 49:76:19 Bilateral [2] 30:11 30:21 Bit [6] 20:14 34:10 34:16 39:13 75:17 76:19</p>	<p>Blurred [2] 76:11 76:12 Blurred [7] 76:5 76:6 76:7 76:8 76:9 76:15 76:24 Blurt [1] 78:24 Board [1] 11:10 11:13 11:14 37:18 Body [1] 43:25 Bone [2] 31:9 34:13 Bones [1] 23:8 Bonezzi [1] 2:23 Borderline [1] 42:6 Bottom [3] 19:16 43:2 64:3 Bowels [1] 75:1 BP 10 63:13 Brachial [1] 40:14 Brain [15] 11:25 34:15 34:15 34:16 34:17</p>

ALEJANDRO SOS, M.D.

34:18 34:19 34:22 41:13 42:8 45:12 74:4 74:10 75:7 75:10 Branches [1] 65:10 Brief [2] 5:15 36:25 Briefly [3] 7:23 19:19 36: 20 Broadened [1] 79:7 Brochoscopy [1] 37:20 Broken [3] 28:25 33:10 48:7 Bronchi [1] 38:4 Bronchia [1] 38:13 Bronchial [1] 38:13 Bronchoscopy [7] 35:13 35:25 37:23 38:1 38:10 53:2 53:3 Brought [1] 10:9 Bruise [1] 20:25 Bubbles [2] 24:1 24:2 Buckingham [1] 2:13 Bud [1] 78:18 Buidling [1] 3:17 Building [5] 2:4 2:18 2:23 9:24 48:6 Bulky [1] 7:14 Bump [1] 34:11 Burroughs [1] 2:13	[9] 1:17 2:11 2: 14 3:18 7:22 9:23 9:23 10:4 60:19 Capable [2] 59:20 59:24 Capital [1] 75:12 Capsule [1] 50:17 Caption [1] 86:14 Car [5] 28:24 29:4 29: 5 29:5 41:11 Card [7] 67:11 67:12 68:6 68:13 73:3 73:4 73:8 Cardiorespiratory [1] 83:17 Cardiothoracic [6] 52:23 53:1 53: 11 53:24 54:11 79: 13 Cards [3] 68:14 68:15 73:9 Care [15] 5:15 10:22 17:9 17:16 17:17 17:20 17:20 18:1 20:16 25:23 27:16 27:24 36:4 46:13 82:14 Carefully [1] 14:8 Carry [1] 68:10 Case [16] 1:7 12:4 13: 18 13:25 18:8 18: 19 25:21 26:24 33: 7 46:25 47:2 49: 19 50:8 67:5 78: 20 80:11 Cases [3] 12:15 47:1 83: 6 ZAT [14] 14:2 14:3 14: 3 17:3 22:4 26:13 33:16 33:21 34:3 70:9 70:21 77:20 77:23 77:25 Zatastrophy [1] 65:12 Catheter [2] 40:7 75:1 Causes	[1] 83:9 Causing [3] 33:9 45:19 55: 2 CAWTHON [1] 2:11 CC [1] 74:6 Cells [5] 48:22 49:5 49: 24 50:1 50:4 Center [5] 1:8 1:16 3:13 10:15 56:12 Central [3] 27:9 27:10 60: 25 Certain [7] 14:22 35:9 36: 7 52:17 52:19 54: 2 69:8 Certainly [2] 33:21 82:23 CERTIFICATE [1] 86:2 Zertification [1] 11:10 Zertified [1] 5:5 Zertify [4] 80:2 80:17 86: 4 86:12 Zetera [2] 21:18 40:3 Zhairman [1] 79:21 Zhairmanship [1] 11:4 Zhange [4] 36:13 44:13 76:2 76:4 Zhanges [2] 44:13 75:20 Zharacteristics [1] 48:4 Zhart [26] 7:14 13:10 13:14 16:7 22:21 24:24 28:15 28:17 32:1 45:5 55:12 55:14 55:18 56:2 56:9 56:10 57:1 57:8 62:3 62:6 63: 25 68:12 74:3 81: 10 82:7 82:19 Zhasing [1] 81:12 Zheck [1] 23:13	Checked [1] 22:24 Checking [1] 47:23 Chemical [2] 48:4 48:10 Chest [46] 14:3 20:25 21:1 23:5 23:22 23:22 29:15 30:9 30:19 31:1 31:3 31:9 31:9 32:2 32: 7 32:12 55:3 56: 20 57:20 57:24 57: 25 58:1 58:1 58:2 58:3 58:5 58:7 58: 9 58:20 58:21 59: 1 59:5 59:11 59: 20 59:21 60:10 60: 11 60:12 60:13 60: 22 70:9 70:17 70: 21 71:3 72:12 72: 12 Zhief [9] 9:6 10:23 11: 1 11:6 74:6 74:6 79:11 79:16 79:16 Zhildren [1] 34:11 Zhronological [1] 61:10 Zhronology [2] 13:20 22:22 Cincinnati [1] 9:4 Circumstances [1] 67:16 Civil [2] 1:14 5:4 CK [4] 47:16 47:19 48:8 48:12 Clair [1] 2:18 Clarify [1] 60:9 Clear [4] 15:19 53:14 70:6 78:17 Clearer [1] 53:20 Clearly [2] 36:3 80:7 Cleveland [4] 2:4 2:19 2:24 86:18 Client [2] 14:1 80:15 Clinical
C			
Calendar [1] 68:5 Canal [1] 23:18 Cannot [3] 20:11 73:17 80:7 Canton			

ALEJANDRO SOS, M.D.

[4] 13:22 42:18 42:19 69:23 Clinton [1] 60:14 Close [6] 20:2 25:21 41: 22 48:17 76:12 78: 16 Closer [2] 22:13 56:12 Clots [1] 83:9 Coded [1] 62:17 Collapse [1] 48:7 Colleage [1] 23:23 Collect [1] 59:8 Collision [2] 77:3 77:4 Coma [1] 39:9 Coming [1] 78:16 Command [2] 39:13 40:18 Commands [8] 19:19 29:19 29:20 36:8 36:15 36:19 40:18 74:11 Commencement [1] 7:3 Commencing [1] 1:17 Commission [1] 86:24 Committee [8] 10:21 10:22 72:23 78:6 78:7 78:14 79:9 79:23 Committees [2] 10:20 10:22 Common [4] 1:4 38:13 50: 15 50:25 Communicate [2] 81:20 81:22 Communicated [6] 25:19 34:24 51:25 54:1 54:3 81:21 Company [2] 27:6 27:9 Compatible [1] 69:22 Complaint [2] 74:6 74:6	Complete [2] 4:15 19:11 completed [2] 22:13 38:24 Completely [5] 28:23 37:4 41: 12 76:14 78:21 Complicated [2] 13:6 60:24 Components [1] 48:2 Compulsory [1] 8:9 Computer [1] 86:9 Computerized [1] 17:10 Concede [1] 53:22 Concern [6] 13:18 33:8 47: 19 48:13 53:11 54: 3 Concerned [3] 22:10 75:20 77:15 Concerns [2] 21:12 37:1 Concise [1] 5:15 Concluded [1] 84:13 Condition [4] 14:15 37:2 43: 11 45:11 Confer [3] 6:9 30:18 30: 25 Confident [1] 38:25 Confine [1] 13:14 Confirmatory [1] 70:4 Confused [2] 49:23 52:16 Confusion [1] 36:1 Connecticut [1] 60:13 Consciousness [4] 23:10 31:20 40:10 69:2 Consider [2] 44:18 55:5 Consideration [1] 55:7 Consists [1] 11:21	Constance [3] 1:14 86:3 86: 22 Consult [24] 17:13 22:13 26:21 39:5 40:24 51:11 61:18 61:24 62:3 64:16 65:15 65:20 69:3 73:5 73:12 73:21 74:18 74:18 75:25 77:17 81:9 81:13 81:23 81:23 Consultant [2] 81:5 81:12 Consultation [20] 4:11 13:21 16:4 16:11 16:12 16:14 16:14 17:6 18:8 19:17 24:17 24:20 24:22 24:25 27:17 47:12 62:7 64:16 74:20 81:6 Contact [1] 55:25 Contacted [3] 16:3 55:20 56: 7 Contained [3] 33:23 56:25 72:20 Contemplated [1] 66:14 Contemplating [1] 31:16 Context [1] 45:13 Continue [3] 38:17 39:18 39:23 Continues [3] 40:9 49:7 49: 16 Continuing [1] 12:11 Continuously [1] 18:16 Contract [2] 27:5 76:22 Contrast [1] 72:12 Controlled [1] 40:6 Contused [1] 48:8 Contusion [2] 48:9 69:23 Conversation [2] 53:16 65:3	Convexity [1] 34:20 Convolutions [5] 34:20 75:12 77:8 77:9 77:10 Copy [1] 43:22 Cord [17] 11:25 40:13 42:8 62:5 62:21 65:11 66:1 66:5 66:9 66:14 66:19 82:25 83:4 83:14 83:15 83:21 83:21 Corps [1] 8:7 Correct [26] 7:9 8:15 8: 17 10:5 10:16 11: 19 13:13 15:6 17: 4 22:14 24:21 27: 22 31:14 31:23 34: 25 36:13 36:21 39: 5 48:25 57:13 62: 9 63:19 64:11 68: 20 73:16 86:10 Corrected [1] 49:24 Correction [1] 35:21 Correctly [4] 11:9 50:12 50: 13 78:10 Corroborates [1] 16:17 Cortical [1] 62:19 Counsel [3] 1:18 12:20 86: 14 Count [3] 48:18 48:18 57:4 Country [2] 8:16 8:19 County [4] 1:2 2:16 12: 14 86:2 Couple [4] 9:5 10:1 15: 25 67:23 Course [2] 5:10 82:14 Courtesy [1] 10:17 COVER [1] 4:17 Covered [1] 36:19
---	---	--	--

ALEJANDRO SOS, M.D.

Crack [1] 24:1	[5] 28:3 68:7 68:9 69:2 74:9	Describe [1] 76:9	[8] 47:24 48:2 59:16 65:13 75:5 76:18 76:21 76:23
Crackling [1] 23:24	Dates [3] 61:14 61:23 62:8	Destroyed [4] 28:24 29:1 29:4 29:7	Differential [1] 47:23
Crash [1] 48:6	Days [10] 12:20 15:4 18:15 37:12 39:10 68:2 68:5 83:12 84:3 84:5	Details [2] 48:2 71:7	Differentiate [1] 75:6
Crazy [1] 59:25	Dead [1] 52:6	Detect [1] 75:2	Dilated [1] 22:10
Crest [1] 24:9	Dealing [1] 45:12	Detected [1] 50:11	Diminished [1] 31:19
Critical [1] 83:20	Deals [2] 33:12 82:22	Determine [2] 68:22 69:6	Diplopia [4] 74:7 76:11 76:13 76:24
Cross-examination [4] 1:13 4:4 5:3 5:19	Decrease [1] 62:20	Determined [1] 80:9	Direct [1] 22:7
Crossed [4] 26:13 57:20 58:1 58:3	Deeper [1] 76:21	Develop [1] 25:8	Direction [1] 86:9
CT [41] 29:23 29:25 30:2 30:3 33:12 33:24 34:6 34:23 34:25 58:15 58:20 58:20 58:21 59:1 59:3 59:11 60:11 60:12 60:22 66:11 69:18 69:18 69:19 69:21 69:25 70:17 71:2 71:8 72:11 72:12 72:14 73:22 74:4 75:9 75:19 75:20 76:1 76:2 77:14 77:14 77:17	Defendant [6] 1:12 2:11 3:13 5:2 5:13 12:4	Develops [1] 83:11	Disagreed [1] 80:14
	Defendants [4] 1:9 2:16 2:21 3:1	Dextrose [1] 41:24	Disagreeing [1] 77:20
	Deformed [2] 75:12 77:8	Diagnoses [1] 52:21	Disappointing [1] 20:9
	Deformity [2] 23:7 23:9	Diagnosis [4] 47:23 51:14 52:6 54:2	Discovery [2] 12:18 13:24
	Degree [1] 62:20	Diaphoretic [1] 56:16	Discrepancy [1] 41:8
	Delays [1] 27:7	Diaphragm [1] 76:20	Discuss [9] 31:6 32:22 32:25 54:9 70:7 70:18 71:2 71:11 71:13
CT's [4] 34:4 59:20 59:21 61:1	Department [19] 10:21 10:25 11:1 15:13 15:18 17:22 18:13 28:13 31:4 46:16 47:4 57:11 79:9 79:17 79:18 79:20 79:21 79:21 79:22	Diastolic [10] 43:15 43:17 43:19 43:21 43:21 43:23 43:24 44:17 44:19 45:20	Discussed [13] 33:3 33:5 35:8 48:14 52:8 52:17 52:22 52:24 53:10 54:8 61:19 69:14 82:20
Curiosity [3] 31:8 59:15 60:7	Departments [1] 79:15	Dictate [9] 16:15 16:19 17:19 25:4 25:7 25:9 27:1 81:14 81:25	Discussing [2] 17:6 82:12
Curious [1] 43:1	Deployed [1] 28:23	Dictated [14] 17:8 19:14 20:2 24:25 25:5 25:6 25:10 25:16 27:15 36:2 42:16 62:1 65:21 65:22	Discussion [1] 42:11
Current [2] 6:22 80:6	Depo [1] 62:19	Dictating [3] 16:15 16:17 19:18	DISK [1] 4:17
Curriculum [2] 4:10 6:23	Depo-Medrol [2] 62:19 62:22	Dictation [5] 16:18 35:21 35:24 51:1 62:1	Disks [1] 12:1
CV [4] 7:25 10:3 10:14 11:9	Deposition [10] 1:11 5:22 5:25 6:8 6:15 6:25 7:3 13:10 84:13 86:12	Dictator [1] 9:12	Dismissed [2] 12:18 12:20
Cyanotic [1] 56:17	Depth [1] 76:21	Difference [1] 48:11	Displace [1] 38:5
D	Descending [1] 65:9	Differences [1] 6:18	Distal [1] 75:2
D.C. [2] 8:22 60:14		Different	Distended [2] 24:5 50:18
Damage [1] 34:7			Distinguish [2] 47:25 47:25
Data [1] 47:13			Doctors [2] 11:2 64:5
late			

ALEJANDRO SOS, M.D.

Document	E		
[2] 6:22 6:23	Ears	[1] 79:12	6 21:17 29:13 29:
Done	[2] 23:20 23:21	2nd	23 37:3
[10] 13:4 23:10	Easier	[5] 6:25 9:8 10:9	Examine
27:2 27:3 27:7 27:	[1] 65:22	20:2 48:17	[4] 27:21 45:24
9 27:13 32:13 58:	Easily	Ended	51:9 64:24
21 69:19	[1] 49:22	[3] 37:23 77:16	Examined
Donna	Ecchymosis	77:20	[10] 5:5 17:2 19:
[3] 2:3 2:3 5:10	[3] 20:25 21:17	Endoscope	2 19:4 22:23 30:5
Doolittle	23:5	[2] 71:22 71:24	46:8 57:6 68:17
[1] 2:13	ECE'MG	ENT	71:5
Door	[1] 8:14	[1] 11:2	Examines
[1] 28:24	ED	Entire	[1] 65:1
Doppler	[3] 15:13 27:20	[1] 66:13	Examining
[2] 48:22 48:23	42:20	Entirety	[2] 31:13 51:5
Double	Edward	[1] 23:13	Excellent
[8] 74:7 76:6 76:	[1] 2:22	Equipment	[1] 34:21
8 76:9 76:11 76:	Effect	[1] 11:24	Exchange
13 76:16 76:25	[2] 69:22 75:11	Erroneous	[1] 47:3
Doubt	Effects	[1] 46:11	Exclude
[1] 75:19	[1] 22:2	Esophagus	[1] 66:8
Down	Efficiently	[1] 71:17	Excluded
[11] 17:3 22:16	[1] 15:2	Especially	[1] 51:2
22:17 32:14 32:16	Either	[2] 47:21 81:24	Excuse
40:9 61:17 69:1	[1] 86:15	Essentially	[3] 25:24 35:10
75:10 82:5 83:14	Electronic	[3] 8:18 8:18 70:5	61:22
Draining	[1] 27:13	Establish	Exhibit
[2] 58:10 58:13	Elevated	[2] 18:21 78:25	[3] 7:1 7:17 17:13
Dramatic	[8] 41:3 43:17 44:	Established	Exhibits
[1] 29:7	17 44:18 47:16 47:	[1] 75:21	[2] 4:8 84:8
Draw	19 48:12 48:16	Et	Existence
[2] 16:8 78:24	Elevation	[4] 1:6 1:8 21:17	[1] 81:18
Drawing	[2] 45:20 48:10	40:2	Expect
[2] 62:3 62:6	Eligible	Evaluate	[1] 44:13
Drive	[2] 11:13 11:14	[1] 20:11	Expected
[2] 1:16 2:13	Emergency	Evaluation	[4] 48:23 49:6 49:
Drop	[25] 14:17 15:13	[3] 20:7 20:8 71:	25 50:2
[12] 49:2 49:4 49:	15:14 15:18 16:25	15	Expecting
24 50:6 55:2 62:	17:22 18:13 20:11	Evening	[2] 49:4 49:25
15 63:7 63:10 63:	26:12 27:8 27:24	[3] 55:14 55:17	Experience
13 63:15 63:15 63:	28:8 28:10 28:13	69:14	[1] 59:17
16	41:23 46:15 46:20	Event	Expert
Dropped	46:25 47:4 47:4	[1] 49:23	[2] 12:22 13:1
[3] 41:14 56:18	57:11 59:18 61:5	Events	Expiration
63:14	61:11 81:24	[1] 13:20	[1] 86:24
Dropping	Emershaw	Eventually	Explain
[2] 49:8 49:16	[4] 2:7 2:8 60:16	[4] 17:13 19:16	[2] 66:4 75:9
Drops	80:20	22:12 29:21	Explained
[3] 49:11 50:1 50:	Emphysema	Evolution	[1] 79:11
4	[2] 23:24 24:2	[1] 40:14	Explanation
Drugs	Emphyzema	Evolve	[1] 55:5
[1] 22:3	[1] 56:20	[1] 83:7	Express
Due	Employee	Exactly	[1] 70:20
[3] 22:8 23:5 55:2	[1] 27:12	[1] 53:6	Extra
Duly	EMS	Exam	[1] 34:15
[2] 5:4 86:5	[3] 41:8 56:13 56:	[4] 22:14 29:12	Extra-axial
During	25	29:13 76:1	[1] 34:15
[4] 6:8 6:15 62:	Encompasses	Examination	Extravasation
25 82:14		[10] 9:15 9:20 9:	[1] 72:12
		22 19:12 20:1 21:	Extreme

ALEJANDRO SOS, M.D

<p>[1] 62:2 Extremities [1] 39:14 Extremities [6] 15:17 19:24 20:14 24:16 37:2 39:15 Extricated [1] 41:10 Eye [2] 22:9 76:22 Eyes [1] 36:17</p>	<p>[3] 43:23 64:21 64:23 Field [3] 31:7 41:7 76: 21 Fifth [1] 62:6 Fight [2] 22:8 80:4 Figure [1] 14:22 Piled [2] 12:15 70:19 Films [11] 14:1 14:4 30: 9 30:9 31:1 31:3 32:3 59:8 59:9 59: 14 72:21 Final [1] 45:22 Findings [3] 30:19 76:3 81: 6 Fine [2] 6:13 64:7 Fingers [i] 23:25 Finish [1] 40:20 Finished [3] 9:2 9:4 51:1 Fire [1] 28:13 First [28] 5:4 10:8 11: 14 15:3 15:5 16: 23 17:5 17:12 17: 18 18:1 18:3 19: 17 22:23 26:19 27: 17 31:25 35:10 39: 20 40:21 41:13 44: 21 57:13 61:12 61: 13 69:4 69:12 76: 1 86:5 Fist [1] 22:8 Five [9] 16:20 20:6 36: 24 37:10 39:10 39: 11 51:23 64:8 74: 22 Flaccid [1] 28:23 Fladen [3] 63:21 63:21 63:21 Flattened [1] 77:10 FLEX</p>	<p>[1] 9:15 Flexing [2] 40:17 40:17 Focus [1] 76:22 Polder [1] 68:10 Poley [1] 75:1 Follow [4] 16:16 19:18 40:7 66:16 Followed [5] 51:16 51:22 53:13 54:10 54:11 Following [5] 8:7 15:9 25: 11 47:1 57:24 Follows [2] 5:6 74:11 Forearm [1] 23:8 Forehead [1] 23:4 Forget [1] 39:20 Form [2] 42:21 82:2 Four [12] 9:3 15:16 15: 16 15:17 16:20 20: 6 21:3 29:21 51: 14 51:23 52:7 52: 21 Fracture [6] 23:19 24:10 34:9 49:19 49:20 49:21 Fractured [1] 21:4 Fractures [6] 21:2 24:3 24: 4 30:13 31:12 58:3 Frame [1] 28:25 Francisco [1] 9:13 Franciso [1] 9:12 Franco [2] 9:12 9:13 Free [15] 23:24 30:12 30:21 32:19 32:23 33:9 35:15 35:23 38:5 38:11 52:25 53:5 54:4 54:13 55:4 Front</p>	<p>[5] 24:8 28:24 29: 3 29:5 29:7 Frontal [1] 75:11 Full [4] 19:11 20:1 34: 19 74:11 Fully [2] 38:18 47:10 Fulton [1] 2:13 Function [2] 59:17 74:10 Fused [1] 76:14</p>
F			G
<p>Pace [1] 33:4 Pacility [1] 78:13 Pact [6] 35:15 39:11 54:9 67:5 73:17 83:12 Factors [2] 44:12 45:23 Fair [7] 7:16 11:9 13: 23 20:18 26:21 39: 3 70:17 Fairly [1] 51:13 Falling [1] 54:10 Family [2] 82:17 82:18 Far [1] 69:23 Fast [2] 15:12 56:19 Faster [1] 68:15 Fault [1] 73:19 Fax [1] 27:11 Feeding [1] 71:20 Fell [1] 34:12 Fellowship [1] 9:7 Felt [6] 14:20 29:16 29:16 37:9 38:25 54:9 Femur [5] 23:8 48:7 48: 8 49:20 49:21 Few</p>			<p>Gain [1] 40:9 Gastroenterologist [1] 71:23 Gastroenterotomy [1] 71:25 Gather [1] 65:16 Gaze [1] 74:7 General [14] 8:23 13:17 21:13 31:17 33:17 46:8 46:9 49:12 49:13 50:24 51:6 54:12 59:23 79:20 George [1] 2:7 Georgetown [1] 8:21 Given [6] 19:7 19:10 25: 10 62:18 86:7 86: 11 Gonzalez [1] 10:10 Graduated [1] 8:4 Gram [1] 48:19 Grayson [1] 11:4 Grossly [1] 44:6 Ground [2] 29:4 29:6 Group [4] 35:16 53:2 53: 24 79:19 Guess [4] 14:6 20:19 63: 25 64:24</p>

ALEJANDRO SOS, M.D

Guessing [1] 69:13 Guidelines [1] 81:5 Guys [1] 35:17	14 34:15 Hemodynamic [1] 44:12 Hemoglobin [3] 48:19 49:15 57:4 Hemorrhage [2] 72:7 72:16 Hemorrhagic [1] 69:22 Hemothoraxes [2] 30:12 30:21 Hereby [1] 86:4 Herein [2] 1:12 5:2 Hereinafter [1] 5:5 Hereunto [1] 86:17 HG [1] 57:3 High [2] 42:7 74:10 History [2] 15:21 18:23 Hit [1] 22:9 Hold [3] 10:19 11:10 78:15 Hole [1] 71:18 Homonymous [1] 74:7 Hopefully [1] 5:14 Horse [1] 52:6 Hospital [34] 9:13 9:25 10: 19 10:23 11:18 14: 17 15:4 26:7 26: 24 26:25 27:2 27: 3 27:8 27:15 27: 15 28:6 28:15 28: 16 36:24 41:9 41: 19 46:20 55:19 55: 21 56:6 57:1 59: 18 61:11 61:12 72: 24 79:17 81:13 82: 5 83:16 Hospital's [1] 18:12 Hospitals [1] 9:10 Hours [16] 16:16 16:20 19:14 19:22 20:6	20:12 29:21 36:24 37:10 39:11 39:11 50:17 51:23 63:4 83:7 83:11 Hum [2] 51:21 54:5 Husband [3] 5:12 70:15 82: 20 Ilygroma [8] 73:25 73:25 74:5 75:16 77:15 77:18 77:19 78:4 Hygromas [1] 75:13 Hypertensive [1] 42:5 Hypotensive [1] 83:19	70:5 Impressions [2] 25:19 35:6 Improvement [2] 22:14 37:10 INC [3] 2:16 2:21 3:2 Include [2] 14:23 51:11 Included [1] 80:11 Includes [3] 60:16 60:18 60:19 Incorrec [2] 48:23 80:9 Increase [4] 48:8 50:22 75: 8 77:7 Increased [3] 75:17 77:6 77: 12 Independent [1] 79:15 Independently [1] 26:15 INDEX [1] 4:15 Indicate [8] 30:11 37:19 38:16 43:10 43:25 48:4 48:21 64:10 Indicated [5] 25:10 29:11 38:24 74:5 82:7 Indicates [6] 10:3 10:14 27: 18 30:5 47:21 64: 16 Indicating [5] 15:5 19:23 40: 23 46:2 62:24 Individual [1] 42:5 Inform [1] 6:5 Informal [1] 13:24 Information [20] 13:16 13:17 14:9 14:12 14:19 14:23 15:3 16:8 21:5 25:3 29:10 34:24 46:22 47:3 57:5 57:10 57:10 80:7 81:10 81:20 Initial [2] 16:14 70:4 Initiation
H		I	
Half [2] 21:23 38:9 Hallway [1] 80:21 Hand [3] 25:2 62:7 86: 17 Handed [3] 6:21 7:2 7:7 Handwrite [1] 24:22 Handwriting [3] 64:6 65:23 73: 18 Handwritten [1] 4:12 Hang [1] 42:9 Hard [1] 75:9 Harrison [1] 7:22 Head [34] 14:2 17:11 18:10 18:19 22:4 23:16 23:17 30:1 33:12 33:16 33:21 33:24 34:4 34:6 34:13 34:21 34:23 34:25 36:7 38:25 45:12 46:7 58:15 58:20 61:1 66:11 69:19 69:19 70:6 72:14 73:22 75:18 75:21 76:1 Health [1] 21:13 Heart [5] 47:22 48:1 48: 3 48:10 49:17 Help [2] 9:6 28:20 Hematocrit [10] 48:19 48:22 49:5 49:25 50:1 50:5 51:12 54:10 54:20 55:2 Hematoma [1] 50:16 Hematomas [4] 23:1 23:14 34:		I.V. [1] 42:3 I.V.'s [1] 40:2 ICU [9] 10:20 17:21 26:13 27:20 42:22 43:7 43:8 46:20 52:3 Idea [1] 78:20 Identification [3] 5:9 6:21 84:9 Ignore [1] 51:7 Iliac [1] 24:9 Image [1] 76:23 Images [2] 76:14 76:15 Immediate [1] 22:3 Immediately [6] 15:8 16:24 30: 2 43:20 58:1 83:11 Impact [2] 22:8 23:5 Importance [2] 34:14 34:21 Important [9] 7:15 14:20 17: 24 31:11 32:10 32: 11 32:14 32:15 32: 17 Impression [6] 13:22 21:20 30:15 36:10 65:25	

ALEJANDRO SOS, M.D.

[1] 62:23 Injuries [1] 22:4 Injury [30] 18:10 21:21 22:5 24:7 31:18 38:4 38:12 38:13 40:12 40:13 40:14 46:7 47:21 47:21 48:7 54:15 54:19 54:19 54:21 54:22 54:25 55:3 55:6 62:4 62:20 63:4 65:8 66:14 74:9 75:7 Inquired [1] 9:23 Inquiring [1] 82:12 Inserted [3] 58:10 58:10 74:25 Inspect [1] 22:24 Inspected [1] 29:15 Instance [1] 77:16 Instant [1] 12:3 Instructed [2] 6:18 80:15 Integrated [1] 79:19 Intensive [9] 17:9 17:16 17: 17 17:19 17:20 18: 1 25:23 27:24 36:4 Interested [1] 86:15 Internal [4] 49:9 49:16 50: 11 50:14 Internship [1] 8:20 Interpret [3] 60:10 60:12 65:23 Interpretation [7] 33:24 34:1 34: 2 34:6 34:24 80:6 80:8 Interpreting [1] 59:20 Interprets [1] 77:24 Interpretted [1] 53:15 Interrupt	[1] 14:10 Interrupted [1] 35:17 Interruption [1] 74:14 Intra [1] 24:6 Intra-abdominal [2] 24:5 24:6 Intracranial [3] 22:5 77:6 77: 12 Intubated [9] 15:23 18:5 18: 23 19:7 20:21 31: 20 31:22 40:5 61:4 Intubation [1] 15:24 Involved [4] 13:21 15:20 25:8 71:21 Involving [1] 15:3 Ischemia [7] 41:13 66:1 66: 5 66:19 68:23 82: 25 83:4 Ischemic [1] 83:23 Issue [1] 52:18 IV [1] 61:7 J January [3] 8:22 10:11 86: 24 Judge [2] 12:20 80:17 Juncture [1] 16:1 June [3] 8:22 9:2 9:3 Jury [1] 46:6 Justifies [1] 70:2 K Keep [3] 68:6 68:15 80: 16 Keeps [1] 28:6 Kentucky [5] 9:2 9:17 9:19 9:20 9:21 Kept [2] 28:11 55:8	Ketterer [1] 3:16 Kidneys [4] 40:8 42:8 49: 18 51:3 Kind [3] 18:8 31:16 53: 15 Knees [1] 40:17 Known [1] 65:12 Knows [2] 76:19 76:20 Kolis [22] 2:3 2:3 4:4 5:8 5:10 5:20 12: 10 42:9 42:13 43: 13 44:3 60:4 65: 19 68:4 74:16 78: 23 79:4 80:3 80: 25 81:2 83:24 84:4 Kralik [19] 25:24 25:25 26:4 33:1 33:2 33: 5 35:11 35:14 35: 23 52:16 52:21 53: 6 69:15 69:16 69: 17 70:7 71:24 82: 8 82:11 L L-1 [3] 69:1 69:7 74: 24 L-2 [1] 74:23 Lab [1] 48:11 Laboratory [1] 47:13 Laceration [2] 23:3 38:3 Lacerations [2] 23:1 36:6 Lack [1] 56:17 Laminectomies [1] 12:1 Land [1] 83:22 Landmark [3] 32:10 32:14 32:15 Large [1] 41:23 Larger [3] 22:17 74:8 76: 23	Last [9] 7:11 7:21 13: 12 13:13 16:14 43: 23 56:12 57:7 72:1 Lastly [1] 6:14 LAURA [1] 2:11 Law [3] 78:17 79:3 80: 6 Lawful [1] 5:2 Lawsuit [2] 12:3 70:19 Lawsuits [1] 12:17 Lay [2] 32:7 32:16 Laying [2] 29:4 32:14 Lead [1] 44:10 Leader [1] 2:23 Leak [1] 38:14 Leaking [1] 50:16 Least [3] 5:21 24:5 66: 21 Leave [1] 64:25 Left [18] 10:9 20:24 21:7 26:7 28:23 40:15 55:9 55:21 56:12 56:20 61:21 62:13 64:24 69:21 74:8 74:24 83:14 83:15 Leg [2] 23:11 23:11 Legs [2] 23:8 69:5 Lesion [1] 50:23 Less [1] 37:18 Letters [1] 75:12 Level [12] 23:10 31:19 62:4 62:4 62:5 68: 22 69:1 69:2 69:6 69:7 74:22 75:23 License [2] 9:16 9:17
---	--	--	---

ALEJANDRO SOS, M.D.

Light [1] 55:3	[2] 9:1 9:2	20:25 23:24 32:13	Mid [1] 23:4
Likely [6] 21:25 37:25 51:15 66:1 72:22 76:25	Lower [2] 24:15 75:2	40:5 41:22 56:16	Middle [1] 83:22
Likewise [1] 80:8	Lowered [1] 51:12	56:17 57:17 61:9	Midline [1] 34:16
Limb [2] 49:19 75:2	LPA [1] 2:3	61:20 62:1 69:21 77:9	Might [10] 5:16 6:15 7: 13 16:7 32:23 33: 9 46:4 54:3 78:9 80:9
Limbs [3] 15:16 29:16 74:12	Lumbar [2] 12:1 12:2	Meant [1] 35:12	Milligrams [1] 61:7
Limits [2] 78:21 80:1	Lying [2] 29:6 29:6	Mediastinal [8] 54:15 54:19 54:21 55:4 55:6 58:23 72:7 72:16	Millimeters [4] 20:24 22:16 61:21 61:21
Line [11] 15:5 17:5 20: 20 20:22 21:5 57: 24 64:7 64:7 64:8 74:17 78:22	M.	Mediastinum [17] 30:12 30:22 32:4 32:8 32:9 32: 12 32:16 32:19 32: 23 33:9 35:23 38: 5 38:12 38:15 53: 1 53:5 54:4	Mind [2] 55:8 69:20
List [2] 52:7 55:1	M.D. [8] 2:11 2:16 2: 21 3:1 4:2 5:1 85: 25 86:5	Medical [19] 1:8 1:16 3: 13 7:24 8:1 8:5 8: 6 8:7 8:19 9:1 9: 4 9:11 10:15 12:4 12:22 13:1 15:21 56:3 56:5	Mine [1] 79:3
Listened [2] 29:15 29:15	Ma'am [1] 51:10	Medical/legal [1] 13:4	Minute [3] 63:23 78:11 82:3
Litigation [1] 12:12	Mad [1] 13:7	Medically [1] 14:20	Minutes [5] 44:8 44:11 56: 22 64:21 64:23
Liver [4] 24:7 42:8 49: 17 49:18	Madrid [3] 8:6 8:12 9:11	Medication [1] 37:5	Misled [1] 26:18
Located [1] 7:21	Main [1] 3:6	Medicine [1] 9:16	Misread [7] 70:8 70:21 71: 8 71:9 71:10 71: 11 71:13
Look [25] 13:15 16:5 16:7 16:10 22:25 23:12 23:16 24:17 30:8 31:5 31:6 31: 8 31:8 31:8 31:9 31:10 34:3 43:7 47:13 58:25 59:15 59:25 60:1 60:8 67:1	Maintain [1] 40:1	Medical/legal [1] 13:4	Miss [19] 4:4 5:8 5:20 12:10 42:9 42:13 43:13 44:3 60:4 65:19 68:4 74:16 78:23 79:4 80:3 80:25 81:2 83:24 84:4
Looked [15] 13:17 16:20 23:7 23:9 23:22 24:13 31:22 34:23 59:2 59:2 59:6 59: 11 60:3 60:7 60:22	Major [2] 24:6 34:20	Medically [1] 14:20	Missed [3] 29:10 66:24 67:9
Looking [4] 7:25 16:8 42: 14 65:22	Man's [1] 83:22	Medication [1] 37:5	Misstated [2] 25:15 60:5
Looks [2] 15:5 74:7	Management [2] 40:4 46:9	Medicine [1] 9:16	Mistake [5] 17:17 44:9 44: 9 44:10 60:20
Loss [8] 50:11 50:15 51:15 53:13 54:10 54:20 55:3 55:5	Manifestations [1] 22:3	Medrol [1] 62:19	Mitigates [1] 77:12
Lost [2] 12:13 49:21	Mark [2] 3:1 15:15	Meeting [1] 73:2	Moderate [1] 75:7
Louisville	Marked [5] 4:8 6:25 7:16 17:13 84:9	Meetings [1] 11:8	Month [1] 73:9
	Marks [1] 22:25	Melissa [1] 2:7	Monthly [1] 79:24
	Marla [2] 1:6 5:11	Memory [2] 21:15 67:21	Months [4] 8:21 9:5 67: 22 68:1
	Mass [2] 69:22 75:11	Menia [8] 15:14 46:15 46:17 47:5 47:8 53:12 61:4 61:13	
	Masses [1] 34:14	Mention [1] 39:14	
	Massillon [1] 10:17	Mentioned [10] 17:5 19:13 23:16 39:14 48:9 53:5 54:13 54:14 58:12 76:1	
	Matter [1] 35:15	Mercy [5] 1:8 1:16 1:16 3:13 10:15	
	McNulty [1] 78:3	Michael [1] 2:12	
	Mean [5] 30:23 39:18 46:4 50:23 67:6		
	Means [15] 15:10 15:16		

ALEJANDRO SOS, M.D.

Morbidity [1] 80:11	[2] 5:13 86:5	20 38:21 38:22 39:	[3] 70:2 70:25 84:
Morbidity/mortality [1] 79:23	Naming [1] 70:19	5 39:21	1
Morning [10] 5:9 5:14 6: 22 16:22 25:11 25: 17 32:3 37:17 64: 3 69:13	Nasopharynx [1] 71:17	Never [2] 12:13 29:20	Noticed [1] 56:19
Mortality [1] 80:12	Natural [2] 71:4 71:6	New [2] 9:6 42:1	Number [9] 11:23 34:9 40: 1 40:4 42:15 43:2
Most [11] 7:15 22:6 41: 25 45:6 47:3 47: 20 50:15 50:25 59: 7 65:25 75:3	Neck [2] 23:13 39:4	Next [6] 30:4 33:12 37: 17 51:17 57:3 58: 15	51:14 52:9 52:9
Motion [1] 74:11	Need [16] 5:25 6:9 22: 1 22:4 31:20 41: 15 41:17 43:7 45: 24 48:21 51:23 67:	Night [4] 7:11 13:12 13: 13 57:7	Numbers [2] 49:11 51:12
Move [7] 19:24 19:25 20:19 22:12 24:15 40:18 75:1	Needed [2] 29:14 53:13	Nip [1] 78:17	Nurse's [2] 16:20 16:25
Moved [4] 19:25 20:14 36:20 37:5	Needs [3] 40:2 40:5 40:6	Nobody [1] 70:24	Nurses [3] 41:23 42:17 55:21
Movement [2] 19:20 36:21	Negligence [1] 12:4	Norcuron [1] 61:7	Nurses' [1] 43:8
Moves [1] 19:19	Nerve [1] 37:10	Normal [14] 22:19 41:24 41:24 41:25 42:4 42:5 42:6 43:20 43:21 44:11 57:17 57:25 58:4 77:14	Nursing [2] 42:21 45:5
Moving [13] 15:16 20:15 20:17 20:17 24:11 24:14 36:25 37:16 37:18 39:15 40:15 40:17 69:5	Nerves [1] 12:1	Northwest [1] 7:22	NW [1] 2:13
MRI [3] 66:8 66:12 66: 13	Nervous [1] 60:25	Notary [3] 1:15 86:3 86: 23	O
Murphy [1] 2:23	Neurological [15] 19:12 19:23 20:1 20:7 21:21 29:13 31:13 37:2 38:16 39:22 46:14 47:18 47:20 49:10 75:13	NOTATION [1] 85:2	Object [3] 44:21 51:4 76: 10
Muscle [4] 47:21 47:24 48:1 48:1	Neurologically [2] 45:11 71:5	Note [33] 16:12 16:20 17:4 19:16 20:2 20:21 22:13 24:22 24:24 25:7 26:21 36:2 43:22 44:23 47:12 56:15 62:22 64:6 64:7 64:16 65:20 67:5 67:7 67:8 68:12 68:19 68:24 69:11 69:13 75:25 81:25 82:9 32:19	Objection [9] 12:5 12:9 12: 11 14:24 39:24 70: 10 70:11 70:23 78: 15
Muscles [3] 48:3 48:5 48:7	Neurologist [3] 45:7 64:10 65: 11	Noted [2] 21:17 50:4	Objections [1] 6:15
Mushkat [1] 2:8	Neurology [2] 8:21 63:20	Notes [28] 4:12 7:4 7:7 7:10 13:15 14:7 16:5 16:25 20:19 22:20 22:21 37:24 40:22 43:8 55:9 55:10 55:11 55:14 56:11 56:24 57:15 61:17 62:7 63:24 63:25 64:2 71:14 71:14	Objects [1] 6:16
Must [3] 42:22 72:7 72: 8	Peuroradiologist [1] 78:1	Nothing	Obligation [3] 60:9 60:10 60: 11
MVA [1] 15:20	Peurosurgeon [14] 10:4 10:8 18: 12 18:17 18:18 45: 9 45:25 46:6 46: 11 50:7 59:17 65: 11 82:13 82:22		Observation [3] 21:7 21:9 21: 10
N	Peurosurgeons [1] 11:3		Observations [3] 5:16 49:13 51: 12
Name [6] 5:10 7:19 7: 20 7:21 68:16 72: 14	Peurosurgery [10] 8:12 8:24 8: 25 10:23 11:11 11: 17 11:22 11:23 79: 13 79:22		Observe [1] 50:4
Named	Peurosurgical [7] 10:2 10:6 11:		Observed [1] 48:21
			Obstetricians [1] 11:2
			Obtain [1] 80:7
			Obtained [1] 9:15
			Obvious [6] 65:6 65:7 65: 3 66:18 66:18 66: 22
			Obviously

ALEJANDRO SOS, M.D.

[4] 11:17 12:14 13:8 51:8 Occipital [1] 69:22 Occur [1] 71:23 Occurred [2] 45:19 68:23 Ockerman [4] 2:12 39:24 70: 10 70:23 October [1] 74:21 Offer [1] 39:23 Office [7] 7:21 27:12 56: 1 56:8 56:8 68:5 86:18 Ohio [24] 1:1 1:13 1: 15 1:17 2:4 2:9 2: 14 2:19 2:24 3:7 3:18 5:4 7:22 9: 17 9:23 9:24 12: 22 78:16 79:3 80: 6 86:1 86:4 86:18 86:23 Old [2] 13:19 13:19 On-call [2] 18:12 18:15 Once [2] 22:2 72:19 One [36] 5:10 8:24 9: 2 9:10 12:13 12: 18 25:1 25:25 26: 20 34:9 35:16 40: 1 42:9 42:20 44: 21 46:2 48:11 52: 7 52:9 53:16 53: 24 58:24 61:6 64: 2 64:6 65:21 65: 22 67:9 73:23 76: 2 76:17 76:21 78: 1 78:25 81:1 83:13 Ones [1] 30:20 Open [1] 76:20 Opening [1] 36:17 Operated [1] 70:13 Operating [2] 62:15 62:18 Ophthalmologist [1] 63:22	Opinion [12] 29:1 31:25 32:18 44:5 44:25 46:3 51:18 69:20 70:20 76:3 82:13 82:21 Opportunity [4] 5:22 13:9 14: 4 65:15 Order [4] 38:18 47:11 66:13 81:14 ORDERED [1] 4:17 Original [2] 74:9 77:2 Originally [2] 9:19 73:11 Orthopedic [1] 79:19 Orthopedics [2] 79:17 79:18 Otherwise [2] 11:24 86:15 Outcome [1] 86:16 Outlined [1] 81:19 Outside [1] 34:15 Outward [1] 23:11 Own [5] 33:21 34:1 59: 19 68:10 70:2 Oxygen [1] 56:17	Paragraph [14] 16:14 17:5 17:8 17:15 27:17 29:9 30:5 30:6 33: 12 33:23 35:8 41: 1 48:17 50:3 Paralysis [1] 61:8 Paralytcs [2] 19:7 19:10 Paralyzed [8] 15:23 18:5 18: 24 20:8 20:10 20: 21 22:10 40:12 Paralyzing [1] 22:2 Paramedics [2] 41:22 56:19 Paraplegia [10] 65:4 65:7 65: 8 74:12 74:22 75: 21 82:19 83:5 83: 7 83:23 Paraplegic [11] 66:19 68:20 68:25 70:14 70:16 71:7 82:15 82:16 82:18 83:11 83:19 Paraplegics [1] 82:22 Parenthesis [2] 61:25 72:13 Paretic [1] 74:8 Part [12] 11:14 12:22 13:24 18:22 28:10 28:14 28:16 28:24 39:20 40:2 40:5 47:13 Particular [9] 14:19 16:9 33: 23 34:6 58:24 77: 16 80:10 80:11 80: 18 Partner [1] 10:6 Party [2] 70:20 86:15 Past [2] 12:21 15:21 Paths [1] 26:13 Pathway [1] 75:5 Patient [99] 5:16 13:2 14: 16 14:16 15:14 15:	20 15:22 15:23 16: 16 17:2 17:9 18:5 18:24 19:2 19:4 19:5 19:15 20:10 20:11 21:25 22:1 22:22 22:23 22:25 24:8 24:11 25:20 28:21 29:2 29:14 30:9 31:6 31:10 31:15 31:19 32:6 32:13 38:14 38:19 39:8 39:23 40:1 40:16 41:7 41:23 42:1 42:4 42:6 45: 25 46:7 46:8 46:9 46:13 47:11 48:9 49:15 49:17 49:21 50:13 50:14 50:21 51:5 51:9 51:9 51: 23 53:8 55:23 56: 1 56:16 61:12 61: 24 62:9 63:24 64: 8 64:13 64:17 64: 22 64:25 65:25 67: 12 68:20 68:25 69: 8 70:13 70:15 73: 10 73:11 73:23 73: 24 74:3 74:21 74: 24 75:9 75:10 16: 12 76:13 81:23 83: 18 83:22 Patient's [10] 23:10 39:6 45:11 47:16 68:8 68:16 69:1 74:6 75:17 82:14 Patients [14] 11:24 20:8 30:1 31:18 32:6 37:12 39:9 40:11 45:2 50:19 50:24 76:9 83:16 83:17 Peace [1] 69:20 Peculiar [1] 48:4 Peer [2] 79:5 80:8 PEG [4] 71:15 71:16 71:18 71:22 Pelvis [5] 24:10 57:16 57:22 57:23 57:25 People [2] 12:24 53:19 Per [4] 57:18 57:19 58:19 58:24
P			
P-a-r-e-t-i-c			
[1] 74:9			
P-E-G			
[1] 71:18			
P.m.			
[1] 27:10			
PACKER			
[1] 2:16			
Page			
[8] 4:3 6:23 19:			
17 40:21 41:16 64:			
2 65:14 65:19			
PAGE/LINE			
[1] 85:2			
Pain			
[3] 29:18 29:21			
40:15			
Palpate			
[1] 50:20			
Paper			

ALEJANDRO SOS, M.D.

Percent [6] 26:17 35:6 48: 19 48:19 52:17 53: 4	[1] 76:11 Piece [1] 16:9 Pieces [2] 14:9 14:22 Pink [4] 68:6 68:14 73: 3 73:4 Pinpoint [1] 76:22 Pinprick [2] 75:2 75:4 Pints [1] 49:22 Place [4] 12:16 56:2 78: 20 86:13 Placed [1] 58:7 Placement [1] 58:5 PLAINTIFF'S [1] 4:8 Plaintiffs [4] 1:6 1:12 2:2 5:3 Plaintiffs' [3] 7:1 7:16 84:8 Plans [1] 75:14 Plastic [1] 24:1 PLEAS [1] 1:4 Plexus [1] 40:14 PMH [1] 15:21 PN [1] 58:21 PND [1] 58:21 Pneumothorax [5] 21:2 58:2 58: 21 58:21 58:23 Pocket [2] 68:13 68:14 Point [16] 21:12 21:16 21:21 23:3 33:8 36:3 36:10 36:23 37:9 37:13 42:19 50:7 51:5 51:14 68:22 82:13 Points [1] 7:15 Polaroid [2] 28:1 28:2 Policy	[1] 81:18 Polito [1] 2:23 Poor [1] 69:2 Poorly [1] 39:12 Portion [1] 49:14 Position [5] 10:24 75:3 75: 5 79:1 79:2 Positions [2] 10:19 80:5 Positive [1] 19:23 Possibility [1] 50:10 Possible [5] 19:11 19:13 54:15 55:5 74:5 Possibly [2] 20:7 55:2 Post [3] 75:7 76:17 77: 3 Post-traumatic [1] 76:17 Powerful [2] 79:17 79:19 Practically [1] 76:4 Practice [9] 9:16 10:2 10: 6 10:7 10:10 11: 21 12:16 59:19 68: 6 Precise [1] 54:25 Preferred [1] 42:7 Preliminary [1] 36:10 Preparation [1] 13:10 Prepare [1] 7:10 Prepared [6] 7:4 7:8 7:12 7:14 13:15 14:6 Presence [1] 86:8 Present [3] 10:12 10:13 24:11 Presentation [1] 15:17 Pressure [39] 15:11 15:11	15:12 20:23 40:23 41:3 41:10 41:11 41:14 41:19 42:2 42:7 42:15 42:23 43:3 43:10 44:7 44:13 44:16 44:19 45:10 45:15 45:20 45:23 45:24 46:3 46:12 56:18 56:21 56:23 61:19 62:16 63:14 63:15 63:15 63:16 77:6 77:7 77:13 Pressures [2] 45:2 45:4 Pretty [4] 29:8 53:4 53: 20 81:11 Prevent [1] 62:20 Previously [1] 35:19 Primarily [1] 46:23 Primary [3] 38:4 46:12 46: 12 Privileged [1] 78:16 Privileges [2] 10:17 11:17 Problem [1] 66:14 Procedure [5] 1:14 5:4 68:7 72:9 81:19 Procedures [1] 30:10 Proceedings [1] 74:14 Process [3] 13:25 20:16 42:21 Produced [1] 61:7 Producing [1] 21:1 Products [1] 61:6 Professional [1] 7:19 Profound [1] 74:23 Profundity [1] 76:20 Profusely [1] 56:17 Progress [4] 40:9 62:6 67:
---	--	--	---

ALEJANDRO SOS, M.D.

7 67:8	Qualified	72:22 73:17 73:19	[1] 30:15
Projected	[1] 60:24	73:24 74:4 75:9	Records
[1] 76:23	Quality	75:16 77:20 77:23	[9] 21:2 28:10 28:
Promise	[8] 10:22 72:23	77:25 78:3 78:4	11 55:19 56:3 56:
[2] 78:23 84:5	78:6 78:7 78:12	84:2 84:5 85:21	5 67:2 71:12 73:24
Promptly	78:13 78:19 79:8	Reading	Recovered
[3] 14:14 15:2 81:	Questionable	[9] 11:9 25:12 44:	[1] 37:7
24	[1] 69:1	22 56:13 59:20 59:	Recovering
Pronounce	Questions	24 63:6 74:20 75:	[2] 37:6 37:6
[1] 77:18	[17] 7:12 10:1 12:	25	Rectum
Properly	11 14:6 14:10 14:	Readings	[1] 74:25
[1] 58:10	11 14:15 15:1 16:	[1] 72:20	Recumbant
Proteins	1 24:18 36:7 51:6	Reads	[2] 32:9 32:13
[2] 48:2 48:3	60:8 71:12 78:22	[1] 72:15	Red
Protocol	80:16 83:25	Real	[8] 48:18 48:22
[1] 81:19	Quite	[1] 78:16	49:5 49:24 50:1
Provided	[1] 78:17	Realized	50:4 67:11 67:12
[1] 13:25	Quotation	[1] 57:25	Reduced
Public	[4] 41:21 41:22	Really	[2] 22:18 86:7
[2] 1:15 86:3	73:25 74:5	[5] 51:6 63:3 70:	Refer
Public/State	Quotations	2 76:8 77:25	[1] 16:13
[1] 86:23	[1] 15:15	Realm	Referring
Pulse	R	[1] 60:25	[3] 17:12 43:2 62:
[9] 15:12 20:23	Radiologist	Reask	23
44:4 44:6 44:6 44:	[13] 30:18 30:24	[1] 54:16	Reflect
14 44:14 56:18 61:	30:25 31:7 33:15	Reason	[1] 75:19
20	57:19 58:18 58:20	[5] 23:6 55:20 56:	Reflected
Pupil	59:22 72:5 73:23	9 63:12 76:25	[3] 56:1 56:3 56:7
[7] 20:24 21:17	75:16 77:24	Reasons	Reflex
22:9 61:20 61:21	Radiologists	[1] 76:18	[1] 29:18
74:8 77:1	[1] 61:2	Reassuring	Reform
Pupillary	Radiology	[1] 37:11	[1] 79:7
[2] 22:11 22:15	[4] 2:11 58:25 59:	Received	Refuse
Pupils	8 59:9	[2] 8:1 55:25	[3] 12:24 12:25
[4] 21:6 22:6 23:	Raley	Recently	13:3
17 76:18	[1] 3:16	[2] 13:12 13:13	Regarding
Purpose	Range	Recess	[8] 21:12 31:1 49:
[7] 1:13 5:3 5:14	[1] 74:11	[1] 80:23	15 55:23 55:25 75:
31:13 38:1 73:21	Rare	Recitation	3 77:17 80:7
73:22	[1] 55:7	[1] 47:12	Regularly
Purposes	Ray	Recollection	[1] 31:3
[3] 5:9 6:21 53:22	[5] 31:9 32:16 52:	[9] 25:2 26:1 32:	Regulations
Pursuant	4 60:10 60:11	1 32:5 47:6 47:7	[1] 82:4
[3] 1:13 1:18 5:3	Rays	52:15 67:15 82:11	Related
Push	[6] 14:2 30:5 31:	Recommended	[2] 34:17 75:8
[3] 23:25 24:9 24:	9 31:14 31:23 72:	[1] 66:11	Relative
10	18	Record	[1] 86:14
Put	Read	[16] 5:10 6:10 6:	Rely
[7] 14:19 61:6 62:	[44] 14:8 20:22	11 7:18 14:9 30:3	[1] 45:4
8 67:12 68:12 68:	21:5 31:3 33:18	42:11 42:18 42:19	Remain
24 71:16	33:21 34:10 35:2	44:20 45:17 68:7	[1] 28:14
Q	49:24 52:10 52:12	73:16 75:25 79:1	Remains
QA	57:10 59:21 60:9	80:3	[1] 40:10
[1] 79:23	60:11 60:23 60:24	Recorded	Remember
Quadriiceps	60:25 61:1 61:17	[9] 14:12 14:12	[17] 13:18 13:19
[1] 48:5	64:6 65:15 65:17	14:14 16:18 17:1	21:23 28:22 33:4
Quaker	65:23 68:19 72:7	45:5 45:16 52:22	35:11 47:9 59:13
[1] 2:8	72:9 72:16 72:17	56:10	59:14 64:21 67:20
		Recording	67:24 67:25 68:1

ALEJANDRO SOS, M.D.

70:16 70:17 82:8	40:4	Rockenstein	[20] 17:3 22:4 26:
Remembers	Respond	[4] 72:3 72:10 72:	13 29:25 30:2 30:
[1] 53:16	[1] 29:20	15 72:22	3 33:16 33:21 58:
Remind	Responded	Rocking	18 69:18 69:25 70:
[1] 5:24	[1] 29:20	[1] 24:9	1 70:9 70:17 70:
Reminger	Responding	Roetzel	21 71:8 77:14 77:
[2] 2:18 2:18	[2] 29:19 36:15	[1] 3:5	14 77:21 77:25
Remote	Response	Role	Scans
[1] 55:7	[5] 22:15 36:11	[2] 46:12 46:13	[5] 14:2 14:3 14:
Repair	36:12 36:25 42:1	Room	3 34:3 77:23
[1] 62:16	Responses	[21] 6:16 16:25	Scene
Repeat	[4] 29:14 29:18	26:12 27:9 27:24	[5] 28:9 41:9 41:
[1] 7:6	29:18 29:19	28:9 28:10 46:20	13 56:19 56:23
Repetitive	Responsibility	46:25 47:4 61:5	Schneider
[1] 20:4	[1] 60:12	61:11 62:15 62:18	[1] 2:8
Report	Responsive	64:19 64:19 64:20	School
[25] 4:11 17:7 17:	[1] 24:15	64:21 64:22 68:8	[3] 8:5 8:6 9:1
13 19:17 19:17 24:	Result	79:14	Schools
17 24:20 27:17 29:	[2] 22:7 83:4	Rosenberg	[1] 9:11
24 40:24 41:8 42:	Results	[8] 63:18 64:10	Scratch
14 42:16 47:14 51:	[2] 33:16 83:4	64:13 64:15 65:3	[1] 8:20
11 56:13 56:25 65:	Resuscitated	69:3 69:18 70:1	Screen
15 72:8 72:8 72:	[3] 41:21 42:3 83:	Rosenberg's	[1] 59:6
22 73:14 73:15 80:	18	[2] 65:14 65:20	Seal
12 81:5	Retained	Rotated	[1] 86:18
Reported	[1] 5:11	[1] 23:11	Seat
[1] 26:15	Retrospect	Rotation	[1] 28:25
Reporter	[1] 54:22	[1] 23:9	Second
[2] 6:19 86:22	Returned	Routine	[16] 17:5 17:8 17:
Reports	[5] 9:8 9:14 13:	[1] 30:10	15 18:2 18:4 29:8
[1] 72:20	21 27:14 29:25	Rule	40:20 41:1 42:9
Represent	Revealed	[3] 38:3 38:12 66:	43:3 62:3 65:14
[1] 5:11	[2] 34:7 34:25	13	65:19 73:8 74:18
Request	Review	Rules	74:21
[2] 47:1 69:25	[11] 13:10 13:14	[4] 1:13 5:4 5:24	Secondary
Requirement	14:4 22:21 38:25	82:4	[4] 51:15 52:18
[1] 6:2	58:9 58:15 71:12	Run	53:12 66:1
Requirements	79:5 80:8 80:12	[4] 11:7 11:7 42:	Secondly
[1] 8:23	Reviewed	14 56:13	[1] 53:17
Rescued	[3] 13:12 57:5 74:	Running	Secretary
[1] 14:16	4	[1] 59:19	[1] 47:3
Research	Reviewing	Ruptured	Section
[1] 9:7	[3] 32:1 57:7 82:	[1] 38:14	[2] 56:12 72:1
Residency	18	Rybolt	Secure
[1] 8:12	Reviews	[1] 3:16	[1] 6:6
Resident	[1] 79:24	S	Sedation
[1] 9:6	Rib	Saint	[5] 37:7 37:8 37:
Resolution	[3] 21:2 30:13 58:	[1] 2:18	9 37:15 61:7
[1] 80:18	2	Saline	Seem
Resolved	Ribs	[3] 41:24 41:25	[1] 41:3
[1] 6:17	[2] 21:3 33:11	41:25	Sees
Respectfully	Richard	Satisfactory	[3] 74:7 76:12 76:
[1] 80:14	[1] 2:17	[1] 74:10	13
Respective	Risk	Satisfied	Segments
[1] 80:5	[1] 82:19	[2] 39:6 39:7	[1] 47:24
Respirations	RL	Scalp	Selected
[1] 41:2	[1] 61:20	[1] 34:12	[1] 14:22
Respiratory	ROBERT	Scan	Sensation
[3] 31:15 31:17	[1] 2:16		[3] 68:25 69:6 75:

ALEJANDRO SOS, M.D.

4	Sign	27:7 35:16 38:13	42:8 62:5 62:21
Sent	[2] 24:10 37:11	39:9 40:8 40:13	65:11 66:9 66:13
[1] 30:1	Signature	83:7 83:16	66:14 83:14 83:20
Sentence	[1] 84:13	Somewhat	Spine
[2] 30:15 39:20	Signed	[1] 75:7	[6] 12:2 57:15 57:
Separate	[2] 73:10 73:11	Somewhere	21 57:22 57:23 57:
[1] 66:9	Significance	[1] 43:4	25
Separated	[1] 69:24	Soon	Spleen
[1] 76:15	Significant	[1] 9:22	[10] 24:7 49:18
September	[1] 63:5	Sorry	50:16 50:18 50:18
[4] 65:20 70:5 81:	Signs	[6] 35:17 35:25	50:20 50:22 50:23
3 81:18	[3] 14:15 19:24	45:8 45:9 65:18	50:25 51:2
Served	24:12	78:2	Splenic
[3] 8:7 12:22 13:1	Silly	Sort	[1] 50:16
Service	[2] 14:21 26:22	[1] 72:19	Spreadbury
[1] 27:13	Simply	sos	[11] 1:6 5:12 14:
SERVICES	[1] 66:20	[17] 1:11 2:21 2:	2 15:4 27:19 31:
[1] 2:11	Single	21 4:2 5:1 5:8 7:	18 40:12 66:5 66:
Set	[2] 7:3 7:7	10 7:21 7:21 30:8	23 66:24 81:4
[2] 13:25 86:17	Site	61:8 61:13 61:18	Spreadbury's
Seven	[1] 27:14	61:24 64:17 85:25	[2] 41:9 65:4
[1] 84:3	Situation	86:5	Squad
Several	[5] 39:7 50:14 70:	sos'	[1] 14:17
[9] 10:20 12:24	3 71:21 80:10	[2] 4:10 4:12	Square
16:16 19:14 19:22	Situations	Sound	[1] 2:8
20:12 50:17 58:3	[1] 59:19	[1] 59:25	SS
83:7	Six	South	[1] 1:1
Shannon	[6] 8:21 21:3 39:	[1] 3:6	Stable
[1] 3:15	11 51:23 64:7 83:	Space	[1] 40:1
Shape	11	[3] 75:8 75:11 75:	Staff
[1] 34:22	Size	16	[2] 10:14 45:5
Shared	[2] 22:18 50:22	Spain	Standard
[1] 64:21	Sizes	[5] 8:1 9:8 9:9	[1] 2:4
Sharing	[1] 76:19	60:17 60:18	Standing
[1] 46:22	Skeleton	Spanish	[1] 29:5
Sheet	[1] 56:8	[1] 8:8	Standpoint
[5] 7:3 7:7 14:19	Skin	Speaking	[9] 38:16 38:21
16:9 85:1	[2] 23:25 34:13	[1] 47:7	38:22 39:21 39:22
Shift	[2] 23:19 34:10	Specialty	46:14 47:18 49:10
[1] 23:14	Slowly	[1] 10:25	49:12
Shinaberry	[1] 14:8	Specific	Stark
[1] 3:15	Small	[6] 26:1 53:16 53:	[3] 1:2 2:16 12:14
Shocky	[4] 23:3 34:12 65:	17 53:17 67:15 80:	Start
[2] 63:14 63:16	10 69:21	8	[1] 14:7
Short	Smaller	Specifically	Started
[2] 15:25 64:6	[1] 65:10	[2] 52:8 63:13	[3] 39:12 63:2 63:
Shortening	Soft	[1] 86:13	3
[1] 43:14	[5] 15:20 24:4 47:	Specified	Starts
Show	20 50:21 56:21	[1] 60:3	[I] 50:16
[1] 42:24	Solely	Speculative	State
Shown	[1] 13:15	[1] 44:23	[9] 1:1 1:15 6:9
[2] 27:23 45:15	Solo	Spelled	7:18 11:10 39:3
Shows	[1] 10:10	[3] 66:12 82:1 82:	80:5 86:1 86:4
[1] 54:20	Someone	4	Statement
Shut	[5] 21:8 43:24 44:	Spent	[6] 7:5 7:8 7:9 8:
[1] 40:9	23 53:23 58:25	[1] 9:3	2 19:20 83:5
Side	Sometimes	Spinal	States
[5] 20:24 21:3 56:	[10] 23:11 25:6	[11] 11:25 40:13	[1] 9:14
20 83:14 83:15			Stating

ALEJANDRO SOS, M.D.

[2] 51:13 66:20 Statistically [1] 63:4 Statute [2] 78:17 79:6 Statutory [1] 79:3 Stay [3] 9:5 15:4 20:6 Steering [1] 23:6 Stenotypy [1] 86:7 Stereotactic [2] 11:22 12:2 Steroid [1] 62:20 Still [8] 22:17 37:7 40:18 41:10 56:23 63:3 63:4 76:18 Stomach [1] 71:17 stop [1] 15:25 Stopped [2] 39:4 61:23 Street [2] 3:6 22:9 Subarachnoid [1] 75:8 Subcapsular [1] 50:15 Subcutaneous [3] 23:23 24:2 56:20 Subdural [7] 73:25 74:5 75:13 75:16 77:15 77:19 78:4 Subgaleal [1] 34:10 Subsequent [2] 62:24 65:2 Subsequently [1] 86:8 Subspecialists [1] 77:23 Subspecialties [1] 79:12 Substance [1] 78:19 Sued [1] 12:8 Suggestion [2] 77:5 77:18 Summarization [1] 72:19 Superior	[2] 72:7 72:16 Supine [2] 75:9 75:10 Supplied [1] 83:21 Supply [3] 83:13 83:15 83:21 Support [10] 31:15 31:16 31:17 38:17 38:18 39:18 39:22 40:5 47:11 49:15 supposed [5] 35:1 46:5 52:10 52:11 59:21 Suppositories [1] 74:25 Surgeon [7] 33:6 38:10 46:9 52:24 53:1 53:11 54:11 Surgeons [4] 2:16 52:23 59:22 59:23 Surgery [21] 8:23 11:1 11:2 11:6 15:21 62:16 62:24 62:25 63:3 67:18 69:9 70:15 77:3 78:7 79:9 79:12 79:13 79:16 79:16 79:20 82:19 Surgical [12] 3:2 10:21 49:12 49:13 51:6 69:23 72:25 73:1 78:11 78:11 79:12 79:15 Surprised [1] 30:1 Surprising [1] 6:14 Survived [1] 29:2 Suspect [1] 5:21 Suspected [1] 18:9 Sustained [1] 55:3 Sutured [1] 36:6 Swelling [1] 34:11 Switched [1] 73:9 Switzer [1] 2:23	Sworn [2] 5:5 86:6 System [1] 60:25 Systolic [3] 41:11 42:5 43:14 T T-11 [1] 83:14 T-5 [4] 74:22 74:23 74:24 75:23 Taber [30] 2:22 6:9 6:12 12:5 12:9 14:24 21:14 21:22 30:20 30:23 38:20 39:19 41:15 42:24 43:8 43:12 44:1 51:4 53:14 59:24 60:2 65:18 78:15 79:2 79:6 79:25 80:4 80:14 84:2 84:6 TABKER [1] 44:20 Table [1] 32:7 Tachycardic [1] 44:7 Tawil [28] 3:1 25:24 25:25 26:5 26:11 26:16 26:17 26:18 26:19 35:11 35:12 35:12 35:13 35:15 35:21 35:24 35:25 37:20 37:22 38:6 52:16 52:20 52:25 53:7 61:9 61:13 71:25 71:25 Taylor [2] 2:3 2:3 Teaching [1] 9:7 Tear [1] 63:17 Tears [1] 50:16 Telephone [1] 55:25 Telesz [30] 2:16 18:2 18:3 18:6 18:23 19:1 19:3 20:20 25:23 26:2 26:8 26:16 26:17 35:5 46:23 47:2 48:14 51:25	52:8 52:18 52:25 53:7 61:8 61:12 61:13 64:5 64:7 64:14 69:17 81:16 Telesz' [2] 38:18 47:11 Telez [1] 15:22 Tend [1] 38:7 Terms [1] 34:7 Testified [3] 5:6 45:14 45:18 Testify [2] 53:23 86:6 Testifying [1] 13:5 Testimony [5] 33:20 52:5 60:5 86:7 86:11 TH5 [1] 62:4 Theory [1] 71:6 Therapy [1] 62:23 Thigh [1] 49:22 Thinking [4] 21:23 22:1 32:17 67:9 Thomas [1] 3:4 Thoracic [7] 3:2 33:6 38:10 52:24 59:22 62:5 74:22 Thoughts [1] 14:23 Three [14] 9:11 15:3 15:21 15:22 39:10 40:7 49:22 52:3 52:7 53:3 53:8 62:9 62:18 83:12 Timed [1] 42:14 Timing [6] 13:20 14:16 14:18 25:9 26:18 30:3 Timken [2] 1:16 1:16 Tire [1] 29:7 Tissue [1] 47:20
---	---	--	--

ALEJANDRO SOS, M.D

Today [5] 5:22 7:13 25: 8 45:14 69:21 Today's [1] 13:10 Toe [1] 75:3 Toes [13] 19:19 19:25 19:25 20:14 20:17 36:20 36:25 37:5 37:16 39:13 40:17 75:4 75:5 Tomography [1] 17:10 Tomorrow [1] 39:16 Took [16] 8:14 9:15 9: 20 9:21 11:15 14: 8 20:20 24:12 44: 22 51:8 55:9 55: 10 56:11 56:25 71: 14 78:20 Top [3] 56:12 64:2 68: 19 Tort [1] 79:7 Touch [1] 76:12 Toward [1] 22:19 Trachea [3] 23:14 38:4 38: 4 Tracheal [1] 38:12 Training [6] 8:1 8:17 8:19 9:4 9:5 59:17 Transcribed [8] 17:6 17:25 24: 19 25:11 25:17 35: 1 35:3 86:9 Transcript [2] 85:21 86:10 Transcription [7] 25:14 26:25 27:1 43:19 48:24 52:10 52:13 Transcriptionist [1] 16:18 Transected [1] 62:17 Transection [11] 62:5 66:2 66: 6 66:20 71:6 72: 13 82:24 83:3 83:	6 83:8 83:10 Trauma [16] 18:19 24:6 25:6 25:7 31:3 31: 5 37:15 38:25 40: 8 46:8 59:19 66:9 76:25 77:2 77:3 77:4 Traumatic [1] 76:17 Treadon [6] 3:4 60:6 60: 19 68:2 70:11 84:1 Treatment [1] 5:16 Tried [3] 13:19 17:25 69:16 Trouble [1] 27:6 True [3] 33:19 85:22 86:10 Truth [1] 86:6 Try [4] 13:18 78:24 80:16 80:25 Trying [4] 14:21 25:9 59: 12 66:4 Tube [5] 58:5 71:16 71: 16 71:18 71:20 Tubes [4] 21:1 58:7 58: 9 58:13 Tumors [1] 11:25 Twice [1] 64:1 Two [23] 6:23 11:8 12: 20 13:18 13:19 15: 3 17:19 26:10 37: 12 38:9 39:9 40:4 49:22 52:3 52:7 52:9 53:8 53:15 58:9 62:17 63:11 64:2 64:5 Typed [4] 4:11 16:13 19: 17 73:14	Unaware [2] 28:12 82:1 Unconscious [2] 40:10 41:12 Under [12] 9:11 11:3 34: 12 37:7 50:17 57: 20 61:8 63:20 65: 25 67:16 78:16 86: 9 Underline [2] 63:7 63:10 Underlined [3] 62:16 63:7 63: 13 Underneath [8] 57:15 58:2 61: 14 61:20 62:8 62: 11 71:14 72:11 Understood [2] 78:9 78:9 Undetected [1] 50:15 Undoubtedly [1] 11:20 Unequal [2] 22:7 23:17 Unequality [1] 22:11 Unfortunately [1] 73:18 Unit [9] 17:9 17:16 17: 17 17:20 17:20 18: 1 25:23 27:24 36:4 University [3] 8:21 9:1 9:10 Unless [1] 60:5 Unlike [1] 36:10 Up [10] 18:22 37:14 37:14 37:23 52:19 54:20 63:3 77:16 77:20 83:19 Upper [4] 20:14 39:14 39:15 74:11 Upset [1] 12:19 Urinate [1] 75:1 Urinating [1] 40:8 Urine [1] 40:7 Usual [2] 83:10 84:5	V Vadnal [1] 2:17 Variations [2] 34:19 45:10 Vascular [1] 83:13 Vasopressors [2] 41:5 42:3 Vent [2] 40:6 41:2 Ventilator [1] 31:11 Verbal [2] 29:19 29:20 Verbally [2] 6:1 52:9 Vibration [1] 75:6 View [I] 50:7 Vision [5] 76:6 76:8 76: 9 76:24 76:25 Visit [1] 68:7 Vitae [2] 4:10 6:23 Vital [2] 14:15 24:12 Vitals [1] 15:5 Voluntary [2] 29:18 29:19 vs [1] 1:7
	U Ultimately [1] 80:9 Um-hum [2] 51:21 54:5		W Wait [2] 41:18 78:11 Waited [1] 24:14 Waiting [1] 19:15 Waive [1] 84:2 Aaived [1] 84:13 Wake [1] 83:19 Waking [2] 37:14 37:14 Xalk [1] 68:8 Walked [2] 64:19 64:20 WALTER [1] 2:16 Wants

[1] 47:5	24 57:20 72:1 72:
Washington	2 77:18
[2] 8:22 60:13	Wrapping
Watch	[1] 24:1
[3] 49:7 50:18 50:	Wright
24	[1] 3:16
Watched	Write
[3] 49:11 50:5 50:	[10] 25:7 58:19
5	58:24 64:4 67:7
Water	67:8 68:8 81:14
[1] 41:24	81:14 81:24
Ways	Writing
[1] 53:15	[2] 57:25 81:19
Weak	Written
[6] 19:19 20:17	[11] 24:17 25:7
36:12 36:20 37:1	29:24 62:12 65:21
40:15	73:15 73:16 81:4
Weakly	81:9 82:2 82:5
[2] 37:16 39:15	Wrote
Wearing	[10] 16:18 24:24
[1] 37:9	25:2 32:20 36:8
Wednesday	58:2 61:8 61:17
[2] 1:17 73:2	62:7 69:20
Week	
[1] 18:15	X
Weeks	X-ray
[1] 11:8	[14] 30:8 30:9 30:
Whatsoever	16 31:9 31:9 32:7
[1] 70:25	32:13 32:16 52:4
Wheel	60:10 60:11 72:8
[2] 23:6 29:3	72:9 72:20
Whichever	X-rays
[1] 53:10	[7] 14:2 24:4 30:
White	5 31:9 31:14 31:
[3] 48:18 51:22	23 72:18
57:4	Y
Wide	Year
[2] 32:8 32:15	[7] 8:24 9:2 9:19
Widened	10:8 10:9 21:23
[1] 32:4	38:9
Widening	Years
[2] 32:9 32:12	[10] 9:3 12:21 13:
Wife	18 13:19 17:19 26:
[1] 9:20	10 38:9 43:23 67:
Wiggling	23 81:9
[2] 39:13 40:17	Yesterday
William	[1] 70:16
[1] 3:17	Young
Willing	[1] 34:18
[1] 80:17	Yourself
Windshield	[3] 13:15 18:4 57:
[2] 28:25 29:1	5
Witness	Z
[6] 4:2 13:1 41:	Zero
17 86:5 86:8 86:17	[1] 58:14
Woman	
[2] 51:24 71:5	
Word	
[7] 48:23 49:1 49:	

4-436: Marla J. Spreadbury**Deposition of
Alejandro Sos, M.D.****Wednesday, May 5, 1999**

Plaintiff's Exhibits: 1 – Dr. Sos' curriculum vitae
 2 – Typed consultation report
 3 – Dr. Sos' handwritten notes

<u>Page / Line</u>	<u>Description</u>
7 / 11	Prepared some notes night before deposition
7 / 20	Office is located at 1445 Harrison Avenue, NW, Canton, Ohio
REVIEW OF BACKGROUND	
8 / 6	Graduated from Madrid Medical School in 1962
8 / 13	Did neurosurgery residency in Madrid
8 / 20-25	Began medical training in the U.S. in 1968; 6 months of neurology at Georgetown from Jan to June 1969
9 / 1-8	Attended one year at Univ of Louisville – 1970; 4 years at Cinn for medical training; received teaching and fellowship till the end of '74; returned to Spain
9 / 9-14	Worked at a university hospital '74 to '78; returned the States in 1978
9 / 17	Obtain license in Ohio on 1979
9 / 20	Had taken exam in Kentucky
10 / 5	Began neurosurgical practice in Ohio in 1980
10 / 8	Had a partner during the first year – Dr. Gonzalez; solo since 1981
10 / 16	Is on active staff at Mercy
10 / 17	Has courtesy privileges at Massillon
10 / 22	Belongs to the surgical committee for quality care and others
10 / 25 – 11 / 4	No chief of neurosurgery; all departments are headed by Dr. Grayson; he is the department of neurosurgeons

- 11/ 12 Is not board certified
- 11/ 16 Took part I in 1994
- 11122-1212 Does all neurosurgery except stereotactic neurosurgery due to the number of patients, lack of equipment; does brain aneurysms, spinal cord tumors, peripheral nerves, laminectomies for lumbar discs, the lumbar spine
- 1217 Has been a defendant before
- 12/ 13 Has been sued 11 times – never lost any
- 12/ 24 Has never served as an expert witness

REVIEW OF SPREADBURY CHART

- 13/ 17-22 Reviewed all of chart to refresh memory as to chronology of events, timing of his involvement; his return call for consultation; his clinical impression
- 1415 Did not review films provided to counsel
- 14/ 14-18 Made notes to answer deposition questions promptly and accurately
- 15/ 10 Note of blood pressure being 130 over 86 is good; pulse of 107 while in ED is very fast; patient was probably able to move all four limbs while in ED
- 15/ 19-24 It is not absolutely clear to him; Dr. Telesz was there when the patient was intubated – patient was paralyzed for intubation
- 1617 Was contacted at 11:26
- 16/ 13 Knows from his typed consultation note
- 16124-25 It's also recorded on the nurse's ER notes
- 17/ 4 Examined the patient prior to the CT scan
- 17119 Dictated he arrived in ICU
- 1811 The first doctor was Telesz, the second doctor was Sos
- 1819 He was called in because of a suspected head injury
- 18/14 Was the on-call neurosurgeon that day for the ER
- 18/ 16 Continuously on-call – no other neurosurgeons
- 1913-5 Doesn't recall speaking with Telesz before or after his exam of Marla

- 1919 Prior to his seeing her, Marla had been given paralytics so she could be intubated
- 19 / 13 As a result, it was not possible for him to examine her – he had to wait for her to recover
- 19 / 25 Dictated at 4:25, Marla moved the toes for him
- 20 115-17 When he arrived, she was weak, but was moving her toes
- 20/23-21/4 Reading of note made at 12:39: BP 140/1100, pulse 80; L pupil 3mm; R pupil 5mm; ecchymosis; chest tubes, no blood produced; pneumothorax, rib fractures
- 22/1-11 Needed to see the patient for longer as effects of paralytic was wearing off; needed to see a CT scan of the head to determine any intracranial injury

CHRONOLOGY OF HIS CARE

- 22/23-23/19 Examined patient for marks (abrasions, hematoma, etc.); noted a forehead laceration, ecchymosis on the chest; checked for deformities on the long bones, none found; checked patient's level of consciousness; checked neck, if there was a shift in trachea, none found; no blood in auditory canal means no skull fracture
- 23/21-24/16 Noted subcutaneous emphysema – free air; fractures; soft abdomen, no distention at the time – no intra-abdominal bleeding; checked iliac crest, and pelvis for fracture – none found; checked vital signs; was waiting to see if she could move her arms and lower extremities
- 24 / 24 On dictated on this day
- 25 / 18 Dictated on the 23rd, transcribed on the 24th
- 25 122-25 Personally spoke with Dr. Telesz while in ICU; spoke with Kralik and then Tawil
- 26 18-10 Would have had to be on the 23rd before he left the hospital – no question as to speaking with Telesz on the 23rd
- 26 / 11-14 Believes he spoke with Tawil on the 23rd because they all came to the emergency room, the ICU, the CT scan; they crossed paths there
- 26 / 17-20 100% sure he reported his findings to Telesz and then later thinks he talked with Tawil because Tawil was first one that was called
- 27 / 5-11 As to dictation and transcription, had a contract with a company back in '97 the doctors had a lot of trouble with due to many delays
- 27 / 22-25 Did see photographs of the accident; not sure if in ICU or the ED
- 28 / 2 Polaroid photos

28 / 5 Has not seen them since that day

28 / 8-11 If photographs are made at the hospital, they are kept as part of the record

28/22-2917 Photographs did help him in his assessment; air bag had been deployed, left front part of car was destroyed; no door; seat was broken; windshield was destroyed; believes front tire had been destroyed

29 113-21 Did not perform a neurological exam because he needed her to respond; he inspected by feeling her limbs, listening to her chest; he would come in and out to until she was more awake so he could perform a neurological exam; she did respond to pain 4 hours later

29/ 25 Performed exam after she had a head CT scan

301 10 Reviewed chest x-rays as part of his routine

301 14 Had notes free air in the mediastinum and rib fractures

31 / 2 Doesn't recall conferring with radiologist

31 15-12 Looks at films out of curiosity; not an expert at reading films

31 / 15 Neurological purpose for looking at chest films was respiratory support

32 / 5-10 Doesn't recall seeing a widening of the mediastinum; if a patient lays on the table to be x-rayed, the mediastinum will be wide because he is recumbent; widening is not a very important landmark

32 112-17 Not a landmark because everyone has a widened mediastinum when laying down

33 / 1 Discussed free air in the mediastinum with Kralik

33 / 4 May be wrong, but that's whose face he remembers

33 / 10 Thought free air was because of rib fractures

33 122 He read the CT of the head

REVIEW OF HEAD CT SCAN

34 19-22 CT reveals to fractures on the skull; minimal subgaleal swelling; no masses or hematomas in the brain or outside the brain; brain was in the midline; minimal brain atrophy;

35 15-7 100% sure he told Telesz

35 / 10-17 Meant it was Tawil, not Kralik; Tawil was to do the bronchoscopy

3619 Marla nodded head to certain questions

- 361 12 Got a weak response when he spoke to her
- 361 18 Doesn't recall if she was opening her eyes
- 36 122 She moved her toes very weakly
- 37 14-8 Did not have concerns about condition of extremities as she was still recovering from the sedation
- 37 / 12-18 Some patients, you don't know for 2 days; she was waking from the effects of the sedation, trauma and anemia; she was moving her toes weakly, assumed would be better the next day
- 37 / 25 Likely that he spoke to Tawil, that's how he know Tawil was to do a bronchoscopy
- 38 13-5 Bronchoscopy was to rule out laceration of the trachea or primary bronchi that could displace free air in the mediastinum
- 38 17-15 Doesn't recall Tawil specifically saying why he was going to do a bronchoscopy; assumed it was because of the abnormal finding of free air in the mediastinum due to injury of the trachea
- 38 / 23 From a neurological standpoint, agreed with Telesz's order to support the patient as the only thing to do
- 39 / 11-16 Due to the fact that after 5 – 6 hours, she was poorly nodding and weakly wiggling her toes, all they could do was to wait until the next day
- 40 / 1-19 In terms of supporting of the patient, he meant, maintain patient's stability ie IV's, blood, etc.; respiratory management; monitor urine output watch for level of consciousness; patient's such as Marla could have a spinal cord injury, injury in evolution of the brachial plexus; can't know until the patient is awake; she couldn't move to commands – she was still out
- 41 17-25 His explanation as to why Marla's BP was 163/190
- 421 17 Doesn't know where he got it from, maybe the nurses at bedside
- 43 / 14 BP of 163/ 121 is what is called shortening between systolic and diastolic
- 43 / 17-23 Diastolic would be very elevated; must be wrong transcription
- 44 14-9 Thinks 121 is wrong because the pulse at 90 isn't even grossly tachycardic
- 44 / 11 Believes it's a mistake because 10 minutes earlier, BP was normal; with such a change in the BP, one would expect changes in the pulse
- 44 / 25 Has no opinion was to abnormal elevated diastolic pressure

45 / 12 Variations in a patient's BP can say something about the brain, head

451 17 Was there at 11:26 - when pressure was recorded

45/21 -4611 Doesn't want to answer what would cause elevation in diastolic pressure at 12:15

46 15-14 For the benefit of the jury, his only duty was from a neurological standpoint

46 / 17-21 Doesn't recall speaking with Dr. Menia; can only assume he did because he came to the ED

47 120 Did not have a concern neurologically at the CK being elevated

48 / 15 Didn't concern him enough to speak with Telesz as Telesz already knew

48 / 25 The word "doppler" was wrong

49 12 Should have been "drop" as to "further drop in red cells"

49 16-9 A further drop in red blood cells could mean internal bleeding

491 12 Was watching for drops in numbers from a general surgical standpoint

50 12-6 Doesn't know why drops should be "expected"; this is well noted that drops should be watched for

50 113-19 From a neurological standpoint, felt that there was some internal bleeding that had not yet been determined; most common, subcapsular splenic hematoma

50121 Her abdomen was soft

50 / 23 In general in these patients most common cause of bleeding is the spleen

51 / 22 Patient needed to be watched for bleeding; may take 4, 5, 6 or more hours

52 / 9-11 Would have specifically all of his diagnoses with Telesz, number one, verbally, and number two, he is supposed to read the dictation - he is supposed to know

52 114-16 Remembers clearly talking with Telesz; confuse if he talked with Kralik or Tawil

52/24-53/3 Spoke with a thoracic surgeon about the air in the mediastinum; cardiothoracic surgeon advised of the bronchoscopy

53 / 25 Did speak with someone in the cardiothoracic group, but doesn't recall who

5415 Did communicate the free air in the mediastinum to the C/T surgeon

54 / 12 Probably would have generally told them to follow the blood loss and falling hematocrit

54 / 21 Wasn't aware of the mediastinal injury at that time

55 / 7 Would have been a remote suspicion as they are very rare

55 / 24 Doesn't think he was contacted after leaving the hospital on the 23rd

56 13 If so, it would be reflected in the hospital chart

REVIEW OF NOTES MADE NIGHT BEFORE

58 / 19 It is his assessment CT head ok

58 / 23 Pneumothorax, mediastinal air as the chest CT

59 12 Probably looked at CT

59 12 Doesn't remember

59/21 Is not capable of reading chest CTs; are to be read by the radiologist, thoracic surgeon

60 19-15 It is not his obligation to read or interpret chest x-rays, or CTs of the chest

61 15-13 Noted products used by Menia to sedate and paralyze Marla; also noted that Telesz and Tawil were there during intubation in the ED; order of appearance – Telesz, Menia, Telesz, Sos, Tawil

61/18-62/7 Explanation of pre-depo notes

63 / 1-5 Doesn't know when depo-medrol therapy was used

SEPTEMBER 25th

64 14 Rosenberg may have been called in by Telesz

64 120 He was in the room with Rosenberg for a few minutes

65 18-12 Obvious cause of paraplegia was the injury to the aorta; very well know catastropli to the neurologist and neurosurgeon

ROSENBERG'S CONSULT NOTE – 9/25/97

66 1 Patient has cord ischemia secondary to aortic transection

67 / 4 Saw Marla on 9/24, 9/25, 9/26, 9/27, 9/28; may have seen her even though there's no note

67 / 14 Did see Marla on the 24th

67 / 17 Doesn't remember if it was before or after surgery

68 / 8 Writes down every time he walks into a patient's room

68/24-6913 Noted patient was paraplegic from E-1 down; level questionable due to patient's consciousness;

69 / 4-7 On the 25th, noted no movement in her legs, no sensation; had not yet determined level – could be L-1

69 / 10 She wasn't awake enough yet (because of just being through surgery)

69 / 16-19 Spoke with either Kralik or Telesz; somebody wanted another CT; Rosenberg wanted a head CT

7016 CT revealed the head was clear

70 112-18 Didn't discuss the chest CT

70 / 24 Nobody told him anything whatsoever

71 16-13 It would have been natural to have discussed chest CT with him on the 23rd; they wouldn't tell him or like for him to know the CT had been misread

71 / 22 Was not involved for PEG

72 15 Roctenstein is a radiologist

72 17-10 9/23 read of superior mediastinal hemorrhage must be the report of 9/23 by Roctenstein

72 122 It was a summarization of the x-ray reports

CONSULT OF OCTOBER 30TH

73 / 13 Was called back (10-30-97)

73 / 16 Made a "written" record

73 121-25 Thought patient may have a subdural hygroma

74 13-12 Patient's chief Complaints – diplopia, homonymous gaze, sees double when looking to the right; right pupil larger than left, parietic from 9/23/97; high brain function. satisfactory; follows commands well; full ROM on upper limbs; paraplegia

74 120- Paraplegia from T-5; profound analgia from T-5 to L-1 on the right; patient feels suppositories when inserted in the rectum;

75 / 1-23 Further read of second consult note into the record

- 7614 There was no change in the CT findings between his consults
- 76/ 17-25 The cause of the blurred vision may have been because the pupils were still different sizes; most likely due to trauma to the right eye
- 7714 Trauma from the collision
- 77 17-9 There was no increased cranial pressure
- 77/ 14 The CT was because there was a concern of subdural hygroma
- 77 122 He disagreed with that read
- 77125-7811 The CT should really be read by a neuroradiologist; Mercy has one
- 7813 Dr. McNulty is the neuroradiologist; he didn't read – Sos was called
- 78115-7917 Objection and discussion of DTKs line of questioning as to the surgical quality assurance committee
- 79/ 14-22 There are no specialty departments under surgery; all categories fall under the Department of Surgery
- 79125-80121 Taber's objection to:
- "Did that QA committee perform morbidity/mortality reviews on a monthly basis?"*

PROCEDURE OF PREPARING CONSULT REPORTS

- 81 17-10 The consult talks with the person who calls them and then a report is written
- 81 121-25 Not aware of the existence of any written policy, protocol or procedure of how a consult was to communicate findings
- 82/ 16-20 When speaking with Kralik, Sos was told Marla was paraplegic; recalls from the chart a note stating risk of paraplegia discussed with husband
- 82/23-83/2 If Kralik asked for Sos' opinion as to the paraplegia, then Sos would have given him an answer: Kralik knew too
- 83 16-23 Transection of the aorta, even with cases of paraplegia, sometimes evolve over several hours depending on clots or other causes; it's not usual to have a transection immediately paraplegic or it develops 6 hrs or 3 days; sometimes patients with cardiorespiratory arrest wake up paraplegic

CURRICULUM VITAE

Alejandro Sos, MD

1445 Harrison Ave NW #305

Canton OH 44708-2620

Phone 330-454-6517

Fax 330-454-1599

Born in Castellon de la Plana, Spain, April 18, 1937

Graduated High School with Honors, Spain, 1954

Universidad de Madrid ~~Medical~~ School. Graduated MD in 1962

RESIDENCY:

Medical Corps, Spanish Army, 1962-1963

Resident, Neurosurgery, ~~Institute~~ for Neurological Sciences, Madrid, 1964-1967

ECFMG Examination, 1967

US EDUCATION:

1/1/68 - 12/31/68	Internship, Unity Hospital, Brooklyn, New York
1/1/69 - 06/30/69	Neurology Residency, Georgetown University, VA Hospital, Washington, DC
07/01/69 - 06/30/70	General Surgery Residency, University of Louisville Medical School, Louisville, KY
07/01/70 - 06/30/74	Neurosurgery, Good Samaritan Hospital, Christ Hospital, Cincinnati General Hospital and Children's Hospital, Cincinnati OH Chief Resident, 7/1/73 to 6/30/74
07/01/74 - 09/30/74	Teaching and Research Fellowship, Mayfield Neurological Institute, Cincinnati OH
11/01/74 - 12/78	Attending Neurosurgeon, Neurosurgical Service, "Francisco Franco" University Hospital, Madrid, Spain
1979	FLEX Examination, Louisville, KY; License to practice medicine in KY, #20362

PLAINTIFF'S
EXHIBIT

5-5-99

1980 Licensed to practice medicine in OH, # 35-044414
1980 Established Neurosurgical Practice in Canton, OH
09/94 Passed 1st part of European Neurosurgery Boards

AFFILIATIONS

Mercy Medical Center, Canton, OH Active Staff since 1980
Massillon Community Hospital courtesy Staff

MEMBERSHIPS

LifeBanc, Board of Trustees, Cleveland, OH.
Ohio State Medical Association
Stark County Medical Society
Ohio Neurosurgical Society
Mayfield Society

Honorary Member – Sociedad Luso Espanola de Neurocirugia (Miembro Correspondiente)

PUBLICATIONS

“Melanotic Schwannoma of the Acoustic Nerve” Archive Pathology and Laboratory Medicine, February 1996.

“Bone Dysplasia with Involvement of the Skull”, Journal, Institute of Neurological Science, Madrid, Spain, 1965.

“Organo-Phosphate Insecticides” Tropical Medical, Spain, 1964