

IN THE COURT OF COMMON
STATE OF OHIO, COUNTY OF CUYAHOGA

JILL BOLTIN,

Plaintiff,

vs.

THE CLEVELAND CLINIC FOUNDATION;
RONALD LESSER, M.D.; JOSEPH F.
HAHN, M.D.,

Defendants.

No. 90787

DOC. 425

DEPOSITION OF ROBERT F. SPETZLER, M.D.

Phoenix, Arizona
November 26, 1986
2:10 o'clock p.m.

PREPARED FOR:
COMMON PLEAS COURT

Original)

BROWN & TOLEU, LTD.
COURT REPORTERS
100 West Washington St.
Phoenix, Arizona 85003
Phone (602) 254-5479

1

2

3

4

5

I _ _ _ N _ _ _ D _ _ _ E _ _ _ X

6

7

8

EX _ _ _ _ _ REEX

9

ROBERT F. SPETZLER, M.D.

10

11

EXAMINATION BY:

12

MR. KAMPINSKI:

4

13

14

15

16

17

18

E _ _ _ X _ _ _ H _ _ _ I _ _ _ B _ _ _ I _ _ _ T _ _ _ S _

19

o.

Page

20

7-28 letter from J. Irwin

16

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

THE DEPOSITION OF ROBERT F. SPETZLER, M.D.,
taken at 2:10 o'clock p.m., on November 26, 1986, in
the office of Robert F. Spetzler, M.D., 2910 North
Third Street, Phoenix, Arizona, before MARK BARTUNEK,
a Notary Public in and for the County of Maricopa,
State of Arizona.

The plaintiff was represented by her attorney,
Mr. Charles I. Kampinski.

The defendants were represented by their
attorneys, Reminger and Reminger, Co., by Mr. Gary H.
Goldwasser and Dr. John R. Irwin.

Phoenix, Arizona
November 26, 1986
2:10 o'clock p.m.

ROBERT F. SPETZLER, M.D.,
called as a witness herein, having Deen duly sworn,
was examined and testified as follows:

EXAMINATION

BY MR. KAMPINSKI:

Q. Doctor, my name is Charles Kampinski. I represent Jill Boltin. I want to ask you a number of questions this afternoon. If you don't understand any of them, please tell me. All right? I'll be happy to rephrase my questions. When you respond to them, if you would, do so verbally. He, is going to be taking down everything we say and he can't take down a nod of your head. All right?

A. Will do.

Q. Someone is bringing your G.V. over?

A. correct.

Q. Until it gets here, why don't you --

(Interruption off the record.)

Doctor, it indicates, your C.V., that is, indicates that you went to medical school at Northwestern from 1966 to 1971; is that correct?

1 A. From 1967 to 1971.

2 Q. And then you did internship in Chicago
3 and residency training in neurosurgery at the
4 University of California for five years until 1977; is
5 that correct, sir?

6 A. Right.

7 Q. You then Stayed at the University of
8 California for one year as an instructor in the
9 Department of Neurosurgery?

10 A. Right. That was the last year of the
11 residency program, which is why the two years
12 correlate with the previous dates.

13 Q. You then went to Cleveland. And you
14 have listed here on your C.V. that you were assistant
15 professor of neurosurgery from 1977 to 1981 and
16 associate professor from '81 to '83.

17 A. Correct.

18 Q. Was that just teaching duties or were
19 you also involved in treating patients there?

20 A. Treating patients.

21 Q. Okay, And your teaching duties, how
22 much time would you say was spent at that as opposed
23 to patient care?

24 A. Very hard to differentiate since the
25 teaching and patient care were very often one and the

1 same.

2 Q. Did you have actual duties that were
3 limited to didactic training only;

4 A. Yes.

5 Q. But IC also consisted of training what,
6 residents and interns in the hospital setting treating
7 patients?

8 A. Right.

9 Q. Did you have a private? practice in
10 Cleveland or was it limited to your association with
11 the university?

12 A. Limited to my association with the
13 university.

14 Q. So that you saw only staff patients?

15 A. No. The university's main patient pool,
16 in fact, are I think what you would refer to as
17 private patients.

18 Q. How is it that you became associated
19 with their care then? Referrals?

20 A. Correct.

21 Q. But they would remain the patients of
22 the referring physicians?

23 A. No. They would become our patients,

24 a. When you say "our," were you part of a
25 group?

1 A. correct.

2 Q. What group?

3 A. University Associates. University
4 Neurosurgical Associates.

5 Q. I haven't had a chance to go through
6 your entire C.V. and I am not going to take the time
7 to do so right now, but were you on any committees
8 while you were in Cleveland of any groups with Doctor
9 Hahn or any of his associates at the clinic?

10 A. Not to the best of my recollection.

11 Q. Were you on any committees of any
12 organizations while you were in Cleveland?

13 A. Yes.

14 Q. What were they?

15 A. I was on the Scientific Program
16 Committee of the Congress of Neurological Surgeons in
17 1981. I was on the registration committee of the same
18 organization in 1980. I was on the Sergeant At Arms
19 Committee in 1980 of the same organization. I was
20 Chairman of the Special Courses Committee in 1982 of
21 the same organization. I was an associate editor of
22 Clinical Neurosurgery of that committee in 1982.

23 Q. You are reading from page 5 now?

24 A. That is correct,

25 Q. None of these committees had Doctor Hahn

1 as a member of them; is that correct?

2 A. That's correct.

3 Q. How about any of the organizations that
4 you were a member of?

5 A. He certainly was also a member of many
6 of those organizations.

7 Q. Did you know Doctor Hahn before coming
8 to Arizona?

9 A. Before coming to Arizona?

10 Q. Yes.

11 A. Certainly.

12 Q. And what was your relationship with
13 Doctor Wahn? Was it professional, social, both'.

14 A. Professional.

15 Q. Did the two of you collaborate on any
16 papers or reports or studies?

17 A. No.

18 Q. What was the nature of the professional
19 association? Did you have any joint patients, for
20 example'.

21 A. I don't believe so.

22 Q. Did you ever work with him?

23 A. You mean professionally?

24 Q. Yes.

25 A. No.

1 Q. What did you mean?

2 A. Maybe puttering around in the backyard.

3 No.

4 Q. Why, were you neighbors?

5 a. No.

6 Q. I thought I asked you if you were
7 friends or if you socialized with Doctor Hahn.

8 A. That's correct.

9 Q. Did you?

10 A. Yes.

11 Q. Did **the** two of you undergo **any** training
12 together?

13 A. No.

14 Q. Was that the extent of your relationship
15 then, a **social** one?

16 a. Neurosurgeons are a relatively small
17 body of men and women, and I think those that are in
18 teaching locations tend to know each other, almost
19 everyone. And I knew Doctor Hahn being in the same
20 city, particularly after he became chairman. And,
21 therefore, I certainly knew him relatively well from
22 that aspect.

23 Q. Was it Doctor Hahn that asked you to get
24 involved in this particular case?

25 A. Yes.

1 Q. And when was that? And it you've got
2 correspondence that will assist you, you can refer to
3 it.

4 A. I don't recall. I would -- I just don't
5 remember.

6 Q. You wrote a short, one paragraph, and
7 I'll call it a report for lack of anything better to
8 call it, dated I think August 5th. Let me find it.
9 Do you have it there handy?

10 A. August 26th.

11 Q. 26th. In relation to that report, when
12 were you contacted by Doctor Hahn?

13 A. Before then.

14 Q. Well, a week, a month, a year?

15 A. I think probably several months.

16 Q. And how many discussions did you have
17 with Doctor Hahn regarding this particular case?

18 A- That was the only discussion.

19 Q. What is it that he told you at that
20 time?

21 A. That there was a case that he was being
22 sued for and whether I would be willing to review the
23 case.

24 Q. And was that all or did he go into the
25 details at: the case?

1 A. No details.

2 Q. Did you ever discuss the details with
3 him at any time after that?

4 A. No.

5 Q. Any other doctors at the clinic?

6 A. No.

7 Q. What was your next contact in relation
8 to becoming involved?

9 A. The next contact was from the attorneys.

10 Q. The firm of Reminger and Reminger?

11 a. Right.

12 Q. Who were you contacted by?

13 A. I believe it was Doctor John Irwin.

14 Q. Did you know Doctor Irwin before this?

15 A. No.

16 Q. Had you ever had any relationship with
17 the firm of Reminger and Reminger before?

18 A. No.

19 Q. Have you ever testified as an expert in
20 any case before?

21 A. I believe I've testified once.

22 Q. Where was that, sir?

23 A. Here in a deposition.

24

25

1 A. It was for the defendant.

2 Q. Did it involve a neurosurgeon within
3 your group?

4 A. NO.

5 Q. Another neurosurgeon here in town:,

6 A. No.

7 Q. A neurosurgeon in Cleveland?

8 a. No.

9 Q. Where?

10 A. Neuroradiologist.

11 Q. Where?

12 A. In town.

13 Q. Have you, yourself, ever been involved
14 in a Lawsuit?

15 A. I have been mentioned in two lawsuits,
16 both of which were dropped.

17 Q. Were they here or in Cleveland?

18 A. They were in Cleveland,

19 Q. And who were you defended by?

20 A. I don't remember.

21 Q. Was it the same firm that: is involved in
22 this case, Reminger and Reminger?

23 A. I really don't know.

24 Q. Was it Mr. Goldwasser?

25 A. No. Whoever was for the firm of --

1 Q. Arter and Hadden?

2 A. Yes.

3 Q. Both of them were dropped as to your
4 involvement?

5 A. One was **dropped** as to my **involvement** and
6 the other one **was dropped altoget-her**.

7 Q. What were you provided with by the
8 attorneys, Doctor? You were looking at some papers,
9 Do you have a folder pertaining to this file?

10

11

12

13

14

W

15

16 Dickinson.

17 Q. Well, were you. contacted by Mr. Irwin by
18 correspondence or phone or what? Do you recall?

19 A. I believe it was by phone.

20 Q. AIL right. And **was** that followed up at
21 all in **terms** of **documentary** material for you to look
'22 at?

23 A. Yes.

24 Q. All right. First of all, were you
25 apprised of any facts regarding the case by Mr. Irwin

1 in that conversation, in that phone conversation?

2

3 s about.

4

5

6

7 But to the best of my recollection, it was that Doctor
8 Hahn was being sued in relation to a postoperative
9 infection in a patient; who was suffering from
10 seizures.

11 Q. Anything further in terms of details of
12 the operation?

13 A. Not to the best of my recollection.

14 Q. Is the folder you have, that you are
15 holding onto right now, the correspondence between
16 yourself and the law firm?

17 A. Yes.

18 Q. Could I see it, please?

19 A. That's my personal folder.

20 Q. Well, whatever it is, if it relates to
21 the case, I'd like to see it, sir.

22 Has anything been removed from this
23 folder before today?

24 A. No.

25 Q. Doctor, there is a letter dated July

1 28th, which is from Mr. Irwin, with respect to what it
2 is forwarded to you for review; is that correct;

3 A. Correct,

4 Q. In the last paragraph of the letter,
5 could you indicate for the record what it is that he
6 wanted from you, what it was you were retained to
7 assist in, sir? Why don't you just read the paragraph
8 and then we will talk about it.

9 A. "Most of the pertinent medical issues
10 which form the basis of plaintiff's claims in this
11 case are set forth in Doctor Dickinson's report
12 Letter. Although this report letter should serve as
13 the starting point for review of this case, please
14 feel free to expand your comments on any other topics,
15 issues, or matters which you feel are pertinent in the
16 defense of this litigation. Plaintiffs are, of
17 course, not necessarily bound to only the issues
18 raised by Doctor Dickinson and we, therefore, need to
19 be prepared to refute any implications or insinuations
20 which may derive from Doctor Dickinson's conclusions.

21 "Your willingness to assist in this case
22 is greatly appreciated."

23 MR. KAMPINSKI: Would you hand that" to
24 the court reporter, Doctor, Why don't you mark that
25 as Spetzler Exhibit 1.

1 (Deposition Exhibit No. 1 was marked for
2 identification.)

3 Q. BY MR. KAMPINSKI: Doctor, did you
4 understand this request by Mr. Irwin as contained in
5 the last paragraph to be one where he wanted to have
6 your assistance to, quote, "refute any implications or
7 insinuations which may derive from Doctor Dickinson's
8 conclusions," unquote, or to review the **records** for
9 your own opinion?

10 A. To review the records and arrive at an
11 opinion.

12 Q. So you didn't ascribe any significance
13 to that language in that last paragraph?

14 A. No.

15 Q. You've **got** in front of you a stack of
16 items. And correct me if I'm wrong, but **are** those the
17 **items** referred to in Mr. Irwin's letter?

18 A. Yes.

19 Q. The **Cleveland Clinic** records, **Good**
20 **Samaritan Hospital** records, **Columbia Presbyterian**;
21 **depositions of Doctor Hahn, Lesser**; and **Doctor**
22 **Dickinson's medical report**, and some x-rays?

23 A. Right.

24 Q. Have you received any records or x-rays
25 or films since receiving these records?

1 A. Yes.

2 Q. What else have you got, sir?

3 a. I received some further **copies** ut the
4 x-rays.

5 Q. Not of the same ones?

6 A. **Actually I think some of** them are copies
7 of the same ones.

8 Q. You received some additional ones also,

9

10

11

12

13

14

15

16

17 Q. Any additional **documentatron**, Doctor;
18 **depositions** of Doctor Fromm or Doctor Dickinson?

19 A. No.

20 Q. Did **you** see the report of Doctor Fromm?

21 A. Only a verbal summary.

22 Q. What was **told** to you and *by* whom;

23 A. By Doctor Irwin, and that was related to
24 me today.

25 Q. What were *you* told?

1 A. That Doctor Fromm felt that the case
2 should have been handled in a different manner,
3 particularly to the removal of bone at the time of *the*
4 last operation at Cleveland Clinic. And the need to
5 resect bone beyond the bone plate itself, and that the
6 wire mesh should have been removed at that time, and
7 he would have continued antibiotic treatment for six
8 weeks.

9 Q. Anything further that you can recall?

10 A. I don't think so.

11 Q. ALL right. First of all, Doctor, in
12 your practice, have you had occasion to encounter
13 postsurgical infections?

14 A. Yes.

15 Q. Were you told by Mr. Irwin or anyone
16 else that neither Doctor Fromm nor Doctor Dickinson
17 takes issue with the fact that an infection did occur?
18 Were you told that at any time, sir?

19 A. Not specifically in those terms,

20 Q. Okay. Because your August 26th letter
21 seems to imply that that was one of the issues that
22 you were addressing yourself to, And that is the
23 original complication of obtaining an infection. Were
24 you told that that was an issue, Doctor?

25 A. No, I don't recall that that was

1 discussed as an issue of contention.

2 Q. Okay. All right. Specifically you
3 indicate in your August 26th Letter, "Certainly the
4 patient as well as the parents were well informed of
5 the risks of surgery." Is there a reason you put that
6 BIZ? Did that have some significance to you in terms
7 of the issues in **this** case?

8 A' I think the importance there **is** the fact
9 **that** there was no doubt that the patient and the
10 family indeed were aware of the risks of surgery,
11 which obviously included a postoperative infection.

12 Q. Okay. Maybe we are talking **cross**
13 purposes, but I don't know that anybody has disagreed
14 with that, And I guess I'm asking you **what** the
15 importance of that finding or that understanding is in
16 relation to what the issues are in **this** case.

17 A. If there is no disagreement, I don't
18 understand the purpose of the question.

19 Q. The purpose of the question is to **find**
20 out why you put it in your correspondence.

21 A. It doesn't seem to be inappropriate to
22 state the fact since there **was** a complication after
23 surgery to ascertain **the** fact that the family and the
24 patient indeed were well aware of the risks of
25 surgery.

1 Q. Is there a standard and recognized
2 fashion in dealing with postsurgical complication of
3 an infection when there is any foreign body in the
4 area of the infection?

5 A. Can you repeat that question.

6 Q. Should you take out all foreign material
7 in the presence of infection?

8 A. I think that depends very much on each
9 individual case,

10 Q. Isn't that black Letter medicine, to
11 remove all foreign material?

12 A. I have no idea what black letter
13 medicine means.

14 Q. It means the place from which you start,

15 A. No, not at all, I think there are many
16 instances in which you attempt to sterilize an
17 infection in the presence of a foreign body.

18 Q. And leave the foreign body?

19 A. Absolutely.

20 Q. Well, when you say there are many times
21 when that would be done, why don't you tell me what
22 they are,

23 A. In fact, patients that have shunts, it
24 is not uncommon at all to attempt to sterilize. The
25 tact is when there is a CNS infection and there is a

1 catheter tram the ventricle to the outside, despite
2 the presence of an intection, that **remains** tor the
3 purposes of instilling bodies or draining fluids.

4 Q. Any others?

5
6 **is** distant from an intection, tor example, if you **have**
7 an intection in the brain and you have a total hip, I

8
9 prosthesis in place despite the tact that you have a
10 generalized septicemia.

11 Q. Any others?

12 A. I think the list can go on.

13 Q. Well, we weren't dealing with a shunt or
14 Doctor Wahn wasn't dealing with a shunt in **the** case of
15 Jill Boltin, was he?

16 A. No.

17 Q. **he** wasn't dealing with a **catheter**, was
18 he?

19 a. No.

20 Q. Nor was he dealing with a toreign body
21 distant from the site of infection, was he?

22 A. I think that becomes **closer** to the
23 point. It depends which toreign body we are talking
24 about.

25 a. Do you consider the bone flap toreign

1 body?

2 A. No.

3 Q. Is it a fact that bone flap in fact will
4 become devitalized as various procedures are
5 undertaken regarding that bone flap? Specifically she
6 had a procedure in Montreal. She had two procedures
7 at the clinic. Would that cause that bone flap to
8 become devitalized to some degree after those
9 procedures?

10 A. I'm not sure what you mean by the word
11 "devitalized."

12 Q. Having less than a sufficient blood flow
13 to the bone flap.

14 A. Implying if there isn't sufficient blood
15 flow that --

16 Q. That it could be considered a, quote,
17 "foreign body," unquote, that could harbor infection.

18 A. Any portion of your body can harbor
19 infection. Bone flaps are removed on multiple
20 occasions and multiple times. And I know of no one
21 that would leave the bone flap out because you have
22 taken it out twice or three times or four times for
23 that matter,

24 Q. That's not the point. The point is the
25 infection has occurred in the meantime. And don't you

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

of the second surgery at the clinic?

A. I think it's very important to differentiate between devitalized and between infection. If devitalized means that it was separated from its surrounding tissues on two occasions, then that's certainly the case. If there is any infection, that is no reason not to put the bone flap back in.

Q. Isn't it? Isn't that a likely place for the organism to simmer?

A. Bone is considered a tissue of the body, particularly when it comes out of that particular person. It is routine when there is an infection in the spine involving the bone to clear out that

1 infection as much as possible with instruments and
2 then to take a, quote, "devitalized," piece of bone
3 from the hip and place it in the setting of that
4 infection with excellent results,

5 So, no, I don't think there is any
6 reason to think that any piece of: Bone that has been
7 taken and separated from its attachment, whether it be
8 other bone or the layer, the tissues adjacent to the
9 bone, and consider it as a bed for infection.

10 Q. Where did **the** infection recur in Jill
11 Boltin? You've reviewed the records?

12 A. I don't **know**. I haven't seen the CT
13 scans, but according to the records -- are we talking
14 about the infection at the Cleveland Clinic?

15 Q. No. At Presbyterian a year and a half:
16 later.

17 A. It appears that the infection was an
18 infection in the epidural space around the bone and in
19 that frontal area of the craniotomy.

20 Q. In the area of **the** bone flap; correct,
21 sir?

22 A. It would be hard to have an infection in
23 that area which would not be adjacent to bone.

24 Q. Do you know any reason why you weren't
25 provided the CT scan **since** the attorneys here obtained

1 those specifically from Doctor Boltin a couple weeks
2 ago?

3 MR. GOLDWASSER: The fact of the matter
4 is I forgot them inadvertently. He didn't know
5 anything about them and I forgot to bring them. Do
6 you have them with you?

7 MR. KAMPINSKI: I didn't get them. You
8 got them.

9 MR. GOLDWASSER: Okay.

10 MR. KAMPINSKI: You asked for them
11 specifically for Doctor Spetzler to review.

12 MR. GOLDWASSER: You are absolutely
13 correct,

14 Q. BY MR. KAMPINSKI: Did you have an
15 explanation, Doctor, as to why the infection recurred?

16 A. I think that's one of the risks of any
17 intracranial procedure, that there is a risk of late
18 infection. I think when you are talking about a
19 procedure which has now undergone three separate
20 surgical explorations, the risk of infection is
21 higher. And late infection is very small, but a
22 definite risk,

23

24

25

1 Late infection? Is that your understanding, sir?

2 A. My brief report referred to the fact
3 that the family appeared to have been informed of the
4 risks of surgery. And whether this included
5 specifically late infection or not obviously was not
6 available to me. But I think it was clear that they
7 had a sense of the seriousness of the undertaking that
8 they were about to proceed with.

9 Q. Is your answer no, Doctor, that they
10 were not informed of the risk of late infection?

11 A. No, I think I just gave you my answer.

12 Q. I don't understand it to be yes or no,

13 a. You are correct, it is neither yes nor
14 no.

15 Q. So you don't know whether they were
16 informed of that or not?

17 A. Correct.

18 Q. And your report doesn't address that
19 issue at all; would that be fair?

20 A. That's correct.

21 Q. Knowing, though, you as a neurosurgeon,
22 Doctor Hahn as a neurosurgeon, of this potential risk,
23 is it therefore more important to analyze the foreign
24 bodies that can in fact cause this late infection to
25 occur?

1 A. Can you make that a little clearer?

2 Q. I'll try. You will have to bear with
3 me. I'm just a Lawyer. I'm not a doctor.

4 A. I am well aware of that,

5 Q. Knowing as you do, Doctor, that there is
6 this potential of late infection, is it theretore more
7 important for you as a neurosurgeon, speaking
8 generally now, to analyze and assess the potential of.
10 in the site or near the site?

16 where there was any analysis made of the removal of
17 the wire mesh and/or the bone flap?

18 A. No.

19 Q. And have you talked to Doctor Hahn or
20 Mr. Irwin or Mr. Goldwasser about that failure to
21 address that situation in the record? Has that been
22 discussed, sir?

23 A. No. But I don't believe that any record
24 will discuss every potential. complication, its
25 management, et cetera. It would be voluminous in

1 nature and would still certainly in some case miss a
2 salient feature in that particular case.

3 Q. Well, in this particular case, knowing
4 that there were foreign bodies in or near the area --
5 and let's speak about the wire mesh. We may not be
6 speaking about the bone flap at all depending upon how
7 you analyze it. but would you do anything after the
8 second surgery if it was your patient in terms of
9 trying to determine where the mesh was or, you know,
10 the likelihood of removing it? Would you do any
11 follow-up x-rays, for example?

12 MR. IRWIN: The second operation or
13 third operation?

14 MR. KAMPINSKI: The second operation at
15 the clinic. There were only two at the clinic.

16 THE WITNESS: But it's the third
17 operation in the patient's history.

18 Q. BY MR. KAMPINSKI: Right.

19 A. The question, if I may, pertains to
20 whether I would do any further x-rays to ascertain the
21 wire mesh?

22 Q. Sure.

23 A. No. I think the wire mesh has been very
24 well localized on the x-rays. Considering how long it
25 had been there, they are not going to move it.

1 Q. Is there a reason why you wouldn't take
2 out the wire mesh, sir?

3 A. The records suggest that the wire mesh,
4 which was present from the procedure performed in
5 Montreal six years earlier, is relatively far forward
6 of the procedure that Doctor Hahn carried out. And I
7 think it thus can be assumed to be relatively remote.
8 And I don't think I would proceed to remove them if
9 they were out of my surgical field.

10 Q. How far is relatively remote, relatively
11 far forward? When you say "relatively," why don't you
12 give me a distance, Doctor,

13 A. I think distances are relative when it
14 comes to the brain. If you are in a vital area of the
15 brain, a millimeter might be relative. If we are
16 talking about a leg, maybe ten centimeters might be
17 relative.

18 Q. How far was it from the surgical field?

19 A. The surgical field was obviously not
20 available to me except in retrospect from the
21 description,

22 Q. How about the x-rays?

23 A. The x-rays that I have are all
24 preoperative x-rays.

25 Q. How about pictures? Were you provided

1 with pictures that were taken during the operation?

2 A. No, No. The description of the
3 procedure and the location of the electrodes after the
4 first Cleveland Clinic procedure suggests that it was
5 in the temporal area of the brain and the wire mesh is
6 in the frontal area,

7 Q. Are you saying that the x-rays were all
8 preop, Doctor, that you were provided?

9 A. No.

10 Q. I thought that's what you said.

11 A. The x-rays were not after the second
12 operation.

13 Q. Can't you tell from the first x-rays
14 after the first operation'?

15 A. I just explained it.

16 Q. Can you tell from the x-rays, sir?

17 A. I can tell where the wire mesh was and I
18 can tell where the electrodes were, which I just
19 described.

20 Q. Why don't you just show me on the x-ray,
21 Doctor.

22 A. This would do very nicely. Here is
23 where the electrodes are and here is where the wire
24 mesh is.

25 Q. Where was the bone flap, Doctor?

1 A. The bone flap **tram** the second operation
2 -- f think that's probably the Done flap. We can see
3 the **lines** through it.

4 Q. I'm sorry?

5 A. I think that's probably **the** bone flap,
6 because one can see the **lines** through it.

7 Q. Does that encompass the **area** of the wire
8 mesh?

9 A. It comes *very* close to the wire mesh.

10 Q. Would it have been, in your opinion,
11 difficult to remove the wire mesh **during** the second
12 operation, sir?

13 A. It depends a little bit technically how
14 the tlap was made, **Because** it it's **deep** and the bone
15 is removed deep, then it may be more awkward to remove
16 it. But it the question implies whether it **can be**
17 removed, I think the answer is yes.

18 Q. And **what** is your understanding, sir, of
19 the nature of serratia marcescens? **What** kind of
20 infectran are we talking about here? That is the one
21 she had, right?

22 A. **That's** the bug that was **described**. Bug
23 referring to bacteria.

24 Q. Right.

25 A. I would say it's an organism **that**

1 infects the body and can certainly cause the symptoms
2 that were described in this particular patient's case.

3 Q. Do you claim to have expertise in the
4 nature of the organism in terms of its likelihood of
5 returning, how to treat it, or would you in
6 encountering that particular bug seek guidance from,
7 let's say, an infectious disease person?

8 A. I think it depends very much on the
9 particular nature of *that* patient's infection. If
10 it's a postoperative meningitis with *serratia*, which
11 is sensitive to antibiotics, I think in the majority
12 of cases the neurosurgical team would treat that.

13 Q. And without getting any consult?

14 A. Correct.

15 Q. In treating it, I take it you have some
16 idea of the nature of the bug and its propensity to be
17 indolent or return at some point in the future?

18 A. Correct.

19 Q. Would that militate for removal of
20 foreign bodies within the surgical area, to try to at
21 least minimize the risk of its returning at some point
22 in the future?

23 A. I think that should certainly be
24 considered.

25 Q. in terms of treating it medically, that

1 is, the infection, is there any particular medication,
2 antibiotic, that you would have used in 1983, Doctor?

3 A. I think the antibiotics that were used
4 in this particular case appear appropriate.

5 Q. By the way, you were in Cleveland in
6 1983 when Jill Boltin was being seen at the clinic?

7 A. What time of the year was that?

8 Q. You were there July to August -- I'm
9 sorry, you were there until August of '83?

10 A. I left in August. I actually left in
11 July.

12 Q. You didn't have anything to do with Jill
13 Boltin's care?

14 A. No.

15 Q. You weren't called by Doctor Hahn or
16 anybody else in terms of a consultation of her case?

17 A. No.

18 Q. How many cases of serratia marcescens
19 have you seen postsurgically?

20 A. I don't know that I can put an exact
21 number on it. But at certainly has been greater than
22 ten.

23 Q. Pretty rare organism.

24 A. Pretty rare organism. Pretty rare in
25 patients that have to have colonic drainage systems.

1 Q. Shunts?

2 A. Shunts, exactly. That's where I have
3 seen a number of them.

4 Q. What's the incidence of recurrence of
5 the bug in those ten that you've seen? I'm not
6 holding you to a num

7 A. I didn't specifically say ten.

8 Q. I understand.

9 A. To the best of my recollection, after
10 they have been sterilized, I have not seen any late
11 recurrences.

12 Q. How long do you treat them in terms of
13 follow-up? And I may have cut you off before when I
14 asked you what antibiotic you would use to treat --

15 A. I would be guided by the sensitivities
16 of the organism. I don't think -- I do like
17 chloramphenicol, and the bug
18 usually is. And I like it given IV.

19 Q. How long?

20 A. It again depends on the specific case.
21 If it's a simple shunt infection and the shunt can be
22 removed, a shorter time may be appropriate. And if
23 it's a more difficult procedure with greater operative
24 undertakings or second procedures that are necessary,
25 I would keep it on longer.

1 Q. When you say "longer," how long? Let's
2 talk about Jill Boltin.

3 A. In Jill Boltin, my own feeling would be
4 to treat with two weeks of IV chloramphenicol and
5 potentially followed with an oral case of appropriate
6 antibiotic ~~for~~ another four weeks.

7 Q. Well, knowing that you were leaving a
8 foreign body in the area, would your judgment be
9 affected at all knowing that the wire mesh was staying
10 in and perhaps devitalized?

11 A. This was for the specific case?

12 Q. Yes.

13 A. I think I would stick with the two weeks
14 of IV and potentially four weeks of oral.

15 Q. Is it your opinion then that seven days
16 of IV was not enough?

17 A. I think seven days of IV antibiotics is
18 too short.

19 Q. And that increases, I take it, the
20 likelihood of the bug recurring at some point in the
21 future?

22 A. I would think in this particular case it
23 increases the likelihood of serratia being indolent.

24 Q. Have you reviewed any literature in
25 preparation for getting involved in this case?

1 A. No.

2 a. Do you intend to?

3 A. No.

4 Q. I'm sorry?

5 A. Not unless there is a specific issue
6 that comes up.

7 Q. Earlier, Doctor, you made some
8 indication of the infection being epidural. Do you
9 recall that?

10 A. Uh-huh.

11 Q. You made a point of saying that to me
12 and I think you were referring to the subsequent
13 infection?

14 a. Right. I believe you were specifically
15 interested in the one at Columbia at the time.

16 Q. That's correct. Do you believe that it
17 was limited to the epidural area or do you think that
18 it was more extensive than that? And it's difficult.
19 Obviously you didn't see it. You weren't there.

20 A. From the presentation and from the
21 information that I've read, it makes sense that it was
22 an epidural infection.

23 Q. Why is that?

24 A. Because the clinical presentation was
25 one of swelling and tenderness without any suggestion

1 of meningitis or **encephalitis**, the latter being much
2 more common with infections within the epidural space
3 as opposed to outside of the epidural space.

4 Q. Is that significant in relation to what
5 effect this infection had on Jill Boltin? Is that
6 where it derived its significance?

7 A. Definitely.

8 Q. What is your belief of the effect of
9 that infection, the one that occurred at Columbia?

10 A. Well, I don't have any follow-up, so it
11 is a little hard for me to assess.

12 Q. Have you treated patients with epilepsy
13 in the past, Doctor?

14 A. Yes.

15 Q. Or would that be something that a
16 neurologist would do and you would only get involved
17 in terms of some surgical procedure?

18 A. I think we treat a number of patients
19 that have **seizures** or epileptic attacks, although the
20 vast majority receive neurological consultation or are
21 seen primarily by the neurologist.

22 Q. And can you determine patterns with
23 respect to **prognosis** on those patients? In other
24 words, if you follow them for, let's say, two, three
25 years, have you pretty much determined what that

1 **person's** future course is Likely to take?

2 A. That's a very broad question.

3 Q. Right, I am going to get specific after
4 you answer it.

5 A. Because the source of the seizure focus
6 is really critical in determining the natural history.

7 Q. When you say "the source," are you
8 talking about the location or the etiology?

9 A. The etiology.

10 Q. There are studies that downplay the
11 significance of the etiology in determining prognosis.
12 You are aware of those, are you not, Doctor?

13 A. I would like to see those, I think --

14 a. You are not aware of them?

15 A. I think that's an unclear and
16 misstatement of the facts of seizures, The etiology
17 of seizures is very critical. A very *clear*: example to
18 demonstrate that is a patient who has a seizure
19 secondary to a tumor. Obviously I don't think there
20 would be anybody, including yourself, that would deny
21 the etiology in that particular case plays a critical
22 role in that patient's natural history.

23 a. Being only a lawyer, I'd be in no
24 position to either deny it or admit it.

25 A. I don't think it makes any difference

1 what sort of specialists we are. As far as common
2 sense is concerned.

3 Q. I agree with you, Doctor. If the
4 etiology is unknown, is that a prognostic indicator in
5 and of itself?

6 A. If the etiology of the seizure is
7 unknown, does that in itself have prognostic
8 significance?

9 Q. That's correct.

10 A. No.

11 Q. Do we know what the etiology is of Jill
12 Boltin's seizure activity?

13 A. I believe that the records suggest that
14 it may be related to a history of encephalitis.
15 Possibly herpes encephalitis when the child was much
16 younger or when she was a child.

17 Q. And does that have any prognostic
18 significance?

19 A. I think in and of itself, the prognostic
20 significance is derived from the emergence of the
21 seizure pattern,

22 Q. I'm not sure I understand what you are
23 saying.

24 A. The patient that has encephalitis and
25

1 has encephalitis and has one seizure, and is markedly
2 different from the patient who has encephalitis and
3 then has one seizure and then in another year has two
4 seizures, and the next year has multiple more seizures
5 so that there is a progressive pattern to the seizure
6 disorder.

7 Q. SO it's not the etiology we are talking
8 about. It's the manifestation --

9 A. Correct.

10 Q. -- of the seizure pattern?

11 A. Correct.

12 Q. That you believe has some prognostic
13 significance as the person gets older?

14 A. Right.

15 Q. What history were you given with respect
16 to Jill Boltin's seizure history?

17 A. The records that you see in front of me
18 that we've already listed.

19 Q. So you knew that for approximately a
20 year and a half following the Montreal surgery, she
21 was seizure free?

22 A. Yes.

23 Q. I also take it that you were apprised or
24 that you've been able to derive from the records the
25 purpose for which she was at the clinic, and that was

1 to attempt to find a focus for the seizure activity
2 and hopefully surgically remove it?

3 A. Correct.

4 Q. From your review of the records, were
5 you able to determine whether or not absent the
6 infection she would have been a likely candidate for
7 this procedure?

8 A. I could not determine that from the
9 records.

10 Q. Why not?

11 A. Because the message that was sent was
12 that they were going to review all the data and see
13

14

15

16

17 A. From the records that I reviewed, I did
18 not see a clear cut recommendation, as to whether or
19 not they recommended.

20 Q. Do you have any feeling in terms of your
21

22

23

24 A. I don't think there is any way for me to
tell.

25 Q. After the surgeries at the clinic and

1 the intection and she was sent home, were you provided
2 any information of her seizure pattern during the
3 interim period, that is, her discharge from the clinic
4 and her subsequent admission to Presbyterian?

5 A. I saw the admission summaries from I
6 think: it was Samaritan, Was it not Samaritan where
7 again a mention was made of continued seizure
8 activity?

9 Q. By the way, you were not provided with
10 the depositions of either Jill Boltin or her tather,
11 Marry Boltin, were you?

12 A. That's correct.

13 Q. Were you provided with any summary of
14 what they said?

15 A. No.

16 Q. Did you ask for any indication of what
17 their testimony was with relation to her seizure
18 pattern?

19 A. The only knowledge was that -- the only
20 information that I was given was that: we don't have a
21 tollow-up of the seizure activity after Columbus.

22 Q. Columbia you mean?

23 A. Columbia.

24 Q. Wouldn't it be important for you to --
25 first of all, were you asked to give any opinion with

1 respect to what effect, it any, the recurrence of the
2 infection had on Jill Boltin?

3 A. Have I been asked?

4 Q. Sure. Is that something that you've
5 been asked to give an opinion on?

6 A. We discussed in general terms. In fact,
7 I believe I asked what the patient's clinical status
8 was following the last surgical procedure at Columbia.
9 And I was not given a clear cut answer because they,
10 according to the attorneys, they didn't have follow-up
11 of the patient's clinical seizure pattern.

12 Q. When you say "clinical seizure pattern,"
13 are you talking about in some type of institutional
14 setting where she can be watched?

15 A. No, The clinical appearance of
16 seizures.

17 Q. And the reason you asked for that was
18 what, Doctor?

19 A- To see whether the seizure pattern has
20 changed any over this time period.

21 Q. And why is that important?

22 A. I think the seizure pattern is important
23 in relationship to whether it has continued to become
24 worse.

25 Q. Would it have been important for you to

1 know **that** they had become more frequent and more
2 debilitating and were related to physical activity and
3 **stress** after the Columbia-Presbyterian incident?

4 Would that have been **important** **tor** you to know?

5 MR. GOLDWASSER: **Objection**, I am
6 objecting for the record. You may answer.

7 THE WITNESS: My primary mission was to
8 address what happened in Cleveland and the
9 complications thereof. The seizure pattern *is*
10 certainly an important part. **And** I in **tact** am
11 interested in what **the** seizure pattern **has** been after
12 Columbia.

13 Q. BY MR. KAMPINSKI: **Is the** answer then
14 yes, it was important, that is something that is
15 important **Lor** you to know?

16 A. Yes.

17 Q. What **does** that mean to you in relation
18 to analyzing this case, if anything? I'm trying to
19 understand, **Doctor**, if your involvement is limited
20 **solely to that** of the analysis **at the** Cleveland
21 Clinic, and **that** is whether **or** not Doctor Wahn and/or
22 **Lesser** and/or **anybody** else at the clinic deviated from
23 **the** standard of care required of **them** from their
24 treatment of the infection, or whether you are also
25 going **ta** be involved in an **analysis** of damages that,

1 have stemmed from those actions. Bo you understand my
2 question, sar?

3 A. No.

4 Q. What. are you going to testify to when
5 you come to Cleveland'?

6 A. I am going to answer the questions.

7 Q. What have you responded in terms of what
8 injury you believe has occurred trom the infection?
9 What is your belief, sir?

10 A. Well, the initial intection at the
11 Cleveland Clinic was treated quickly and
12 appropriately.

13 Q. In your opinion?

14 A. As opposed to?

b5 Q. As opposed to Doctor Fromm's opinion.

16 MR. IRWIN: I object.

17 THE WITNESS: Yes, it was treated
18 quickly and appropriately with the appropriate
19 laboratory values from the spinal fluid specimans to
20 suggest that the infection had been brought under
21 quick control. And, theretore, I think it is
22 relatively unlikely that that in itself would cause
23 any brain damage.

24 The second infection in Columbia over a
25 year later I believe --

1 Q. BY MR. KAMPINSKI: Correct.

2 A. -- was an infection that seemed to be
3 limited to the epidural space. That's a very
4 important differentiation because the dura separates
5 the brain and its covering from the skull and the
4 scalp. And if that's the case, it is very unlikely
7 for epidural infections unless they have a significant
8 mass effect. And that's why I was interested in
9 seeing the CT scan. Unless a significant mass effect
10 puts pressure onto the brain itself.

11 Q. What is a significant mass effect?

12 A. A significant mass effect would be one
13 which produces a shift of the brain, compression of
14 the brain, and, more importantly, it it produces
15 clinical symptoms that are related to that pressure.

16 Q. Those clinical symptoms mean?

17 A. Those clinical symptoms would depend on
18 where the pressure was being applied. If it's applied
19 over an area of the brain, for example, that controls
20 motor movements, and tire motor movements would be
21 affected, and so on.

22 Q. And if it was in the area of control of,
23 Let's say, Learning, memory, speech, you would expect
24 those to be affected clinically?

25 A. That's correct..

1 Q. Which is why, I take it, you asked what
2 the follow-up was with respect to Jill Boltin:,

3 A. Correct.

4 Q. Just so I understand. When you say mass
5 effect, are you saying there is some type of, in
6 Layman's terms, lump in the area of infection that is
/ pressing on the brain?

8 A. Where the actual volume of material is
9 greater than would ordinarily be there and thus
10 expands like a lump, an abscess.

11 Q. And that in fact, could cause brain
12 damage even if the infection was epidural is what you
13 are telling me?

14 A. That is correct.

15 Q. I take it then you would be somewhat
16 interested in knowing in addition to what I told you
17 before, that is, the seizures are becoming more
18 frequent, more debilitating; and related to physical
19 activity and stress, that Jill's ability to
20 /concentrate, remember, speak effectively, recognize
21 words, those things have all deteriorated since the
22 operation at Columbia?

23 MR. IRWIN: Objection. You may answer,
24 Doctor.

25 THE WITNESS: You see, I haven't seen

I any records that have suggested to me that pattern.

2 Q. BY MR. KAMPINSKJ: And if you were told
3 that's the tact?

4 A. I would have to see the actual studies
5 as to the relationship of: the epidural infection to
6 the Drain contents. The reason I would want that is
7 because we have a young woman whose past history has
8 clearly demonstrated a progressive unrelentless
9 seizure worsening that has led to surgery back in
10 Montreal, which was then controlled, and then again
11 progressively became worse.

12 So that despite the tact of having
13 already had part of her temporal lobe resected, that
14 her physicians felt that the additional risk of
15 throwing back on someone like that was justified
16 because of her seizure problems. And, therefore, it's
17 very important from my perspective to ascertain
18 whether the seizure pattern is one of evolving
19 severity and whether it is related or unrelated to the
20 procedures that were performed.

21 Q. Just to clear up a couple things,
22 Doctor. You made a couple assumptions in there that I
23 want to know where you got them from. And one of them
24 is that the seizures were progressive and that they
25 were worsening, leading to both surgeries, that is,

1 the first surgery and the second one.

2 She obviously had an onset of seizures
3 when this condition first came about and later went to
4 Montreal where the initial resection was done. Where
5 did you glean that they were getting worse, Doctor?

6 A. Actually I believe that you are the one
7 that told me about the seizure-free period after --

8 Q. The clinic?

9 A. The clinic?

10 Q. I'm sorry,

11 A. After Montreal?

12 Q. Right.

13 A. And then the progression of seizures.

14 Q. They recurred is what they did?

15 A. Well, seizures --

16 Q. Let me --

17 A. Seizures don't stop and then recur at a
18 frequency which stays static. Seizures tend to be,
19 particularly partial complex seizures, tend to have
20 some sort of progression to them, although they may be
21 static. But I think here we have a patient who was
22 seizure free, who had an episode of encephalitis, and
23 who then had seizures which required increasing
24 amounts of medication to attempt to control.

25 I believe I am quite clear in recalling

1 the letter from the neurologist in New York where
2 there were multiple drugs in an attempt to control the
3 seizures. And I think that at least to me suggests
4 very strongly that this is a seizure problem which has
5 been progressive in nature.

6 Q. That must mean it's not treatable with
7 drugs. That's doesn't mean it's getting worse, does
8 it, Doctor?

9 A. Xi: you require more drugs to control
10 seizures one year --

11 Q. They were not being controlled by drugs
12 at all, sir.

13 MR. IRWIN: Objection. Let him finish
14 his answer.

15 MR. KAMPINSKI: His answer is assuming
16 things I don't know to be a fact.

17 Q. BY MR. KAMPINSKI: You are assuming the
18 drugs are controlling the seizures?

19 A. I said no such thing. I said that
20 multiple drugs were utilized and that the seizures
21 were not very well controlled, which is why the
22 consideration for surgery was given.

23 Q. Right.

24 A. However, if the seizures were mild,
25 nobody would consider surgery in the first place.

1 And, therefore, it is very important to ascertain the
2 seizure history as started from the beginning. The
3 fact alone that for 18 months there were no seizures
4 and then the reemergence of: seizures I think is
5 excellent evidence that it is in fact a progressive
6 seizure problem.

7 Q. The absence of seizures occurred after
8 the surgery. Do you attribute any significance to
9 that in assisting in the cessation of: the seizures?

10 A. Yes. I think the fact is you've
11 eradicated the seizure focus to the point that you no
12 longer had clinically evidence of seizures. And that
13 in the period after that, a seizure focus developed to
14 the point where they obviously became clinically
15 evident again.

16 Q. What does that mean? Whether they are
17 progressive or not as opposed to what you believe
18 couldn't happen, that's the recurrence?

19 A. I think we are talking in circles
20 because --

21 Q. Maybe.

22 A. -- because a complete absence of:
23 seizures and the initiation of seizures to me is
24 worsening.

25 Q. Okay.

1 A. And obviously it was for the physicians
2 and the patient and the family. Otherwise they
3 wouldn't have agreed to further management.

4 Q. Is it important to you that between the
5 discharge at the clinic and the time that she was
6 admitted to Columbia, that the seizures were pretty
7 constant and were constant in the sense that they were
8 about the same as they had been before admissions to
9 the clinic so that, in other words, for a period or
10 time prior to the second infection at Columbia, the
11 seizures were just about constant for a little over
12 three years without any change in their frequency,
13 duration, or nature?

14 MR. IRWIN: Objection.

15 THE WITNESS: You asked me whether that
16 was important?

17 Q. BY MR. KAMPINSKI: Whether that was
18 significant to you.

19 A. Well, I think it's important that they
20 are the same, certainly.

21 Q. Would you attribute any significance to
22 the fact that an event that we can in fact point to,
23 that is, the infection at Columbia, intervened between
24 a change in the nature of the seizures and, quite
25 frankly, the nature of when they come about, that is

1 related now to **physical activity**, whereas before she
2
3 various sports teams?

4 MR. IRWIN: Objection.

5 Q. BY MR. KAMPINSKI: Is that significant
6 to you, Doctor?

7 MR. IRWIN: Objection.

8 THE WITNESS: I think it would be
9 significant if there was worsening of the seizures,
10 certainly.

11 Q. BY MR. KAMPINSKI: The relationship of
12 the seizures to **physical activity**, what significance
13 does that have?

14 MR. IRWIN: Objection.

15 Q. BY MR. KAMPINSKI: It you know.

16 A. I don't know.

17 Q. Obviously it has some significance in
18 terms of what she can and can't do. But in terms of
19 medically, you just don't know why that might be?

20 MR. IRWIN: Objection.

21 THE WITNESS: Correct,

22 Q. BY MR. KAMPINSKI: Before when you made
23 reference to mild seizures, I take it as to the
24 patient there probably is no such thing as a mild
25 seizure?

1 A. If a patient has **severe** seizures and
2 **mild seizures**, I suspect it does make a difference.

3 Q. Was Doctor Hahn ever retained as an
4 expert in any case that you were associated with,
5 Doctor:'

6 A. No.

7 3. Was anybody at the clinic ever retained
8 as an expert in a case that you were involved in?

9 A. No.

10 Q. Are you the only member of the group of
11 neurosurgeons from Cleveland or are there others?

12 A. No.

13 Q. Who else.?

14 A. Doctor **Kekate**, R-e-k-a-t-e.

15 Q. And has he been involved in litigation,
16 do you know, Doctor?

17 A. I don't know. I don't think so. I mean
18 nothing that would **strike** me. You are talking about
19 being an expert **witness**? Being sued as a defendant?

20 Q. **Yes**. As a matter of tact, represented
21 by the same firm that's **here** today, Reminger and
22 Reminger and Mr. Goldwasser.

23 A. I'd rather ask them. Can we ask them?

24 Q. Do you know?

25 A. I don't know.

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

A. Yeah.

17

Q. What was that?

18

A. A research publication,

19

Q. What was the subject: matter?

20

A. Cerebral ischemia.

21

Q. How was it that you were involved in a
research paper with him? I mean was --

22

23

24

25

A. Because we were both interested in the
same drug and he used it in one particular instance
and we used it in another and we collaborated our

1 data.

2 Q. This was while you were in Cleveland?

3 A. Yes.

4 Q. Why don't we take just a couple minute
5 break and I'll look over my notes and I should be able
6 to finish up fairly quickly.

7 Was there any downside risk to removing
8 the wire mesh that you could see from the record,
9 Doctor? In other words, it didn't serve any function
10 other than cosmetic; would that be a fair statement?

11 A. That's a fair statement.

12 Q. And I guess I jumped the gun. Was there
13 any downside risk to the removal of the wire mesh?

14 A. The downside risk is only in further
15 surgery in a field that is infected and requires
16 further tissue dissection in finding the wire which by
17 this time I'm sure is completely embedded in soft
18 tissue.

19 Q. But I assume had the need been felt to
20 be present to remove it, the downside risk that you've
21 just indicated would not be overwhelming in terms of
22 preventing its being done especially in the hands of a
23 surgeon such as Doctor Hahn?

24 MR. IRWIN: Objection.

25 THE WITNESS: Correct.

1 Q. BY MR. KWMPINSKI: The finding in the
2 operative note that subgaleal fluid was cloudy, was
3 that important to you in terms of your review?

4 A. I'm not sure what that question means.
5 I mean was it important? Important in what sense?

6 Q. Well, it was later confirmed by the
7 findings, the laboratory findings, in terms of this
8 being meningitis, correct?

9 A. Right.

10 Q. That was important to you?

11 A. Right.

12 Q. And it implies, of course, that the
13 organism, the bug, is into the spinal fluid?

14 A. Correct.

15 Q. And is it more likely to have
16 disseminated throughout the area contained within the
17 skull if it's found to be a meningitis than if it were
18 not?

19 MR. IRWIN: Objection.

20 THE WITNESS: Meningitis means that the
21 spinal fluid which runs around the brain and within
22 the cavities of the brain and down into the spine is
23 infected and not limited to one area.

24 Q. BY MR. KAMPINSKI: Right. So that's a
25 pretty important finding, isn't it, Doctor?

1 A. The meningitis was really established
2 through the spinal fluid at that point.

3 Q. Okay. But it confirmed the finding of
4 cloudy subgaleal fluid; that was an indication that
5 was the fact?

6 MR. IRWIN: Objection.

7 Q. BY MR. KAMPINSKI: Correct?

8 A. Subgaleal fluid is a different space
9 than the spinal fluid. And they are connected through
10 the operation and, thus, there is a continuity.

11 Q. Okay.

12 A. And I would have been surprised if it
13 had been otherwise rather than being surprised had it
14 been there.

15 Q. Okay. I'm not inferring that you should
16 have been surprised- I mean one confirmed the other?

17 A. Right.

18 Q. It was, therefore, known that the entire
19 brain area was affected, not just the limited area
20 perhaps of the operative site?

21 MR. IRWIN: Objection.

22 THE WITNESS: No.

23 Q. BY MR. KAMPINSKI: If it was not known,
24 could one highly suspect that?

25 MR. IRWIN: Objection.

1 THE WITNESS: No, your question was
2 quite different.

3 MR. KAMPINSKI: Okay.

4 THE WITNESS: Can you read back his
5 question-

6 (Whereupon, the record was read by the
7 court reporter as requested.)

8 THE WITNESS: We are talking about two
9 entirely different things. One is spinal fluid and
10 one is brain.. There was no evidence that the brain
11 itself was infected, but rather that the spinal fluid
12 was infected.

13 Q. BY MR. KAMPINSKI: The **spinal** fluid as
14 you indicated circulates throughout the brain; would
15 that **be** correct?

16 A. Circulates around the brain and in the
17 ventricles which are within the brain, but not
18 throughout the **brain**.

19 Q. Is that a potential means of this bug or
20 an infection, especially an **indolent** infection,
21 becoming contained within the capsule of the skull?

22 MR. IRWIN: Objection.

23 THE WITNESS: No.

24 Q. BY MR. KAMPINSKI: How did this bug get
25 from the operative site to wherever it festtered for a

1 year and a half before it reemerged? And I'll ask you
2 to assume it's the same bug.

3 A* I think the -- although we don't know
4 that it's the same bug.

5 Q. I'll ask you to assume that.

6 A. I have no problems with that assumption
7 because it makes sense. Where that bug hid for a year
8 is hard to say, but it may well have been in an area
9 that has relatively poor blood supply.

10 Q. Bone flap?

11 A. Bone flap.

12 MR. IRWIN: Objection.

13 Q. BY MR. KAMPINSKI: Or a foreign body?

14 A. Foreign body.

15 Q. Those would be the two most likely
16 places'?

17 A. Those would be two likely places.

18 Q. You indicated that you had been told
19 that Doctor Fromm opined that not only should the bone
20 flap be removed, but also that part of the bone
21 surrounding it as well, Do you differ with that
22 opinion?

23 A. Yes,

24 Q. Why as that?

25 A. Every action that is taken carries with

1 it a risk and everything is a judgment. I personally
2 know of: no one that would remove the bone flap and
3 then the additional bone around the edge of the
4 craniotomy, I see no reason for it, I only see
5 additional risk and I would strongly disagree with
6 that course of action.

7 Q. There is a risk of nonremoval of bone
8 flap, isn't there?

9 A. I believe I just said any action;
10 whether it's leaving it behind or taking it out
11 carries with it a calculated risk.

12 Q. If you were going to remove it, do you
13
14 bone flap should also be excised to ensure the
15 eradication of the bug;

16 A. That's what I was answering.

17 Q. Okay. The infection at the clinic --
18 you may have answered this in different terms and let
19 me ask it in these terms -- did it involve both the
20 epidural and subdural space?

22 Q. Does the fact that Jill Boltin's father
23 was a radiologist have any influence in your analysis
24 of this case?

25 A. Yes.

1 Q. What is that, Doctor?

2 A. I have the feeling that throughout the
3 records, he took a very active role in the
4 decision-making process. And particularly toward the
5 time of discharge, it was obvious that the patient
6 very much wanted to go home, and that the father as a
7 physician felt very confident in continuing the
8 treatment,

9 Q. Is that it? So it makes a difference as
10 to who the father of the patient is to you in terms of
11 whether or not you discharge your patient whose had an
12 infection such as that; is that your testimony,
13 Doctor?

14 A. Very much so. Very much so.

15 Q. In other words, if he was a Lawyer, you
16 wouldn't do it, but if he is a radiologist, you would
17 do it?

18 A. One has to be circumspect in that
19 particular question, But I think if I have a patient
20 whose parents are very reliable, it plays a definite
21 role in my discharge, whether it would be a day or two
22 earlier than any situation in which there is an
23 unreliable set of parents.

24 Q. So it wasn't the fact that he was a
25 doctor, but rather that he was reliable?

1 MR. IRWIN: Objection.

2 Q. BY MR. KAMPINSKI: Or both?

3 A. It was both. In this case, the tact
4 that he was a physician was important in that
5 according to the chart, he was going to manage
6 treatment after discharge.

7 Q. I didn't think doctors could manage
8 their own family.

9 MR. IRWIN: Objection.

10 Q. BY MR. KAMPINSKI: Or is that just
11 surgery?

12 A. I think that's not true at all..

13 Q. Is that just surgery?

14 A. Not even in surgery is that true.

15 Q. What's the reason that doctors normally
16 won't operate or handle the ailments of: their own
17 family?

18 MR. IRWIN: Objection.

19 THE WITNESS: I think that's a **personal**
20 choice. But the reason for doing it is **because** you
21 are emotionally involved.

1 a. Were there some assumptions made from
2 what you could see in the record by Doctor Hahn or
3 Lesser as to the ability of -- maybe you've just
4 answered this -- of Doctor Boltin to further attend to
5 the needs of his daughter?

6 A. I'm obviously limited by what I read.
7 But I recall one note specifically by Doctor Lesser
8 which discussed the continuation of.

9 Q. Continuation of what?

10 A. IV antibiotics and the tather's -- and
11 the tather feeling comfortable to do that.

12 Q. She was discharged on oral, wasn't she?

13 MR. IRWIN: Objection.

14 THE WITNESS: The perusal of the notes
15
16
17
18
19

20 not on IV, but rather on oral?

21 MR. IRWIN: Objection.

22 THE WITNESS: I woudb disagree with the
23 IV discontinuation.

24 Q. BY MR. KAMPINSKI: The subsequent
25 admission at Good Samaritan shortly after the

1 Cleveland Clinic discharge, was that significant to
2 you at all in terms of your analysis of this case?
3 Obviously it wouldn't make a difference as to whether
4 or not Doctor Hahn did or didn't do the right thing.
5 But as far as any other analysis in this case.:

6 A. I think I'd prefer a more specific
7 question,

8 Q. For example, do you have any criticism
9 of anything that was or wasn't done at Good Samaritan
10 shortly after her discharge from the Cleveland Clinic?
11 For example, would you have anticipated that *they*
12 would have gone back in and removed the wire mesh at
13 that point?

14 A. I think at that point there would have
15 been no reason to take out the wire mesh.

16 Q. All right. And they could have, I **take**
17 it, relied to some degree on Doctor Hahn having been
18 there, seen what **he** saw, them not having **the** Cleveland
19
20

21

22 THE WITNESS: Correct.

23 Q. BY MR. KAMPINSKI: Are you familiar with
24 the procedure that was undertaken by Doctor Lesser and
25 Doctor Hahn, that **is**, the testing by the placement of

1 this plate with electrodes under the scalp to find the
2 seizure focus and then attempt to eliminate it?

3 A. Yes.

4 Q. Have you actually been involved in that
5 pracedure?

6 A. No.

7 Q. Was it being done at University
8 Hospital, tor example! When you were there is what I
9 mean.

10 A. I wasn't involved with any ot it. And
11 it it was done, it was done very intrequently.

12 Q. Was it a fairly accepted method of
13 dealing with an epileptic problem?

14 A. Yes.

15 Q. But I take it it was only done at some
16 tew institutions?

17 A. Correct.

18 Q. The purpose of that is to try to see if
19 there is a focus outside of the speech area that can
20 an tact be eliminated without hopefully too much
21 functional deficit occurring?

22 A. Correct.

23 Q. And there is, of course, as we knaw and
24 agree the risk of infection in any surgical procedure
25 and especially this one, the second procedure, that

1 is, the movement of the plate to try to further
2 determine where the focus of the seizure activity was;
3 have you ever seen that done anywhere, Doctor?

4 A. No.

5 Q. Have you ever heard of it being done
6 anywhere?

7 A. Advancing an electrode?

8 Q. Right, Well, actually removing I guess
9 the plate, re-forming it, cutting parts of it off, and
10 placing it back in the scalp,

11 MR. IRWIN: Objection.

12 Q. BY MR. KAMPINSKI: Have you ever heard
13 of that being done, sir?

14 A. Not specifically in that context,

15 Q. ALL right. Apparently you are thinking
16 of something that's similar or --

17 A. It's not infrequent that adjustments
18 have to be made in something that is placed inside the
19 head. For example, a catheter that's not in too
20 enough or in too far. And one would have to readjust
21 it.

22 Q. Would that entail sterilization of the
23 catheter after its removal or putting a new one in?

24 A. It depends on the specific circumstance.
25 Certainly you are not going to put in something that

1
2
3
4
5
6
7
8
9 Depends what they used for cleaning it, But
10 conceptually, it certainly is not unreasonable as long
11 as the additional risk is recognized.

12 Q. BY MR. KAMPINSKI: Do you have any other
13 opinions that we haven't discussed with respect to
14 the care and treatment of Jill Boltin, Doctor?

15 MR. IRWIN: Objection.

16 THE; WITNESS: None that come
17 specifically to mind.

18 Q. BY MR. KAMPLNSKI: Well, you know, I'm
19 here to try to determine it there are any. And if you
20 need a minute to think about them -- I spent this
21 long. I'll wait a few more minutes. aut I don't want
22 to have us meet again in January, which we'll do, and
23 tind that you've harbored additional opinions that we
24 haven't explored here today.

25 a. Mot to the best of my knowledge.

1 Q. Given Jill Boltin's history of surgery,
2 she has now undergone four procedures, do you have any
3 opinion as to the likelihood of her being a candidate
4 for any additional surgeries?

5 A. I don't think that four procedures
6 eliminate any reasonable chance for operating again.
7 I don't see any reason why,

8 Q. Do you believe that she is at any
9 increased risk for recurrence of the infection if in
10 tact there was ever any additional procedure done on
11 her?

12 A. I think multiple operations carry a
13 higher risk in infections than single operations, But
14 there are many patients who have many more operations
15 than four who have no problems with infections. I
16 think if the infection is eradicated, the risk is no
17 higher in her than it is with somebody else that has
18 had multiple operations.

19 Q. Do you think that any additional
20 **surgeries** at this point would be advisable in terms of
21 trying to find either the focus or surgical area
22 treated? Do you think she would be an appropriate
23 candidate for additional testing to find the **focus**?

24 A. I don't think I really feel qualified to
25 **make** that determination.

1 Q. That's because you don't get *in* that
2 procedure?

3 A. Because I haven't seen the patient and
4 evaluated the seizure status. Complicated process.
5 Not one to be given a glib answer.

6 Q. Did you indicate that you *did* or did not
7 know Doctor Lesser? I think I asked you about Doctor
8 Kahn.

9 A. I know Doctor Lesser on a very, very
10 limited basis.

11 Q. What basis?

12 a. He gave a presentation at a course and I
13 was part of the faculty. And as a faculty group, we
14 had dinner together.

15 Q. This was while you were at the
16 university?

17 a. No. This was in Phoenix.

18 Q. He gave a paper here?

19 A. Correct.

20 Q. What **was** it on, do you recall?

21 a. It was on managing patients with
22 **seizures.**

23 Q. Did you discuss this case at all with
24 him?

25 a. No.

I Q. Was that before or after your
2 involvement in reviewing this case?

3 A. This was before.

4 MR. KAMPINSKI: That's all the questions
5 I have. Thank you, Doctor.

6 (Whereupon, the deposition was concluded
7 at 3:55 o'clock p.m.)

8

9

10

11

ROBERT F. SPETZLER, M.D.

12

13 STATE OF ARIZONA)
14) ss.
15 COUNTY OF MARICOPA)

16

17 This instrument was acknowledged before me,

18 _____, this _____ day of

19 _____, 1986 at Phoenix, Arizona.

20

21 In witness whereof, I hereunto set my hand and
22 official seal.

23

24 My commission expires:

25

Notary Public

1 STATE OF ARIZONA)
) ss.
 2 COUNTY OF MARICOPA)

3
 4 BE IT KNOWN that the foregoing deposition was
 5 taken **betore** me, MARK BARTUNEK, a Notary Public in and
 6 for the County of Maricopa, State of: Arizona; that the
 7 witness before testifying was duly sworn by me to
 8 testify to the whole truth; that the questions
 9 propounded to the witness and the answers of the
 10 witness thereto were taken down by me in snorthand and
 11 thereafter reduced to typewriting under my direction;
 12 that the deposition was submitted to the witness to
 13 read and sign: that tha foregoing 71 pages are a true
 14 and correct transcript of all proceedings had upon the
 15 taking of said deposition, all done to the best of my
 16 skill and ability.

17 I FURTHER CERTIFY that I am in no way related to
 18 any of the parties hereto nor am I in any way
 19 interested in the outcome hereof.

20 DATED at Phoenix, Arizona, this 9th day of
 21 December, 1986.

22
 23 My commission expires
 24 January 28, 1987
 25

Mark Bartunek
 Notary Public