

1 The State of Ohio,  
2 County of Cuyahoga. :

3

4 IN THE COURT OF COMMON PLEAS

5

6 Ella Ruth Weatherspoon, :

7 Extrx., etc., :

8 Plaintiffs,

9 vs. : No. 297651

10 Cleveland Clinic Foundation,

11 et al.

12 Defendants.

13 - - - - -

14 Video deposition of EZRA STEIGER, M.D., a  
15 witness herein, taken by the defendants as if upon  
16 direct examination before Diane D. Barto, a  
17 Certified Professional Court Reporter and Notary  
18 Public within and for the State of Ohio, at the  
19 Cleveland Clinic Foundation, Building A, 8th  
20 Floor, Cleveland, Ohio, on Saturday, the 30th day  
21 of November, 1996, at 2:00 p.m., pursuant to  
22 notice and agreement of counsel.

23 - - - - -

24

25

## 1 APPEARANCES:

2 Ms. Donna Taylor-Kolis  
3 Ms. Ann Garson

4 On behalf of the Plaintiffs;

5  
6 Jacobson, Maynard, Tuschman & Kalur, by  
7 Mr. Dale L. Kwarciany,

8 On behalf of the Defendants.  
9  
10  
11  
12

13 EXAMINATION OF EZRA STEIGER, M.D.

14 By Mr. Kwarciany . . . . . 3, 82

15 By Ms. Taylor-Kolis . . . . . 7, 84  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

1 EZRA STEIGER, M.D.

2 of lawful age, a witness herein, called for direct  
3 examination by the defendants, being by me first  
4 duly sworn as herinafter certified, deposed and  
5 said as follows:

6 DIRECT EXAMINATION

7 BY MR. KWARCANY:

8 MR. KWARCANY: Ladies and  
9 gentleman of the jury, this is attorney  
10 Dale Kwarcianny. It is Saturday, November  
11 30th. We are here at the Cleveland Clinic  
12 this afternoon in order to video tape the  
13 deposition of one of the witnesses who will  
14 be testifying on behalf of the defense in  
15 this lawsuit.

16  
17 DIRECT EXAMINATION

18 BY MR. KWARCANY:

19 Q. Doctor, would you introduce yourself to the  
20 jury, please?

21 A. I'm Ezra Steiger.

22 Q. And what is your medical specialty, Doctor?

23 A. General surgery.

24 Q. Do you have any sub-specialty or area of  
25 special expertise or interest within your

1 field of specialty?

2 A. Yes, surgical nutrition.

3 Q. What is surgical nutrition?

4 A. The nutritional care or rehabilitation of  
5 people who are post-operative patients or  
6 pre-operative patients with specialized  
7 forms of nutritions for it. That is either  
8 intravenous feeding or tube feeding.

9 Q. By whom are you currently employed?

10 A. Cleveland Clinic Foundation.

11 Q. How long have you been employed by the  
12 Cleveland Clinic Foundation?

13 A. 21 years.

14 Q. Can you briefly describe for us your  
15 medical education and training beginning  
16 with undergraduate school and take us up to  
17 the present date, please?

18 A. Sure. I went to undergraduate school at  
19 Ohio State University in Columbus, Ohio. I  
20 went to medical school at the Ohio State  
21 University College of Medicine in Columbus,  
22 Ohio. I did my surgical internship and  
23 residency at the hospital of the University  
24 of Pennsylvania. Did my two years in the  
25 air force in Biloxi, Mississippi as a

1           general surgeon, then came to the Cleveland  
2           Clinic Foundation in August of 1975.

3       Q.     Now Doctor, did you have the opportunity to  
4           treat a patient by the name of Leotis  
5           Weatherspoon here at the Cleveland Clinic  
6           Foundation?

7       A.     Yes, I did.

8       Q.     For what sorts of problems did you treat  
9           Mr. Weatherspoon over the years?

10      A.     For malnutrition.

11      Q.     Can you basically summarize for us the  
12           treatment that you provided to Leotis  
13           Weatherspoon here at the Cleveland Clinic  
14           over the years?

15      A.     Yes. We initially had him on some tube  
16           feeding and at one time had him on some  
17           intravenous feeding in the hospital as well  
18           as home setting and saw him at -- several  
19           years after that for an opinion about  
20           possible intravenous feeding before plan  
21           does surgery.

22      Q.     Approximately how many years did you treat  
         this man?

24      A.     Approximately -- I'm just looking at my  
25           records -- since 1984.

1 Q. Were there other doctors who are also  
2 involved with this man's nutrition  
3 problems?

4 A. Yes. Dr. Signor.

5 Q. Dr. Steiger, what do you recall of this  
6 man's overall physical appearance?

7 A. Mr. Weatherspoon appeared wasted,  
8 malnourished, had evidence of significant  
9 weight loss throughout my exposure to  
10 him -- or experience with it.

11 Q. Was this man cachectic?

12 A. He was cachectic.

13 Q. What does that mean?

14 A. That means someone who's had a lot of  
15 weight loss.

16 Q. What was your prognosis for this man?

17 A. Actually every time I saw him it was  
18 amazing that he was still going of the  
19 years that I had seen him at the Clinic.  
20 He just looked very malnourished, like  
21 somebody you would expect to see coming out  
22 of a concentration camp.

23 MR. KWARCANY: Thank you,  
24 Dr. Steiger. I have nothing further.

25

## CROSS-EXAMINATION

BY MS. TAYLOR-KOLIS:

Q. Dr. Steiger, good afternoon. Of course you know I am Donna Kolis. Although, I wasn't actually planing on doing this, let me ask you:

If I have now fairly read all of the Cleveland Clinic records, in January of 1987 that particular -- let's call it 60 day hospitalization which you and I are going go through today -- you had the photography department take photographs of Mr. Weatherspoon. Those would be contained in the original charts, wouldn't they?

A. The photographs themselves?

Q. Yes.

A. I'm not sure if they keep photographs in the original charts.

Q. Well, let me indicate to you -- and if we need to go off the record we will -- that contained within those records are some manila envelopes that have been sealed and I suspect that they are the 1987 photographs of Mr. Weatherspoon.

Would that refresh your memory of

1           where those photographs might be?

2       A.     No, not necessarily. I could say that we  
3           often would take photographs of patients  
4           before starting them on intravenous  
5           feeding. I'm not sure if those were those  
6           photographs that you were talking about.

7       Q.     Those would be the ones I'm referring to.

8                     Let me ask you a candid question:  
9           Are you indicating for the jury that  
10          between the time when you were caring for  
11          him in -- and we're focus on early 1987 and  
12          the end that he never gained weight and  
13          never looked better than he did early in  
14          1987?

15      A.     No. I think there were times where he  
16           gained some weight and looked better and  
17           there are other times when he lost the  
18           weight and looked worse.

19      Q.     Well, let's focus on this, let's see how  
20           well you looked at these records. Do you  
21           recall that in early 1987 when he was under  
22           your care Mr. Weatherspoon weighed  
23           approximately 114 pounds?

24      A.     Again, these -- I wouldn't be able to be  
25           accurate --



1 Q. That's okay.

2 A. -- as far as absolute weights and dates but  
3 I would be happy to look at the charts to  
4 corroborate them.

5 Q. Well, I think we're going to be going  
6 through those records shortly so that would  
7 be all right. So why don't I start with  
8 some general questions and we'll come back  
9 to that later.

10 As a surgeon, a general surgeon who  
11 specializes in nutritional therapy and in  
12 total parenteral nutrition you would be  
13 familiar with the organs of digestion and  
14 essentially what they do, correct?

15 A " Correct.

16 Q. All right. Let's see if you agree with me  
17 on these issues.

18 Serum albumin, Dr. Steiger, is the  
19 most abundant of the liver synthesized  
20 proteins. Do you agree with that?

21 MR. KWARCANY: Let me  
22 just show an objection to this line of  
23 questioning as going beyond the scope of  
24 direct examination, but go ahead.

25 MS. TAYLOR-KOLIS: Your

1 objection is duly noted and I believe it  
2 goes directly to the questions that you  
3 posed to this doctor.

4 Q. Doctor, your answer to the question?

5 A. Again restate the question. I'm sorry.

6 Q. Oh, sure. Serum albumin I think you and I  
7 can agree is the most abundant of the liver  
8 synthesized proteins. Would you agree with  
9 that?

10 A. I would agree with that.

11 Q. Okay. Albumin is not stored, Doctor, but  
12 it is secreted continuously into the blood  
13 plasma, correct?

14 A. Yes. But albumin is not only in the blood  
15 but it's in the subcutaneous tissues or  
16 other areas than the blood.

17 Q. Oh, I agree with that. I'm sorry.

18 What I was indicating is blood  
19 that's synthesized through the liver isn't  
20 stored there it is distributed  
21 continuously --

22 A. It's distributed, correct.

23 Q. Correct? Albumin, Doctor, would be  
24 responsible for osmotic mediation between  
25 blood water and tissues, correct?

1 A. Correct.

2 Q. It's its primary function, in fact?

3 A. It's certainly an important function.

4 Q. All right. If you have a protein losing  
5 enteropathy due to malabsorption in the  
6 intestines, there just essentially isn't  
7 enough proteins for synthesis through the  
8 liver, correct?

9 A. Correct. In that there is more being lost  
10 or destroyed than is being produced.

11 Q. Okay. Pancreatic enzymes, Doctor, break  
12 down carbohydrates, fats and proteins into  
13 usable units of nutrition, correct?

14 MR. KWARCIAANY: Again, show  
15 a continuing line of objection to these  
16 questions.

17 MS. TAYLOR-KOLIS: Well, let  
18 me state now since the Court will need to  
19 rule on it, you have set forth this witness  
20 to indicate a poor prognosis for this  
21 patient.

22 Dr. Steiger, has cared for Mr.  
23 Weatherspoon over a substantial period of  
24 time. I need to test his knowledge, as it  
25 were, as to the conditions that Mr.

1           Weatherspoon suffered from and be able to  
2           go through what treatment he rendered for  
3           him and how effective it was.

4                     And with that we're going to  
5           continue with these questions.

6       Q.     Now the last question I had asked you,  
7           Doctor, was about pancreatic enzymes. Of  
8           course, as you would agree with me that  
9           prior to becoming your patient Leotis  
10          Weatherspoon had had a Whipple, correct?

11     A.     Correct.

12     Q.     All right. Of essence of having this  
13          Whipple he lost some of those digestive  
14          enzymes that could cause malabsorption,  
15          correct?

16     A.     Correct.

17     Q.     All right. Now at a later time, after the  
18          Whipple, I assume that you knew when he  
19          became your patient, that he had had  
20          partial removal of his stomach, the  
21          Billroth series of surgeries, correct?

22     A.     Correct.

23     Q.     All right. Now that stomach, so the ladies  
24          and gentleman of the jury understand, it  
25          secretes pepsin which breaks down proteins,

1 correct?

2 A. Pepsin helps to break down proteins,  
3 correct.

4 Q. Sure. I'm not saying it's solely the  
5 function of the stomach but it's something  
6 that the stomach does, right?

7 A. Right.

8 Q. All right. The stomach itself also  
9 secretes and intrinsic factor that absorbs  
10 B12 in the intestinal tract; isn't that  
11 right?

12 A. Correct.

13 Q. Okay. The other thing the pancreas does,  
14 Doctor -- or at least it's my understanding  
15 is that it does secrete a bicarbonate that  
16 will help to neutralize hydrochloric acids  
17 coming out of the stomach; isn't that  
18 right?

19 A. Correct

20 Q. So when you have a Whipple, likewise, the  
21 bicarbonate function is also impaired,  
22 isn't it?

23 A. Could be, sure.

24 Q. Okay. And these are things that you would  
25 have known as you began your treatment of

1 Mr. Weatherspoon --

2 A. Correct.

3 Q. -- because he was minus two thirds of his  
4 pancreas essentially, correct?

5 A. Correct.

6 Q. And a good portion -- and I'm just going to  
7 say a good portion because I'm not a  
8 surgeon -- of his stomach because of his  
9 Billroth initially, correct?

10 A. Correct.

11 Q. Now during the time that you treated him  
12 beginning in 1984 through approximately,  
13 let's deal first with the fall of 1989,  
14 half a decade, a five year period. Mr.  
15 Weatherspoon in fact did have a  
16 malabsorptive disorder, didn't he?

17 A. In my own mind I'm not too clear about  
18 that. I always consider malabsorption to  
19 occur when people have intractable  
20 diarrhea, steatorrhea and conditions like  
21 that. That wasn't a prominent part of his  
22 complaints or symptoms.

23 Q. Okay. You've given me an answer that I  
24 think is fairly direct, but let's sort of  
25 clarify it.

1                   You're indicating that your review  
2                   of the records and your recollection of Mr.  
3                   Weatherspoon is that he didn't have  
4                   diarrheal symptoms associated with his  
5                   Billroth procedures and his Whipple; is  
6                   that right?

7       A.       Correct.

8       Q.       Now you can have a malabsorbtive disorder  
9                   which does not include diarrhea as a  
10                  component of it, correct?

11      A.       Not too many that I'm familiar with.  
12                  Again, I'm not a gastroenterologist who  
13                  would be most knowledgeable about that type  
14                  of thing. But most of the malabsorption  
15                  disorders that I come in contact with, in  
16                  my area of surgical nutrition, are those  
17                  people who have significant diarrhea.

18      Q.       Okay. The medical definition of  
19                  malabsorption, so the jury understands what  
20                  we're talking about, isn't that a person is  
21                  having diarrhea, it's their malabsorbing  
22                  proteins, carbohydrates and fats, correct?

23      A.       Correct.

24      Q.       All right. To clarify, perhaps, your  
25                  thinking, there are other people that

1           treated Mr. Weatherspoon in that period of  
2           1984 through 1989 along side of you,  
3           correct?

4       A.     Correct.

5       Q.     Some of them were gastroenterologists,  
6           weren't they?

7       A.     Correct.

8       Q.     And they have made notes in the charts,  
9           correct?

10      A.     Correct. I think that's right.

11      Q.     If they call it a malabsorption disorder,  
12           do you defer them to their judgment since,  
13           as you've indicated, you are not a  
14           gastroenterologist?

15      A.     That would be up to their definition.  
16           Again, my definition would not be a  
17           malabsorption disorder.

18      Q.     Well, I'll tell you what, we need to go  
19           through these records so there is some  
20           clear thinking for the jury in terms of  
21           that component.

22                   I know that you have the original  
23           records but what I've done is pulled the  
24           records chronologically and highlighted  
25           them so that you can easily read them.



1                   The first note that I'm going to  
2           hand you, I believe, is from 1984, isn't  
3           it?

4    A.    The first note you handed me, yes.

5    Q.    12/15/84.

6    A.    It looks like 12/15 or 12/5/84.

7    Q.    Okay. One or the other dates.

8                   But the initial impression is  
9           malnutrition with a GI disorder. That's  
10          about as specific as that is; isn't that  
11          correct?

12   A.    Correct. From the department of nutrition  
13          services.

14   Q.    All right. If you want to hand me that one  
15          back, that would be all right.

16                   Let me ask you this, Doctor: Even  
17          though you're not a gastroenterologist you  
18          work extremely close with that discipline  
19          of medicine in your capacity as director of  
20          nutritional surgical services, don't you?

21   A.    Yes, I do.

22   Q.    Okay. If I said to you that someone was  
23          known to have a GI dismotility, dismotility  
24          is what?

25   A.    Where the intestine doesn't work

1 appropriately in carrying food through it.

2 Q. Okay. And if it doesn't work appropriately  
3 in carrying food through it there is  
4 probable an element of malabsorption, isn't  
5 there?

6 A. No, not necessarily.

7 Q. Okay. Dr. Carey -- Dr. Carey was a  
8 gastroenterologist here, correct?

9 A. Correct.

10 Q. I haven't seen his name recently, that's  
11 why I said "was", I don't know.

12 This is his May 20, 1985 note. Do  
you see that?

14 A. Yes, I do.

15 Q. And he documents IV leave intermittent  
16 nausea and vomiting. All right. That's a  
17 dysmotility in the stomach essentially,  
18 isn't it?

19 A. Well, there are a lot of things that can  
20 cause intermittent nausea and vomiting but  
21 dysmotility of the stomach can be one of  
22 those.

23 Q. All right. Dysmotility is what he's  
24 documenting, isn't it, in that note?

25 A. I can read the whole note, should I do

1           that?

2       Q.     If you can see where he indicates there's a  
3           dismotility I would like an acknowledgment  
4           to that.  If it's not there, just let me  
5           know.

6       A.     I do not see that in that highlighted note  
7           of May 20, 1985 by Dr. Carey, no.

8       Q.     All right.  Well, let's see if I can locate  
9           it.  I thought it was in there.  Oh, sorry.

10               Second page.  I should have turned  
11           it over for you.  Dr. Carey on your service  
12           at that time says what?  On the highlighted  
13           portion.  "Motility disorder of the small  
14           bowel", correct?

15       A.     It says impression -- "IMP", which stands  
16           for impression, "motility" there's some  
17           initials there that I'm not familiar with.  
18           Could be disorder of the small bowel.

19       Q.     Okay.  All right.  December of 1986 Dr.  
20           Carey, of course, is involved in your team.  
21           He indicates based on Mr. Weatherspoon's  
22           weights, it is needed to keep careful tabs  
23           on him regarding his enteral feedings and  
24           if not go to TPN if not successful.

25               Can you confirm that that's what his

1           note says a year later based on on-going  
2           care?

3       A.     See Dr. Carey December 9, 1986 that part  
4           you have outlined, "need to keep careful  
5           tabs on" something "of enteral feedings and  
6           go to TPN if not successful", correct.

7       Q.     Okay. Let me ask you at this point, now  
8           we're talking close to the end of 1985 when  
9           this note's written -- and it does pretty  
10          clearly show the weight is 114; is that  
11          right?

12      A.     Correct. It shows 114.4 pounds.

13      Q.     Okay. If he doesn't gain weight on enteral  
14           feedings the note is indicating it should  
15           go to TPN. Now I don't know if the jury  
16           would have at this point heard TPN. TPN,  
17           is this the intervenous feeding that your  
18           referring to earlier when you spoke to Mr.  
19           Kwarciany?

20      A.     Yes, it is.

21      Q.     That's total parenteral nutrition, correct?

22      A.     Correct.

23      Q.     Now so that the jury can see what your  
24           assessment of this is, TPN provides a pure  
25           source of nutrition to a person when the

1 person's digestive tract is not absorbing  
2 all the nutrition they need, isn't that  
3 correct?

4 A. Correct.

5 Q. All right. And at this point, although  
6 we're going to discuss it later, shortly  
7 after that note you did have him in the  
8 hospital and you put him on TPN in the  
9 hospital. Does refresh your recollection  
10 about early 1987?

11 A. That's certainly possible. Again, I don't  
12 remember exact dates.

13 Q. That's all right, we'll get to it.

14 Much later, August of 1988 there's a  
15 note by yourself, Dr. Steiger. At this  
16 point can you tell the jury -- the  
17 highlighted portion shows what is your  
18 impressions or carrying medical  
19 characterization of this person?

20 A. Let's see this was an introductory sentence  
21 written by the resident on my service who  
22 saw the patient as an outpatient August 2,  
23 1988 and that first introductory sentence  
24 that person wrote, "58 year old black male  
25 status post-Whipple with GI motility

1 disorder."

2 Q. Okay. And that's written by your resident  
3 and I gather that the jury probably will  
4 know these kind of things by now, you  
5 supervised these residents, correct, as a  
6 method of teaching?

7 A. Correct.

8 Q. And if a resident writes something in an  
9 office note of yours that's in error you  
10 would correct it either by an additional  
11 note or correctly on this note?

12 A. Not necessarily, no. That may have been  
13 taken from other notes. A lot of times  
14 people will write their beginning note by  
15 quoting what's been written before as a way  
16 of introducing who the patient is that  
17 they're seeing.

18 Q. So that the jury is clear, are you saying  
19 that you wouldn't correct something that a  
20 resident wrote if it was in error?

21 A. Not that if I felt it was not a significant  
22 error, no.

23 Q. Okay. Doctor, what is a nutritional  
24 cripple in terms of a medical term?

25 A. What I would consider a nutritional cripple

1           is someone who's unable to function  
2           normally because of their nutritional  
3           status.

4       Q.    Now at a later point in time, Dr. Signor  
5           became part of your team; is that right?  
6           Dr. Signor is the gastroenterologist?

7       A.    Correct.

8       Q.    Dr. Signor writes the note in May of  
9           1993 -- I believe that's all Dr. Signor's  
10          handwriting, I think you'd recognize it?

11      A.    It sure looks like it, yes.

12      Q.    Okay. And I've highlighted for you his  
13          diagnoses at that visit and they are  
14          malabsorption and malnutrition, aren't  
15          they?

16      A.    Yes, they are.

17      Q.    Okay. So once again getting back to where  
18          we started, we had to take the long way  
19          there, there is a diagnosis by a  
20          gastroenterologist on your team of  
21          malabsorption in 1993, isn't there?

22      A.    Yes, there's a diagnosis of  
23          malabsorption -- or at least the word  
24          malabsorption is made.

25      Q.    All right. He continues that diagnosis

1 through his notes, doesn't he? Would you  
2 like to see another note?

3 A. Sure.

4 Q. Okay. His August 24, 1993 visit.

5 A. Yeah, he says secondary malabsorption at  
6 the third line from the top.

7 Q. Sure. And to clarify for the jury, when  
8 doctors write things like secondary  
9 malabsorption, in Mr. Weatherspoon's case  
10 the malabsorption that he's characterizing  
11 is once again caused by the lack of enzymes  
12 because digestive organs are missing from  
13 the body, correct?

14 A. I'm not -- you know there are a lot of  
15 things again that can cause malabsorption  
16 but I'm not sure that that characterizes  
17 what you say is causing it.

18 Q. Okay. can you think of something else that  
19 might have caused malabsorption in Mr.  
20 Weatherspoon?

21 A. If you don't have any food in the stomach  
22 then you're malabsorbing nutrients because  
23 there's no nutrients going through. There  
24 are a lot of different ways of diagnosing  
25 the malabsorption.



1 Q. Sure. But the August of 1993 he already  
2 had a completion gastrectomy so he had a  
3 very minimal portion of a stomach left,  
4 correct?

5 A. Correct.

6 Q. All right. Let's go to 1987. Okay. If I  
7 can find my way there. I will apologize  
8 because there is no admission/discharge  
9 chart in the original paperwork so I had to  
10 go based on notes.

11 You might want to look at this.  
12 This just may refresh your recollection.  
13 He was admitted at that time for suspected  
14 pneumonia; is that right?

15 A. This is dated January 7, 1987?

16 Q. Correct.

17 A. Do you want me to read the whole note?

18 Q. No. I'm just seeing if you can confirm  
19 through that note that that's what the  
20 admission was for?

21 A. The history said fever and pleuritic chest  
22 pain. Chest x-rays showed questionable  
23 right lower lobe infiltrate. The note  
24 isn't complete, but if the chest x-ray did  
25 show an infiltrate and he had fever and

1 chest pain that that could be, sure,  
2 consistent with pneumonia.

3 Q. Okay. Now this was your admission to the  
4 hospital, you were the attending. Do you  
5 have any reason to dispute me that you  
6 would have been the attending on this  
7 admission?

8 A. I really don't know. I don't see anything  
9 there that says admitted to Steiger's  
10 service.

11 Q. We'll find it for you, because it's in  
12 here.

13 A. Okay.

14 Q. What I wanted to show you is on 1/7/87  
15 there's an ID consult, isn't there?

16 A. Yes. 1/7/87 ID consult.

17 Q. Okay. And the ID consult was to aide and  
18 assist in the diagnosis of pneumonia; do I  
19 gather that correctly?

20 A. Yeah. It said consulted to evaluate for  
21 possible right lower lobe pneumonia,  
22 correct.

23 Q. All right. Why would you call an ID  
24 service in to evaluate for the pneumonia?

25 A. Usually they help us diagnosis any

1 infection and help us to decide where the  
2 infection is coming from and what the  
3 infecting organism is and what the  
4 appropriate treatment for that infecting  
5 organism should be.

6 Q. Okay. Is that just something, as a general  
7 surgeon, that you prefer to do, is call in  
8 the ID service for that issue?

9 A. I generally do, yes.

10 Q. Okay. Now on the second page of that  
11 they're doing a history and they're  
12 indicating that he seems to be worsening  
13 because of malnutrition; do you agree with  
14 that?

15 A. Yeah, their note says "because this patient  
16 is malnourished and the process has  
17 literally galloped in two days I believe  
18 this patient should be considered for  
19 admission to MIC", or medical intensive  
20 care unit.

21 Q. Okay. At that point you hadn't started him  
22 on his TPN, as far as I know -- and we'll  
23 go through the chart.

24 Now there's a note by you, Dr.  
25 Steiger, your nutritional assessment of

1           this patient who's admitted on the 7th of  
2           1987 and I've highlighted the labs that you  
3           wrote down. Those labs are involving the  
4           albumin, the transferrin and the PTs,  
5           aren't they?

6       A.     Yes, those are the highlighted labs.

7       Q.     Okay. Those labs were abnormal, correct?

8       A.     Correct.

9       Q.     All right. Now you are a person who takes  
10          advantage of -- I guess I'll use that  
11          phrase -- uses the nutritional assessment  
12          scoring profile, don't you?

13      A.     Yes. The PNI or the prognostic nutritional  
14          index, correct.

15      Q.     Right. But you call it the PNI. Can you  
16          indicate for the jury what Mr.  
17          Weatherspoon's PNI was in 1987 when you had  
18          him in the hospital?

19      A.     116 percent.

20      Q.     Okay. 116 percent is what kind of number?

21      A.     Severely malnourished.

22      Q.     Okay. Thank you very much.

23                     Now so that the jury understands  
24          this, because they'll probably hear a  
25          little about PNIs, you have a list of

1 factors that are evaluated to reach those  
2 PNI numbers, correct?

3 A. Correct.

4 Q. They're albumin, transferrin, pre-albumin  
5 TLC. What's TLC?

6 A. Total lymphocyte count.

7 Q. Okay. BUN, creatinine, glucose and then  
8 something called a skin test which isn't a  
9 laboratory test, correct?

10 A. Correct. But those don't go into  
11 evaluating the PNI. The PNI is based on  
12 albumin, transferrin, delayed  
13 hypersensitivity skin tests and tri-sub  
14 skin fold, those four measurements  
15 determine the PNI.

16 Q. All right. Then why do you then have  
17 creatinine and glucose?

18 A. Those are some other important parameters  
19 that we use to follow a patient.

20 Q. So it in fact is the albumin and  
21 transferrin along with two additional tests  
22 that are the most important in assessing  
23 those parameters, correct?

24 A. In deriving the PNI, correct

25 Q. Okay. And you weren't planning any surgery

1           on this patient you just did that 116  
2           during this hospitalization to assess where  
3           this patient was from a nutritional  
4           standpoint, correct?

5       A.    Yes. We often get PNIs or those  
6           nutritional assessments on patients who are  
7           malnourished to follow them over time.

8       Q.    All right. Let me ask you something since  
9           we're doing these PNIs. He's got a 116 PNI  
10          in January of '87. As we go through the  
11          jury is going to see that you put him on  
12          TPN in the hospital, kept him on for 20  
13          something days, and as one would expect you  
14          did a PNI at the end of that course of  
15          therapy.

16                   Can you indicate for the jury what  
17          his PNI was on 2/26/87?

18       A.    The PNI on 2/26/87 was 61 percent.

19       Q.    All right. So in a period of time from the  
20          16th of January until -- I'm sorry. I've  
21          lost track of what the date is -- about a  
22          month later in February, you took him from  
23          a 116 to a pretty respectable number, 63,  
24          right?

25       A.    No. 61 is still severely malnourished --

1           it's better certainly than 116 but it still  
2           ends up being severely malnourished.

3       Q.     Right. You had been able, in that time  
4           period, to improve the albumin and the  
5           transferrin numbers, correct?

6       A.     You know, I'd have to look at what the  
7           albumin and transferrin were in that  
8           original one.

9       Q.     Sure. I thought I had it listed serially.

10      A.     Let's see, on 1/6/87 his albumin was 1.5  
11           and on 2/26 the albumin was 3.0, so that  
12           certainly was improved.

13                   The transferrin on 1/6/87 was 67 and  
14           on 2/26/87 it was 176, so that's certainly  
15           was improved, too, yes.

16      Q.     So you brought those numbers up?

17      A.     Made them better, sure.

18      Q.     Okay. All right. Back to this  
19           hospitalization. Let me show you, this is  
20           a note -- and I have to honestly tell you  
21           I'm not certain whose signature it is, but  
22           it's a note in the chart. Maybe you'll  
23           recognize it. I think it's Dr. McHenry.  
24           Do you remember Dr. McHenry at the  
25           hospital?

1 A. Sure. I know who he is.

2 Q. All right. And I'm assuming it's dated  
3 1/14/87 and basically so the jury can  
4 appreciate this, it said suspect patient's  
5 decreased TP, that's total protein in  
6 albumin with low plasma.

7 I can't make out this word. Is that  
8 osmotic oncotic pressure?

9 A. With low plasma oncotic pressure --  
10 although it does look like a with-sign  
11 there, but I think it's oncotic pressure,  
12 right -- is allowing continuous pleural  
13 drainage to occur.

14 Q. Okay.

15 A. Yeah, that actually wasn't Dr. McHenry's --  
16 that's Dr. McHenry's signature at the  
17 bottom of the note. I don't think that's  
18 his handwriting as I recall his handwriting  
19 being.

20 Q. Okay. He's signing off on this note  
21 probably for a resident, correct?

22 A. Could be, yes.

23 Q. All right. And just to point this out,  
24 it's there assessment which I think perhaps  
25 you would agree with based upon your



1 earlier answer, that when you've got low  
2 albumin and low total proteins it draws  
3 fluids into spaces where they don't  
4 necessarily belong, is that --

5 A. Yes.

6 Q. -- the sum and substance of the note?  
7 All right. Now as I indicated the records  
8 reflect that you did put him on TPN during  
9 his hospitalization. If you want to look  
10 in the original you can, but I thought it  
11 was about 1/16/87, probably 12 days or so.  
12 You can look if you want to.

13 A. Could be. If the question is was he on it  
14 during that time, I'd be happy to look at  
15 the records.

16 You said 1/15/87?

17 Q. Yeah. I couldn't tell. As I said, I do  
18 apologize there is no admission/discharge  
19 summary in that chart so I had to react  
20 this out of notes.

21 A. I don't see where that's the case in the  
22 progress notes. We could look at the  
23 orders if we have that chart here.

24 Usually if TPN was started there  
25 would be an order for it to start. The

1           only note that I see that was in our  
2           service was Ed Copper and MVI to TF which I  
3           assume means tube feeding on 1/15/87.

4                       So I would guess he would still be  
5           on tube feeding then. Although TPN may  
6           have been started that night, we'd have to  
7           look at the doctors orders for 1/15/87.  
8           And there is some doctor's orders that  
9           actually makes it appear that he was  
10          started on the TPN January 16, 1987 where  
11          it says "see TPN" -- "see TPN ancillary  
12          sheet" -- which is what started -- "when  
          TPN started."

14                     Then it says -- one of the other  
15          things it says is, "DC TF" or tube feeding  
16          when TPN starts."

17                     So that would make me think that TPN  
18          was going to be getting started on the  
19          night of the 16th, probably not the 15th.

20       Q.       Okay. So I was right. Somewhere around  
21                the middle of January, 15th/16th, you at  
22                least left put him on TPN then?

23       A.       It looks like he was placed on TPN the  
24                16th, the night of the 16th probably.

25       Q.       Okay. Now originally as you were

1           delivering that TPN it was probably or was  
2           in fact given through a TPN catheter, a  
3           central line.

4       A.     There are a couple ways to check on that.  
5           One, is to see what type of TPN there is.  
6           There's some types of TPN you can get  
7           through a peripheral IV line and that is  
8           fat based on intravenous feeding and  
9           there's some you have to give through a  
10          central line.

11                   If I can find the exact TPN orders  
12          here in the order sheet then I would be  
13          able to tell you for sure. Unless you have  
14          that underlined somewhere? No?

15       Q.     As I said, I did the best I could. But let  
16          me just show you this, this might help you.  
17          This is from 1/22/87 when he's still  
18          receiving TPN, correct?

19       A.     Correct. Yeah, it does make mention on the  
20          22nd that the TPN catheter right  
21          subclavian, triple lumen and dressing  
22          changed, no abnormalities noted.

23       Q.     Okay. So that's how he was receiving the  
24          TPN.

25                   As we progress along in his

1           hospitalization for pneumonia on the 27th  
2           I've highlighted -- you've probably had him  
3           on TPN then for about nine days, right, if  
4           you started on the 16th?

5       A.     If he started on the 16th.

6       Q.     Okay. And his albumin is up to 2.2, right?

7       A.     Albumin increased at 2.2 is what the note  
8           says, right.

9       Q.     Let me ask you a question, the jury is  
10           probably curious a little bit anyway. When  
11           we were talking about why you changed from  
12           enteral feedings to TPN, what did the note  
13           mean, the note that was written prior to  
14           this hospitalization if he wasn't gaining  
15           weight to switch him to TPN, what did that  
16           imply?

17      A.     That implies that the nutrients given to  
18           him either were insufficient to allow him  
19           to gain weight or he wasn't able to  
20           metabolize them well enough to be able to  
21           gain weight.

22      Q.     All right. And TPN has the advantage of  
23           the fact that it bypasses the intestinal  
24           tract and it basically -- the nutrition  
25           that's being received is pure nutrition

1           once again, correct?

2       A.     It goes right into the blood stream, right.

3       Q.     All right. Now, Doctor, suffice it to say,  
4           it is more difficult to be on TPN than  
5           enteral feedings, isn't it?

6       A.     It's more dangerous, more difficult for the  
7           patient, family and a lot more expensive,  
8           yeah.

9       Q.     Okay. Expense isn't a factor when  
10          someone's life depends on it, however?

11      A.     No, it's not.

12      Q.     Okay. Now the reason I brought that up is  
13          sequentially in the notes that I was able  
14          to go through that were done on your  
15          service, during that January of 1987 you  
16          had a psychiatry consultant done for Mr.  
17          Weatherspoon. Does that sound like  
18          something you would do in relationship to  
19          going on TPN?

20      A.     Yes, we usually get a psychiatry consultant  
21          before putting people on TPN.

22      Q.     As a matter of fact, if I've read the  
23          literature that you published which is  
24          prolific on TPN, that's part of good  
25          work-up for assessment for home TPN, isn't

1           it?

2       A.     Yes, we believe so.

3       Q.     You're looking to see if a person is  
4           emotionally going to deal with TPN, right?

5       A.     Correct.

6       Q.     Because you're looking to see what kind  
7           family structure they have, correct?

8       A.     Right.

9       Q.     All right. If you want to look at this, I  
10           just want the jury to be pretty sure about  
11           it. This psych consult, as I read it,  
12           says -- well, it says a couple things, all  
13           right.

14                   First of all, you worked directly  
15           with the psychiatrist in giving him medical  
16           information, correct?

17       A.     We worked together, yes. Usually we have a  
18           team conference once a week to talk about  
19           those issues.

20       Q.     All right. This note -- and I'm going to  
21           show it to you, just so you can confirm  
22           that I'm reading it accurately -- says that  
23           he, being Mr. Weatherspoon, has a known  
24           slowed gastric motility and probable  
25           malabsorption. This is back in '87. He

1           was admitted to CCF and has been treated  
2           for dehydration, pneumonia and a pleural  
3           empyema. What's a pleural empyema?

4       A.    A pleural empyema, which means a collection  
5           of pus in the pleural or chest cavity.

6       Q.    Okay. That wasn't caused by the TPN?

7       A.    Usually not, no.

8       Q.    Okay. And this work-up essentially says  
9           that Mr. Weatherspoon is a candidate for  
10          TPN. There are no psychiatric  
11          contraindications to him going on TPN,  
12          correct?

13      A.    I'd have to review that. Yeah, at the  
14          bottom it says, "no psychiatric  
15          contraindications."

16      Q.    Cheated, I highlighted it for you.

17      A.    Yes.

18      Q.    Okay. All right. That little symbol does,  
19          so that I know, means psch, right?

20      A.    Yes.

21      Q.    All right. Now, it looks like there's  
22          several more notes, of course, regarding  
23          family, etc.

24                    You still got him in the hospital on  
25          2/3/87 and at this point he's still on TPN.

1 Can you tell the jury what his  
2 albumin is by 2/3/87?

3 A. "2.8 improving" is the words highlighted.

4 Q. All right. That's a pretty good number,  
5 right? That's written by you?

6 A. Countersigned by me, right

7 Q. Countersigned by you?

8 A. Right.

9 Q. All right. It would appear, based upon the  
10 notes, that you decided at the end of this  
11 approximately 30 something day  
12 hospitalization that you wanted to send him  
13 home on home TPN, right? Do you remember  
14 that?

15 A. I assume that's correct. I don't remember  
16 but I assume that it's correct.

17 Q. Okay. Well, let me just show you the  
18 preparations that are being made for a home  
19 TPN. Does that confirm it for you?

20 A. These are dated February 6th, it has to do  
21 with -- there is nothing specific that  
22 talks about going home on home TPN; is that  
23 what you mean?

24 Q. Does that refresh your memory that you were  
25 going to send him home on home TPN? If it



doesn't I can show you different document?

2 A. Yeah, maybe you better show me a different  
3 document.

4 Q. Okay. On 2/9/87 Mr. and Mrs. Weatherspoon  
5 are receiving home parenteral nutrition  
6 instructions, aren't they?

7 A. Yes.

8 Q. Same date, a little bit later, 2/9 we're  
9 talking about a Hickman catheter site.  
10 This isn't the same way he had been  
11 receiving TPN in the hospital, right?  
12 You're now placing a Hickman?

13 A. Hickman catheter is in, right.

14 Q. Okay. That Hickman is for what purpose?

15 A. For home-going on intravenous feeding on  
16 TPN at home.

17 Q. Okay. Now -- excuse me for coughing -- at  
18 this point it says patient -- that's you  
19 and you've countersigned, it says --

20 A. Right.

21 Q. -- "patient examined, Hickman exit site now  
22 has purulent material expressed." Do you  
23 see that?

24 A. Yes.

25 Q. Okay. Do you happen to recall what that

purulent material was?

2 A. No, I don't recall

3 Q. Okay. Two days later, it's staphoriosis,  
4 isn't it?

5 A. Moderate staphoriosis, right.

6 Q. Growing out of Hickman site, correct?

7 A. Right.

8 Q. All right. Couple days later, Doctor,  
9 you're back in assessing him, it's still  
10 the same hospitalization, February 12th,  
11 notes regarding staphoriosis, correct?

12 A. Correct. Outlined is -- or underlined is  
13 staph A in wound site.

14 Q. Okay. Now, let me ask you something. You  
15 can go through every note but the jury will  
16 be pleased to know, of course, you're  
17 giving him antibiotics in response to that  
18 staphoriosis, correct?

19 A. Okay.

20 Q. All right. You would assume that's what  
21 you would do, right?

22 A. Yes.

23 Q. Okay. A few days later he's still in the  
24 hospital 2/15/87 and I read another Steiger  
25 note and it says, we're going to continue

1           TPN and antibiotics and you put some silver  
2           nitrate around granulation site. That was  
3           the J2 granulation site, right?

4       A.     I assume it was.

5       Q.     Yeah.

6       A.     It doesn't say but I assume it was.

7       Q.     Okay. At this point, Doctor, you're getting  
8           ready to discharge him and you still got  
9           him on TPN. By 2/18 it was your impression  
10          that the catheter infection had cleared; is  
11          that right?

12      A.     Yes. It says catheter infection cleared.

13      Q.     Okay. All right. 2/20 is the discharge.  
14          There's the pharmacy order, correct?

15      A.     Correct.

16      Q.     For home TPN?

17      A.     For home TPN, correct.

18      Q.     Okay. Now I don't necessarily want it go  
19          through this, but to make it simple for the  
20          jury, he was discharged with a Hickman  
21          because you had him on home TPN.

22      A.     Correct.

23      Q.     You felt that was the best way to address  
24          his nutritional problems at that point?

25      A.     Correct.

1 Q. The jury's already heard the numbers for  
2 improving in terms of the nutritional  
3 parameters, the jury's already heard that  
4 your PNI was much better, it was about 63  
5 just before that release, correct?

6 A. Correct.

7 Q. All right. He came back four days later,  
8 correct?

9 A. Correct.

10 Q. Do you remember that?

11 A. Yes.

12 Q. I did find that admission and discharge  
13 summary.

14 Now the admission/discharge  
15 summary -- first, let me show you the  
16 bottom page. It's dictated by a resident,  
17 correct, Dr. DiSai (spelled phonetically)--

18 A. Correct.

19 Q. All right -- for you, of course.

20 A. Yes.

21 Q. That's something residents do, right?

22 A. Correct.

23 Q. Do you have an independent memory of how  
24 many infections he had during that  
25 hospitalization from 2/24/87 to 4/4/87?

1 A. No, I don't.

2 Q. Okay. The resident wrote that it was a  
3 Hickman catheter line sepsis that caused  
4 the hospitalization. Would you agree  
5 that's what the discharge summary says?  
6 You can look.

7 A. Yeah. A principle diagnosis, Hickman  
8 catheter line sepsis.

9 Q. Okay.

10 A. Right.

11 Q. And when you see principle, is that  
12 stirring something in your memory that he  
13 actually had a pneumonia going on in the  
14 lungs again?

15 A. No, it doesn't -- I don't recall a  
16 pneumonia at that time, no.

17 Q. Okay. I suppose then we're going to have  
18 to maybe go through the chart. Let me say  
19 this: Let's do it a different way,  
20 initially.

21 Shortly after that discharge -- and  
22 that hospitalization lasted until April  
23 4th; is that correct?

24 A. Discharge date is listed as April 4th, '87,  
25 correct.

1 Q. We're going to move this around a little  
2 for the jury. He came back to see you on  
3 April 13, 1987. I want you first just to  
4 look at the note and I'm going to ask you a  
5 couple of questions about it.

6 A. Okay.

7 Q. Okay. First of all, at this point, so the  
8 jury understands it, he had been  
9 hospitalized initially in January, very low  
10 nutritional parameters, PNI 116, weight  
11 114, correct? That's what we've just gone  
12 through.

13 A. Okay.

14 Q. All right. You had him on TPN in excess of  
15 30 days and then you put together a  
16 nutritional plan for his J tube and by  
17 April 13, 1987 Mr. Weatherspoon weighs 139  
18 pounds, doesn't he?

19 A. I'm sorry. I forget where it says that.

20 Q. Right at the top.

21 A. Yes, 139 pounds.

22 Q. All right. His nutritional parameters have  
23 improved, correct?

24 A. He's gained weight. I'm not sure, was  
25 there a mention about nutritional

1 parameters, then?

2 Q. Well, using your PNI assessment that he was  
3 up to 63 on that -- as a matter of fact, I  
4 can tell you that you have him at 61 on  
5 2/26/87, all right?

6 A. Okay.

7 Q. And you didn't retest him at that point in  
8 time, correct?

9 A. At this point?

10 Q. Uh-huh.

11 A. It would be on the computerized assessment.  
12 So if it's not there --

13 Q. It's not there.

14 A. Then it probably wasn't retested  
15 completely.

16 Q. You don't run PNIs on people every time you  
17 see them when your managing them, correct?

18 A. Correct.

19 Q. And you would have run one if you thought  
20 he needed one but at that point he was  
21 gaining weight, correct?

22 A. Right. Usually weight gain in the  
23 out-patient setting is pretty good or an  
24 accurate indicator of how well a patient is  
25 doing.

- 1 Q Okay Now -- hang on to those, Ann.
- 2 What I want to do is just briefly
- 3 then go through -- see if this sounds right
- 4 to you.
- 5 First, so the jury understands this.
- 6 Mr. Weatherpoon has an awful lot of visits
- 7 with you put those were planned visits to
- 8 monitor his nutritional status; isn't that
- 9 correct?
- 10 A I believe that's correct
- 11 Q Because according to the literature that
- 12 you've published, when you got a person on
- 13 nutrition support they need to be monitored
- 14 on a regular basis?
- 15 A Correct When they're on -- especially
- 16 MPN, that has to be done every religiously
- 17 Okay And at this point he's not on MPN
- 18 anymore, he's taking enteral feedings
- 19 because that's how you discharge him from
- 20 the hospital, right?
- 21 A Right.
- 22 Q Okay. But I just want the jury to
- 23 understand when he's coming to see you so
- 24 often. It is to be weighed and evaluated.
- 25 correct?



1       A.     Yeah. This is a variable thing. I'm not  
2             sure in my own mind exactly why we had him  
3             come back.

4             Usually people on enteral feeding do  
5             not have to come back as frequently. It  
6             may have been a combination of he/family  
7             feeling more comfortable by being looked at  
8             more closely.

9             It may have been because we wanted  
10            to make sure the tube feedings were going  
11            well.

12       Q.     Could it have been because you wanted to be  
13             comfortable with the fact that he was doing  
14             well given that his nutrition parameters  
15             were so poor in January of 1987?

16       A.     That could have been part of it, too.

17       Q.     Okay. All right. So he weighs 139 in  
18             April of '87. He comes back in May of  
19             1987. Can you tell the jury what he weighs  
20             then?

21       A.     The note says weight has increased ten  
22             pounds in the past month, feels well, tube  
23             works okay. His weight recorded at 149.6  
24             pounds.

25       Q.     Did you do some lab work on that visit?

1       A.       I had a note saying check Zinc levels and  
2               monthly CBC. So I assume the Zinc and the  
3               CBC were checked.

4       Q.       Okay. And why do you check Zinc and CBCs?

5       A.       Zinc just as a trace element to make sure  
6               that he was getting enough of those trace  
7               elements. He also may have been -- there  
8               are a couple of things that happen with  
9               Zinc deficiency, although I don't have any  
10              note on it, sometimes people develop skin  
11              lesions, sometime hair falls out and that  
12              may have been happening in him at that  
13              time, though I didn't particularly note  
14              that.

15      Q.       All right.' You continue to see him and  
16               then you see him again, pursuant to your  
17               instructions to him to come back, "return  
18               two months", July of 1987. How's he doing  
19               then?

20      A.       It says, "weight decreased" it looks like  
21               "1.6 pounds in past two months. No trouble  
22               with diarrhea" -- I'm just reading the  
23               outlined things you have.

24      Q.       That's okay.

25      A.       "Gets sick spells with lots of abdominal

1           cramping and gas" and we had plans to  
2           increase tube feedings to eight cans a day,  
3           his weight recorded was 148 pounds.

4       Q.    All right. He comes back in a couple of  
5           months, pursuant to your instructions,  
6           September of '87. What does he weigh then?

7       A.    Weight increase 12 pounds. It looks like  
8           160 pounds. "Patient feels sick and  
9           nauseated about every four to five days.  
10          Return to" -- again, I'm reading your  
11          underlined areas -- "return to Clinic,  
12          three to four months; Reglan ten milligrams  
13          b.i.d."

14       Q.    All right. So he's actually doing pretty  
15           well. You've got him up to about 160  
16           pounds in an eight month period of time; is  
17           that an accurate statement?

18       A.    Yes, that's an accurate statement.

19       Q.    Okay. And you're seeing him regularly and  
20           monitoring him.

21                   Now he comes back in -- if you want  
22           to look at these for purposes of expediting  
23           the day a little -- you've got two  
24           scheduled visits early in 1988.

25       A.    January 5, 1988, the weight recorded was

1           155 pounds. Your underlined segments say  
2           "weight has been stable according to  
3           patient, trouble with intermittent nausea  
4           and vomiting persists."

5       Q.    Okay. Now just in terms of a generalized  
6           assessment, going way back to Mr.  
7           Kwarciany's talking to you about Mr.  
8           Weatherspoon's prognosis and your feeling  
9           about him to be lucky to be alive.

10                   In that year period of time you took  
11           him from 114 pounds to a stable weight of a  
12           155, correct?

13       A.    Correct.

14       Q.    If you had any concerns about any  
15           additional nutritional parameters I  
16           certainly would see a note about it,  
17           wouldn't I?

18       A.    Probably.

19       Q.    Okay. It seems like you saw him on a very  
20           regular basis, did complete blood work and  
21           mineral testing and kept him balanced --  
22           that's how I like to say it, balanced. Is  
23           that your assessment of the situation?

24       A.    It seems that he was pretty well balanced  
25           then, sure.

1 Q. All right. At that point what underlying  
2 health condition did Mr. Weatherspoon have  
3 that made him terminal?

4 A. At that point?

5 Q. Yeah.

6 A. I don't know that he had anything that made  
7 him terminal.

8 Q. Okay. Thank you very much, Dr. Steiger.

9 Now he did have some problem with  
10 nausea and vomiting on-going, didn't he?

11 A. Yes.

12 Q. Okay. And in fact, I believe that it was  
13 at your suggestion that he have an  
14 endoscopic evaluation in 1988. You want to  
15 take a look at that?

16 A. There's -- you don't have a report here.

17 Q. I'm sorry, I don't. But let me say this:  
18 I'll represent to you -- and I think the  
19 jury would be able to see it from looking  
20 at the chart -- that you decided that an  
21 endoscopy was a good thing for him. At  
22 that point Dr. Chung comes in the picture.

23 Dr. Chung does this endoscopic  
24 evaluation, correct? Do you see that in  
25 1988?

1 A. Yes.

2 Q. So the jury knows, what kind of endoscopic  
3 evaluation was being done for the nausea  
4 and vomiting, if you can tell?

5 A. It looked like it was an upper GI  
6 endoscopy. He talks about a normal  
7 esophagus, no reflex, competent G junction,  
8 small gastric polyp. So it looks like it's  
9 an upper GI endoscopy.

10 Q. All right. And doesn't the note by Dr.  
11 Chung -- and I highlighted it for purposes  
12 of convenience -- indicate that there's no  
13 surgery necessary based upon that upper GI?

14 A. His impression was normal, post-Whipple  
15 upper GI endoscopy.

16 Q. Okay. Fair enough.

17 You continue to see him May of 1988.  
18 What's his weight?

19 A. May 10, 1988 weight is 155 pounds. The  
20 underlined sections say, "doing fair,  
21 weight stable at 155 pounds, taking 7 1/2  
22 cans of Magnacal per day.

23 Q. Okay. And he comes back in August. How's  
24 he doing then, August of '88?

25 A. August of '88, weight is 70.7 kilograms. I

1           guess we would have to multiply it by 2.2  
2           to get to the pounds.

3                     58 year old black male status  
4           post-Whipple with GI motility disorder,  
5           weight stable at 155 pounds. Notes  
6           decreased nausea and vomiting, I think --

7    Q.    Uh-huh.

8    A.    -- has episodes every three to four days,  
9           no diarrhea, last lab is 8/10/88 it looks  
10          like.

11   Q.    Okay. No notation of problems with the  
12          labs that you can tell?

13   A.    There's no notation of problems with the  
14          labs, no. My last few words says "recheck  
15          labs."

15   Q.    Okay. Moving along to November of 1988.  
17          Still stable. What's his weight?

18   A.    Weight is 160.6 pounds, November 1st, 1988.  
19          Underlined notes, "more difficulty with  
20          epigastric discomfort that comes and goes."  
21          And at the bottom, "Check SMA 18 plus  
22          Magnesium today."

23   Q.    Okay. February, 1989 next scheduled visit,  
24          is he still stable with his weight and  
25          okay?

1       A.       February, 1989 weight is 155 pounds, weight  
2               stable at 7 1/2 cans of Magnacal per day,  
3               is what the underlined notes say.

4       Q.       Okay. Comes back per your schedule May,  
5               1989. How's he doing?

6       A.       May 19, 1989 the weight noted is 151.8  
7               pounds, decreased weight on seven cans of  
8               Magnacal, advised to increase to eight cans  
9               to see if weight increases, feels -- but  
10              feels more epigastric aching is what the  
11              underlined notes say.

12                      No longer adding trace elements,  
13              will check blood, studies including trace  
14              elements.

15      Q.       All right. So now we are about two years  
16               and several months post that initial major  
17               hospitalization of 1987 where there was  
18               pneumonia and you put him on TPN and he's  
19               doing stable, isn't he?

20      A.       Seems pretty stable, yes.

21      Q.       Okay. The next scheduled visit from the  
22               charts, as I perceive it, was August of  
23               1989 with your service.

24                      Now it shows the patient didn't show  
25              up that day, right?



1 A. Right. "Patient cancelled."

2 Q. But there's a weight there, isn't there?

3 A. 162 pounds.

4 Q. Okay. Do you assume that he called you?

5 A. I would guess so. I'm not sure how they  
6 got that weight.

7 Q. Okay. Because the next thing I see in the  
8 chart is September, 1989 another GI  
9 evaluation and endoscopic evaluation by Dr.  
10 Chung?

11 A. September 28, 1989, looks like a Dr.  
12 Chung's name on top, right.

13 Q. Okay. Now the reason I'm asking you about  
14 this, you've told me that you went through  
15 the outpatient records, Doctor, you told me  
16 that previously in reaching some of your  
17 conclusions and the admission and discharge  
18 summary. So I want to see what of this you  
19 may recall.

20 Here's Dr. Chung's assessment on the  
21 next page from that upper GI, right?

22 A. Correct.

23 Q. All right. And if I'm reading this  
24 correctly, but you can look at it, I think  
25 it says normal surgical artifact that's

1           what he found on the upper GI. Do you  
2           agree with me that that's what the note  
3           says?

4       A.     I'm not sure. Can you --

5       Q.     Okay. Sure.

6       A.     -- show me where.

7       Q.     Uh-huh. Questionable probable normal  
8           surgical artifact --

9       A.     Correct.

10      Q.     -- where there's a prolapse of some sort.  
11           Do you see that?

12      A.     Yes.

13      Q.     Okay. I'm going to hand this back.  
14           Because the next thing that I then see  
15           happen is October, 1989 there's a  
16           completion gastrectomy done by Dr. Chung,  
17           right? Do you remember that?

18                   Here's the surgical consult. You  
19           might want to look at that first.

20      A.     Okay.

21      Q.     Okay. Now if I read this note correctly --  
22           and I think the handwriting is pretty clear  
23           in terms of the surgical consultation --  
24           what's being planned is to remove yet more  
25           of the stomach, correct?

1                   You can look. I'm sorry. I  
2                   shouldn't have taken it back so soon.

3       A.       Do you want me to read it out as --

4       Q.       I'm just asking you if that's the same  
5                   impression. There's a surgical note in the  
6                   chart, I just don't happen to have it with  
7                   me.

8       A.       It's hard for me to make out -- he proposes  
9                   exploration with Roux-Y and revision of  
10                  gastrojejunostomy but no guarantee offered  
11                  as to eradication of symptoms, patient  
12                  agrees.

13       Q.       Okay. Isn't the eradication of symptoms  
14                  that's being discussed the nausea and  
15                  vomiting?

16       A.       I would guess so. It's not clearly stated  
17                  there, but I would guess so. Unless  
18                  there's something else that came up. The  
19                  nausea and vomiting and abdominal  
20                  discomfort were there, things that seemed  
21                  to recur.

22       Q.       At that point in time his weight was about  
23                  up to 162. The nausea and vomiting still  
24                  persisted, okay? That's pretty clearly  
25                  what the notes show, the notes that you

1 read, your own notes, right?

2 A. I'm not sure what his weight was at that  
3 time, that he saw Dr. Chung, you mean?

4 Q. Right.

5 A. Does it say?

6 Q. No, it doesn't.

7 A. Okay.

8 Q. The closest weight we have is about a month  
9 before that visit.

10 A. Okay. So we don't know.

11 Q. Right. But there's nothing in Dr. Chung's  
12 note that says serve weight loss in the  
13 last month or so.

14 A. Okay.

15 Q. Okay. So if you stay with me, the purpose  
16 of this correction revision surgery was to  
17 attempt to ameliorate nausea and vomiting,  
18 correct?

19 A. You would think so. The reason I'm  
20 hesitating is that -- and this is just a  
21 memory way back then. It seemed to me  
22 that, I'm just remembering a conversation  
23 with Dr. Zama -- you would have to check  
24 with him -- that people who seemed intent  
25 in getting him off the tube feeding. I'm

1 not sure if that's the case, but for some  
2 reason that sticks in my mind.

3 Q. Well, how would doing the revision  
4 jejunostomy get you off tube feedings?

5 A. I don't know. Unless -- again, I'd have to  
6 reread that Dr. Chung's note. Unless he  
7 felt that his intermittent nausea and  
8 vomiting is what prevented him from eating  
9 and if the revision could prevent the  
10 nausea and vomiting maybe he would be able  
11 to eat. I'm not positive, but you could  
12 conjecture that.

13 Q. Over the years -- and I don't expect you to  
14 remember every detail, but you know you're  
15 here as a witness talking about his  
16 prognosis. Do you recall that he was still  
17 able to eat, take things orally?

18 A. I think he had good days and bad days, as I  
19 recall. There were times when he would be  
20 able to eat and times when he wouldn't be  
21 able to eat --

22 Q. In -- I'm sorry.

23 A. -- as I recall. But I can't remember  
24 exactly how much or --

25 Q. Back in 1986 you -- I don't want to use the

1 word installed. You placed a tube in Mr.  
2 Weatherspoon; do you remember that?

3 A. A feeding tube.

4 Q. A feeding tube.

5 A. Correct.

6 Q. Because I assume that you believed that his  
7 nutritional needs couldn't be met through  
8 oral intake; is that right?

9 A. Right.

10 Q. Okay. Now after the surgery -- I would  
11 like for you to just briefly look at this  
12 note, because at a later time you take care  
13 of the patient again, but not now.

14 October, 1989. What's Mr.  
15 Weatherspoon's weight?

16 A. 145 pounds.

17 Q. Okay.

18 A. No more nausea. Again, the underlined  
19 sections that you have.

20 Q. Okay. If I represented to you, Doctor --  
21 and I'm going let you look through these  
22 notes -- that after the surgery in 1989 we  
23 go through a different period of  
24 management. Dr. Chung is now managing Mr.  
25 Weatherspoon's nutritional status.

1 Does that refresh your recollection  
2 as to what might have happened?

3 A. Yeah, I believe Dr. Chung was managing him  
4 then, correct.

5 Q. Okay. All right. November, '89 what's the  
6 weight then?

7 A. Weight is 135 pounds. Your underlined  
8 section, however, he lost ten pounds.

9 Q. Meaning after surgery he lost ten pounds,  
10 right?

11 A. I guess it was six weeks post-op from the  
12 first sentence of that note.

13 Q. Okay. He comes back in December of '89,  
14 he's a 132 pounds, isn't he?

15 A. Correct.

16 Q. Okay. During the year 1990 I have reviewed  
17 the outpatient records and I do not see any  
18 referral to your service for nutritional  
19 evaluation. All I see is Dr. Chung seeing  
20 the patient.

21 I'm going to hand you that stack of  
22 notes to see if you agree with me that it's  
23 only Dr. Chung who is seeing him.

24 A. January '90, Dr. Chung; February '90, Dr.  
25 Chung; March '90., Dr. Chung; June '90., Dr.

1 Chung; another June '90, Dr. Chung; January  
2 1991, Dr. Chung.

3 Q. All right. And his weights are about 132  
4 all during that year. If you want to  
5 review that just to be certain.

6 A. 132, 132, 132, 132, correct.

7 Q. All right. Now I looked through the  
8 outpatient records for laboratories that  
9 might have been done between the surgery  
10 and March of 1992 and I only found two. If  
11 I can find them. I'm so sorry. I have so  
12 many -- there they are -- pieces of paper.

13 In 1990 this looks like June of  
14 1990. Can you tell the jury what the  
15 albumin was.

16 A. Albumin 2.6.

17 Q. Okay.

18 A. June 12th '90.

19 Q. That's a little lower than where you had  
20 him, correct?

21 A. Correct.

22 Q. All right. And then we see the only and  
23 next albumin in that chart on 5/7/91, about  
24 a year later. Can you tell the jury what  
25 the albumin is?



1 A. 5/7/91 albumin 2.2.

2 Q. Okay. Now we're getting back into a low  
3 range on that albumin, aren't we?

4 A. Yes.

5 Q. Okay. After that visit in June I went  
6 through the charts and I found no other  
7 visits regarding nutritional status by Dr.  
8 Chung, okay, until the spring of 1992.

9 Do you recall that Mr. Weatherspoon  
10 was admitted in April of 1992 to the  
11 hospital?

12 A. I don't recall but I suppose I could have  
13 my memory refreshed.

14 Q. Okay. During that time he was put back on  
15 TPN for about 20 something days because he  
16 had low albumin. Does any of this sound  
17 familiar to you?

18 A. Not offhand but I'd be happy to look at  
19 those dates.

20 Q. Okay. If I can find my chart dates, I have  
21 to apologize to the jury for a moment.

22 All right. We're going skip that  
23 because I'm going to represent to you that  
24 you were not involved in the consultations  
25 of 1992 until July of 1992.

1 I want to show you -- if I can  
2 locate it quickly -- a note written by your  
3 service in July of 1992.

4 First, let me ask you this question:  
5 One of the complications that you can run  
6 into on tube feedings, and I'm talking  
7 enteral nutrition, is diarrhea, correct?

8 A. Correct.

9 Q. All right. From your previous review of  
10 the chart, Mr. Weatherspoon did not have  
11 diarrheal syndrome from his tube feedings,  
12 correct?

13 A. Correct. Diarrhea was not a prominent part  
14 of his symptoms.

15 Q. In 1992 there is a -- actually a TPN  
16 consultation ordered. What's a TPN  
17 consultation?

18 A. When they request the services of the TPN  
19 team to evaluate somebody to see whether or  
20 not intravenous feeding would be  
21 appropriate for them.

22 Q. All right. There was a rather lengthy  
23 assessment by your service with your  
24 signature at the end. But I would like for  
25 you to read that last line on that

1           assessment.

2       A.     Assess calorie intake with 100 CC per hour  
3           of Jevity, may in fact be adequate  
4           intake -- although this isn't clear to me,  
5           it may be because of the copy -- ascites --  
6           it seems to say ascites, no diarrhea, will  
7           discuss further with nutritionist.

8       Q.     All right. Let me ask you, Doctor --  
9           because I've read this several times -- it  
10          says, "may in fact be adequate intake  
11          assuming no diarrhea."

12      A.     That looks great, yeah.

13      Q.     All right. Now that assessment says to me  
14          that the on-going use of enteral feedings  
15          is appropriate as long as there is no  
16          diarrhea?

17      A.     It would seem to indicate that, yes.

18      Q.     Okay. Diarrhea is a contraindication to  
19          continuing to attempt to give a person  
20          enteral nutrition, isn't it?

21      A.     Yes. Depending on the severity and other  
22          things. But in general it's a sign to back  
23          off on the tube feeding.

24      Q.     All right. Doctor, although there was not  
25          a formal consult -- or at least from my

1           recollection as we sit here -- in April of  
2           1992 with your service, you did do a PNI,  
3           didn't you?

4       A.     Correct. A PNI was done by the dietitians  
5           on the nutrition support team

6       Q.     And he was back up to what number?

7       A.     109 percent on April 20th, '92

8       Q.     All right. In March of 1994 Mr.  
9           Weatherspoon was admitted to the hospital  
10          again for nutritional issues.

11                    You did a PNI then, didn't you?

12      A.     Correct. There's a PNI March 23, 1994.

13      Q.     Okay. And that PNI was 116 percent, wasn't  
14          it?

15      A.     Correct

16      Q.     Isn't that the same PNI that he had in  
17          January of 1987?

18      A.     As I recall it was, yes.

19      Q.     Okay. Not very good nutritional parameters  
20          at that point, are they?

21      A.     No, very bad.

22      Q.     Okay. Very bad. You were called in, as I  
23          recall it when you indicated this to Mr.  
24          Kwarciany, to do a consult in case there  
25          was going to be a surgery, right?

1 A. Correct. I believe that's right.

2 Q. Your note in the chart says -- it's very  
3 brief -- but it says severe malnutrition  
4 would benefit from pre-op TPN of surgery  
5 planned.

6 A. I believe that's correct.

7 Q. Okay. Those numbers on a PNI also indicate  
8 a need for an update or an upgrade in  
9 nutritional support, don't they?

10 A. Yes. They would seem to indicate that,  
11 yes.

12 Q. All righty, then.

13 But you weren't asked to do a  
14 consult, were you, on the nutritional  
15 parameters other than to advise on the  
16 surgery?

17 A. Correct.

18 Q. Okay.

19 A. I believe that's correct.

20 Q. I believe it is, too.

21 As of March of 1994 when you were  
22 called in on this surgical assessment, what  
23 terminal conditions did Mr. Weatherspoon  
24 have?

25 A. I'm not sure that I evaluated him for any

1           terminal conditions except that his  
2           nutrition was severe -- malnutrition was  
3           severe.

4       Q.     Sure. Sure, it was. And he would be  
5           terminal for the malnutrition if it wasn't  
6           improved; would you agree with that?

7       A.     There would be a very high risk of death  
8           after major surgery without improving his  
9           nutrition, correct.

10      Q.     Would he be at high risk of death, since  
11           you've just offered that opinion, for his  
12           malnutrition if his albumin's didn't  
13           improve?

14      A.     I guess my familiarity with the nutritional  
15           assessment parameters including albumin  
16           relate to post-operative morbidity and  
17           mortality, as opposed to people who have  
18           low albumins who aren't going to be  
19           undergoing surgery. But there are some  
20           people who can have very low albumins and  
21           still do pretty well.

22      Q.     But you have to watch it, don't you?

23      A.     You have to be aware of it, sure.

24      Q.     Because as we've talked and you agreed very  
25           early on in my questions, the albumin is

1           the -- I'm going to use the word mediator,  
2           something the jury can understand. It's  
3           the mediator between the blood, the fluids  
4           and the tissues. It keeps things in their  
5           place, so-to-speak?

6       A.     Correct.

7       Q.     Do you agree with that?

8       A.     Yes.

9       Q.     All right. Doctor, you've just told me  
10           that you're more familiar in the setting of  
11           surgery. However, isn't most of your  
12           writing about home TPN?

13      A.     Usually in surgical patients.

14      Q.     Well, when you say usually in surgical  
15           patients -- unless I've misread this  
16           literature and I'm certainly glad to offer  
17           it to you -- you assess people whose  
18           digestive tracts become non-functional for  
19           purposes of providing nutrition?

20      A.     Correct.

21      Q.     All right. So you're not just evaluating  
22           people post-surgically for short-term home  
23           therapy, you've written extensively about  
24           long-term home TPN, haven't you?

25      A.     Correct. Yes.

1 Q. All right. As I recall it, you published  
2 an article in 1995 but it was based upon  
3 studies of patients that you did between  
4 1989 and 1992 and the textbook called  
5 Gastroenterology.

6 Do you remember that current use in  
7 clinical outcomes of home parenteral and  
8 enteral nutritional therapies in the United  
9 States?

10 MR. KWARCIAANY: Let me show  
11 an objection for two reasons.

12 Number one, the date in question  
13 1995 post-states anything that we're  
14 talking about in this case as the patient  
15 died in March -- or May of 1994. But  
16 secondly, and most importantly, it's my  
17 understanding that if you're going to  
18 question the doctor about those writings,  
19 it's for impeachment purposes only and so  
20 far you haven't set him up.

21 MS. TAYLOR-KOLIS: All right.  
22 That's not my understanding of the law.  
23 I'm not trying to impeach him of something  
24 somebody else has written. I'm trying to  
25 allow the jury to understand how well Dr.



1           Steiger understood TPN, how much he had  
2           worked in the field and what his  
3           conclusions are about people's chances for  
4           success living on home TPN.

5                     Noting your objection, of course,  
6           the Honorable Judge Gorman will rule on  
7           this matter.

8       Q.     In any event, you had done some studies,  
9           which eventually got published, regarding  
10          home parenteral and enteral therapies in  
11          the United States, correct?

12      A.     Correct.

13      Q.     All right. In your article on page 363,  
14          Doctor, you noted that the quality of the  
15          clinical outcome in TPN is good in all age  
16          groups.

17                     MR. KWARCANY:           Objection.

18      A.     In all honesty, I would really have to have  
19          the article in front of me.

20      Q.     Sure. I have your article. How about  
21          that?

22      A.     Very good.

23      Q.     You can take my stickies off, if you want.

24      A.     Page?

25      Q.     Page 363. I probably have it highlighted.

1       A.     Yeah, what it refers to, I guess -- you  
2             have to read the sentence before it: To  
3             examine the effects of age on HPN the  
4             outcome was evaluated in pediatric,  
5             middle-age and geriatric groups including  
6             patients who had Crohn's disease, ischemic  
7             bowel disease or a motility disturbance.

8                     These three diagnoses were chosen  
9             because they occur in all three age groups.  
10            Although younger patients generally had a  
11            better outcome, the quality of the clinical  
12            outcome was good in all age groups. Again,  
13            it refers to those three diagnostic groups.

14       Q.     All right. And essentially the sum and  
15             substance of that article is that no one  
16             should be disqualified from consideration  
17             for a home TPN simply because of their age?

18       A.     Again, I haven't reviewed the whole article  
19             but that seems like a reasonable  
20             conclusion.

21       Q.     All right. You might want to keep your  
22             article because I wanted to talk to you  
23             about this.

24                     This article was a compendium of  
25             9,288 patients treated from '89 to '92, as

1 I read the introductory material looking at  
2 the patient base you used.

3 On page 362, in terms of  
4 complications I think I'm going to quote  
5 this verbatim. It says: "The home sepsis  
6 rate is lower than in the hospital and  
7 death in all patients was only caused five  
8 percent of the time by the HPN itself." Is  
9 that what that says?

10 A. There's one sentence that says "this home  
11 sepsis rate was much lower than that  
12 experienced by in-hospital patients treated  
13 with parenteral nutrition." And above that  
14 there's a sentence "of those patients who  
15 died while receiving treatment, the therapy  
16 was documented as the cause of death only  
17 five percent of the time."

18 Q. Okay. So it shows that home TPN itself is  
19 fairly safe?

20 A. Can be, yes.

21 Q. Can be. And it can be made very safe by  
22 frequent monitoring by the physician who is  
23 in charge of the case, correct?

24 A. Correct.

25 Q. All right. As a matter of fact, Doctor, I

1 believe that one of your writings from --  
2 I'm doing this a little bit out of order --  
3 indicates that catheter sepsis is less  
4 likely now because of the use of  
5 streptokinase. Do you remember writing  
6 about that?

7 MR. KWARCIAANY: Objection.

8 A. No. I don't remember about that.  
9 Streptokinase makes it less likely that  
10 you'll have an occluded catheter --

11 Q. Okay.

12 A. -- but not necessarily less likely that  
13 you'll get an infected catheter.

14 Q. All right. In 1982, a long time ago, you  
15 wrote an article entitled "Morbidity and  
16 Mortality related to home parenteral  
17 nutrition in patients with gut failure."

18 I think that what you wrote in that  
19 article on page 104 -- and if you would  
20 like to look at the article, so that I  
21 don't misquote you. It's 104 to 103 --  
22 that first all, when people are on home  
23 TPN, their food and electrolyte balances  
24 are good. There's -- it's very uncommon  
25 to have a problem with that once you have a

1 person on home TPN?

2 MR. KWARCIANY: Again,  
3 show a continuing line of objection to this  
4 area of inquiry.

5 A. I'm sorry. The question was?

6 Q. I'm sorry. Basically -- if you want to  
7 look at page 103, I believe this is where  
8 it is. As early as 1982 you were writing  
9 that fluid and electrolyte imbalances were  
10 uncommon in people on home TPN; is that  
11 right?

12 A. Correct.

13 Q. Okay. And is that because it's an easier  
14 way to keep up their protein level -- a  
15 better way, I guess?

16 A. No. It's just that you monitor their  
17 electrolytes and their blood and you're  
18 able to add more or less to the TPN based  
19 on monitoring --

20 Q. Okay.

21 A. -- and usually there aren't significant  
22 problems with the electrolytes.

23 Q. All right. Can I borrow that back?

24 I only have a couple more questions.

25 If the jury will bare with me, so that I

1           can find this. I have a lot of material  
2           obviously.

3                     Doctor, back in 1994 did you know  
4           what the approximate per day cost was for  
5           home TPN?

6       A.       In 1994?

7       Q.       Uh-huh.

8       A.       I'm not sure that I know what it was back  
9           in 1994 but my assumption has always been  
10          between -- around \$150,000 to \$200,000 a  
11          year.

12      Q.       Yeah. You had written an article -- and if  
13           I can locate it in this morass of papers --  
14           that indicated that home TPN was about \$280  
15           a day. Does that sound right to you?

16      A.       It could be. I'd have to multiply that by  
17           365 days to see what that final figure was.

18      Q.       I can probably do the math at a later time,  
19           but I just wanted to see if you knew that  
20           number.

21                     Let me ask you another question.  
22           The jury is probably going to see the  
23           outpatient records. After the 1992  
24           hospitalizations, you and your team got  
25           back involved in Mr. Weatherspoon's care,

1           you started seeing him again.

          As a matter of fact, in October of  
3           1993 you did a PNI then, didn't you?

4       A.    No, it should be noted that these PNIs or  
5           these computerized nutritional assessments  
6           are done by the dietitian members of the  
7           team for any patient in the hospital that  
8           they're asked to do it for.

9           So it doesn't necessarily mean that  
10          we've seen the patient formally. In fact,  
11          anybody in the hospital who's on TPN  
12          usually gets a routine nutritional  
13          assessment even though we, the physician  
14          part of the team, aren't involved.

15       Q.    Okay. I'm not sure why you told me that.  
16           Let me just go back.

17           In October of 1993 there is a note  
18          that you were actually once again seeing  
19          Mr. Weatherspoon in the office setting to  
20          aide and assist with his nutritional  
21          parameters. Does that sound correct to  
22          you?

23       A.    It may be. If you had the -- do we have  
24           time to look that up here?

25       Q.    We can stay on the record or off the

1           record. I have the notes somewhere in this  
2           stack.

3       A.     It should be in here. October '93, did you  
4           say?

5       Q.     Yes, sir.

6       A.     Here's a note here, October 19th, '93.

7       Q.     That's exactly the one.

8                     In the body of that note, I believe  
9           you even commented upon the PNI being 49  
10          percent. Can you find that?

11      A.     No. I don't see anything here about PNI in  
12          the body of that note, no.

13      Q.     I'll tell you what, that's because it's in  
14          the November note.

15                    I have it highlighted for you so you  
16          don't have to dig through the records  
17          anymore. I'm so sorry.

18      A.     Yes. Nutritional assessment of 10/19/93,  
19          PNI 49 percent. I guess it was ordered on  
20          that date.

21      Q.     Right. So he's got a PNI of 49 percent in  
22          October of '93, his albumin is about 2.4;  
23          is that what the sheets say?

24      A.     Yes.

25      Q.     Transferrin is what?



1 A. 293.

2 Q. Which is in a normal range, isn't it?

3 A. Yes, but that has to be translated, too, in  
4 terms of his hemoglobin or his blood count.  
5 Because a low count can give you an  
6 abnormally high transferrin, which may not  
7 be good.

8 That's one instance where high  
9 transferrin isn't good because it just  
10 reflects the low hemoglobin or blood count.

11 Q. Okay. Are you indicating that 49 percent  
12 wasn't a good nutritional index number?

13 A. 49 percent is better than the previous 88  
14 or 109 percent.

15 Q. Okay. So he's doing much better.

16 Is it clear to you -- and I don't  
17 know if it is or isn't -- that somewhere  
18 between October 1993 and March 23, 1994  
19 something dramatic happened to change Mr.  
20 Weatherspoon's nutritional index numbers?

21 A. Between those two days there's a dramatic  
22 decrease in the albumin and in the  
23 transferrin and an increase in the PNI.

24 Q. Okay. And you weren't asked to evaluate at  
25 any time what caused that change, were you?

1 A. I don't recall, to tell you the truth.

2 Q. Okay. As of today's date have you ever

3 read the hospital records for Mr.

4 Weatherspoon's final hospitalization at the

5 Cleveland Clinic?

6 A. I still haven't. I know that came out

7 before and I still haven't read that final

8 record.

9 Q. All right. Doctor, I only have one other

10 question. If I can promptly find your CV.

11 We had talked previously about Dr. David

12 Grischkan's CV compared to yours.

13 MR. KWARCANY: Show an

14 objection to this line of questioning.

15 This is clearly beyond the scope of direct

16 examination.

17 MS. TAYLOR-KOLIS: Well, you

18 know what, I'll withdraw it and we'll let

19 the jury examine them for themselves. I

20 don't have any further questions.

21

22 REDIRECT EXAMINATION

23 BY MR. KWARCANY:

24 Q. Doctor, very brief re-direct. You told

25 Plaintiff's counsel earlier that home TPN

1           can be more dangerous than enteral  
2           feedings. What did you mean by that?

3       A.    There's a greater chance of infection,  
4           there's not the -- there has to be much  
5           greater sterility involved in preparation  
6           of the solutions, in administering of the  
7           solutions and in taking care of the access  
8           device -- the intravenous feeding access  
9           device compared to feedings going through  
10          the GI tract.

11       Q.   Now we've heard you tell us a little bit  
12           about the costs associated with home TPN  
13           being \$280 a day or somewhere between  
14           \$150,000 and \$200,000 a year.

15                   Can you contrast that with enteral  
16           feedings? What's the cost associated  
17           there, do you know?

18       A.    I would guess it would be less than \$20,000  
19           a year or around \$20,000 a year.

20       Q.    At any time after you resumed management of  
21           this patient from a nutritional standpoint,  
22           from the summer of 1992 through March of  
23           1994 did you ever make any recommendation  
24           to Mr. Weatherspoon or to his family that  
25           he should go on home TPN?

1 A. Not that I recall.

2 Q. When you last saw the patient in March of  
3 1994 did you make any recommendation to Dr.  
4 Chung at that time that the patient should  
5 be placed on TPN there in the hospital?

6 A. There was that one time -- if they were  
7 going to be doing surgery I made the  
8 recommendation that he should be, if that's  
9 in that time limit.

10 Q. Do you have any recollection as to whether  
11 or not the family wanted the patient to  
12 undergo home TPN?

13 A. I don't have a precise recollection.  
14 Again, it's something that should be  
15 corroborated with Dr. Zama, the family. It  
16 seemed to me that they did not want him to  
17 be on home TPN but I don't have any direct  
18 recollection of that. It's just an  
19 impression that I have. But I can't say  
20 that's 100 percent.

21 MR. KWARCANY: Thank you,  
22 Dr. Steiger. I have no further questions.

23

24 RECROSS-EXAMINATION

25 BY MS. TAYLOR-KOLIS:

1 Q. I have a couple of things.

2 First of all, so that the jury is  
3 perfectly clear on this, enteral feeding  
4 and parenteral nutrition don't equal one  
5 another, they are not the same thing for  
6 the same reasons, correct?

7 A. There's different ways of providing  
8 nutrients to people.

9 Q. All right. And it isn't you get to  
10 randomly pick? In other words, Doctor stay  
11 with me, when a person is digestively  
12 dysfunctional, as was Mr. Weatherspoon, you  
13 always try enteral first, don't you?

14 A. Correct.

15 Q. And you do it, A, because of the cost,  
16 correct?

17 A. Correct.

18 Q. B, so that a person can have some part of  
19 their body still functioning, correct?

20 A. Correct.

21 Q. All right. C, emotionally it's a little  
22 easier to be on an enteral feeding than it  
23 is to be on a total parenteral source,  
24 correct?

25 A. Yes, it is.

1 Q. But when a person reaches a stage where it  
2 is manifestly clear that their body will  
3 not do what it is supposed to on enteral  
4 feedings they then go to total parenteral  
5 nutrition, don't they?

6 A. Yes. If they're not able, for whatever  
7 reason, to use the enteral nutrition then  
8 TPN is the next step.

9 Q. And when we say -- when we get to the phase  
10 where their body can't use it, what we mean  
11 is that they are not efficiently,  
12 effectively receiving the nutrition that  
13 they need to live through the enteral  
14 source; isn't that what we mean?

15 A. It depends what you mean by that.  
16 Obviously, you have to examine to see why  
17 they're not getting it. Are they really  
18 getting all the nutrients that were ordered  
19 for them? You'd have to look at that.  
20 You'd have to look at if there's any  
21 psychological gain to be gained by dumping  
22 nutrients down the drain, we've had that  
23 happen in some patients, not self  
24 administering the enteral nutrition for  
25 other reasons that they wanted a vascular

1 access device in.

2 You have to look at the whole person  
3 and evaluate everything.

4 Q. Sure, you do. But when you've tried for  
5 years to maintain a person on enteral  
6 feedings and their critical numbers -- or  
7 transferrin and albumin aren't being  
8 maintained, that would be a very high  
9 indication to put them on TPN, wouldn't it?

10 A. There would be a high indication to think  
11 about why it's not being maintained and try  
12 to figure it out and improve it and if you  
13 can't to consider TPN, sure.

14 Q. In terms of some vague recollection you  
15 have about the family not wanting him on  
16 TPN. TPN is nobody's first choice, is it,  
17 Doctor?

18 A. No.

19 MS. TAYLOR-KOLIS: All right.  
20 I don't have any further questions.

21 MR. KWARCANY: Nothing  
22 further.

23 Doctor, because of the time  
24 constraints involved here, the parties have  
25 agreed that we can go ahead and waive your

1 signature and waive the viewing of the  
2 video tape and the filing.

3 MS. TAYLOR-KOLIS: Well, I  
4 would only say this just to be my usual  
5 comedic self, you never waive a trial depo.  
6 I mean you can't correct anything if you're  
7 on the stand but absolutely as to a waiver,  
8 and everything else. We're on a short time  
9 and everybody just do the best you can do.  
10 Thank you.

11 MR. KWARCANY: Thank you,  
12 Doctor.

13 VIDEO TECHNICIAN: We're off  
14 the record.

15 - - - - -  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25



1 State of Ohio, )  
2 County of Cuyahoga. ) SS: CERTIFICATE

3 I, Diane D. Barto, a Certified Professional  
4 Court Reporter and Notary Public within and for  
5 the State of Ohio, do hereby certify that the  
6 within named witness, EZRA STEIGER, M.D., was by  
7 me first duly sworn to testify the truth, the  
8 whole truth, and nothing but the truth in the  
9 cause aforesaid; that the testimony then given was  
10 reduced by me to stenotype in the presence of said  
11 witness, subsequently transcribed into typewriting  
12 under my direction, and that the foregoing is a  
13 true and correct transcript of the testimony so  
14 given as aforesaid

15 I do further certify that this deposition was  
16 taken at the time and place as specified in the  
17 foregoing caption, and that I am not a relative,  
18 counsel or attorney of either party or otherwise  
19 interested in the outcome of this action.

20 IN WITNESS WHEREOF, I have hereunto set my  
21 hand and affixed my seal of office at Cleveland,  
22 Ohio, this 2nd day of December, 1996.

23 Diane D. Barto  
24 Diane D. Barto, Holland & Associates, Inc.  
25 2000 E. 9th St., #608, Cleveland, Ohio, 44115  
My commission expires 11-27-97.