

1 THE STATE of OHIO,

: SS:

2 COUNTY of STARK.

3 -----

4 IN THE COURT OF COMMON PLEAS

5 -----

6 MARLA J. SPREADBURY, et al., :
7 plaintiffs, :

8 vs.

: Case No. 1998CV1681
1998CV00589

9 MERCY MEDICAL CENTER, et al., :
10 defendants.

11 -----

12 Deposition of WALTER TELESZ, M.D., a
13 defendant herein, called by the plaintiffs for the
14 purpose of cross-examination pursuant to the Ohio Rules
15 of Civil Procedure, taken before Constance Campbell, a
16 Notary Public within and for the state of Ohio, at the
17 offices of Walter Telesz, M.D., 2815 Aaronwood Avenue,
18 N.W., Massillon, Ohio, on THURSDAY, MAY 20TH, 1999,
19 commencing at 3:12 p.m. pursuant to agreement of
20 counsel.
21
22
23
24
25

APPEARANCES:

ON BEHALF OF THE PLAINTIFFS:

Donna Taylor Kolis, Esq.
Donna Taylor Kolis Co., LPA
330 standard Building
Cleveland, ohio 44113
(216) 861-4300.

and

George Emershaw, Esq.
Melissa D. Berry, Esq.
Emershaw, Mushkat & Schneier
437 Quaker Square
Akron, Ohio 44308
(330) 376-5756.

ON BEHALF OF THE DEFENDANT LAURA CAWTHON, M.D. and
RADIOLOGY SERVICES OF CANTON:

Michael Ockerman, Esq.
Buckingham, Doolittle & Burroughs
4518 Fulton Drive, NW
Canton, Ohio 44735
(330) 492-8717.

ON BEHALF OF THE DEFENDANTS WALTER TELESZ, M.D. and
STARK COUNTY SURGEONS, INC. and ROBERT PACKER, M.D.:

William A. Meadows, Esq.
Reminger & Reminger
The 113 Saint Clair Building
Cleveland, Ohio 44114
(216) 687-1311.

ON BEHALF OF THE DEFENDANTS ALEJANDRO SOS, M.D.
and ALEJANDRO SOS M.D., INC.:

Edward E. Taber, Esq.
Bonezzi, Switzer, Murphy & Polito
1400 Leader Building
Cleveland, ohio 44114
(216) 875-2767.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES:

ON BEHALF OF THE DEFENDANTS MARK TAWIL, M.D.,
and THORACIC SURGICAL ASSOCIATES, INC.:

Rocco Potenza, Esq.

Roetzel & Andress

222 South Main Street

Akron, Ohio 44308

(330) 376-2700.

ON BEHALF OF THE DEFENDANT MERCY MEDICAL CENTER:

Alicia M. Wyler, Esq.

Day, Ketterer, Raley, Wright & Rybolt

800 William R. Day Building

Canton, Ohio 44701

(330) 455-0173.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X

WITNESS: WALTER TELESZ, M.D.

PAGE

Cross-examination by Miss Kolis 7

PLAINTIFFS' EXHIBITS MARKED

A - Dr. Telesz' curriculum vitae 56

B - 9-23-97 order sheet 56

C - 9-23-97 order sheet 56

(FOR COMPLETE INDEX, SEE APPENDIX)

(IF ASCII DISK ORDERED, SEE BACK COVER)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

WALTER TELESZ, M.D.

of lawful age, a defendant herein, called by the
plaintiffs for the purpose of cross-examination pursuant
to the Ohio Rules of Civil Procedure, being first duly
sworn, as hereinafter certified, was examined and
testified as follows:

MISS KOLIS: Dr. Telesz, good
afternoon.

THE WITNESS: Telesz.

MISS KOLIS: Telesz, am I getting
close enough?

We've not been formally introduced. For
identification purposes on the record, let me advise you
my name is Donna Kolis, I'm one of the attorneys who has
been retained to represent Mr. and Mrs. Spreadbury in
this matter.

My purpose today is to explore facts
known to you and that may be apparent from the medical
record but I can't always read them. Ask you some
questions about your involvement in the care and the
treatment of Mrs. Spreadbury.

Can I gather that before today you've
had the opportunity in the past to give a deposition?

THE WITNESS: Yes, I have.

1 MISS KOLIS: whatever rules you
2 were told then probably still apply. The ones I want to
3 remind you about are that each and every time I ask you
4 a question you need to answer **it** verbally; do you
5 understand that requirement?

6 THE WITNESS: Yes.

7 MISS KOLIS: There we go, that is
8 always the trick question, we get you to nod right out
9 of the box.

10 Additionally, anything I may ask you,
11 you do not understand, that unfortunately happens
12 because you are a physician and I am an attorney, **if I**
13 ask a question you are not clear about the information
14 I'm seeking, you have every opportunity to tell me that,
15 okay?

16 THE WITNESS: Yes.

17 MISS KOLIS: There may come an
18 occasion during the time in which you are testifying
19 today that an objection will be made either by your
20 attorney or other persons in the room. I would advise
21 you to wait until we've resolved the objection, then
22 answer the question **if** you are instructed to answer **it**.

23 Do you understand these basic rules?

24 THE WITNESS: Yes.

25 -----

CROSS-EXAMINATION

BY MISS KOLIS:

Q. I have been handed by your attorney what appears to be your curriculum vitae, would you identify that this in fact is your current curriculum vitae?

A. Yes, this is.

Q. At the end of the deposition we will have this marked as Plaintiffs' Exhibit A.

why don't we go through, since this is the first time I've had a chance to see this, generally the training that led you to your occupation as a physician.

It would appear that you attended medical school at the University of Pittsburgh; is that right?

A. Yes.

Q. You graduated, in 1965?

A. Yes.

Q. Following that you did a rotating internship at Akron City Hospital for one year?

A. Yes.

Q. That was not a surgical internship, just a general rotating internship?

A. Right.

Q. From the information contained on your CV you

1 thereafter did a general surgical residency at Akron
2 city?

3 A. Yes.

4 Q. That was a four year program I guess, correct?

5 A. Yes.

6 Q. Following that did you receive any further
7 specialty training by way of Fellowship or otherwise?

8 A. No.

9 Q. I gather when you completed your residency you
10 applied for and took the general surgery Boards?

11 A. yes.

12 Q. when did you obtain a Board certification in
13 general surgery?

14 A. what date is that?

15 Q. 1971, does that refresh your memory; is that
16 correct?

17 A. Yes.

18 Q. Following the completion of your residency program
19 it appears you came here to Massillon, is that accurate,
20 to practice general surgery?

21 A. Yes.

22 Q. We are today sitting in the offices of Stark
23 County Surgeons, Inc.?

24 A. Yes.

25 Q. Am I correct about that?

1 A. Yes.

2 Q. Are you in an association or partnership with a
3 number of general surgeons?

4 A. Yes, four other general surgeons.

5 Q. Has this been -- I always hate to ask these
6 questions, you have to go backwards.

7 How long have you been with the stark
8 County Surgeons, Inc. group?

9 A. I would have to guess about 12 years, something
10 like that, 12, 14 years.

11 Q. Prior to that were you on your own?

12 A. Yes, I started out solely.

13 Q. The information seems to reflect you began
14 practicing here in Massillon, had privileges at
15 Massillon Community Hospital, you still have those; is
16 that correct?

17 A. Yes.

18 Q. Somewhere around 1980 you obtained courtsey
19 privileges at Mercy Medical Center?

20 A. Yes.

21 Q. Those continue through the present?

22 A. Yes.

23 Q. can you explain to me, when you say courtesy staff
24 privileges in general surgery at Mercy Medical Center
25 what you are conveying to me, what kind of privileges do

1 you have at the hospital?

2 A. The same privileges at Massillon, just the fact
3 being on courtesy staff you don't have to take emergency
4 room call, you are not eligible to hold office in the
5 medical staff, otherwise you have the same privileges.

6 Q. when you say you don't have to take emergency room
7 call, what do you mean?

8 A. when you are active staff you are assigned to take
9 call in the emergency room on a rotating basis.

10 Q. ■ that just something you don't want to do as it
11 regards that hospital?

12 A. It's time, so forth involved that is required.

13 Q. Do you currently hold any positions at Massillon
14 Community Hospital?

15 A. I'm Vice Chairman, Department of Surgery.

16 Q. How long have you had that position?

17 A. Just this past year.

18 Q. Sometime in the past, for a two year period, you
19 were the chief of surgery, correct?

20 A. Yes.

21 Q. Tell me a little bit about the practice that you
22 are involved in, Dr. Telesz.

23 A. Telesz.

24 Q. Can I just call you Doctor so that I don't
25 mispronounce your name all afternoon?

1 Tell me a little bit about your medical
2 practice that you are involved in.

3 A. we have a general surgery practice, which involves
4 the wide scope of surgery. The majority of our surgery
5 I would say is starting at the top thyroid, breast
6 surgery, all the GI tract, stomach, small bowel, colon,
7 gallbladder, hernia, appendicits and peripheral vascular
8 surgery in the form of aortic aneurysm and lower
9 abdomen, fem pop graphs, that type of thing, a lot of
10 venous surgery.

11 Q. You yourself within this practice, do you have
12 what you consider to be your particular specialty within
13 general surgery?

14 A. Not per se, we all do everything.

15 Q. There isn't one particular procedure that you do
16 more than any others because of an expertise you gained
17 in that area?

18 A. NO.

19 Q. Fair enough.

20 other than the current lawsuit which
21 we're involved in, have you previously been sued?

22 A. Yes.

23 MR. MEADOWS: show a continuing
24 objection.

25 MISS KOLIS: That's fine.

1 Q. Do you recall how many prior lawsuits you've been
2 involved in?

3 A. Two.

4 Q. Are you indicating by your testimony that there
5 have only been two lawsuits filed against you that you
6 can recall?

7 A. Yes.

8 Q. Did either of those go to verdict in favor of a
9 plaintiff?

10 A. NO.

11 Q. Were those filed in Stark county?

12 A. Yes.

13 Q. Is Stark County the only jurisdiction where you've
14 ever been sued?

15 A. Yes.

16 Q. In preparation for today's deposition, can you
17 tell me what material you reviewed?

18 A. These records that pertain to my involvement. I
19 did not review the entire records, as you know they are
20 very voluminous. ■ did go over the depositions that
21 were supplied to me.

22 Q. You're indicating these records, are you
23 identifying the hospital chart of Mercy Medical Center?

24 A. yes.

25 Q. Have you reviewed any records other than the Mercy

1 Medical Center records?

2 A. NO.

3 Q. You indicate that you looked at some depositions
4 that were supplied to you, would those be the
5 depositions of Dr. cawthon and Dr. Sos and Dr. Kralik?

6 A. Right, yes.

7 Q. At any time since the lawsuit has been filed in
8 this case, have you reviewed any of Marla spreadbury's
9 chest films or chest CT's?

10 A. since it's been filed?

11 Q. correct.

12 A. Yes.

13 Q. How recently did you have an opportunity to look
14 at those films?

15 A. Today.

16 Q. Are you capable of interpreting chest CAT scans,
17 Doctor?

18 A. No.

19 Q Did you review the e t CAT s t t
20 what you told me?

21 A. I looked at them, yes.

22 Q. Let me ask it this way: If you are incapable of
23 interpreting chest CAT scans, can you tell me your
24 purpose in looking at one today?

25 MR. MEADOWS: other than you might

1 ask about it?

2 MISS KOLIS: Excuse me?

3 MR. MEADOWS: other than you might
4 ask about it? You might be getting into what he and I
5 did to prepare today, ■ don't want that to happen.

6 Q. I don't want to know what you and your attorney
7 discussed, I'm asking why you would look at the chest
8 film performed by CAT scan you could not interpret?

9 A. I would take it from reviewing everything this is
10 probably the basic issue in this whole case.

11 Q. Fair enough.

12 Doctor, in anticipation of questioning
13 that might occur today, have you reviewed any medical
14 literature?

15 A. No.

16 Q. Have you performed any MEDLINE searches?

17 A. NO.

18 Q. Do you consider yourself to be a trauma surgeon?

19 A. Is that in quotations?

20 Q. That is in quotations.

21 A. NO.

22 Q. How is it you became involved in the care of Marla
23 spreadbury, can you explain that process to me?

24 A. Yes, in our group here we have five surgeons,
25 Dr. Sweitzer, one member, is on the active staff at

1 Mercy Medical Center, so that subsequently he is
2 assigned call in the ER. Our group divides that call
3 among the five of us. It was my turn to be on call that
4 day.

5 Q. Just to regurgitate it, sometimes I ask questions,
6 I try to listen, I'm not taking notes, one of the
7 surgeons in your group does have active staff
8 privileges, as a result of that must take emergency room
9 call on a rotating basis with other people, correct?

10 A. Yes.

11 Q. This particular doctor, although he is the person
12 with active staff privileges, then parcels out the
13 responsibility covering on call within your own group?

14 A. Correct.

15 Q. To your knowledge, did the hospital approve of
16 persons who did not have active staff privileges filling
17 in for Dr. sweitzer?

18 A. Never had any problem with that.

19 Q. So this was Dr. sweitzer's day to be called in; is
20 that right?

21 A. ■ would assume so, yes.

22 Q. You got the call to come to the hospital, correct?

23 A. Yes.

24 Q. How was the decision reached for you to become the
25 admitting physician for this patient?

1 MR. MEADOWS: objection. If you
2 know.

3 A. To tell you the truth I'm not sure because we were
4 all there at the same time.

5 Q. when a person comes in -- first of all, how long
6 have you been going to Mercy Medical Center as the
7 on-call emergency general surgeon?

8 A. Intermittently ever since Dr. sweitzer came on
9 board, which would be six or seven years ago.

10 Q. Does Dr. Sweitzer have some specific certification
11 as a trauma surgeon that you are aware of?

12 A. No.

13 Q. So for several years you've been coming in on call
14 in emergency room cases?

15 A. yes.

16 Q. At the time that Mrs. spreadbury was transferred
17 from the emergency room, admitted to ■ CU, what general
18 surgery problems did she have left that needed to be
19 evaluated in the ICU?

20 A. could you repeat that?

21 Q. Sure. There came a point in time, if I recall
22 about 1550 in the afternoon, when she was actually
23 transferred from the emergency room to ICU, you were the
24 admitting physician for ■ CU?

25 A. Yes.

1 Q. I'm reading the chart correctly?

2 A. Yes.

3 Q. ■ would like to know at that time what general
4 surgery problems Mrs. spreadbury had that needed further
5 evaluation or care and treatment?

6 A. At that point probably basically none.

7 Q. Did you feel comfortable at that point being
8 designated as the admitting physician?

9 MR. MEADOWS: Objection to form so
10 far as the word comfortable could be misconstrued.

11 Q. Let me ask you the question a different way.

12 To your knowledge have you ever seen any
13 documentation at the hospital as to how a decision would
14 be made as to who should be an admitting physician for
15 any particular patient?

16 A. NO.

17 Q. I'm trying to think of which way I want to go
18 here. I guess it's forward.

19 How long did you stay at the hospital on
20 September 23, 1997?

21 A. I'm not really sure. ■ have to be general.
22 Basically until the conclusion of the CAT scan, I
23 believe. ■ did, ■ know I was there until the conclusion
24 of the CAT scan, after that ■ don't think ■ was there.

25 Q. Have you had an opportunity to review those

1 records or notes that were generated by yourself?

2 A. Yes.

3 Q. Based upon that review, you think you left shortly
4 after the CAT scan was concluded?

5 MR. MEADOWS: Are you asking
6 whether he came back?

7 MISS KOLIS: First how long he
8 was there.

9 A. I was there at least probably two hours I would
10 think.

11 Q. we will have an opportunity to go through your
12 notes in a second.

13 were you called at home any time during
14 the night of the 23rd or morning of the 24th?

15 A. Not that I remember, although ■ see there is an
16 order that -- verbal order, I don't recall that.

17 Q. I want to ask, you a different question, then we
18 will go straight through your notes I'm assuming.

19 On the 24th, Doctor, did you come to
20 learn that Mrs. Spreadbury in fact had experienced a
21 transected aorta?

22 A. On the 24? 24th, yes.

23 Q. The day after she was admitted?

24 A. Yes.

25 Q. Tell me as specifically as you can how you learned

1 that event came to happen?

2 A. I came to see her in the intensive care unit, I
3 don't know the exact time, I think somewhere around
4 10:30 or eleven o'clock, somewhere in that ballpark.

5 I came to see her, just on rounds to see
6 her, I noticed she wasn't in her room. The nurses told
7 me that she was in the radiology department, having an
8 arteriogram done. That she had dropped her blood
9 pressure, that Dr. Kralik ordered an arteriogram, that
10 is where she was. That is how I found out.

11 Q. At that point you didn't know she had a transected
12 aorta, you knew she was being evaluated?

13 A. I knew she was having an arteriogram.

14 Q. what was your next knowledge?

15 A. I went over to the radiology suite, I forget which
16 radiologist had done the procedure, they had just
17 completed that procedure. They showed me the
18 arteriogram, with the diagnosis of aortic tear.

19 Q. At any time on September 23rd, based upon
20 information that you were given by any doctors involved
21 in her care or anything that you were told by the
22 radiologist, did you have a concern that Mrs. Spreadbury
23 might have had an injury to her great vessels?

24 A. Initially with the amount of trauma she sustained
2 to her chest that was our concern, that is why the CAT

1 scan of the chest was performed

2 Q. On the 23rd were you told that there was no injury
3 to the great vessels?

4 A. Yes, ■ was.

5 Q. who told you that?

6 A. The radiologist.

7 Q. when you say the radiologist, can you specifically
8 tell me whom you are referring to?

9 A. Dr. cawthon.

10 Q. Do you remember having a conversation with her
11 about the CAT scan findings?

12 A. Yes.

13 Q. what did she tell you the CAT scan revealed to the
14 best of your recollection?

15 MR. MEADOWS: On the 23rd?

16 Q. ■ On the 23rd.

17 A. ■ The 23rd?

18 Q. ■ Yes.

19 ■ the great vessels were normal.

20 Q. Do you know about what time of the day you had
21 that conversation with her?

22 A. It would have been while the procedure was going
23 on, somewhere probably around one o'clock I would think.

24 Q. when you say while the procedure was going on,
25 were you down stairs in radiology speaking with her?

1 A. Yes, I was at the patient's bedside monitoring the
2 patient during the procedure.

3 Q. You stayed with Mrs. Spreadbury in the CAT scan
4 suite the entire time of the scan?

5 A. Yes, I did.

6 Q. On the 24th after you learned there was a
7 transection of the aorta, at any time during that day
8 did you have a conversation with Dr. Cawthon about the
9 chest CT performed on the 23rd?

10 A. Yes, I did.

11 Q. Did you look at the chest CT with her at the time
12 of the conversation?

13 A. Yes, I did.

14 Q. Can you tell me approximately when this meeting
15 between the two of you occurred, if you know, Doctor?

16 A. Somewhere around eleven o'clock.

17 Q. Eleven o'clock in the morning?

18 A. Yes.

19 Q. Not in the evening?

20 A. No.

21 Q. Tell me how this -- I don't like the word
22 encounter -- did Dr. Cawthon contact you or did you
23 contact her, how did the two of you come to be together?

24 A. No, after I saw the arteriogram, I was curious why
25 we didn't pick it up on the CAT scan. so I went over

1 to -- they are quite far apart, the CAT scan unit and
2 the arteriography unit. I went to the CAT scan unit to
3 have her review the films.

4 Q. When you got there were the films there?

5 A. Yes, she had them available. Yes.

6 Q. Do you know whether prior to you coming over to
7 look at them she had already looked at them?

8 MR. MEADOWS: The prior day or
9 that day?

10 Q. I'm sorry, I'm not asking the best question.

11 when you arrived your recollect is it
12 was about eleven o'clock?

13 A. About 11:00.

14 Q. There is no documented note in the chart you wrote
15 when you went to visit Dr. cawthon, right, there is no
16 note?

17 A. No. There was prior to me going into OR, probably
18 shortly before 11:00.

19 Q. You are saying prior to going to OR you actually
20 went to the CAT scan suite to discuss this or look at
21 the film; is that right?

22 A. Yes.

23 Q. when you got there, did Dr. cawthon already seem
24 to know about the situation?

25 MR. OCKERMAN: objection.

1 A. I honestly don't remember.

2 Q. she didn't say to you, yes, I was advised there is
3 a problem?

4 MR. OCKERMAN: objection.

5 A. Not that I'm aware of.

6 Q. can you tell me today the content of the
7 conversation that you had with her at about
8 eleven o'clock on the 24th?

9 MR. OCKERMAN: objection.

10 A. well, I can't quote you. Basically to the fact
11 that I know I went there, looked at the films, I think I
12 told her that the arteriogram showed the tear, I
13 wondered why we didn't see it on the CAT scan which was
14 done the day before.

15 The gist of the thing was she said there
16 it is, must have missed it, I don't know why, it's
17 there. That is what I was told.

18 Q. That is what Dr. cawthon told you, she had missed
19 it, there it was?

20 MR. OCKERMAN: objection.

21 A. Yes.

22 Q. Did you share that information what Dr. cawthon
23 had said to you with any other of the doctors involved
24 in Mrs. Spreadbury's care on the 24th?

25 A. No.

1 Q. Dr. Telesz, did you -- Telesz, I'm sorry, I'm
2 going to call you Dr. T, I don't want to be
3 disrespectful.

4 A. My wife would be very upset with you.

5 Q. Don't tell her, please.

6 Did you on the 24th of September have an
7 opportunity to speak with Mr. Spreadbury or
8 Mrs. Spreadbury or their son or daughter?

9 A. I don't think so. I don't think so on the 24th.
10 I may be wrong, I don't think so.

11 Q. At any time after Mrs. Spreadbury's surgical
12 procedure of the 24th, did you have an occasion to speak
13 with her husband about the transected aorta?

14 A. No, the only recollection I have is I think I saw
15 her family was at the bedside one time when I went to
16 see her after surgery, I know there was no extensive
17 discussion about any of her problems or care.

18 Q. Did any radiologist at the hospital, other than
19 Dr. Cawthon, at any point after that eleven o'clock on
20 September 24th, through the time I filed the lawsuit, we
21 will use that as sort of a cut-off date, ever again have
22 a conversation with you about the reading of that film.?

23 MR. OCKERMAN: objection.

24 A. The reading of the CAT scan you mean?

2s Q. Yes. I should make that clear, the reading of the

1 CAT scan.

2 A. NO.

3 Q. Let's go back and look at some of the admission
4 notes. I have pieces parts, I don't have everything
5 with me today.

6 when you initially got to the
7 hospital -- first of all, can you identify what time you
8 arrived at Mercy Medical Center?

9 MR. MEADOWS: The progress sheets.

10 THE WITNESS: You know where it
11 is?

12 MISS KOLIS: You want the nurses'
13 progress sheets?

14 MR. MEADOWS: No, the doctor's.

15 A. I read somewhere where it did mention the time.
16 Did you ever come across it somewhere around 11:10 or
17 something like that. 11:30, somewhere in that ballpark
18 figure, somewhere around eleven o'clock, shortly after
19 she got there.

20 Q. when you got to the emergency room did you perform
21 a physical examination on the patient?

22 A. Yes.

23 Q. From your notes or from your recollection, tell me
24 what kind of physical exam you performed.

25 A. Let me look at my note here, history and physical.

1 Q. Looking for your typed note?

2 A. Looking for my history and physical. Here we go.

3 First of all, when I got there the
4 patient was intubated, the ER physician had intubated
5 her so she was paralyzed as a result of medication he
6 had given her in order to do the intubation. So she was
7 being ventilated by the respiratory therapist.
8 Basically there were not very many external injuries,
9 small laceration of the forehead, so forth, nothing
10 really major, no major scalp lacerations.

11 According to my exam the right pupil was
12 approximately 50 percent larger than the left. Bot
13 reactive to light.

14 chest exam revealed some ecchymosis of
15 the left anterior chest; however, the lungs were clear
16 to auscultation. The emergency room physician -- right
17 when I saw her the chest tubes were -- I think he was --
18 he had already put the right one in, I'm not sure.

19 Q. would that be Dr. Menia?

20 A. Yes. He put both tubes in, I'm not sure if the
21 right was in or he was in the process of putting it in,
22 because she had a lot of subcutaneous emphysema. That
23 means air under the skin, I told him I think we should
24 put one in the left side also, so he put one in the left
25 side.

1 The abdomen was soft without masses, she
2 did not -- it was difficult to evaluate under those
3 circumstances being paralyzed, she can't respond
4 appropriately, did not have any peritoneal irritation I
5 could tell. There was no ecchymotic areas on the
6 abdomen.

7 The extremities were not deformed. She
8 did have I believe it was her right hand bandaged, they
9 said there was a small laceration on the right hand.
10 That was pretty much the extent of the exam.

11 Q. Let me ask you a couple of questions about that.

12 You just related your physical
13 examination I gather from reading your eventual typed
14 and transcribed note?

15 A. Yes.

16 Q. Is this your note? That is your note, correct?

17 A. Yes.

18 Q. That's the handwritten note you made timed 12:15,
19 this would be the note you had handwritten into the
20 chart?

21 A. Yes.

22 Q. This is probably about the time you arrived?

23 A. shortly after.

24 Q. At the time you arrived it's progress note --

25 MR. POTENZA: Three pages in.

1 MISS KOLIS: No number on the
2 bottom, dated 9-23-97 12:15.

3 Q. At this point Dr. Menia had already preliminarily
4 put together a set of orders; is that your recollection?

5 A. Yes.

6 Q. Did you review his order sheet at 12:15?

7 A. ■ knew what was going on, ■ did not review
8 specifically that order sheet,

9 Q. In addition to performing a physical examination
10 and forming some impression at that point, did you look
11 at the chest films, chest x-rays, excuse me, so we're
12 not confused?

13 A. No, I honestly can't tell you for sure ■ did. In
14 looking over, reviewing this stuff, ■ know ■ did not
15 look at the later ones. By that ■ mean the ones after
16 they backed out the left chest tube. I'm not sure what
17 the timing was, ■ think the third or fourth one. ■
18 would have to assume that ■ did look at the first two.
19 ■ can't honestly tell you that for sure.

20 Q. The reason I'm asking you is you had just related
21 a couple of minutes ago that on the 23rd, ■ think I'm
22 paraphrasing this correctly, we had a concern that there
23 might be a great vessel injury; do you recall telling me
24 that today?

25 A. Yes.

1 Q. Your concern for the great vessel injury in this
2 patient was based upon what pieces of medical
3 information?

4 A. She had severe chest trauma

5 Q. when you say your concern was based on the fact she
6 had severe chest trauma, is that based upon the
7 collision itself, or based upon additionally your review
8 of the chest films?

9 A. The collision plus the fractured ribs. She had
10 multiple fractured ribs bilaterally.

11 Q. Did you have an opinion -- first of all, do you
12 regularly look at chest films to assess the degree of
13 chest trauma, chest x-rays?

14 A. I usually review them with a radiologist. I think
15 it's important.

16 Q. Did you review these particular chest x-rays with
17 the radiologist on the 23rd?

18 A. Not that I'm aware of.

19 Q. Do you believe you just looked at them on your
20 own?

21 MR. MEADOWS: If you recall.

22 A. I think with the ER physician, I think I looked at
23 them.

24 Q. Is it your recollection from looking on that date,
25 that the mediastinum was widened?

1 A. I don't recall really.

2 Q. You looked at those films this morning, the chest
3 films?

4 A. Yes.

5 Q. From looking at them today would you agree that
6 the mediastinum was widened?

7 MR. TABER: objection.

8 A. Not entirely. The first film is not a very good
9 quality. The other films don't look too bad at all.

10 Q. what do you think the appearance of a widened
11 mediastinum means?

12 A. I wouldn't have -- ■ think ■ would be concerned
13 about it, ■ would -- certainly not diagnostic.

14 Q. Do you think a chest film is diagnostic for a
15 great vessel injury, suggestive of one?

16 MR. MEADOWS: can it be in
17 general?

18 MISS KOLIS: Right.

19 A. In general, not diagnostic.

20 Q. Fair enough. You at the time, following the
21 physical examination, ■ might have you read that in a
22 second, you wrote admit to ICU orders at about the same
23 time, 12:15; do you remember that?

24 A. Yes.

25 Q. ■ '■ ■ mark those as Exhibit B. Identify what your

1 orders are, read them into the record.

2 A. 9-23-97 12:15 admit to ICU, NG to low suction.

3 I&O hourly, call if less than 25 cc's per hour. I.V.

4 150 cc's per hour, 1,000 cc's of 5 percent glucose and

5 lactated ringers. H&H q. 6 hours, Ancef one gram I.V.

6 q. 8 hours. consult Dr. sos, head trauma; consult

7 Dr. Tawil, chest trauma; consult Dr. Packer, ventilation

8 care. chest tube 20 centimeters of water suction.

9 Q. Going back. If you hang on to it, I'm going to
10 ask you some questions. You read eight items on the
11 order, correct?

12 A. Yes.

13 Q. Going through your testimony one by one, beginning
14 with number one, tell us once again what the order was
15 for and what the purpose is in issuing the order, what
16 you are concerned with or looking for, what did you want
17 monitored?

18 A. First of all the nasogastric tube, any time you
19 have a major trauma basically one of the first things I
20 was taught way back in training is put a tube in every
21 hole the exists. The GI track is one frequently you
22 have gastric dilatation, especially if someone is
23 swallowing a lot of air or bagged, gastric dilatation.
24 NG tube you frequently have the so-called ileus after
25 major trauma so the bowel lays quiet, so that is to keep

1 the stomach quiet.

2 I&O is to keep a record of how much
3 fluid is being put in and what she is putting out. The
4 reason about the hourly output is to watch the urine
5 closely because of pending renal failure in someone who
6 requires a lot of fluid or -- so that is what that is.

7 The I.V.'s, it's a little higher than
8 standard, basically we didn't want to give her too much
9 fluid because of potential of head injury. You like to
10 keep things a little on the dry side so cerebral edema
11 is not a problem. The solution we use is 5 percent
12 glucose and lactated ringers, which is a very standard
13 crystalloid replacement in any patient.

14 H&H every six hours is basically to
15 follow her blood count. Try to establish any excessive
16 blood loss. with the amount of trauma she had to her
17 ribs, I would anticipate it dropping significantly. It
18 reaches the point where it may be critical to transfuse
19 her.

20 Ancef is simply a general broad spectrum
21 antibiotic that is frequently given as a prophylaxis.

22 The consults, Dr. Sos is the
23 neurosurgeon. she indeed had a head trauma and
24 concussion. Dr. Tawil is the chest surgeon who I wished
25 to have his expertise in handling her chest problem.

1 Dr. Packer is a pulmonologist. I used a pulmonologist
2 to handle the ventilator which she was on, to handle
3 blood gases.

4 The last order for chest tubes. She has
5 bilateral chest tubes in, these are connected to a
6 so-called water seal to prevent any air leak, 20
7 millimeters of water suction is a standard type
8 situation.

9 Q. At the point that you wrote these orders and
10 performed your physical exam she had not yet been
11 transported down to CAT scan; is that a fair statement?

12 A. Correct.

13 Q. Did you contemplate contacting Dr. Tawil to
14 determine what kind of diagnostic evaluation he might
15 want of the chest?

16 A. He was there with me throughout this time really.

17 Q. That wasn't clear to me. Now you've given me
18 information.

19 Is it your testimony that Dr. Tawil was
20 in the ER at the same time you were?

21 A. Yes.

22 Q. Did Dr. Tawil also go down to the CAT scan with
23 your patient and yourself?

24 A. Yes, he was there.

25 Q. was he present during the entire time?

1 A. I can't answer that. I know he was there part of
2 the time.

3 Q. Was there any suggestion made that you can recall
4 of an aortogram being performed?

5 A. None.

6 Q. No one suggested that?

7 A. NO.

8 Q. Doctor, you indicated that you were downstairs in
9 CAT scan the entire time with Marla, you talked with
10 Dr. cawthon periodically during the examination?

11 A. Yes.

12 Q. Do you have a recollection that there came a point
13 in time during the CAT scan that Dr. cawthon was
14 concerned about any of the frames she was looking at in
15 the chest?

16 MR. OCKERMAN: objection.

17 Q. Did she express any concern to you?

18 A. No. Let me explain.

19 when I was there I didn't go over the
20 so-called hard copies with her. That is what you see on
21 the film. Basically intermittently I would look at the
22 monitor, which is a computerized thing. She mentioned
23 about some air in the mediastinum she was concerned
24 about. She thought there was maybe some hematoma in the
25 mediastinum, that is about it.

1 Q. Do you have a specific recollection of her stating
2 to you she thought there might be hematoma in the
3 mediastinum?

4 A. I'm pretty sure she said that.

5 Q. Do you have a recollection of another radiologist
6 coming to look at the films, the chest CT's while
7 Mrs. Spreadbury was still downstairs in CAT scan?

8 A. No, I don't.

9 Q. Do you know Dr. Murphy?

10 A. Yes, I know him.

11 Q. can you state from your own memory whether or not
12 while you were with the patient while the CAT scan was
13 being performed, whether or not Dr. Murphy came over and
14 looked at the films?

15 A. I don't remember that.

16 Q. Did you ever talk with Dr. Murphy after the
17 discovery of Mrs. Spreadbury's transected aorta about
18 that finding?

19 A. NO.

20 Q. So you are downstairs in CAT scan with
21 Mrs. Spreadbury, you come back upstairs when she is
22 done, that is what your testimony is, right?

23 A. Yes.

24 Q. when she came back from CAT scan pursuant to
25 Dr. Menia and your orders there were going to be

1 consultations with Dr. Sos and Dr. Tawil, right?

2 A. Yes.

3 Q. Did you stay in the emergency room with
4 Mrs. Spreadbury while those examinations were taking
5 place?

6 A. Not that I remember.

7 Q. You think you left at that point?

8 A. I think I did.

9 Q. Then you came back later?

10 A. Yes.

11 Q. Is that right?

12 A. Yes.

13 Q. You wrote a short note about 6:00 in the evening;
14 am I stating that correctly?

15 A. Yes, 1800 hours.

16 Q. There are no other notes written by you in that
17 interim period of time, correct?

18 A. correct.

19 Q. what information did you have at 1800 about
20 examinations being completed by your consultants?

21 A. I know I had talked with Dr. Sos personally, I
22 think we felt that the head injury -- the CAT scan
23 looked okay. we felt the head injury probably was not
24 going to be a big problem. certainly would have to be
25 monitored closely.

1 MR. TABER: For clarification,
2 which CT, head CT?

3 MISS KOLIS: Head CT.

4 THE WITNESS: Yes.

5 MR. TABER: Thank you.

6 A. That was with Dr. Tawil, I didn't really have a
7 whole lot of conversation with him. AS I said, he was
8 there intermittently, at least when we were doing a CAT
9 scan. I knew he was planning on doing a bronchoscope
10 for the air in the mediastinum to rule out a tracheal
11 tear. offhand I don't remember exactly when he did it.
12 I think it was before I saw her at 1800. I would have
13 to look at the record to see when he did his
14 bronchoscope. He did not convey to me anything further
15 needed to be done.

16 Q. Let me see if I can understand what the situation
17 was. YOU went with her to CAT scan, when you came back
18 up you had already signed the admitting orders for ICU,
19 you had consultants on board to perform exams so you
20 left the area for a while, right?

21 A. Yes.

22 Q. You came back according to your note at
23 six o'clock in the evening?

24 A. Yes.

25 Q. At six o'clock in the evening can you read into

1 the record, which we will eventually mark this Exhibit
2 C, what your note says?

3 A. Patient's blood pressure down to 70 to 80
4 systolic, I.V. increased, blood pressure now 120 over
5 80. Awaiting repeat H&H. Very little drainage from the
6 right chest tube, none from the left chest tube.
7 Patient starting to wake up. Abdomen was negative to
8 physical exam.

9 Q. Let's talk about the blood pressure 70 to 80's you
10 said systolic?

11 A. Yes.

12 Q. That is what the abbreviation means there?

13 A. Yes.

14 Q. couldn't read that part.

15 what did you suspect caused the drop in
16 the blood pressure?

17 A. I thought probably third space fluid loss from the
18 blunt trauma to the chest where all the rib fractures --
19 she was putting fluid, some blood into those areas,
20 probably some blood into the mediastinum with hematoma
21 they talked about.

22 Q. The next portion said I.V., I'm sorry, I wasn't
23 listening?

24 A. Increased. I think I have to review the nurses'
25 notes, she was given a bolus of 250 cc's of I.V. fluid,

1 her blood pressure responded rapidly back up to the
2 normal range.

3 Q. If a person's blood pressure increases with an
4 I.V. -- can we call it a push?

5 A. Yes.

6 Q. what does that indicate to you?

7 A. She was slightly hypovolemic.

8 Q. The contention, conclusion at that time was that
9 the hypovolemia would have been caused by third space
10 fluid losses?

11 A. Yes.

12 Q. Were you awaiting a repeat H&H?

13 A. Yes.

14 Q. Dr. Sos talked about a concern she may have
15 continued to have a decline in H&H; is that your
16 recollection?

17 MR. TABER: objection.

18 MR. MEADOWS: Objection as well.

19 A. I don't recall that.

20 Q. Did you have a concern that she would have
21 continued to drop her hemoglobin?

22 A. Anyone with major trauma you watch that.

23 Q. what did you plan to do for a drop in H&H?

24 A. Transfuse her.

25 Q. Do you believe you left an order, leave any

1 standing orders with the nursing staff that evening in
2 regard to a change in, fluctuation, changes and/or
3 fluctuation in blood pressure or change in H&H?

4 A. Not that I'm aware of.

5 Q. why wouldn't you have done that?

6 A. Can you repeat the question?

7 Q. why wouldn't you have done that? Can you answer
8 the question?

9 MR. MEADOWS: Can I ask for some
10 clarification, why wouldn't he have done what?

11 Q. when you left the hospital her blood pressure had
12 stabilized, you agree with that?

13 A. Yes.

14 Q. Did you have a concern because of potential
15 ongoing third space losses there might be continued
16 fluctuation of blood pressure during the evening?

17 A. she responded very rapidly, I did not think it was
18 going to be a serious problem. Again I would think that
19 I did not give any specific orders because I felt that
20 the nursing staff was quite competent, had a lot of
21 experience. she was in ■ CU, these nurses are supposed
22 to be trained in this type of thing, to notify me if
23 anything significant did happen.

24 Q. Your review of the hospital chart, did you review
25 the nursing notes that were generated between the time

1 you -- what time did you leave the hospital? Let me
2 preface it.

3 A. what time?

4 Q. After your examination at six o'clock what time
5 did you leave the hospital?

6 A. Shortly after that I would imagine.

7 Q. Have you had the opportunity to review the nursing
8 notes generated between 6:00 that evening and say
9 nine o'clock the following morning?

10 A. Yes, I did.

11 Q. were there any blood pressures recorded that you
12 have reviewed that concerned you that occurred in that
13 time period?

14 A. There was one, I have to look specifically. I
15 believe there were two instances they were low, then
16 came right back up. They never stayed down very long,
17 just a few minutes.

18 Q. would you have expected to be called based upon
19 those pressures?

20 MR. MEADOWS: objection.

21 A. I think if they would have stayed down, but they
22 came back so quickly. she had an arterial line in, so
23 that could have been simply positional. The way the --
24 I didn't put the arterial line in, I think Dr. Tawil put
25 it in, she had one. That momentary drop would simply

1 have been a positional thing, the way the catheter was.
2 ■ ~~it~~ it stayed down for any period of time, I would expect
3 to be called.

4 Q. what do you consider to be an extended period of
5 time so I can get a definition?

6 A. ■ would say a half hour, hour, something like
7 that.

8 Q. what pressure -- ■ never ask this question
9 appropriately. what systolic, diastolic pressure
10 reading by art line would you anticipate an experienced
11 nurse would begin watching the blood pressure for
12 hypotension?

13 MR. TABER: objection, vague.

14 MISS WYLER: objection.

15 MR. OCKERMAN: very vague.

16 MISS KOLIS: They all objected.

17 MR. MEADOWS: I forget the

18 question, what is the question?

19 MISS KOLIS: You didn't forget
20 the question.

21 MR. MEADOWS: she can read it,
22 it's realtime.

23 -----

24 (Question read.)

25 -----

1 . A. If it stayed down in the range of 70 to 80, that
2 is concerning enough.

3 Q. Are you talking --

4 A. systolic staying down over a period of time.

5 Q. So if the systolic stayed down in the range of 70
6 to 80 over a half an hour, would you have anticipated a
7 phone call from the nursing staff?

8 MR. MEADOWS: Objection.

9 A. Yes.

10 Q. The reason I ask that, Doctor, you didn't put any
11 specific order on when you left saying watch for these
12 particular pressures, if they occur call me, correct?

13 A. Correct.

14 Q. The reason I'm putting words in your mouth, you
15 felt comfortable doing that I gather from what you told
16 me because you perceived this to be an experienced
17 nursing staff?

18 A. Yes.

19 Q. If we look at the notes by the time you left, if
20 you left shortly after 6:00, if you would like to look
21 back, does it occur to you or is it your recollection
22 that Dr. Tawil had already performed the bronchoscope?

23 A. I have to look at the notes.

24 MR. MEADOWS: Do you have it
25 handy, save some time.

1 Q. Those are the nursing progress notes, I'm letting
2 you look at those.

3 A. Says here 7:15 nursing note Dr. Tawil attempting
4 to do bronchoscope, patient very combative, small
5 emesis. It's my recollection when ■ went there, they
6 told me the bronchoscope was negative as I recall. I
7 did not have any direct communication with Dr. Tawil.

8 Q. About the bronchoscope?

9 A. Right.

10 Q. You did have other -- you had contact with him
11 earlier in the day, you are relating it to this finding,
12 correct?

13 A. Yes.

14 Q. You would have looked at the chart to see what had
15 already occurred?

16 A. ■ would assume that the nurses told me what had
17 occurred.

18 Q. At that point did you have an understanding
19 whether or not further testing was going to occur?

20 A. At that point I was not aware of any further
21 testing.

22 Q. In other words, you weren't aware they were going
23 to do an esophogram?

24 A. offhand ■ don't remember being told that.

25 Q. During that entire evening, the evening of the

1 23rd, beginning about 6:00 when you were in to see her
2 through the next morning, did Dr. Tawil ever call you to
3 give you his impressions of his consult?

4 A. NO.

5 Q. would you have anticipated that he would call?

6 MR. POTENZA: objection.

7 A. NO.

8 Q. In the Mercy Medical Center setting, I'm not going
9 to ask you about Massillon, have you ever seen a set of
10 guidelines for what the admitting physician should do
11 for the patient in terms of confirming that all
12 consultations and tests are completed?

13 A. NO.

14 Q. would you have expected or was it the practice for
15 the staff to also call you with the results of tests
16 since you were the attending physician?

17 MR. POTENZA: objection.

18 MR. MEADOWS: can we be specific
19 in terms of what test? That runs the gamut between a
20 lot of different things.

21 Q. ■ can withdraw and rephrase.

22 was it the practice of Mercy Medical
23 Center during the time when you were called in to be an
24 attending for the staff, to call you with results of any
25 and all tests, including diagnostic evaluations

1 performed by consultants, H&H, blood pressure, did they
2 call you periodically to advise you of patient's status
3 and results of the tests?

4 MR. POTENZA: objection.

5 A. Not that I am aware of.

6 Q. You didn't anticipate being notified of
7 Dr. Tawil's consult until you saw it in the chart?

8 A. we saw the patient simultaneously, ■ guess if
9 there was anything different, if he felt that my input
10 was needed, he would have asked for it.

11 In the same token, ■ felt that if there
12 was something he felt, for instance the bronchoscopy, he
13 didn't ask me whether the bronchoscopy should be done.
14 He did the bronchoscopy, which was the right thing to
15 do.

16 Q. His decision?

17 A. His decision, which was the right thing to do.

18 No, I didn't expect him to call me.
19 Different physicians are different. some will call you
20 about everything, some won't call you about anything.
21 It wasn't unusual that he didn't call ■ don't think.

22 Bronchoscopy was normal.

23 Q. Mercy Medical Center does not have house officers
24 in the ~~CU~~

25 A. Not that I'm aware of.

1 Q Have you ever seen a house officer in the ICU in
2 the four to five years you've been there?

3 A. NO.

4 Q. So, there is no house officers?

5 A. Correct.

6 Q. At about 2145 in the evening there appears in the
7 chart what I'm interpreting to be a telephone order,
8 can you take a look at that and see what that says?

9 A. Says 9-23-97 SMA 6 in a.m., says voice order
10 Dr. Telesz. I honestly don't recollect that order.
11 Obviously I gave it or signed it the next morning.

12 Q. would it be your habit if you call in a telephone
13 order, the next day when you came in you would sign off
14 on the chart?

15 A. Yes.

16 Q. Your signature appears next to that order?

17 A. I would assume that is my order.

18 Q. Having looked at the chart, knowing you signed it,
19 I call it a take off the next day, do you know why at
20 2145 you would have called in an order to do an SMA in
21 the a.m.?

22 A. I really don't.

23 Q. once again, in going through the nurses' progress
24 notes, were there any attempts to reach you in that
25 night period until the next morning?

1 A. Not that I'm aware of.

2 Q. They didn't call you with any labs, any other
3 additional information?

4 A. No.

5 Q. The next day I think you relate that you came in
6 about 10:30 or 11:00; is that right?

7 A. Yes.

8 Q. One of your partners had seen Mrs. spreadbury that
9 morning?

10 A. Yes.

11 Q. I can't really make out the handwriting, can you
12 identify which one of your partners saw her?

13 A. Dr. Sweitzer.

14 Q. Am I reading the wrong or right -- was it at 7:25
15 in the morning?

16 MR. POTENZA: Looking at the
17 progress notes?

18 MISS KOLIS: Yes, one titled
19 general surgery. I don't know if that is correct or
20 not.

21 A. Yes.

22 Q. Dr. Sweitzer, how was it Dr. Sweitzer would have
23 come to see her that particular morning?

24 A. I'll try to explain. Basically we do -- first of
25 all we work out of three hospitals; Massillon, Aultman

1 and Mercy. we do see each other's patients. I'm not
2 sure whether he would have been the surgeon on call that
3 night. One of us -- we cover each other's patients. He
4 may or may not have been the surgeon on call, I don't
5 know. I have no record of who was on call when.

6 Frequently he does more work at Mercy
7 than the rest of us. I probably told him that I had
8 this major trauma there, that he may have had a case
9 scheduled at Massillon, if he would look in on her on
10 his rounds, see how she was doing. That would be my
11 explanation of the scenario why he saw her.

12 Q. At that point are you still the attending
13 physician, you have to have someone round that patient,
14 correct?

15 A. Yes. Someone covering from our practice, right.

16 Q. Are you able to read his handwriting?

17 A. No.

18 Q. Hand it back.

19 How long has he been your partner?

20 A. Six, seven years.

21 Q. You can't read his handwriting, am I stating that
22 accurately?

23 A. Nobody can.

24 Q. Did you have a conversation with Dr. Sweitzer --
25 am I pronouncing that correctly?

1 A. Yes.

2 Q. Did you have a conversation with Dr. Sweitzer on
3 the 24th about his physical examination of
4 Mrs. Spreadbury on that morning?

5 A. No. Not on the 24th, no.

6 Q. Did you at some time discuss with him his physical
7 exam?

8 A. when ■ was reviewing the chart I asked him to
9 interpret his note for me.

10 Q. You were reviewing the chart later in the day?

11 A. No, when ■ was reviewing the chart for the case.

12 MR. MEADOWS: The lawsuit.

13 Q. Do you have a recollection of what interpretation
14 he gave you of his findings that morning?

15 A. Things were pretty stable basically.

16 Q. It indicates to me, at least the part I can read,
17 I'm going to ask you what you may or may not recall
18 about this, at the bottom of that note from Dr. Sweitzer
19 discuss with Dr. Packer; do you see that?

20 A. Yes.

21 Q. can you read at least that part?

22 A. Yes.

23 Q. Do you know what Dr. Sweitzer discussed with
24 Dr. Packer?

25 MR. MEADOWS: Objection. If you

1 know.

2 Q. If you know.

3 A. ■ would be --

4 MR. MEADOWS: Don't guess.

5 A. ■ assume --

6 MR. MEADOWS: No, don't guess.

7 Q. If you do not remember what the conversation was?

8 A. ■ really don't.

9 Q. Did you become aware on the morning of the 24th
10 that Mrs. spreadbury had another chest film at about
11 6:15 in the morning for respiratory insufficiency?

12 A. ■ wasn't aware of that, no.

13 Q. Did you have a conversation with Dr. Packer that
14 day about the episode that preceded her hypotension?

15 A. NO.

16 Q. Have you ever talked with Dr. Packer about what
17 happened to Mrs. spreadbury from the date of the 24th
18 through the present?

19 A. we discussed things I would say very briefly
20 because we know we are both involved in this situation.
21 It wasn't anything specific really. very generalities.

22 Q. On the morning of the 24th, when Dr. Sweitzer was
23 seeing Mr. spreadbury -- ■ hate to say on your behalf --
24 the H&H was 24.6 and 24.8; did you consider that to be
25 stable in light of her situation?

1 A. Yes, I would expect that to be within reason
2 considering what she went through.

3 Q. Because as you have testified, you anticipated
4 that there would be a lowering of those numbers due to
5 ongoing third space losses?

6 A. There would be some bleeding, yes.

7 Q. when you left the hospital at six o'clock on the
8 23rd, did you have a concern that there might be a bleed
9 somewhere other than the third space?

10 A. No.

11 Q. How do you confirm a third space bleed?

12 A. You really can't.

13 Q. Right. were there any other tests that you were
14 thinking about performing to discover the actual site of
15 the bleeding in this patient?

16 A. Really when I saw her I didn't have an indication
17 she was bleeding. wasn't -- the drop when I saw her,
18 1800, I don't have the count in front of me, had not
19 changed significantly.

20 Q. Since the time of admission?

21 A. Right.

22 Q. Do you recall what her hemoglobin was when she
23 arrived in the emergency department?

24 A. Yes, I think around -- I don't have it with me, do
25 you have the record?

1 Q. I'm sure it's in here shuffled in my notes. Hang
2 on, I think I've got mine. Your admit notes maybe.
3 Those don't have an H&H on it. See if I can find it
4 here. Everything but. You think it would not be this
5 hard to find that number.

6 Doctor, let me ask you this question:
7 You had an opportunity to first of all be involved in
8 the care and treatment of Marla at the time,
9 subsequently look at the records and the films; do you
10 have any criticism of any of the medical personnel
11 involved in the care and treatment of this patient?

12 MR. MEADOWS: Objection.

13 Q. You may answer.

14 A. Repeat your question.

15 Q. Do you have any criticism of any of the medical
16 personnel, be it physician or nurses involved in the
17 care and treatment of this patient prior to her surgery
18 on 9-24-97?

19 MR. MEADOWS: objection.

20 MISS WYLER: objection.

21 MR. TABER: objection.

22 MR. POTENZA: objection.

23 MR. OCKERMAN: I'll join.

24 A. That is a tough question.

25 Q. why is it tough?

1 A. We are all human.

2 Q. Meaning we are all capable of making errors?

3 A. Sure.

4 I would say this: I would have to leave
5 it up to the expertise of the people involved in their
6 fields to pass criticism rather than myself. I'm not a
7 thoracic surgeon, I'm not a radiologist, I think it
8 would not be right for me to criticize people out of my
9 basic field in handling this case. If criticism is to
10 be handed out, I would rather see it be done by
11 specialists in their field.

12 Q. During your years of seeing people in the
13 emergency room with chest trauma, I assume
14 Mrs. Spreadbury is not the first person you ever saw
15 with a chest trauma; am I correct?

16 A. You are correct.

17 Q. Have you ever been involved with a person with a
18 diagnosis of a transected thoracic aorta?

19 A. I had one myself way back in the '70s, a young boy
20 about 12 or 14 hit by a car. We transferred him up to
21 Akron city, where he was operated on. That is the only
22 one I had personally.

23 Dr. Sweitzer had one several years ago
24 that we transferred to Cleveland and she expired. Those
25 are the only two that I have any kind of personal

1 experience with other than this present case.

2 Q. In the instance where you had a patient,
3 apparently a young man you told me that was involved in
4 a collision, was surgery performed?

5 A. Young boy in the first case?

6 Q. Yes.

7 A. Yes, he had surgery.

8 Q. Did your patient end up paraplegic?

9 MR. TABER: objection.

10 A. NO.

11 Q. Based upon your own knowledge as a general surgeon
12 is it better to operate on a transected aorta sooner or
13 later?

14 MR. POTENZA: objection.

15 MR. MEADOWS: objection.

16 A. I really don't operate on transected aortas, I
17 don't have the expertise to answer that.

18 Q. You know nothing about the medical issues involved
19 with timing in terms of these surgeries and paraplegia
20 as an outcome or not?

21 A. I do not.

22 Q. Lastly the question that you just discussed where
23 you had the young man transferred or the young lady
24 transferred to Cleveland, was it from Massillon?

25 A. Yes.

1 Q. Why would you transfer someone to Cleveland?

2 MR. OCKERMAN: I thought he said
3 Akron.

4 Q. Did you say Akron?

5 A. One was to Cleveland, that was Dr. Sweitzer's
6 decision, not mine.

7 Q. Were there no cardiothoracic surgeons at
8 Massillon?

9 MR. MEADOWS: objection. If you
10 know.

11 A. No.

12 Q. There aren't any?

13 A. No,

14 MISS KOLIS: I would like a few
15 minutes to talk with Mr. Emershaw in the hallway.

16 -----

17 (Recess had.)

18 -----

19 MISS KOLIS: No further
20 questions.

21 MR. MEADOWS: We will read it.

22 -----

23 (Plaintiffs' Exhibits A through C
24 marked for identification.)

25 (Deposition concluded; signature not waived.)

1 The State of Ohio,
2 county of Cuyahoga. : CERTIFICATE.

3 ■ Constance Campbell, Notary public within and for
4 the state of Ohio, do hereby certify that the within
5 named witness, WALTER TELESZ, M.D. was by me first duly
6 sworn to testify the truth in the cause aforesaid; that
7 the testimony then given was reduced by me to stenotypy
8 in the presence of said witness, subsequently
9 transcribed onto a computer under my direction, and that
10 the foregoing is a true and correct transcript of the
11 testimony so given as aforesaid.

12 ■ do further certify that this deposition was taken
13 at the time and place as specified in the foregoing
14 caption, and that I am not a relative, counsel or
15 attorney of either party, or otherwise interested in the
16 outcome of this action.

17 IN WITNESS WHEREOF, I have hereunto set my hand and
18 affixed my seal of office at Cleveland, Ohio,
19 this 26th day of May, 1999.

20 
21 -----

22 Constance Campbell, stenographic Reporter,
23 Notary Public/State of Ohio.

24 Commission expiration: January 14, 2003.

25

WALTER TELESZ, M.D.

	[2] 47:6 47:20	455-0173	5715 5716 5717
'70s	216	[1] 3:19	5718 5719 5720
[1] 54:19	[3] 2:5 2:19 2:24	492-8717	
1	222	[1] 2:14	[1] 5724
1,000	[1] 3:6	5	
[1] 31:4	23	5	[18] 573 574 575:
10:30	[1] 17:20	[2] 31:4 32:11	5576 577 57a
[2] 19:4 48:6	23rd	50	57957105711
113	[11] 18:14 19:19	[1] 26:12	571257135714
[1] 2:18	20:2 20:15 20:16	56	5715 5716 5717
11:00	20:17 21:9 28:21	[3] 4:10 4:11 4:12	5718 5719 5720
[3] 22:13 22:18	29:17 45:1 52:8	6	A
48:6	24	6	A.m.
11:10	[1] 18:22	[2] 31:5 47:9	[1] 4721
[1] 25:16	24.6	687-1311	Aaronwood
11:30	[1] 51:24	[1] 2:19	[1] 116
[1] 25:17	24.8	6:00	Abbreviation
12	[1] 51:24	[4] 36:13 41:8 43:	[1] 3812
[3] 9:9 9:10 54:20	24th	20 45:1	Abdomen
120	[15] 18:14 18:19	6:15	[4] 11 9 27 127:
[1] 38:4	18:22 21:6 23:8	[1] 51:11	6387
12:15	23:24 24:6 24:9	7	Able
[5] 27:18 28:2 28:	24:12 24:20 50:3	7	[1] 4916
6 30:23 31:2	50:5 51:9 51:17	[1] 4:4	According
12TH	51:22	70	[2] 2611 3722
[1] 1:17	25	[4] 38:3 38:9 43:	Accurate
14	[1] 31:3	1 43:5	[2] 819 5722
[3] 9:10 54:20 58:	250	7:15	Accurately
24	[1] 38:25	[1] 44:3	[1] 4922
1400	26th	7:25	Action
[1] 2:23	[1] 58:19	[1] 48:14	[1] 5816
150	2815	8	Active
[1] 31:4	[1] 1:16	8	[5] 10 8 14 25 35:
1550	3	[1] 31:6	715 12 3516
[1] 16:22	330	80	Actual
1800	[5] 2:4 2:9 2:14	[4] 38:3 38:5 43:	[1] 5214
[4] 36:15 36:19	3:8 3:19	1 43:6	Addition
37:12 52:18	376-2700	80's	[1] 289
1965	[1] 3:8	[1] 38:9	Additional
[1] 7:17	376-5756	800	[1] 483
1971	[1] 2:9	[1] 3:17	Additionally
[1] 8:15	3:12	861-4300	[2] 610 297
1980	[1] 1:18	[1] 2:5	Admission
[1] 9:18	4	875-2767	[2] 25 352 20
1997	437	[1] 2:24	Admit
[1] 17:20	[1] 2:8	9	[3] 30 22 31 2 53:
1998CV00589	44113	9-23-97	2
[1] 1:8	[1] 2:4	[5] 4:11 4:12 28:	Admitted
1998CV1681	44114	2 31:2 47:9	[2] 16 17 18 23
[1] 1:7	[2] 2:19 2:24	9-24-97	Admitting
1999	44308	[1] 53:18	[6] 35251624
[2] 1:17 58:19	[2] 2:9 3:7		17817 14 3718
2	44701		4510
20	[1] 3:18		Advise
[2] 31:8 33:6	44735	[18] 57:3 57:4 57:	[3] 514620462
2003	[1] 2:14	5 57:6 57:7 57:8	Advised
[1] 58:24	4518	57:9 57:10 57:11	[1] 232
2145	[1] 2:13	57:12 57:13 57:14	Affixed

WALTER TELESZ, M.D.

[1] 58:18	Aortogram	Attempts	[1] 42:11
Aforesaid	[1] 34:4	[1] 47:24	Beginning
[2] 58:6 58:11	Apart	Attended	[2] 31:13 45:1
Afternoon	[1] 22:1	[1] 7:13	Behalf
[3] 5:9 10:25 16:22	Appear	Attending	[7] 2:2 2:11 2:16
Age	[1] 7:13	[3] 45:16 45:24	2:21 3:1 3:13 51:23
[1] 5:2	Appearance	49:12	Berry
Ago	[1] 30:10	Attorney	[1] 2:7
[3] 16:9 28:21 54:23	APPEARANCES	[5] 6:12 6:20 7:3	Best
Agree	[2] 2:1 3:1	14:6 58:15	[2] 20:14 22:10
[2] 30:5 40:12	Appearance	Attorneys	Better
Agreement	[1] 5:19	[1] 5:15	[1] 55:12
[1] 1:18	Appendix	Aultman	Between
Air	[1] 11:7	[1] 48:25	[4] 21:15 40:25
[5] 26:23 31:23	APPENDIX	Auscultation	41:8 45:19
33:6 34:23 37:10	[1] 4:15	[1] 26:16	Big
Akron	Applied	Available	[1] 36:24
[7] 2:9 3:7 7:20	[1] 8:10	[1] 22:5	Bilateral
8:1 54:21 56:3 56:4	Apply	Avenue	[1] 33:5
Al	[1] 6:2	Awaiting	Bilaterally
[2] 1:6 1:8	Approach	[2] 38:5 39:12	[1] 29:10
ALEJANDRO	[1] 15:15	Aware	Bit
[2] 2:21 2:21	APRIL	[11] 16:11 23:5	[2] 10:21 11:1
Alicia	[1] 1:17	29:18 40:4 44:20	Bleed
[1] 3:15	Area	44:22 46:5 46:25	[2] 52:8 52:11
Amount	[2] 11:17 37:20	48:1 51:9 51:12	Bleeding
[2] 19:24 32:16	Areas	B	[3] 52:6 52:15 52:17
Ance	[2] 27:5 38:19	Backed	Blood
[2] 31:5 32:20	Arrived	[1] 28:16	[18] 19:8 32:15
Address	[5] 22:11 25:8 27:22	Backwards	32:16 33:3 38:3
[1] 3:5	27:24 52:23	[1] 9:6	38:4 38:9 38:16
Aneurysm	Art	Bad	38:19 38:20 39:1
[1] 11:8	[1] 42:10	[1] 30:9	39:3 40:3 40:11
Answer	Arterial	Bagged	40:16 41:11 42:11
[7] 6:4 6:22 6:22	[2] 41:22 41:24	[1] 31:23	46:1
34:1 40:7 53:13	Arteriogram	Ballpark	Blunt
55:17	[6] 19:8 19:9 19:13	[2] 19:4 25:17	[1] 38:18
Anterior	19:18 21:24 23:12	Bandaged	Board
[1] 26:15	Arteriography	[1] 27:8	[3] 8:12 16:9 37:19
Antibiotic	[1] 22:2	Based	Boards
[1] 32:21	Assess	[8] 18:3 19:19 29:2	[1] 8:10
Anticipate	[1] 29:12	2 29:5 29:6 29:7	Bolus
[3] 32:17 42:10	Assigned	41:18 55:11	[1] 38:25
46:6	[2] 10:8 15:2	Basic	Bonezzi
Anticipated	ASSOCIATES	[3] 6:23 14:10 54:9	[1] 2:23
[3] 43:6 45:5 52:3	[1] 3:2	Basis	Bottom
Anticipation	Association	[2] 10:9 15:9	[2] 28:2 50:18
[1] 14:12	[1] 9:2	Became	Bowel
Aorta	Assume	[1] 14:22	[2] 11:6 31:25
[7] 18:21 19:12	[6] 15:21 28:18	Become	Box
21:7 24:13 35:17	44:16 47:17 51:5	[2] 15:24 51:9	[1] 6:9
54:18 55:12	54:13	Bedside	Boy
Aortas	Assuming	[2] 21:1 24:15	[2] 54:19 55:5
[1] 55:16	[1] 18:18	Began	Breast
Aortic	Attempting	[1] 9:13	[1] 11:5
[2] 11:8 19:18	[1] 44:3	Begin	Briefly

WALTER TELESZ, M.D.

[1] 51:19 Broad [1] 32:20 Bronchoscope [6] 37:9 37:14 43:22 44:4 44:6 44:8 Bronchoscopy [4] 46:12 46:13 46:14 46:22 Buckingham [1] 2:13 Building [4] 2:4 2:18 2:23 3:17 Burroughs [1] 2:13	13 Cc's [4] 31:3 31:4 31:4 38:25 Zenter [12] 1:8 3:13 9:19 9:24 12:23 13:1 15:1 16:6 25:8 45:8 45:23 46:23 Zentimeters [1] 31:8 Zerebral [1] 32:10 Zertainly [2] 30:13 36:24 ZERTIFICATE [1] 58:2 Zertification [2] 8:12 16:10 Zertified [1] 5:5 Zertify [2] 58:4 58:12 Chairman [1] 10:15 Zhance [1] 7:10 Zhange [2] 40:2 40:3 Zhanged [1] 52:19 Zhanges [1] 40:2 Zhart [13] 12:23 17:1 22:14 27:20 40:24 44:14 46:7 47:7 47:14 47:18 50:8 50:10 50:11 Chest [40] 13:9 13:9 13:16 13:19 13:23 14:7 19:25 20:1 21:9 21:11 26:14 26:15 26:17 28:11 28:11 28:16 29:4 29:6 29:8 29:12 29:13 29:13 29:16 30:2 30:14 31:7 31:8 32:24 32:25 33:4 33:5 33:15 34:15 35:6 38:6 38:6 38:18 51:10 54:13 54:15 Zhief [1] 10:19 Circumstances [1] 27:3 Zity	[3] 7:20 8:2 54:21 Civil [2] 1:14 5:4 Clair [1] 2:18 Clarification [2] 37:1 40:10 Clear [4] 6:13 24:25 26:15 33:17 Cleveland [8] 2:4 2:19 2:24 54:24 55:24 56:1 56:5 58:18 Close [1] 5:12 Closely [2] 32:5 36:25 Collision [3] 29:7 29:9 55:4 Colon [1] 11:6 Combative [1] 44:4 Comfortable [3] 17:7 17:10 43:15 Coming [3] 16:13 22:6 35:6 Commencing [1] 1:18 Commission [1] 58:24 COMMON [1] 1:4 Communication [1] 44:7 Community [2] 9:15 10:14 Competent [1] 40:20 COMPLETE [1] 4:15 Completed [4] 8:9 19:17 36:20 45:12 Completion [1] 8:18 Computer [1] 58:9 Computerized [1] 34:22 Concern [9] 19:22 19:25 28:22 29:1 34:17 39:14 39:20 40:14 52:8 Concerned	[5] 30:12 31:16 34:14 34:23 41:12 Concerning [1] 43:2 Concluded [2] 18:4 56:25 Conclusion [3] 17:22 17:23 39:8 Concussion [1] 32:24 Confirm [1] 52:11 Confirming [1] 45:11 Confused [1] 28:12 Connected [1] 33:5 Consider [4] 11:12 14:18 42:4 51:24 Considering [1] 52:2 Constance [3] 1:14 58:3 58:22 Consult [5] 31:6 31:6 31:7 45:3 46:7 Consultants [3] 36:20 37:19 46:1 Consultations [2] 36:1 45:12 Consults [1] 32:22 Contact [3] 21:22 21:23 44:10 Contacting [1] 33:13 Contained [1] 7:25 Contemplate [1] 33:13 Content [1] 23:6 Contention [1] 39:8 Continue [1] 9:21 Continued [3] 39:15 39:21 40:15 Continuing [1] 11:23 Conversation [11] 20:10 20:21
C			
Canton [3] 2:11 2:14 3:18 Capable [2] 13:16 54:2 Caption [1] 58:14 Car [1] 54:20 Cardiothoracic [1] 56:7 Care [11] 5:21 14:22 17:5 19:2 19:21 23:24 24:17 31:8 53:8 53:11 53:17 Case [8] 1:7 13:8 14:10 49:8 50:11 54:9 55:1 55:5 Cases [1] 16:14 CAT [29] 13:16 13:19 13:23 14:8 17:22 17:24 18:4 19:25 20:11 20:13 21:3 21:25 22:1 22:2 22:20 23:13 24:24 25:1 33:11 33:22 34:9 34:13 35:7 35:12 35:20 35:24 36:22 37:8 37:17 Catheter [1] 42:1 Caused [2] 38:15 39:9 Cawthon [12] 2:11 13:5 20:9 21:8 21:22 22:15 22:23 23:18 23:22 24:19 34:10 34:			

WALTER TELESZ, M.D.

21:8 21:12 23:7 24:22 37:7 49:24 50:2 51:7 51:13 Convey [1] 37:14 Conveying [1] 9:25 Copies [1] 34:20 Zorrect [23] 8:4 8:16 8: 25 9:16 10:19 13: 11 15:9 15:14 15: 22 27:16 31:11 33: 12 36:17 36:18 43: 12 43:13 44:12 47: 5 48:19 49:14 54: 15 54:16 58:10 Correctly [4] 17:1 28:22 36: 14 49:25 Zounsel [2] 1:19 58:14 Zount [2] 32:15 52:18 Zounty [7] 1:2 2:16 8:23 9:8 12:11 12:13 58:2 Zouple [2] 27:11 28:21 Zourtesy [2] 9:23 10:3 Zourtsey [1] 9:18 Cover [2] 4:17 49:3 Covering [2] 15:13 49:15 Critical [1] 32:18 Criticism [4] 53:10 53:15 54:6 54:9 Criticize [1] 54:8 Cross-examination [4] 1:13 4:4 5:3 7:1 Crystalloid [1] 32:13 CT [5] 21:9 21:11 37: 2 37:2 37:3 CT's [2] 13:9 35:6 Curious [1] 21:24 Current	[2] 7:5 11:20 Zurriculm [1] 4:10 Zurriculum [2] 7:4 7:5 Cut [1] 24:21 Cut-off [1] 24:21 CV [1] 7:25 D Date [4] 8:14 24:21 29: 24 51:17 Dated [1] 28:2 Daughter [1] 24:8 Decision [5] 15:24 17:13 46:16 46:17 56:6 Decline [1] 39:15 Defendant [4] 1:12 2:11 3: 13 5:2 Defendants [4] 1:9 2:16 2:21 3:1 Definition [1] 42:5 Deformed [1] 27:7 Degree [1] 29:12 Department [3] 10:15 19:7 52: 23 Deposition [6] 1:11 5:24 7:7 12:16 56:25 58:12 Depositions [3] 12:20 13:3 13: 5 Designated [1] 17:8 Determine [1] 33:14 Diagnosis [2] 19:18 54:18 Diagnostic [5] 30:13 30:14 30:19 33:14 45:25 Diastolic [1] 42:9 Different [6] 17:11 18:17	45:20 46:9 46:19 46:19 Difficult [1] 27:2 Dilatation [2] 31:22 31:23 Direct [1] 44:7 Direction [1] 58:9 Discover [1] 52:14 Discovery [1] 35:17 Discuss [3] 22:20 50:6 50: 19 Discussed [4] 14:7 50:23 51: 19 55:22 Discussion [1] 24:17 DISK [1] 4:17 Disrespectful [1] 24:3 Divides [1] 15:2 Doctor's [1] 25:14 Doctors [2] 19:20 23:23 Documentation [1] 17:13 Documented [1] 22:14 Done [10] 19:8 19:16 23:14 35:22 37:15 40:5 40:7 40:10 46:13 54:10 Donna [3] 2:3 2:3 5:15 Doolittle [1] 2:13 Down [10] 20:25 33:11 33:22 38:3 41:16 41:21 42:2 43:1 43:4 43:5 Downstairs [3] 34:8 35:7 35: 20 Drainage [1] 38:5 Drive [1] 2:13 Drop [5] 38:15 39:21	39:23 41:25 52:17 Dropped [1] 19:8 Dropping [1] 32:17 Dry [1] 32:10 Due [1] 52:4 Duly [2] 5:4 58:5 During [11] 6:18 18:13 21:2 21:7 33:25 34:10 34:13 40:16 44:25 45:23 54:12 E Ecchymosis [1] 26:14 Ecchymotic [1] 27:5 Edema [1] 32:10 Edward [1] 2:22 Eight [1] 31:10 Either [3] 6:19 12:8 58: 15 Eleven [7] 19:4 21:16 21: 17 22:12 23:8 24: 19 25:18 Eligible [1] 10:4 Emergency [13] 10:3 10:6 10: 9 15:8 16:7 16:14 16:17 16:23 25:20 26:16 36:3 52:23 54:13 Emershaw [3] 2:7 2:8 56:15 Emesis [1] 44:5 Emphysema [1] 26:22 Encounter [1] 21:22 End [2] 7:7 55:8 Entire [5] 12:19 21:4 33: 25 34:9 44:25 Entirely [1] 30:8 Episode
--	--	---	--

WALTER TELESZ, M.D.

<p>[1] 51:14 ER [4] 15:2 26:4 29: 22 33:20 Errors [1] 54:2 Esophogram [1] 44:23 Especially [1] 31:22 Establish [1] 32:15 E t [2] 1:6 1 8 Evaluate [1] 27:2 Evaluated [2] 16:19 19:12 Evaluation [2] 17:5 33:14 Evaluations [1] 45:25 Evening [10] 21:19 36:13 37:23 37:25 40:1 40:16 41:8 44:25 44:25 47:6 Event [1] 19:1 Eventual [1] 27:13 Eventually [1] 38:1 Exact [1] 19:3 Exactly [1] 37:11 Exam [7] 25:24 26:11 26:14 27:10 33:10 38:8 50:7 Examination [7] 25:21 27:13 28:9 30:21 34:10 41:4 50:3 Examinations [2] 36:4 36:20 Examined [1] 5:5 Exams [1] 37:19 Excessive [1] 32:15 Excuse [2] 14:2 28:11 Exhibit [3] 7:8 30:25 38:1 Exhibits [2] 4:8 56:23</p>	<p>Exists [1] 31:21 Expect [3] 42:2 46:18 52: 1 Expected [2] 41:18 45:14 Experience [2] 40:21 55:1 Experienced [3] 18:20 42:10 43:16 Expertise [4] 11:16 32:25 54:5 55:17 Expiration [1] 58:24 Expired [1] 54:24 Explain [4] 9:23 14:23 34: 18 48:24 Explanation [1] 49:11 Explore [1] 5:18 Express [1] 34:17 Extended [1] 42:4 Extensive [1] 24:16 Extent [1] 27:10 External [1] 26:8 Extremities [1] 27:7</p> <p align="center">F</p> <p>Fact [5] 7:5 10:2 18: 20 23:10 29:5 Facts [1] 5:18 Failure [1] 32:5 Fair [4] 11:19 14:11 30:20 33:11 Family [1] 24:15 Far [2] 17:10 22:1 Favor [1] 12:8 Fellowship [1] 8:7 Felt</p>	<p>[7] 36:22 36:23 40:19 43:15 46:9 46:11 46:12 Fem [1] 11:9 Few [2] 41:17 56:14 Field [2] 54:9 54:11 Fields [1] 54:6 Figure [1] 25:18 Filed [5] 12:5 12:11 13: 7 13:10 24:20 Filling [1] 15:16 Film [7] 14:8 22:21 24: 22 30:8 30:14 34: 21 51:10 Films [14] 13:9 13:14 22:3 22:4 23:11 28:11 29:8 29:12 30:2 30:3 30:9 35: 6 35:14 53:9 Findings [2] 20:11 50:14 Fine [1] 11:25 First [16] 5:4 7:10 16: 5 18:7 25:7 26:3 28:18 29:11 30:8 31:18 31:19 48:24 53:7 54:14 55:5 58:5 Five [3] 14:24 15:3 47: 2 Fluctuation [3] 40:2 40:3 40: 16 Fluid [7] 32:3 32:6 32: 9 38:17 38:19 38: 25 39:10 Follow [1] 32:15 Following [5] 7:19 8:6 8:18 30:20 41:9 Follows [1] 5:6 Forehead [1] 26:9 Forget</p>	<p>[3] 19:15 42:17 42:19 Form [2] 11:8 17:9 Formally [1] 5:13 Forming [1] 28:10 Forth [2] 10:12 26:9 Forward [1] 17:18 Four [3] 8:4 9:4 47:2 Fourth [1] 28:17 Fractured [2] 29:9 29:10 Fractures [1] 38:18 Frames [1] 34:14 Frequently [4] 31:21 31:24 32:21 49:6 Front [1] 52:18 Fulton [1] 2:13</p> <p align="center">G</p> <p>Gained [1] 11:16 Gallbladder [1] 11:7 Gamut [1] 45:19 Gases [1] 33:3 Gastric [2] 31:22 31:23 Gather [4] 5:23 8:9 27: 13 43:15 General [19] 7:22 8:1 8: 10 8:13 8:20 9:3 9:4 9:24 11:3 11: 13 16:7 16:17 17: 3 17:21 30:17 30: 19 32:20 48:19 55: 11 Generalities [1] 51:21 Generally [1] 7:10 Generated [3] 18:1 40:25 41: 8</p>
---	--	---	--

WALTER TELESZ, M.D.

George [1] 2:7 GI [2] 11:6 31:21 Gist [1] 23:15 Given [7] 19:20 26:6 32: 21 33:17 38:25 58: 7 58:11 Glucose [2] 31:4 32:12 Graduated [1] 7:17 Gram [1] 31:5 Graphs [1] 11:9 Great [6] 19:23 20:3 20: 19 28:23 29:1 30: 15 Group [5] 9:8 14:24 15: 2 15:7 15:13 Guess [6] 8:4 9:9 17:18 46:8 51:4 51:6 Guidelines [1] 45:10	Hang [2] 31:9 53:1 Hard [21] 34:20 53:5 Kate [2] 9:5 51:23 Head [7] 31:6 32:9 32: 23 36:22 36:23 37: 2 37:3 Hematoma [3] 34:24 35:2 38: 20 Hemoglobin [2] 39:21 52:22 Hereby [1] 58:4 Herein [2] 1:12 5:2 Hereinafter [1] 5:5 Hereunto [1] 58:17 Hernia [1] 11:7 Higher [1] 32:7 History [2] 25:25 26:2 Hit [1] 54:20 Hold [2] 10:4 10:13 Hole [1] 31:21 Home [1] 18:13 Honestly [4] 23:1 28:13 28: 19 47:10 Hospital [17] 7:20 9:15 10: 1 10:11 10:14 12: 23 15:15 15:22 17: 13 17:19 24:18 25: 7 40:11 40:24 41: 1 41:5 52:7 Hospitals [1] 48:25 Hour [5] 31:3 31:4 42: 6 42:6 43:6 Hourly [2] 31:3 32:4 Hours [5] 18:9 31:5 31: 6 32:14 36:15 House [3] 46:23 47:1 47:	4 Human [1] 54:1 Husband [1] 24:13 Hypotension [2] 42:12 51:14 Hypovolemia [1] 39:9 Hypovolemic [1] 39:7 I I&O [2] 31:3 32:2 I.V. [3] 31:3 31:5 38: 25 I.V. 's [1] 32:7 ICU [10] 16:17 16:19 16:23 16:24 30:22 31:2 37:18 40:21 46:24 47:1 Identification [2] 5:14 56:24 Identify [4] 7:4 25:7 30: 25 48:12 Identifying [1] 12:23 Ileus [1] 31:24 Imagine [1] 41:6 Important [1] 29:15 Impression [1] 28:10 Impressions [1] 45:3 Inc [5] 2:16 2:21 3:2 8:23 9:8 Incapable [1] 13:22 Including [1] 45:25 Increased [2] 38:4 38:24 Increases [1] 39:3 Indeed [1] 32:23 INDEX [1] 4:15 Indicate [2] 13:3 39:6	Indicated [1] 34:8 Indicates [1] 50:16 Indicating [2] 12:4 12:22 Indication [1] 52:16 Information [9] 6:13 7:25 9: 13 19:20 23:22 29: 3 33:18 36:19 48:3 Injuries [1] 26:8 Injury [8] 19:23 20:2 28: 23 29:1 30:15 32: 9 36:22 36:23 Input [1] 46:9 Instance [2] 46:12 55:2 Instances [1] 41:15 Instructed [1] 6:22 Insufficiency [1] 51:11 Intensive [1] 19:2 Interested [1] 58:15 Interim [1] 36:17 Intermittently [3] 16:8 34:21 37: 8 Internship [3] 7:19 7:22 7:23 Interpret [2] 14:8 50:9 Interpretation [1] 50:13 Interpreting [2] 13:16 13:23 Interpretting [1] 47:7 Introduced [1] 5:13 Intubated [2] 26:4 26:4 Intubation [1] 26:6 Involved [16] 10:12 10:22 11:2 11:21 12:2 14:22 19:20 23:23 51:20 53:7 53:11 53:16 54:5 54:17
H H&H [10] 31:5 32:14 38:5 39:12 39:15 39:23 40:3 46:1 51:24 53:3 Habit [1] 47:12 Half [2] 42:6 43:6 Hallway [1] 56:15 Hand [4] 27:8 27:9 49: 18 58:17 Handed [2] 7:3 54:10 Handle [2] 33:2 33:2 Handling [2] 32:25 54:9 Handwriting [3] 48:11 49:16 49:21 Handwritten [2] 27:18 27:19 Handy [1] 43:25			

WALTER TELESZ, M.D.

55:3 55:18 Involvement [2] 5:21 12:18 Involves [1] 11:3 Irritation [1] 27:4 Issue [1] 14:10 Issues [1] 55:18 Issuing [1] 31:15 Items [1] 31:10 Itself [1] 29:7 IV [3] 38:4 38:22 39:4	Lacerations [1] 26:10 Lactated [2] 31:5 32:12 Lady [1] 55:23 Larger [1] 26:12 Last [1] 33:4 Lastly [1] 55:22 LAURA [1] 2:11 Lawful [1] 5:2 Lawsuit [4] 11:20 13:7 24:20 50:12 Lawsuits [2] 12:1 12:5 Lays [1] 31:25 Leader [1] 2:23 Leak [1] 33:6 Learn [1] 18:20 Learned [2] 18:25 21:6 Least [4] 18:9 37:8 50:16 50:21 Leave [4] 39:25 41:1 41:5 54:4 Led [1] 7:11 Left [16] 16:18 18:3 26:12 26:15 26:24 26:24 28:16 36:7 37:20 38:6 39:25 40:11 43:11 43:19 43:20 52:7 Less [1] 31:3 Letting [1] 44:1 Light [2] 26:13 51:25 Line [3] 41:22 41:24 42:10 Listen [1] 15:6 Listening [1] 38:23	Literature [1] 14:14 Look [23] 13:13 14:7 21:11 22:7 22:20 25:3 25:25 28:10 28:15 28:18 29:12 30:9 34:21 35:6 37:13 41:14 43:19 43:20 43:23 44:2 47:8 49:9 53:9 Looked [11] 13:3 13:21 22:7 23:11 29:19 29:22 30:2 35:14 36:23 44:14 47:18 Looking [9] 13:24 26:1 26:2 28:14 29:24 30:5 31:16 34:14 48:16 Loss [2] 32:16 38:17 Losses [3] 39:10 40:15 52:5 Low [2] 31:2 41:15 Lower [1] 11:8 Lowering [1] 52:4 LPA [1] 2:3 Lungs [1] 26:15	gasses [1] 27:1 Massillon [11] 1:17 8:19 9:14 9:15 10:2 10:13 45:9 48:25 49:9 55:24 56:8 Material [1] 12:17 !!?latter [1] 5:17 Meadows [30] 2:17 11:23 13:25 14:3 16:1 17:9 18:5 20:15 22:8 25:9 25:14 29:21 30:16 39:18 40:9 41:20 42:17 42:21 43:8 43:24 45:18 50:12 50:25 51:4 51:6 53:12 53:19 55:15 56:9 56:21 Mean [3] 10:7 24:24 28:15 !!?leaning [1] 54:2 Means [3] 26:23 30:11 38:12 Mediastinum [8] 29:25 30:6 30:11 34:23 34:25 35:3 37:10 38:20 Medical [21] 1:8 3:13 5:19 7:14 9:19 9:24 10:5 11:1 12:23 13:1 14:13 15:1 16:6 25:8 29:2 45:8 45:22 46:23 53:10 53:15 55:18 Medication [1] 26:5 MEDLINE [1] 14:16 Meeting [1] 21:14 Melissa [1] 2:7 Member [1] 14:25 Irlemory [2] 8:15 35:11 Menia [3] 26:19 28:3 35:25 Mention
J			
January [1] 58:24 Join [1] 53:23 Jurisdiction [1] 12:13			
K			
Keep [3] 31:25 32:2 32:10 Ketterer [1] 3:16 Kind [4] 9:25 25:24 33:14 54:25 Knowing [1] 47:18 Knowledge [4] 15:15 17:12 19:14 55:11 Known [1] 5:19 Kolis [22] 2:3 2:3 4:4 5:8 5:11 5:15 6:1 6:7 6:17 7:2 11:25 14:2 18:7 25:12 28:1 30:18 37:3 42:16 42:19 48:18 56:14 56:19 Kralik [2] 13:5 19:9			
L			
Labs [1] 48:2 Laceration [2] 26:9 27:9			
		M	
		M.D. [8] 2:11 2:16 2:21 3:1 4:2 5:1 57:25 58:5 Main [1] 3:6 Major [6] 26:10 26:10 31:19 31:25 39:22 49:8 Majority [1] 11:4 Mn [2] 55:3 55:23 Mark [3] 3:1 30:25 38:1 Marked [3] 4:8 7:8 56:24 Marla [5] 1:6 13:8 14:22 34:9 53:8	

WALTER TELESZ, M.D.

[1] 25:15 Mentioned [1] 34:22 Mercy [14] 1:8 3:13 9: 19 9:24 12:23 12: 25 15:1 16:6 25:8 45:8 45:22 46:23 49:1 49:6 Michael [1] 2:12 Might [11] 13:25 14:3 14:4 14:13 19:23 28:23 30:21 33:14 35:2 40:15 52:8 Millimeters [1] 33:7 Mine [2] 53:2 56:6 Minutes [3] 28:21 41:17 56:15 Hisconstrued [1] 17:10 Mispronounce [1] 10:25 Miss [21] 4:4 5:8 5:11 6:1 6:7 6:17 7:2 11:25 14:2 18:7 25:12 28:1 30:18 37:3 42:14 42:16 42:19 48:18 53:20 56:14 56:19 Missed [2] 23:16 23:18 Momentary [1] 41:25 MONDAY [1] 1:17 Monitor [1] 34:22 Monitored [2] 31:17 36:25 Monitoring [1] 21:1 Morning [15] 18:14 21:17 30:2 41:9 45:2 47: 11 47:25 48:9 48: 15 48:23 50:4 50: 14 51:9 51:11 51: 22 Mouth [1] 43:14 Multiple [1] 29:10 Murphy	[4] 2:23 35:9 35: 13 35:16 Mushkat [1] 2:8 Must [2] 15:8 23:16 N Name [2] 5:15 10:25 Named [1] 58:5 Nasogastric [1] 31:18 Need [1] 6:4 Needed [4] 16:18 17:4 37: 15 46:10 Negative [2] 38:7 44:6 Neurosurgeon [1] 32:23 Never [3] 15:18 41:16 42:8 Next [9] 19:14 38:22 45:2 47:11 47:13 47:16 47:19 47:25 48:5 NG [2] 31:2 31:24 Night [3] 18:14 47:25 49:3 Nine [1] 41:9 Nobody [1] 49:23 None [3] 17:6 34:5 38:6 Normal [3] 20:19 39:2 46: 22 Notary [3] 1:15 58:3 58: 23 NOTATION [1] 57:2 Note [16] 22:14 22:16 25:25 26:1 27:14 27:16 27:16 27:18 27:19 27:24 36:13 37:22 38:2 44:3 50:9 50:18 Notes [17] 15:6 18:1 18:	12 18:18 25:4 25: 23 36:16 38:25 40: 25 41:8 43:19 43: 23 44:1 47:24 48: 17 53:1 53:2 Nothing [2] 26:9 55:18 Noticed [1] 19:6 Notified [1] 46:6 Notify [1] 40:22 Number [4] 9:3 28:1 31: 14 53:5 Numbers [1] 52:4 Nurse [1] 42:11 Nurses [4] 19:6 40:21 44: 16 53:16 Nurses' [3] 25:12 38:24 47:23 Nursing [8] 40:1 40:20 40: 25 41:7 43:7 43: 17 44:1 44:3 NW [2] 1:17 2:13 O O'clock [13] 19:4 20:23 21:16 21:17 22:12 23:8 24:19 25:18 37:23 37:25 41:4 41:9 52:7 Objected [1] 42:16 Objection [31] 6:19 6:21 11: 24 16:1 17:9 22: 25 23:4 23:9 23: 20 24:23 30:7 34: 16 39:17 39:18 41: 20 42:13 42:14 43: 8 45:6 45:17 46:4 50:25 53:12 53:19 53:20 53:21 53:22 55:9 55:14 55:15 56:9 Obtain [1] 8:12 Obtained [1] 9:18 Obviously	[1] 47:11 Occasion [2] 6:18 24:12 Occupation [1] 7:11 Occur [4] 14:13 43:12 43:21 44:19 Occurred [4] 21:15 41:12 44:15 44:17 Ockerman [10] 2:12 22:25 23:4 23:9 23:20 24:23 34:16 42:15 53:23 56:2 Offhand [2] 37:11 44:24 Office [2] 10:4 58:18 Officer [1] 47:1 Officers [2] 46:23 47:4 Offices [2] 1:16 8:22 Ohio [16] 1:1 1:13 1: 15 1:17 2:4 2:9 2: 14 2:19 2:24 3:7 3:18 5:4 58:1 58: 4 58:18 58:23 In-call [1] 16:7 Ince [2] 31:14 47:23 One [30] 5:15 7:20 11: 15 13:24 14:25 15: 6 20:23 24:15 26: 18 26:24 26:24 28: 17 30:15 31:5 31: 13 31:13 31:14 31: 19 31:21 34:6 41: 14 41:25 48:8 48: 12 48:18 49:3 54: 19 54:22 54:23 56: 3 Ones [3] 6:2 28:15 28: 15 Ongoing [2] 40:15 52:5 Operate [2] 55:12 55:16 Operated [1] 54:21 Opinion [1] 29:11
---	--	---	--

WALTER TELESZ, M.D.

Opportunity [8] 5:24 6:14 13: 13 17:25 18:11 24: 7 41:7 53:7	[1] 49:19 Partners [2] 48:8 48:12 Partnership [1] 9:2	[4] 15:11 16:5 54: 14 54:17 Person's [1] 39:3 Personal [1] 54:25 Personally [2] 36:21 54:22 Personnel [2] 53:10 53:16 Persons [2] 6:20 15:16 Pertain [1] 12:18 Phone [1] 43:7 Physical [11] 25:21 25:24 25:25 26:2 27:12 28:9 30:21 33:10 38:8 50:3 50:6 Physician [13] 6:12 7:12 15: 25 16:24 17:8 17: 14 26:4 26:16 29: 22 45:10 45:16 49: 13 53:16 Physicians [1] 46:19 Pick [1] 21:25 Pieces [2] 25:4 29:2 Pittsburgh [1] 7:14 Place [2] 36:5 58:13 Plaintiff [1] 12:9 Plaintiffs [4] 1:6 1:12 2:2 5:3 Plaintiffs' [3] 4:8 7:8 56:23 Plan [1] 39:23 Planning [1] 37:9 PLEAS [1] 1:4 Plus [1] 29:9 Point [14] 16:21 17:6 17:7 19:11 24:19 28:3 28:10 32:18 33:9 34:12 36:7 44:18 44:20 49:12 Polito	[1] 2:23 Pop [1] 11:9 Portion [1] 38:22 Position [1] 10:16 Positional [2] 41:23 42:1 Positions [1] 10:13 Potential [2] 32:9 40:14 Potenza [8] 3:4 27:25 45: 6 45:17 46:4 48: 16 53:22 55:14 Practice [8] 8:20 10:21 11: 2 11:3 11:11 45: 14 45:22 49:15 Practicing [1] 9:14 Preceded [1] 51:14 Preface [1] 41:2 Preliminarily [1] 28:3 Preparation [1] 12:16 Prepare [1] 14:5 Presence [1] 58:8 Present [4] 9:21 33:25 51: 18 55:1 Pressure [14] 19:9 38:3 38: 4 38:9 38:16 39:1 39:3 40:3 40:11 40:16 42:8 42:9 42:11 46:1 Pressures [3] 41:11 41:19 43:12 Pretty [3] 27:10 35:4 50: 15 Prevent [1] 33:6 Previously [1] 11:21 Privileges [9] 9:14 9:19 9: 24 9:25 10:2 10:5 15:8 15:12 15:16 Problem
Order [20] 4:11 4:12 18: 16 18:16 26:6 28: 6 28:8 31:11 31: 14 31:15 33:4 39: 25 43:11 47:7 47: 9 47:10 47:13 47: 16 47:17 47:20	Parts [1] 25:4 Party [1] 58:15 Pass [1] 54:6 Past [3] 5:24 10:17 10: 18 Patient [19] 15:25 17:15 21:2 25:21 26:4 29:2 32:13 33:23 35:12 38:7 44:4 45:11 46:8 49:13 52:15 53:11 53:17 55:2 55:8 Patient's [3] 21:1 38:3 46:2 Patients [2] 49:1 49:3 Pending [1] 32:5 People [4] 15:9 54:5 54: 8 54:12 Per [3] 11:14 31:3 31: 4 Perceived [1] 43:16 Percent [3] 26:12 31:4 32: 11 Perform [2] 25:20 37:19 Performed [11] 14:8 14:16 20:1 21:9 25:24 33:10 34:4 35:13 43:22 46:1 55:4 Performing [2] 28:9 52:14 Period [7] 10:18 36:17 41:13 42:2 42:4 43:4 47:25 Periodically [2] 34:10 46:2 Peripheral [1] 11:7 Peritoneal [1] 27:4 Person	Order [2] 4:17 19:9 Orders [8] 28:4 30:22 31: 1 33:9 35:25 37: 18 40:1 40:19 Otherwise [3] 8:7 10:5 58:15 Outcome [2] 55:20 58:16 output [1] 32:4 Own [5] 9:11 15:13 29: 20 35:11 55:11	
P			
P.m. [1] 1:18 Packer [7] 2:16 31:7 33: 1 50:19 50:24 51: 13 51:16 PAGE [1] 4:3 PAGE/LINE [1] 57:2 Pages [1] 27:25 Paralyzed [2] 26:5 27:3 Paraphrasing [1] 28:22 Paraplegia [1] 55:19 Paraplegic [1] 55:8 Parcels [1] 15:12 Part [4] 34:1 38:14 50: 16 50:21 Particular [7] 11:12 11:15 15:11 17:15 29:16 43:12 48:23 Partner			

WALTER TELESZ, M.D.

[6] 15:18 23:3 32:11 32:25 36:24 40:18 Problems [3] 16:18 17:4 24:17 Procedure [9] 1:14 5:4 11:15 19:16 19:17 20:22 20:24 21:2 24:12 Process [2] 14:23 26:21 Program [2] 8:4 8:18 Progress [6] 25:9 25:13 27:24 44:1 47:23 48:17 Pronouncing [1] 49:25 Prophylaxis [1] 32:21 Public [2] 1:15 58:3 Public/State [1] 58:23 Pulmonologist [2] 33:1 33:1 Pupil [1] 26:11 Purpose [5] 1:13 5:3 5:18 13:24 31:15 Purposes [1] 5:14 Pursuant [4] 1:13 1:18 5:3 35:24 Push [1] 39:4 Put [10] 26:18 26:20 26:24 26:24 28:4 31:20 32:3 41:24 41:24 43:10 Putting [4] 26:21 32:3 38:19 43:14	27:11 31:10 56:20 Quickly [1] 41:22 Quiet [2] 31:25 32:1 Quite [2] 22:1 40:20 Quotations [2] 14:19 14:20 Quote [1] 23:10	[5] 28:20 32:4 43:10 43:14 52:1 Receive [1] 8:6 Recently [1] 13:13 Recess [1] 56:17 Recollect [2] 22:11 47:10 Recollection [12] 20:14 24:14 25:23 28:4 29:24 34:12 35:1 35:5 39:16 43:21 44:5 50:13 Record [8] 5:14 5:20 31:1 32:2 37:13 38:1 49:5 52:25 Recorded [1] 41:11 Records [7] 12:18 12:19 12:22 12:25 13:1 18:1 53:9 Reduced [1] 58:7 Referring [1] 20:8 Reflect [1] 9:13 Refresh [1] 8:15 Regard [1] 40:2 Regards [1] 10:11 Regularly [1] 29:12 Regurgitate [1] 15:5 Relate [1] 48:5 Related [2] 27:12 28:20 Relating [1] 44:11 Relative [1] 58:14 Remember [9] 18:15 20:10 23:1 30:23 35:15 36:6 37:11 44:24 51:7 Remind [1] 6:3 Reminger [2] 2:18 2:18	Renal [1] 32:5 Repeat [5] 16:20 38:5 39:12 40:6 53:14 Rephrase [1] 45:21 Replacement [1] 32:13 Reporter [1] 58:22 Represent [1] 5:16 Required [1] 10:12 Requirement [1] 6:5 Requires [1] 32:6 Residency [3] 8:1 8:9 8:18 Resolved [1] 6:21 Respiratory [2] 26:7 51:11 Respond [1] 27:3 Responded [2] 39:1 40:17 Responsibility [1] 15:13 Rest [1] 49:7 Result [2] 15:8 26:5 Results [3] 45:15 45:24 46:3 Retained [1] 5:16 Revealed [2] 20:13 26:14 Review [14] 12:19 13:19 17:25 18:3 22:3 28:6 28:7 29:7 29:14 29:16 38:24 40:24 40:24 41:7 Reviewed [5] 12:17 12:25 13:8 14:13 41:12 Reviewing [5] 14:9 28:14 50:8 50:10 50:11 Rib [1] 38:18 Ribs [3] 29:9 29:10 32:17
Q	R		
Quaker [1] 2:8 Quality [1] 30:9 Questioning [1] 14:12 Questions [6] 5:21 9:6 15:5	Radiologist [9] 19:16 19:22 20:6 20:7 24:18 29:14 29:17 35:5 54:7 Radiology [4] 2:11 19:7 19:15 20:25 Raley [1] 3:16 Range [3] 39:2 43:1 43:5 Rapidly [2] 39:1 40:17 Rather [2] 54:6 54:10 Rays [1] 28:11 Reach [1] 47:24 Reached [1] 15:24 Reaches [1] 32:18 Reactive [1] 26:13 Read [15] 5:20 25:15 30:21 31:1 31:10 37:25 38:14 42:21 42:24 49:16 49:21 50:16 50:21 56:21 57:21 Reading [7] 17:1 24:22 24:24 24:25 27:13 42:10 48:14 Really [12] 17:21 26:10 30:1 33:16 37:6 47:22 48:11 51:8 51:21 52:12 52:16 55:16 Realtime [1] 42:22 Reason		

WALTER TELESZ, M.D.

Ringers [2] 31:7 32:12 ROBERT o [1] 2:18 Rocco [1] 3:4 Roetzel [1] 3:5 Room [13] 6:20 10:4 10: 6 10:9 15:8 16:14 16:17 16:23 19:6 25:20 26:16 36:3 54:13 Rotating [4] 7:19 7:23 10: 9 15:9 Round [1] 49:13 Rounds [2] 19:5 49:10 Rule [1] 37:10 Rules [4] 1:13 5:0 8:1 6:23 Runs [1] 45:19 Rybolt [1] 3:16	[1] 7:14 Scope [1] 11:4 Se [1] 11:14 Seal [2] 33:6 58:18 Searches [1] 14:16 Second [2] 18:12 30:22 Seeing [2] 51:23 54:12 Seeking [1] 6:14 Seem [1] 22:23 September [4] 17:20 19:19 24:6 24:20 Servicio [1] 40:18 SERVICES [1] 2:11 Set [3] 28:4 45:9 58: 17 Setting [1] 45:8 Seven [2] 16:9 49:20 Several [2] 16:13 54:23 Severe [2] 29:4 29:6 Share [1] 23:22 Sheet [5] 4:11 4:12 28: 6 28:8 57:1 Sheets [2] 25:9 25:13 Short [1] 36:13 Shortly [6] 18:3 22:18 25: 18 27:23 41:6 43: 20 Show [1] 11:23 Show'd [2] 19:17 23:17 Shuffled [1] 53:1 Side [3] 26:24 26:25 32:10 Sign [1] 47:13	Signature [2] 47:16 56:25 Signed [3] 37:18 47:11 47:18 Significant [1] 40:23 Significantly [2] 32:17 52:19 Simply [3] 32:20 41:23 41:25 Simultaneously [1] 46:8 Site [1] 52:14 Sitting [1] 8:22 Situation [5] 22:24 33:8 37: 16 51:20 51:25 Six [7] 16:9 32:14 37: 23 37:25 41:4 49: 20 52:7 Skin [1] 26:23 Slightly [1] 39:7 SMA [2] 47:9 47:20 Small [4] 11:6 26:9 27: 9 44:4 So-called [3] 31:24 33:6 34: 20 Soft [1] 27:1 Solely [1] 9:12 Solution [1] 32:11 Someone [5] 31:22 32:5 49: 13 49:15 56:1 Sometime [1] 10:18 Sometimes [1] 15:5 Somewhere [10] 9:18 19:3 19: 4 20:23 21:16 25: 15 25:16 25:17 25: 18 52:9 Son [1] 24:8 Sooner [1] 55:12	Sorry [3] 22:10 24:1 38: 22 Sort [1] 24:21 Sos [8] 2:21 2:21 13: 5 31:6 32:22 36:1 36:21 39:14 South [1] 3:6 Space [6] 38:17 39:9 40: 15 52:5 52:9 52:11 Speaking [1] 20:25 Specialists [1] 54:11 Specialty [2] 8:7 11:12 Specific [6] 16:10 35:1 40: 19 43:11 45:18 51: 21 Specifically [4] 18:25 20:7 28: 8 41:14 Specified [1] 58:13 Spectrum [1] 32:20 Spreadbury [20] 1:6 5:16 5: 22 14:23 16:16 17: 4 18:20 19:22 21: 3 24:7 24:8 35:7 35:21 36:4 48:8 50:4 51:10 51:17 51:23 54:14 Spreadbury's [4] 13:8 23:24 24: 11 35:17 Square [1] 2:8 SS [1] 1:1 Stabilized [1] 40:12 Stable [2] 50:15 51:25 Staff [14] 9:23 10:3 10: 5 10:8 14:25 15:7 15:12 15:16 40:1 40:20 43:7 43:17 45:15 45:24 Stairs [1] 20:25 Standard
Saint [1] 2:18 Save [1] 43:25 Scalp [1] 26:10 Scan [27] 14:8 17:22 17:24 18:4 20:1 20:11 20:13 21:3 21:4 21:25 22:1 22:2 22:20 23:13 24:24 25:1 33:11 33:22 34:9 34:13 35:7 35:12 35:20 35:24 36:22 37:9 37:17 Scans [3] 13:16 13:19 13:23 Scenario [1] 49:11 Scheduled [1] 49:9 Schneider [1] 2:8 School			

WALTER TELESZ, M.D.

<p>[4] 2:4 32:8 32: 12 33:7 Standing [1] 40:1 Stark [6] 1:2 2:16 8:22 9:7 12:11 12:13 Started [1] 9:12 Starting [2] 11:5 38:7 State [5] 1:1 1:15 35: 11 58:1 58:4 Statement [1] 33:11 Stating [3] 35:1 36:14 49: 21 Status [1] 46:2 Stay [2] 17:19 36:3 Stayed [6] 21:3 41:16 41: 21 42:2 43:1 43:5 Staying [1] 43:4 Stenotypy [1] 58:7 Still [4] 6:2 9:15 35:7 49:12 Stomach [2] 11:6 32:1 Straight [1] 18:18 Street [1] 3:6 Stuff [1] 28:14 Subcutaneous [1] 26:22 Subsequently [3] 15:1 53:9 58:8 Suction [3] 31:2 31:8 33:7 Sued [2] 11:21 12:14 Suggested [1] 34:6 Suggestion [1] 34:3 Suggestive [1] 30:15 Suite [3] 19:15 21:4 22: 20 Supplied</p>	<p>[2] 12:21 13:4 Supposed [1] 40:21 Surgeon [8] 14:18 16:7 16: 11 32:24 49:2 49: 4 54:7 55:11 Surgeons [8] 2:16 8:23 9:3 9:4 9:8 14:24 15: 7 56:7 Surgeries [1] 55:19 Surgery [20] 8:10 8:13 8: 20 9:24 10:15 10: 19 11:3 11:4 11:4 11:6 11:8 11:10 11:13 16:18 17:4 24:16 48:19 53:17 55:4 55:7 Surgical [4] 3:2 7:22 8:1 24:11 Suspect [1] 38:15 Sustained [1] 19:24 Swallowing [1] 31:23 Sweitzer [13] 14:25 15:17 16:8 16:10 48:13 48:22 48:22 49:24 50:2 50:18 50:23 51:22 54:23 Sweitzer's [2] 15:19 56:5 Switzer [1] 2:23 Sworn [2] 5:5 58:6 Systolic [5] 38:4 38:10 42: 9 43:4 43:5</p>	<p>Tawil's [1] 46:7 Taylor [2] 2:3 2:3 Tear [3] 19:18 23:12 37:11 Telephone [2] 47:7 47:12 Telesz [15] 1:11 1:16 2: 16 4:2 5:1 5:8 5: 10 5:11 10:22 10: 23 24:1 24:1 47: 10 57:25 58:5 Telesz' [1] 4:10 Terms [3] 45:11 45:19 55:19 Test [1] 45:19 Testified [2] 5:6 52:3 Testify [1] 58:6 Testifying [1] 6:18 Testimony [6] 12:4 31:13 33: 19 35:22 58:7 58: 11 Testing [2] 44:19 44:21 Tests [5] 45:12 45:15 45:25 46:3 52:13 Therapist [1] 26:7 Thereafter [1] 8:1 Thinking [1] 52:14 Third [7] 28:17 38:17 39:9 40:15 52:5 52:9 52:11 Thoracic [3] 3:2 54:7 54:18 Three [2] 27:25 48:25 Throughout [1] 33:16 Thyroid [1] 11:5 Timed [1] 27:18 Timing [2] 28:17 55:19</p>	<p>Titled [1] 48:18 Today [13] 5:18 5:23 6: 19 8:22 13:15 13: 19 13:24 14:5 14: 13 23:6 25:5 28: 24 30:5 Today's [1] 12:16 Together [2] 21:23 28:4 Token [1] 46:11 Took [1] 8:10 Top [1] 11:5 Tough [2] 53:24 53:25 Tracheal [1] 37:10 Track [1] 31:21 Tract [1] 11:6 Trained [1] 40:22 Training [3] 7:11 8:7 31:20 Transcribed [2] 27:14 58:9 Transcript [2] 57:21 58:10 Transected [7] 18:21 19:11 24:13 35:17 54:18 55:12 55:16 Transection [1] 21:7 Transfer [1] 56:1 Transferred [6] 16:16 16:23 54:20 54:24 55:23 55:24 Transfuse [2] 32:18 39:24 Transported [1] 33:11 Trauma [17] 14:18 16:11 19:24 29:4 29:6 29:13 31:6 31:7 31:19 31:25 32:16 32:23 38:18 39:22 49:8 54:13 54:15 Treatment [5] 5:22 17:5 53:</p>
	T		
	<p>Taber [8] 2:22 30:7 37: 1 37:5 39:17 42: 13 53:21 55:9 Taught [1] 31:20 Tawil [13] 3:1 31:7 32: 24 33:13 33:19 33: 22 36:1 37:6 41: 24 43:22 44:3 44: 7 45:2</p>		

WALTER TELESZ, M.D.

8 53:11 53:17	[1] 11:7	Wife
Trick	Venous	[1] 24:4
[1] 6:8	[1] 11:10	William
True	Ventilated	[2] 2:17 3:17
[2] 57:22 58:10	[1] 26:7	Wished
Truth	Ventilation	[1] 32:24
[2] 16:3 58:6	[1] 31:7	Withdraw
Try	Ventilator	[1] 45:21
[3] 15:6 32:15 48:24	[1] 33:2	Witness
Trying	Verbal	[11] 4:2 5:10 5:25 6:6 6:16 6:24 25:10 37:4 58:5 58:8 58:17
[1] 17:17	[1] 18:16	Wondered
rube	Verbally	[1] 23:13
[7] 28:16 31:8 31:18 31:20 31:24 38:6 38:6	[1] 6:4	Word
rubes	Verdict	[2] 17:10 21:21
[4] 26:17 26:20 33:4 33:5	[1] 12:8	Words
Turn	Vessel	[2] 43:14 44:22
[1] 15:3	[3] 28:23 29:1 30:15	Wright
Two	Vessels	[1] 3:16
[9] 10:18 12:3 12:5 18:9 21:15 21:23 28:18 41:15 54:25	[3] 19:23 20:3 20:19	Written
Type	Vice	[1] 36:16
[3] 11:9 33:7 40:22	[1] 10:15	Wrote
Typed	Visit	[4] 22:14 30:22 33:9 36:13
[2] 26:1 27:13	[1] 22:15	Wyler
U	Vitae	[3] 3:15 42:14 53:20
Under	[3] 4:10 7:4 7:5	
[3] 26:23 27:2 58:9	Voice	
Unfortunately	[1] 47:9	
[1] 6:11	Voluminous	
Unit	[1] 12:20	
[4] 19:2 22:1 22:2 22:2	vs	
University	[1] 1:7	
[1] 7:14	W	
Unusual	Wait	X
[1] 46:21	[1] 6:21	X-rays
JP	Waived	[3] 28:11 29:13 29:16
[8] 21:25 37:18 38:7 39:1 41:16 54:5 54:20 55:8	[1] 56:25	
Upset	Wake	
[1] 24:4	[1] 38:7	Y
Upstairs	Walter	Year
[1] 35:21	[7] 1:11 1:16 2:16 4:2 5:1 57:25 58:5	[4] 7:20 8:4 10:17 10:18
Urine	Watch	Years
[1] 32:4	[3] 32:4 39:22 43:11	[8] 9:9 9:10 16:9 16:13 47:2 49:20 54:12 54:23
V	Watching	Young
Vague	[1] 42:11	[5] 54:19 55:3 55:5 55:23 55:23
[2] 42:13 42:15	Water	Your concern
Vascular	[3] 31:8 33:6 33:7	[1] 29:5
	Whole	Yourself
	[2] 14:10 37:7	[4] 11:11 14:18 18:1 33:23
	Wide	
	[1] 11:4	
	Widened	
	[3] 29:25 30:6 30:10	

4-436: Marla Spreadbury**Deposition Outline
Walter Telesz, M.D.****Thursday, May 20, 1999**

Exhibits: **Dr. Telesz's CV**
 9-23-97 order sheet
 9-23-97 order sheet

<u>Page / Line</u>	<u>Description</u>
	Review of Curriculum Vitae
7 / 16-18	Attended University of Pittsburgh medical school; graduated 1965
7 / 21	Interned at Alu-on City Hospital for one year
7 / 24	General internship
8 / 3	Completed general surgical residency at Akron City
8 / 17	Was board certified in general surgery in 1971
9 / 4	Is in association or partnership with Stark County Surgeons, Inc. with 4 other physicians
9 / 9	With this group for about 12 to 14 years
9 / 17	Has privileges at Massillon Community Hospital
9 / 20	Obtained courtesy privileges at Mercy in 1980
10 / 12-5	Courtesy privileges are the same as at Massillon except one does not have to take emergency room calls and is not eligible to hold office
10 / 15	Is vice chairman, dept. of surgery at Massillon
10 / 17	Just in the past year
10 / 20	Was chief of surgery for a 2-year period
11 / 3-10	Current practice involves wide scope of surgery: top thyroid, breast, GI tract, stomach, small bowel, colon, gallbladder, hernia, appendicitis, peripheral vascular such as aortic aneurysm and lower abdomen, fem pop graphs – a lot of venous surgery
11 / 14	No particular specialty – practice involves everything

PRIOR LITIGATION

11/22 Has been sued before
 12/3 Twice
 12/10 Neither went to verdict in favor of plaintiff
 12/15 Has only been sued in Stark County

RECORDS REVIEWED FOR DEPOSITION

12/18-21 Reviewed his records and depositions
 13/2 Did not review any records other than Mercy Med Ctr
 13/6 Reviewed depositions of Cawtlin, Sos, and Kralik
 13/12 Has reviewed the CT films since filing of lawsuit
 13/15 Day of this deposition
 13/18 Cannot interpret CT films
 14/15 Did not review any medical literature
 14/17 No medline searches
 14/21 Does not consider himself to be a "trauma surgeon"

CARE OF MARLA SPREADBURY

14/24-15/14 Came to be involved with Marla's care because it was his turn to be on call; calls are divided among 5 surgeons from Stark County Surgeons
 15/10 One member of the Stark group (Dr. Sweitzer) does have active privileges at Mercy
 15/18 Never had a problem with Sweitzer sharing his call duty with his associates who don't have active privileges
 16/13 Cannot remember how he came to be the admitting physician as all members were there
 16/18 Has been on-call emergency physician for approx. 6-7 years; since Sweitzer joined Stark
 16/12 Not aware of Sweitzer's certifications
 16/25 Telesz was Marla's admitting physician to ICU

17 / 6 Basically had no surgical problems at that time

17 / 16 Doesn't know how it is decided who becomes the admitting physician

17 / 21 Stayed at the hospital on 9/23 until the conclusion of CT scan

18 / 9 Thinks he was there for approx. 2 hours

18 / 15 Doesn't remember being called at home although there is a verbal order in the chart

18 / 24 Learned of the transected aorta on the morning of 9/24

19 / 12-10 Came to learn of it because when he arrived at the hospital b/t 10:30 & 11:00, Marla was undergoing an arteriogram per Dr. Kralik because her blood pressure dropped

19 / 15-18 Then went to the radiology suite and was show the aortic tear

19 / 24-2011 On 9/23, was concerned of injury to the great vessels because of the amount of trauma to Marla's chest; that is why the CT was ordered

20 / 4 Was told on 9/23 the CT was no injury to the great vessels

20 / 9 Was told by Dr. Cawthon

20 / 19 Cawthon told him on 9/23 that the great vessels were normal

20 / 22 Was told that around 1:00 while the procedure was going on

21 / 1 Was at the patient's bedside during CT

21 / 10 Spoke with Cawthon on 9/24 after learning of the transected aorta

21 / 13 Also reviewed the scan with her

21 / 18 Somewhere around 11:00 a.m. on 9/24

21 / 24-22 / 3 After arteriogram, was curious why the CT didn't pick up the tear, so he went to the CT scan suite to have Cawthon review the films

23 / 1 Doesn't recall if Cawthon already knew of the situation at this time

23 / 10-17 Recalls telling Cawthon of the arteriogram, the tear and why wasn't it seen on the CT; Cawthon's response was must have missed it, I don't know why

23 / 25 Did not share that with anyone else

24 / 19-10 Doesn't think he spoke with the Spreadbury's on 9/24

- 25 / 2 Has not spoken with anyone about the CT since 9/24 through the filing of the lawsuit
- 25 / 15-19 Arrived at the hospital somewhere around 11:30 – shortly after Marla arrived
- 25 / 22 Performed a PE in the emergency room
- 26 / 3-10 When Telesz arrived, Marla was intubated and ventilated by the respiratory therapist; not many external injuries

TELESZ'S PHYSICAL EXAM IN ER ON 9/23

- 26 / 11-18 Exam revealed right pupil 50% larger than the left – both reactive to light; chest exam revealed ecchymosis on left anterior; clear lungs with auscultation; chest tubes already in place
- 26 120-25 Dr. Menia may have been in process of inserting Right chest tube; notices subcutaneous emphysema and suggested inserting left chest tube
- 27 11-10 Abdomen was soft w/out masses; did not have peritoneal irritation; no ecchymotic areas on abdomen; extremities were not deformed
- 28 17 Did not specifically review Dr. Menia's order sheet because he knew what was going on
- 28 / 13-19 Cannot honestly say if he looked at the chest xrays
- 29 / 4 Was concerned about great vessel injury because of the severe chest trauma
- 29 / 9 Based upon the collision and multiple fractured ribs
- 29 / 14 Usually reviews films with the radiologist if he feels it's important
- 29 / 22 Believes he reviewed the films with the ER doctor if he even looked at them
- 30 / 1 Doesn't recall the mediastinum being widened
- 30 / 8 Does not completely agree, in looking at the films today, that the mediastinum was widened; not a good film
- 30 / 12 Wouldn't be concerned about it being widened – doesn't find it diagnostic
- 30 / 19 A chest film is not diagnostic in general for a great vessel injury
- 30 / 24 Admitted Marla to ICU at 12:15
- 31 / 2-8 Read of 9/23, 12:15 order into record; consult with Sos, head trauma; consult with Tawil, chest trauma; consult with Packer, ventilation

- 31/18-3211 First item on order, NG tube: was trained to put one in every hole for a major trauma; GI tract is one frequently has gastric dilatation – keeps the bowel and stomach quiet
- 32/2-13 I&O is to record patient's fluid I/O; IV's, a little higher than standard to limit fluid intake due to possible head injury
- 32/14-19 H&H every 6 hrs to follow patient's blood count, to establish any blood loss in case so critical that the patient has to be transfused
- 32/ 20-21 Ancef is a general broad spectrum Abx given prophylactically
- 32/22-33/3 Consults: Sos because she had a concussion; Tawil because of her chest trauma; Packer to handle blood gases
- 3314 Order for bilateral chest tubes connected to a water seal to prevent any air leak
- 33 / 12 Had not yet been taken for CT scan when these orders were written
- 33 / 16 Tawil was there throughout the entire time
- 33 / 21 Tawil was in the ER with Telesz
- 33 / 24 Tawil also went down to the CT scan
- 34 / 5 No suggestion of aortogram being performed at that time
- 34 / 19-25 Did not review hard copies of scan during scan; occasionally looked at monitor; Cawthon mentioned concern about air in the mediastinum and thought there may be some hematoma in the mediastinum
- 35 / 4 Pretty sure she said "hematoma"
- 35 / 8 Doesn't recall any other radiologist coming in to look at the scan
- 35 / 15 Doesn't remember if Dr. Murphy had come in to look at the films
- 35 / 19 Never talked with Dr. Murphy after the discovery of the transected aorta
- 36 / 6 Doesn't remember staying for the exams by the consults
- 36 / 15 Next note written at 1800 hours (6:00 p.m.)
- 36 / 21-25 Recalls speaking the Dr. Sos – CT of head was not a problem, but head would be monitored closely
- 37 16-15 Didn't have a whole lot of conversation with Tawil; knew of plan to do a bronchoscope for air in the mediastinum to R/O tracheal tear – thinks before 1800 hours

- 38 / 3-8 Reading of 6:00 p.m. note into the record
- 38 / 11 BP 70 to 80's systolic
- 38 / 17-21 Thought cause of blood loss could have been third space fluid loss from blunt trauma to the chest where all the rib fractures were; probably some blood into the mediastinum with the hematoma
- 38 / 24 IV was increased resulting in her BP going back to normal range
- 39 / 5 This is called a "push"
- 39 / 7 Indicates that Marla was slightly hypovolemic
- 39 / 11 Hypovolemia would have been caused by 3rd space fluid losses
- 39 / 13 Was waiting for a repeat H&H
- 39 / 20 A drop in hemoglobin is watched for in patients with major trauma
- 39 / 24 Would order a transfusion if there was a drop in her H&H
- 4014 Doesn't recall leaving any standing orders for changes or fluctuations in BP or H&H
- 40 / 17-23 Because her BP responded rapidly, didn't think anymore fluid loss would be a problem; left no specific orders because he felt nursing staff was quite competent and had a lot of experience; would be notified by ICU nurses if anything significant happened
- 41 / 6 Would have left the hospital shortly after 6:00 exam
- 41 / 10 Did review nurses notes between leaving and returning the hospital
- 41 114-17 Found 2 instances of Marla having low BP then they came right back up; never stayed down long
- 41 / 21-25 Would have expected a call of BP stayed down; but they came back up quickly; she had an arterial line, so it could have been positional
- 4214 Down time would be after half hour, hour
- 43 / 1 If pressure stayed in the range of 70 to 80, that is concerning enough
- 43 / 4 If systolic pressure stayed down over a period of time
- 43 / 18 Did not leave any specific written order because he felt nurses would know to call him if pressure stayed down

44 / 3-7 Was told the bronchoscope was negative; did not have any direct conversation with Tawil about it

44 / 20 Was not aware of any further testing at that point

44 / 24 Doesn't remember of order for an esophogram

45 / 4 Tawil didn't call him with his impressions from 6:00 p.m. on 9/23 through the next morning

45 / 13 Has never seen guidelines set by Mercy for confirmation of completed consultations or tests

46 / 5 Not aware of any hospital practice of the attending being updated

46 18-14 Saw the patient simultaneously with Tawil; if Tawil needed Telesz's input, Tawil would have asked; it was Tawil's decision to do bronchoscopy

46 / 25 Unaware of Mercy having house officers

47 19-11 Doesn't recall telephone order of 9-23-97, 6 a.m. for SMA

48 / 1 Unaware of any attempts made to reach him during the night of 9/23

48 / 13 Marla had been seen by Dr. Sweitzer in the morning of 9/24

48/24-4915 Group works out of 3 hospitals – Massillon, Aultman and Mercy; takes care of each other's patients; Sweitzer may have been one of the surgeons on call then

49 / 7-11 Sweitzer does more work at Mercy than the others; Telesz may have told him about Marla and asked him to look in on her

49 / 23 No one can read Sweitzer's writing

50 / 5 Did not speak with Sweitzer on the 24th

5018 When Telesz reviewed the chart, asked Sweitzer to interpret the note

50 / 11 When reviewing the chart for the lawsuit

50 / 15 Things were pretty stable

50 / 22 Can read that Sweitzer talked with Packer

51 / 8 Doesn't know what that discussion was

51 / 12 Was not aware that Marla had another chest film at 6:15 a.m. on 9/24 for respiratory insufficiency

51 / 15 Did not talk with Packer prior to the hypotension episode

51 / 19-21 Has spoken with Packer in general terms since 9/24 through the present

52 / 1 Found H&H at 24.6 and 24.8 to be within reason

52 / 10 Had no concern of a bleed other than the 3rd space

52 / 12 Can't really confirm a 3rd space bleed

52 / 16-19 Didn't have any indication Marla was bleeding because her count had not changed significantly

54 / 4-11 Cannot criticize doctors in other fields of medicine – would leave it up to others in the same field

54 / 19-22 Had a case of transected aorta in the 70's

54 / 23-25 Sweitzer had one several years ago where the woman was transferred to Cleveland and she expired

55 / 7 His case, involving a young boy, the boy had surgery

55 / 10 Patient did not end up paraplegic

55 / 16 Cannot answer if it's better to operate sooner or later as it's not his area of surgery

55 / 21 Knows nothing of the issues as to timing, outcomes

56 / 11 There were (are) no cardiothoracic surgeons at Massillon