

Doc. 435

1

1 STATE OF OHIO )  
2 ) SS: IN THE COURT OF COMMON PLEAS  
3 MAHONING COUNTY )

4 CASE NO. 86 CV 1419

5 SHARON R. FELLOWS )

6 Plaintiff )

7 VS. )

8 JACK A. BRUNO, JR. )

9 Defendant )

DEPOSITION

OF

DR. E. HERBERT THOMPSON

10 DEPOSITION taken before me, Lisa C. Nagy-Baker, a Notary  
11 Public within and for the State of Ohio on the 12th Day of  
12 October, A.D., 1988, pursuant to agreement and at the time and  
13 place therein specified, to be used pursuant to the Rules of  
14 Civil Procedure or by agreement of counsel in the aforesaid  
15 cause of action, pending in the Court of Common Pleas, within  
16 and for the County of Mahoning, State of Ohio.

17 APPEARANCES

18 Atty. Alan J. Matavich  
19 On Behalf of Plaintiff

20 Atty. Marshall D. Fuck  
21 On Behalf of Defendant

22  
23  
24  
25  
SCANNED  
5-12-03

NAGY-BAKER COURT REPORTING  
(216) 746-7479

## STIPULATIONS

It is stipulated and agreed by and between counsel for the parties hereto that this deposition may be taken at this time, 9:30 a.m., October 12, 1988, in the office of Dr. E. Herbert Thompson, 75 Arch Street, Akron, Ohio.

It is further stipulated and agreed by and between counsel that the deposition may be ~~taken~~ in shorthand by Lisa C. Nagy-Baker, a Notary Public within and for the State of Ohio, and may be by her transcribed with the use of computer-assisted transcription; that the witness' signature to the finished transcript of his deposition may be and is hereby waived under agreement of the parties; and that the deposition may be thereupon used on behalf of the parties in the aforesaid cause of action as fully and to the same extent as if written in the presence of the witness and subscribed by the witness in the presence of the Notary Public.

1 WHEREUPON.

2 DR. E. HERBERT THOMPSON.

3 of lawful age, being by me first duly  
4 sworn to testify the truth, the whole  
5 truth, and nothing but the truth, as  
6 hereinafter certified, deposes and  
7 says as follows:

8 DIRECT EXAMINATION:

9 By Mr. Buck

10 (Whereupon Defendant's Exhibits A and B were marked.)

11 Q Dr. Thompson, as you know I represent Jack  
12 Bruno in a lawsuit that's been filed by Sharon Fellows in the  
13 Mahoning County Common Pleas Court. I'd like to ask you some  
14 questions about your examination of Miss Fellows, and if at  
15 any time I ask a question that you don't completely  
16 understand, please stop me and ask me to explain or rephrase  
17 the question.

18 For the record, would you please tell the Court and jury  
19 your full name and your present business address?

20 A My name is Edward Herbert Thompson; my  
21 business address is 75 Arch Street, Suite 501, Akron, Ohio.

22 Q What is your profession?

23 A I'm an orthopedic surgeon.

24 Q Doctor, would you tell us what your  
25 educational background is?

1 A I attended the University of Kentucky,  
2 graduating with a degree in chemistry. I then attended and  
3 graduated from the West Virginia University School of  
4 Medicine. I served a one-year internship at Akron City and  
5 Akron Children's Hospital and following that a four-year  
6 residency training program in orthopedic surgery at the same  
7 hospitals.

8 Q Would you explain to the jury what a  
9 residency training program is?

10 A It's a training program for specialization  
11 in the field of medicine.

12 Q And what was the area of specialization that  
13 you studied?

14 A Orthopedic surgery.

15 Q Would you explain to the jury what orthopedic  
16 surgery encompasses?

17 A It's basically the treatment of diseases of  
18 and surgery of the musculoskeletal system; that's the bones,  
19 joints, muscles, tendons, ligaments.

20 Q Following completion of your four-year  
21 residency program, what was the next phase of your career?

22 A I served two years in the U.S. Army  
23 practicing as an orthopedic surgeon. And after that, I  
24 entered private practice in Akron, Ohio, and have been there  
25 since.

1 Q Doctor, are you licensed to practice  
2 medicine in the State of Ohio?

3 A I am.

4 Q Are you Board Certified?

5 A I am.

6 Q And would you explain to the jury what Board  
7 Certification is?

8 A After having ~~successfully~~ completed a  
9 residency training program, you take a certifying examination  
10 given by the American Board of Orthopedic Surgery which  
11 consists of both a written and oral examination.

12 Q And, Doctor, are you on the staff of any  
13 hospitals?

14 A I am.

15 Q And would you tell us what hospitals you  
16 have privileges at?

17 A Akron City Hospital, Akron General Medical  
18 Center, and Akron Children's Hospital.

19 Q ... Do you have any sports medicine  
20 participation?

21 A I do.

22 Q What areas are those?

23 A I, along with one of my partners, Dr. George  
24 Mallo, am team physician for all varsity sports at the  
25 University of Akron. I'm also head of the sports division of

1 Akron Hospital.

2 Q Doctor, in your private practice and in the  
3 area that you practice in sports medicine, do you see a lot of  
4 soft tissue injury?

5 A I do.

6 Q Do you have considerable experience in  
7 treating these particular types of injuries?

8 A I do.

9 Q Doctor, are you on the staff of any medical  
10 schools?

11 A I am.

12 Q Would you tell the jury what your  
13 affiliations are?

14 A I am an Assistant Professor of Orthopedics  
15 at the Northeastern Ohio University College of Medicine.

16 Q Doctor, did you have an opportunity to  
17 examine Sharon Fellows?

18 A I did.

19 Q Were you attempting to become her treating  
20 physician?

21 A I was not.

22 Q And would you explain to the jury the  
23 purpose of your examination?

24 A It was for the purpose of performing an  
25 independent medical evaluation of Sharon Fellows.

1 Q And at whose request did you perform that  
2 examination?

3 A Yours.

4 Q Did you take a history from Miss Fellows?

5 A I did.

6 Q Would you tell the jury what the history  
7 consisted of?

8 A I saw Sharon Fellows and examined her on the  
9 18th of July of 1988. At that time she reported that on the  
10 29th of August of 19 --

11 MR. NATAVICH: I'm going to  
12 object for the record. If the doctor is using his report to  
13 refresh his recollection, I don't think proper foundation has  
14 been laid for him to just read from the report.

15 Q Doctor, you can use whatever notes you have  
16 that you took at the time of your report to help refresh your  
17 recollection, and please feel free to do so. He's noted his  
18 objection for the record.

19 A ... At the time of my examination, she reported  
20 on 29 August, 1988, she was driving a car when a pickup truck  
21 ran a stop sign and struck her car broadside on the driver's  
22 side. Said the impact spun her car approximately 180 degrees.  
23 She noticed immediately that her whole body was numb, and she  
24 was very upset and nervous. She was taken by ambulance to  
25 Salem City Hospital emergency room in Salem, Ohio, where she

1 was examined. X-rays were made of her neck.

2 She said that she had reported at that time that she had  
3 had back surgery on the 8th of November, 1983. They told her  
4 that her neck was reversed, and she had muscle spasms. They  
5 advised her to go home and relax and take aspirin. She had  
6 been under the care of Dr. Brocker for her back problem and  
7 was seeing him at the hospital in Salem. She saw him again in  
8 September and related to him about the accident. Said she  
9 started noticing a problem with her low back approximately one  
10 to two weeks after the accident. She had also noticed a  
11 tightness in her right leg. She said that Dr. Brocker really  
12 laughed about her accident and said she did not return to see  
13 him any more. She came under the care of Dr. Sansone, a  
14 chiropractor, in October 1984; was under his care until  
15 December 1984.

16 Said she saw him approximately three times a week for  
17 chiropractic treatments and noticed no improvement.

18 In January of 1985, she came under the care of Dr.  
19 Pannozzo, a physiatrist in Youngstown, Ohio, remained under  
20 his care until August of 1985. She saw him approximately  
21 twice a week in this period of time. He initially made  
22 X-rays; told her she had damage to the ligaments in her neck  
23 with inflammation. Treated her with physical therapy and  
24 injections in her neck and back.

25 She did notice improvement in her symptoms but still had



1 problems with tightness in the entire right side of her body.  
2 She has not seen anyone since or had any forms of treatment  
3 since August of 1985. She feels that her symptoms have gotten  
4 worse since August of 1985.

5 At the time of my examination she complained that she had  
6 difficulty with a soreness in the right posterior aspect of  
7 her neck which was present constantly. That would be the back  
8 side of her neck on the right side. She said that nothing  
9 helped this, including rest. She also had a pain in this  
10 region of her neck which she said was present constantly.  
11 When asked to describe this pain she would only describe it as  
12 a funny-type feeling. In other words, I asked her if it was a  
13 sharp, dull, aching, cramping kind of pain; she would just say  
14 it was a funny kind of feeling. She also complained of  
15 tenderness in that area and said that turning her head to  
16 either side produced pain in the neck but it was worse when  
17 she turned it to the left. She said any exercises caused an  
18 increase in the pain, and she had noticed a cracking sensation  
19 on certain movements of her neck. She complained of a  
20 tightness and pain in the right arm which she described as  
21 tingling of the entire arm and said it constantly felt that  
22 way. Activity increased it, as did writing.

23 She also felt that she had a weakness in the arm and was  
24 unable to hold things. She said electromyograms were done by  
25 Dr. Pannozzo, but she doesn't think they showed any

1 abnormalities but wasn't sure.

2 A She complained of a tightness down the  
3 entire side of her right back and said the entire right side;  
4 "feels like there is 50 pounds of weight on her right side and  
5 10 pounds on the left side." Said that this was always  
6 present and did not subside. She complained of a tightness in  
7 the back of her right leg and a tingling of the entire leg.  
8 She also complained that her ~~back~~ cracked with movement. She  
9 says her leg pain was completely resolved following her  
10 initial back surgery and did not have any problems with the  
11 back or leg prior to the accident. She was on exercises for  
12 her neck and back after the accident which were prescribed  
13 both by Dr. Sangone and Dr. Pannoizzo. She said it was hard  
14 for her to do her daily activities, and she got very tired  
15 at the end of the day.

16 Q Doctor, after obtaining the history from  
17 Miss Fellows, did you perform a physical examination?

18 A I did.

19 Q And would you explain to the jury how the  
20 physical examination was performed and what your findings  
21 were?

22 A First of all, I examined her cervical spine,  
23 her neck area. I asked her to move it through the normal  
24 range of motion, and I found that she had a full range of  
25 motion except she had a very minimal loss of extension; that

1 is tilting the head back, straight back. She had no evidence  
2 of any parvertebral muscle spasm or deformity present; that is  
3 on palpating or feeling the muscles along her neck in the back  
4 there was no spasm noted at the time of my. Examination on  
5 observing her, there was no tilting of the neck to one side or  
6 the other or any deformity of the neck that I could detect.

7 Q Now, Doctor, in the history, you mentioned  
8 that she complained of some cracking when she would move her  
9 neck. In your physical examination, were you able to find any  
10 such cracking?

11 A No, but really cracking in the neck has no  
12 real significance. Probably more people than not that you  
13 examine have some, certainly if they're past the age of 30,  
14 will have some cracking in their neck; and this really means  
15 nothing.

16 Q Please continue with your examination.

17 A She had a full range of motion of all joints  
18 of her upper extremities; that is her shoulders, elbows,  
19 wrists, hands, fingers, all moved fully without any  
20 limitation. Her muscle testing or motor exam. revealed that  
21 she had an almost cogwheel type weakness of the biceps,  
22 triceps and shoulder abductors of both arms, but not the  
23 internal rotators or external rotators of her shoulders.  
24 Okay? What I mean by this is when I was asking her to resist  
25 my movements, she would give way in just a jerky-type motion

1 which we call a cogwheel resistance. And this included the  
2 biceps, or the muscles that flex the elbow or bend the elbow,  
3 the triceps, muscles that straighten the elbow out, and the  
4 shoulder abductors, the ones that raise the shoulder up to the  
5 side. The muscles which are really part of the same muscles  
6 that turn the shoulders in and out, she did not have this.

7 Q How can you explain that then, Doctor?

8 A It is very difficult to explain. A cogwheel  
9 type giving way is generally associated with more or less a  
10 hysterical-type reaction. It's not a valid -- people who have  
11 true muscle weakness do not give way in a jerky fashion. They  
12 give way in general resisting, just a gradual fashion. It's  
13 not a jerky-type thing. If you had significant weakness of  
14 the shoulder abductors, you would also expect, most likely, to  
15 have some in either the internal or external rotators of the  
16 shoulder also. So it really does not fit into a well  
17 explainable pattern.

18 Q Does that type of resistance, or can that  
19 type of resistance be explained on a voluntary basis?

20 A It could, yes, very well.

21 Q When you're talking about testing her  
22 resistance, Doctor, are you talking about holding her forearms  
23 parallel to the floor and then doing a curling motion up  
24 toward the shoulder?

25 A What you do is you bend, if you're checking

1 the elbow flexors or biceps, you have them pull their arms up  
2 in tight and then you pull against them and see how, you know,  
3 if there is just -- if there is a difference between the two  
4 sides or if there is more than you would expect, weakness;  
5 it's usually just a gradual thing. But when you pull against  
6 in this fashion, they would give way in a jerky-type fashion.

7 Q Okay. Did you notice any loss of strength  
8 or weakness in those muscles?

9 A Well, this, you know, would be considered  
10 a weakness but not an explainable weakness.

11 Q Okay. Please continue.

12 A Measurement of circumference of both the  
13 upper arm and the forearm at equal distance from the elbow  
14 joint revealed that the right arm was approximately one  
15 quarter-inch larger than the left in both the upper arm and  
16 forearm area. She is right-handed and so this would be a  
17 normal finding in the dominant arm with the dominant arm being  
18 slightly larger than the nondominant arm. There was certainly  
19 no evidence of any muscular atrophy or muscle wasting of the  
20 arm.

21 Q Okay.

22 A The deep tendon reflexes were equal and  
23 reactive, checking her reflexes in her arm. Sensory exam  
24 revealed that she complained of decreased sensation to  
25 pinprick over the entire right side of her body, including the

1 right side of her face.

2 Q Doctor, is there any significance with  
3 regard to her complaints of loss of sensation over the entire  
4 right side of her body, including the face?

5 A There is.

6 Q And could you explain to the jury why that  
7 is significant?

8 A Okay. There is really no organic lesion  
9 which will explain this.

10 Q What do you mean by a lesion?

11 A Okay. In an abnormality that will explain  
12 why the whole half of the body would be numb or have a  
13 decreased sensation, the fact of the matter is, the true  
14 anatomy, if you had a lesion high enough up in the brain which  
15 would cause this sort of thing, such as like having a stroke,  
16 it doesn't involve the same side. The face is the opposite  
17 side from the body that's involved. So like when people have  
18 a stroke, they will have problems with facial paralysis on one  
19 side and paralysis of the arm and leg on the other side of the  
20 body. So there is no organic -- in other words, this can't be  
21 explained on an organic anatomical basis.

22 Q The nerve patterns in the body would not  
23 permit the type of injury where it would be the face and the  
24 same side of the body?

25 A No.

1 Q Please continue, then, Doctor. Well, let me  
2 ask one more question. Doctor, do you have any way of  
3 explaining why someone would have complaints of numbness on  
4 the same side of the face as they would have on the body on  
5 the same side as the face?

6 A Well, it's either one of two things. It's  
7 either a hysterical reaction, conversion hysteria, or it's  
8 just that they're not being ~~honest~~ with their bodies.

9 Q Okay. Please continue.

10 A Examination of her dorsal and lumbosacral  
11 spines revealed no obvious deformities. There was a two and a  
12 half centimeter well-healed surgical scar over the right side  
13 of the low back area. She had a moderate limitation of motion  
14 in all directions of her low back area with flexion, forward  
15 bending or flexion, with her hands only coming to the level of  
16 her knees. In other words, when I asked her to bend forward  
17 like she was going to touch her toes, instead of being able to  
18 come down around her ankles or feet, she could only bend to  
19 the level of her knee.

20 Q Doctor, is this something that is controlled  
21 solely by the patient?

22 A Yes.

23 Q You don't force her to try and bend?

24 A No.

25 Q So she bends until she tells you she can't

1 go any farther?

2 A Yes.

3 Q Okay.

4 A I could not detect any parvertebral muscle  
5 spasm in her dorsal and lumbosacral spine area; that is like I  
6 explained in the neck on feeling the muscles at the time of my  
7 examination. I did not detect any spasm. Pelvis was level.  
8 Her deep tendon reflexes in the lower extremities were equal  
9 and reactive. There is no gross motor weakness there. She  
10 again complained of decreased sensation of pinprick over the  
11 entire right leg. Straight leg raising exam was negative.

12 Q Now, Doctor, would you explain to the jury  
13 the significance or what you're looking for when you find the  
14 deep tendon reflexes were equal and reactive?

15 A You're looking to see if there is an  
16 increase or decrease of a reflex and what you're trying to do  
17 is see if you can find any evidence of a nerve irritation or  
18 nerve weakness or paralysis in the leg that would give you an  
19 abnormality such as this.

20 Q And do you compare the reflexes from one leg  
21 with the other?

22 A Oh, yes.

23 Q And in her case, were there any  
24 abnormalities?

25 A No.



1 Q What is the purpose of the straight leg  
2 raising exam?

3 A That's an exam with the knee straight where  
4 I bend the hip to 90 degrees, and that puts the sciatic nerve  
5 on stretch; so if you have any element of active sciatic nerve  
6 irritation, you would expect to get a reproduction of pain  
7 radiating down the sciatic nerve when you do this.

8 Q Doctor, were you able to make any objective  
9 findings that would explain her claim of decreased sensation  
10 in the right leg?

11 A No.

12 Q Does that conclude your examination, Doctor?

13 A Yes.

14 Q Did you take any X-rays?

15 A I did.

16 Q Would you explain to the jury what X-rays  
17 were taken?

18 A I took X-rays of the neck or cervical spine  
19 area, which included lateral flexing and extending; that is  
20 bending forward and back. Oblique is between front to back  
21 and the side. I did not notice any significant abnormalities  
22 on these X-rays.

23 Q Doctor, did you have an opportunity to  
24 examine any other X-rays besides the ones taken in your office?

25 A I did.

1 Q What X-rays did you have an opportunity to  
2 examine?

3 A I had X-rays from the Neurologic Diagnostic  
4 Clinic dated November the 7th, 1983, which were lumbosacral  
5 spine films. I had films from a discogram. There were  
6 numerous X-rays from Columbus Community Hospital in Salem, and  
7 these consisted of cervical spine films on the first of  
8 November, 1983; X-rays of the lumbosacral spine, I think, which  
9 were the same date. There was two lateral views of the lumbar  
10 spine dated 19 January 1984; a full series of lumbosacral  
11 spine films dated 3 April, 1984; lumbosacral spine films from  
12 5 June 1984; X-rays of the cervical spine dated 29 August,  
13 1984; and these would have been the one made, I believe, on  
14 the day of her accident. And then four X-rays from Dr.  
15 Pannozzo's office, one in 1983 and the others in January of  
16 1985.

17 Q Doctor, you were talking about the X-ray you  
18 made of the cervical spine?

19 A Yes.

20 Q And I believe we've marked that as  
21 Defendant's Exhibit B; is that correct?

22 A Yes.

23 Q Could you identify that document and explain  
24 to the jury what it is?

25 A Okay. This is a lateral view of the

1 cervical spine made on the 18th of July, 1988 in my office.

2 Q Tell us what your findings are from  
3 reviewing that document?

4 A This is a, really there is no abnormalities  
5 noted on this film. Now, there is kind of a straightening of  
6 the cervical spine which some people would tend to interpret  
7 as showing muscle spasm or something, but that is not anything  
8 that you can determine from an X-ray because it's been well  
9 documented in some of the radiologic literature and orthopedic  
10 literature that just really tilting the chin will cause  
11 the normal curve in the cervical spine to straighten out.

12 Q Doctor, did you have a chance to compare the  
13 X-ray of the cervical spine taken in your office with an X-ray  
14 taken 10 November, 1981, prior to the automobile accident?

15 A I did.

16 Q Okay. And could you tell us what your  
17 findings were when you compared those two X-rays?

18 A These were very similar, other than there  
19 was a little more flexion in the upper cervical spine on the  
20 film made in my office and this was because of the tilting.  
21 You can see that her chin's down on the X-ray, and it's purely  
22 due to the position of the chin.

23 Q From the time of the 1983 X-ray until the  
24 X-ray taken in your office in 1988, were there any noted  
25 changes in her cervical spine?

1 A No.

2 Q Doctor, please go back and explain to us  
3 then what your other X-ray findings were?

4 A Okay. I made X-rays of the upper back or  
5 dorsal spine, an AP and lateral, or front and side view; these  
6 did not show any abnormalities. An upright X-ray or standing  
7 X-ray was made from front to back of the dorsal lumbar spine  
8 or the upper and lower spine. ~~This~~ showed a very minimal  
9 thoracolumbar scoliosis, and this means a side-to-side  
10 curvature of the spine.

11 Q What causes that, Doctor?

12 A It's a condition that is usually something  
13 that develops in adolescence as you grow.

14 Q Okay. Does that cause any type of problem  
15 for the patient?

16 A Not likely unless it's a severe curve.

17 Q Did she have a severe curve?

18 A No, she had a very minimal curve. Her  
19 X-rays of the lumbosacral spine, this did show some narrowing  
20 of the L5-S1 interspace or the disk space between the fifth  
21 lumbar vertebra and the first sacral segment. There was  
22 evidence of a couple droplets of myelographic dye being  
23 present. This was the liquid placed to do the myelogram prior  
24 to her back surgery, and the impression would be mild  
25 degenerative disk disease at L5-S1.

1 Q Doctor, do you know what the cause of the  
2 degenerative disk disease was in the low back?

3 A Well, she had had a ruptured disk and had  
4 had surgery for it in the past, and certainly this is part of  
5 the process of the disk, you know, being, part of it being  
6 taken out and the collapse of the disk.

7 Q Would that explain the narrowing of the  
8 interspace?

9 A Yes.

10 Q And that back surgery was prior to the  
11 automobile accident in 1985?

12 A Yes.

13 Q Doctor, in addition to the examination, did  
14 you also have records of the plaintiff's prior medical  
15 treatment to review?

16 A I did.

17 Q Would you explain to the jury what records  
18 you had available?

19 A Okay. First of all there was a radiology  
20 report from the Salem Community Hospital dated 29 August,  
21 1984, X-ray of the cervical spine. The interpretation was  
22 reversal of the curvature most likely secondary to muscle  
23 spasm, negative for fracture. As I stated before, that's  
24 really not a conclusion you can draw off an X-ray. That's  
25 been well documented in the literature. There was also a

1 report from 10 January 1984 of the lumbar spine film with  
2 flexion extension; and it said there was a little motion in  
3 the lower lumbar vertebra. There was a chest X-ray in July of  
4 1983 which was reported normal. I had some records which I  
5 believe were from Dr. Sansone's treatment which were really  
6 not legible. There was an Emergency outpatient report from  
7 Northern Columbiana Community Hospital which I believe is the  
8 date of the accident. Said ~~that~~ the patient was in an  
9 automobile accident short time ago. Denies any injuries,  
10 although her right leg was tingling. That she'd had back  
11 surgery more than two years ago. Denied lack of consciousness  
12 or hitting head or body. Was moving all extremities, and I  
13 believe that this was a nurse's triage history; and I could not  
14 read the remainder of this report.

15 Q Doctor, is there any significance to you as  
16 a treating physician where the patient relates in the  
17 emergency room that she didn't strike her head or any part of  
18 her body in the accident and that she had no injury?

19 A Well, it certainly is -- what she was  
20 relating had happened to her at the time has something to do  
21 with the amount of trauma from the accident.

22 Q Any other records you have available?

23 A There was a copy of the same cervical spine  
24 report that we had before. There was a report of a CT scan of  
25 the lumbosacral spine dated October 19, 1984, which apparently

1 was ordered by Dr. Sansone; and this was reported as showing a  
2 laminectomy defect at L5-S1 on the right side. There was no  
3 evidence of any definite disk herniation seen from the L3-4  
4 level to the L5-S1 level in the areas that were scanned, and  
5 no other abnormalities were reported on this CT scan.

6 Q Would you explain to the jury what a CT scan  
7 is?

8 A Well, what ~~it~~ really stands for, the CT is  
9 computerized tomography. What tomograms originally were were  
10 just an X-ray that makes a single cut or like a slice through  
11 the body, and it just takes this one slice and looks at that  
12 area. This with the CT scan; there are multiple slices taken  
13 and the computer reconstructs these. So it's like you can cut  
14 at each level or what interval you've taken these, you can cut  
15 the body just in, if you're looking at the lumbar spine or  
16 abdomen or what, you can just slice the body in half at that  
17 area and look right down on that; and this is what this does.  
18 It shows soft tissues and things much better than a routine  
19 X-ray does.... So whereas with a routine X-ray you can just  
20 basically see bone and not a whole lot else, this shows the  
21 disk and other soft tissue planes and things that you can see.

22 Q Now, is that a state-of-the art type of  
23 technology for noninvasive observation of the body parts?

24 A It's one of two major state of the  
25 arts.

1 Q Now, you talked about a laminectomy defect;  
2 would that be surgery that she had on her low back prior to  
3 the accident?

4 A Yes, that would be the bone that had been  
5 resected from the back of her spine in order to take the disk  
6 out.

7 Q And, Doctor, if there were any damage to the  
8 facets of the vertebrae, would they show up on the CT scan?

9 A Yes, a CT scan visualizes the facets very  
10 well.

11 Q Would you tell the jury what facets are?

12 A They're the small joints in the back of the  
13 spine. There is one on each side at each level.

14 Q Is that the joints between the different,  
15 the vertebrae as they sit on top of each other?

16 A In the back?

17 Q Yes.

18 A Yes.

19 Q Did the CT scan find any damage at all to  
20 the facets?

21 A Let me review this to make absolutely sure.  
22 My recollection is they did not report any. No, there is no  
23 mention of this.

24 Q Any other records that you were able to  
25 review, Doctor?



1       A               Okay. There was an Emergency outpatient  
2 report from Salem Community Hospital which was dated 9/1/84,  
3 and I think this is where Dr. Brocker saw her for a checkup,  
4 and it says has intermittent tightness in the back, return on  
5 9/27/84; said patient called, she was having tingling  
6 sensation on left side, top of the leg; said RJB advised. I  
7 don't know, maybe that refers to Dr. Brocker; I'm not sure.  
8 Said that it was most likely the muscle around the disk still  
9 healing.

10       Another report from 7/10/84 said to see Dr. Brocker for  
11 checkup, intermittent left calf spasm, otherwise doing well.  
12 And 3/13/84, return two months, doing well. There were other  
13 reports that really didn't have anything to do with the  
14 current problem.

15       Q               Doctor, the types of complaints that were  
16 presented in those records, were they consistent with the  
17 healing process following a laminectomy?

18       A               Most likely, that or persistent problems  
19 from a laminectomy. It's not unusual to still have back and  
20 leg problems after a laminectomy.

21       Q               Okay.

22       A               Those are reports from her back surgery.  
23 Dr. Brocker's report. X-ray reports from Columbiana Community  
24 Hospital. This was in November 1983 of a cervical spinal  
25 flexion-extension; lumbar spinal flexion-extension was

1 reported normal. Then there is one on the 7th of November,  
2 1983 of a lumbar spine which says there is degenerating  
3 spondylotic disk disease at multiple interspaces to minimal  
4 extent. Scoliosis of the lumbar spine. There was apophyseal  
5 sclerosis; that's the same thing as facet films described on  
6 these films bilaterally at 4-5, decreasing as it progresses  
7 cephalically. That means toward the head.

8 Q What does that mean?

9 A That means the radiologist at that point had  
10 interpreted some degenerative changes in the facet joints.

11 Q What was the date of that?

12 A That was the 7th of November, 1983.

13 Q Prior to the automobile accident?

14 A Yes.

15 Q What type of problems does a patient have  
16 with that type of condition?

17 A Usually some intermittent low back pain.  
18 There was a report of a lumbar myelogram that revealed there  
19 was asymmetry in S1 level on the left and L5 level on the  
20 right. Said a lumbar discogram showed that the patient's  
21 symptoms were reproduced with the discogram at L5 on the left  
22 side.

23 Q Doctor, were these the studies that were  
24 performed prior to her back surgery?

25 A Yes.

1 Q Okay.

2 A There was a report of an electromyogram,  
3 nerve conduction test dated 9/2/83 done by Dr. Pannozzo. This  
4 was in the lower extremities and was within normal limits.  
5 There was then a report of the lumbar spine with flexion-  
6 extension done on 3 April 1984, which showed a slight  
7 limitation of motion without any other abnormality. There was  
8 a letter written by Dr. Pannozzo dated August 19, 1985.

9 Q Let me go back one minute, Doctor; the  
10 flexion-extension in the lumbar spine with the limitation of  
11 motion, was that essentially your finding in 1988?

12 A Well, flexion-extension was 3 April 1984,  
13 which says it showed a slight limitation of motion without any  
14 abnormality. My finding could have been more than a slight.

15 Q But prior to the accident, she did have a  
16 limitation of motion in the back?

17 A According to this X-ray study. The letter  
18 of Dr. Pannozzo, he writes his treatments and findings; he said  
19 he did an electromyographic exam, nerve conduction of the  
20 right upper extremities and right peripheral median and ulnar  
21 nerves, which were all within normal limits. There were then  
22 the records; the rest were the X-rays that I reviewed.

23 Q Doctor, what are electromyographic studies  
24 and nerve conduction studies for?

25 A To see if you can determine any problems

1 with the nerves in the area that's tested.

2 Q And based on Dr. Pannozzo's findings, was  
3 there any nerve damage at all?

4 A Not according to the report.

5 Q Doctor, I'd also like you to identify the  
6 document that's been identified as Defendant's Exhibit A.

7 A That is a front-to-back or AP X-ray of the  
8 lumbosacral spine area.

9 MR. MATAVICH: Excuse me, I  
10 thought the other one was Defendant's Exhibit A.

11 MR. BUCK: No, that was B.

12 A B.

13 MR. MATAVICH: Oh, okay.

14 Q Doctor, that was an X-ray that was taken in  
15 your office at the time you examined her?

16 A Yes, an X-ray made on the 18th of July --

17 MR. MATAVICH: I'm sorry; what  
18 was that again?

19 A It's an AP view of the lumbosacral spine.

20 Q And that is of Sharon Fellows?

21 A It is.

22 Q And, Doctor, can you tell the jury what the  
23 X-ray shows?

24 A Well, basically all this shows is that there  
25 are, I believe on this you can see one droplet of myelographic

1     dys.

2     Q                   Can you circle that so the jury will know  
3     what it is?

4     Q                   What is that from?

5     A                   (Complying.)

6     Q                   That's from where she had her myelogram.  
7     Prior to the automobile accident?

8     A                   Yes.

9     A                   And the only other thing you can notice is  
10    there is some bone that's been resected, a laminectomy defect  
11    from the laminectomy which is really pretty hard to see. I  
12    can kind of outline it, but it's more if you look. I'll put a  
13    4 over the fourth lumbar vertebra and a 5 over the fifth, and  
14    on the 4 I'll just kind of outline this little area in here  
15    where there has not been any bone resected; and then when you  
16    look at the fifth, this is much bigger because it's where she  
17    had her surgery and there was bone taken out. That's really  
18    all you can say from that X-ray.

19    There is no other abnormalities noted on that.

20    Q                   Other than the area where they've removed  
21    the bone that you've outlined, it's a normal study?

22    A                   Yes.

23    Q                   Okay. Doctor, based upon your review of the  
24    medical records of Sharon Fellows, the history that you took  
25    from Sharon Fellows and the examination that you performed,

1 were you able to draw any conclusions with regard to her  
2 present status?

3 A I was.

4 Q And would you explain to the jury what your  
5 opinions are?

6 MR. MATAVICH: Object.

7 A Basically at the time of my examination I  
8 could not find any objective findings on Sharon Fellows to  
9 explain her numerous subjective complaints. Certainly the  
10 finding of the decreased sensation of the entire right side of  
11 her body including her face is not on any organic basis.

12 Q Doctor, would you explain to the jury the  
13 difference between subjective complaints and objective  
14 findings?

15 A Subjective complaints are things that the  
16 patient complains of, that they tell you, I hurt, or I'm  
17 numb here. Objective findings are things that you can  
18 document that really have no control from the patient's  
19 response.

20 Q And were you able to make any objective  
21 findings of any problems with Sharon Fellows related to the  
22 automobile accident?

23 A No.

24 Q Doctor, you'd indicated that the plaintiff  
25 had treated with a chiropractor for some period of time. Is

1 there any medical benefit to chiropractic treatment for the  
2 type of complaints she presented?

3 MR. MATAVICH: Object.

4 A Well, certainly if -- we have some question  
5 about chiropractic treatment, but certainly if she did not  
6 respond within a six or eight-week period of time and was not  
7 noticing improvement, I could not see any medical reason to  
8 justify continuing this treatment.

9 Q Doctor, the treatment that she was receiving  
10 from Dr. Pannozzo, was this treatment any different than what  
11 you would expect for someone with post-laminectomy type  
12 problems?

13 MR. MATAVICH: Object.

14 A Not really. Like I stated before, it's not  
15 unusual for people to have difficulty with post-laminectomy  
16 problems.

17 MR. BUCK: Doctor, thank you  
18 very much.

19 CROSS EXAMINATION:

20 By Mr. Matavich

21 Q Doctor, before I start my questioning, can I  
22 see everything in your file pertaining to Sharon Fellows,  
23 please?

24 A (Complying.)

25 Q And the X-rays, too, if you will, please?

1 A (Complying.)

2 Q And that one too if you will, please?

3 A (Complying.)

4 Q Doctor, let me give you your file back. I'm  
5 going to hold onto this for just a minute. When I refer to  
6 it, we'll send it back to you; okay?

7 A Okay.

8 Q First of all, Doctor, so that the jury will  
9 understand your role here, will you tell us again when it was  
10 that you first saw Sharon Fellows?

11 A The one and only time I saw Sharon Fellows  
12 was on the 18th of July, 1988.

13 Q And that was almost four years after the  
14 automobile accident?

15 A Yes.

16 Q Your purpose, the purpose of your  
17 examination was not for treating her, was it?

18 A As I previously testified, it was to perform  
19 an independent medical evaluation.

20 Q It was not to treat her was it?

21 A Yes, it was to perform an independent medical  
22 evaluation. It was not to treat her.

23 Q And the purpose was to examine her, make a  
24 report and then if necessary, testify in this case; isn't that  
25 correct?



1 A Yes.

2 Q And you have no responsibility for the care  
3 and treatment of Sharon Fellows?

4 A No.

5 Q You hadn't seen her before July 18, of 88;  
6 is that correct?

7 A I just previously testified, sir, I saw her  
8 the one and only time on the 18th of July, 1988. I think that  
9 was clear.

10 Q Doctor, if you'll just answer my question,  
11 please.

12 MR. BUCK: He's answered your  
13 question. I don't know how many times you have to ask it.

14 Q You haven't seen her since that date, have  
15 you?

16 A I previously testified I saw her for the one  
17 and only time on the 18th of July, 1988.

18 Q Doctor, you seemed to cooperate when Mr.  
19 Buck was asking you questions, and if you'll just answer my  
20 question.

21 A I answered your question.

22 Q You never consulted with Dr. Pannozzo about  
23 Sharon Fellows, did you?

24 A No, I did not.

25 Q You never consulted with Dr. Sansone about

1 her, did you?

2 A No, I did not.

3 Q Now, Doctor, your office is here in Akron;  
4 is that correct?

5 A That's correct.

6 Q Sharon came to Akron for this examination?

7 A That's correct.

8 Q Do you have an office at any other location  
9 other than the one here in Akron?

10 A No, I do not.

11 Q Does your practice occasion you to be in the  
12 Youngstown area at any time to treat patients?

13 A No.

14 Q Are you affiliated with any of the local  
15 hospitals in Youngstown?

16 A No, I am not.

17 Q Do you have many patients who travel from  
18 Youngstown to treat for soft tissue injuries with you?

19 A I have a few, yes.

20 Q Do they attend school at Akron?

21 A No, sir.

22 Q And Mr. Buck hired you to do this  
23 examination?

24 A Yes.

25 Q Have you conducted similar examinations of

1 other plaintiffs at the request of Mr. Buck's law firm,  
2 Comstock, Springer & Wilson?

3 A I have.

4 Q How frequently do you examine plaintiffs at  
5 the request of Comstock, Springer and Wilson law firm?

6 A I do not keep any record of these. I just  
7 do one examination of this sort a week, and there are numerous  
8 times of the year that I don't, including vacation times; so I  
9 probably end up doing, oh, 30, 35 of these type examinations a  
10 year at the most.

11 Q When did you begin to examine plaintiffs  
12 for the law firm of Comstock, Springer and Wilson?

13 A I again have no record of that. Several  
14 years ago.

15 Q Five years ago?

16 A Anything I did would honestly be strictly a  
17 guess. I don't know.

18 Q You can't tell us how many years you've been  
19 examining people for this law firm?

20 A No, I cannot.

21 Q Can you tell us how many people you've  
22 examined at the request of this law firm?

23 A As I just previously testified, I do not  
24 keep any records of that.

25 Q Do you examine plaintiffs for other defense

1 law firms?

2 A Yes.

3 Q How many other law firms?

4 A I don't keep any records of it, sir. I told  
5 you I do 30, 35 of these exams a year at the most.

6 Q I see. Can't tell us how many different law  
7 firms, though?

8 A I have no idea.

9 Q When did you start begin doing defense  
10 examinations?

11 A I've done this sort of examination,  
12 independent medical evaluations, almost since I started  
13 practice.

14 Q Which was when?

15 A 1972.

16 Q Do you examine claimants for insurance  
17 companies?

18 A I do.

19 Q How long have you been doing that?

20 A Same length of time.

21 Q Since '72. And, Doctor, were you paid for  
22 your examination and report?

23 A Absolutely.

24 Q And how much were you paid for the  
25 examination?

- 1 A For the examination and report it was \$550.
- 2 Q And who paid you?
- 3 A I don't know. I assume Attorney Buck did.
- 4 Q And are you being paid for the testimony
- 5 today?
- 6 A I'm paid for my time, yes, sir.
- 7 Q What is your charge for that?
- 8 A I think our current charge is 500 an hour,
- 9 sir.
- 10 Q And you had a conference with Mr. Buck
- 11 before the deposition this morning; is that correct?
- 12 A Correct.
- 13 Q And did you charge for that also?
- 14 A Absolutely.
- 15 Q And what was the charge for that?
- 16 A My charge for that is \$75.
- 17 Q Now, Doctor, during the deposition by Mr.
- 18 Buck, you were reading almost verbatim from the report that
- 19 you prepared; is that correct?
- 20 A That's correct.
- 21 Q Is it fair to say that you have little
- 22 independent recollection of this examination?
- 23 A That's fair.
- 24 Q And do you remember about what time of day
- 25 the examination took place?

1 A These are normally scheduled first thing in  
2 the morning, so it would be about 9:00 or 9:15 in the morning.

3 Q And this was during normal working hours?

4 A Yes.

5 Q And did you see your own patients that day  
6 as usual?

7 A Yes, sir.

8 Q How long did the examination of Sharon  
9 Fellows take?

10 A I did not keep any record. We do not punch  
11 a time clock in and out when people come in and out. Whatever  
12 the time to do the adequate evaluation required.

13 Q Okay. Ten, 15 minutes for the actual exam  
14 itself?

15 A That's about reasonable, yes.

16 Q And then maybe 20 minutes taking the history?

17 A That or longer, yes.

18 Q Did Sharon arrive on time for the  
19 examination?

20 A I would assume, sir. I don't keep --

21 Q Was she cooperative?

22 A I have no reason to believe that she wasn't.

23 Q Doctor, in the file that you let me look at  
24 before I started my questioning, I didn't see any original  
25 notes from your examination; do you have those?

1 A I just jot notes down, and once I dictate  
2 my report, those are discarded.

3 Q So if someone wanted to check the accuracy  
4 of your report against the original notes, that couldn't be  
5 done?

6 A That's correct.

7 Q All right. Now, before Sharon Fellows even  
8 came in here, you had received a letter from Mr. Buck dated  
9 July 13 of 1988; isn't that correct?

10 A That's correct.

11 Q And in that letter from Mr. Buck,  
12 essentially outlines Sharon's history, medical history,  
13 complaints, history of the accident; isn't that correct?

14 A I would assume. The only thing I do with  
15 these letters is just glance at the problem that the patient's  
16 being sent to me for. That's as far as I read that letter.

17 Q I see. How many pages does that letter  
18 consist of, Doctor?

19 A ... Three.

20 Q Okay. So you were told that Sharon was  
21 involved in an auto accident; is that correct?

22 A Yes.

23 Q And she was hit broadside by a pickup truck;  
24 is that correct?

25 A That's what she told me, yes.

1 Q And that's in Mr. Buck's letter, too, isn't  
2 it?

3 A I'll have to read it to see. It says the  
4 front of a truck. It does not say pickup truck.

5 Q If you could set the letter aside, please,  
6 and we'll get back to what Sharon told you. And you were told  
7 that her vehicle was spun 180 degrees?

8 A That's what ~~she~~ said.

9 Q And you took a complete history from her?

10 A I asked her questions, yes.

11 Q And history is important to get the overall  
12 picture of a person, isn't it, Doctor?

13 A That's correct.

14 Q It's important to see how the injury that  
15 the person claims affects their lifestyle; isn't that correct?

16 A Can you repeat that, please, sir?

17 Q Well, the history is important to see how  
18 the injury that the person is claiming affects that person's  
19 lifestyle, isn't it?

20 A The history is important to determine the  
21 medical background and problems the patient is having to try  
22 to make a diagnosis. I don't know that it has anything much  
23 to do with the lifestyle.

24 Q Do you know what Sharon's work duties are?

25 A No.



- 1 Q Do you even know if she's employed?
- 2 A No.
- 3 Q You didn't ask her that?
- 4 A No.
- 5 Q Do you know what her recreational pursuits
- 6 are?
- 7 A No.
- 8 Q Do you know what her activities with her
- 9 children are?
- 10 A No.
- 11 Q Now, the letter from Mr. Buck says that
- 12 Sharon's children were not examined in any medical facility;
- 13 is that correct?
- 14 A Sir, as I previously said, I'll have to read
- 15 the letter to see.
- 16 Q Well, Doctor, the letter's part of your
- 17 file, isn't it?
- 18 A Yes.
- 19 Q Okay.
- 20 A It says her two children were riding with
- 21 her at the time of the accident, were not injured; and they
- 22 were not examined at any medical facility, nor do they voice
- 23 any complaints of injury.
- 24 Q Do you know whether that's a fact or not?
- 25 A No, sir.

1 Q Okay. Doctor, would you agree that the  
2 cervical spine or the neck has great flexibility?

3 A Yes.

4 Q Supports the head, doesn't it?

5 A Yes, sir.

6 Q How much does the head weigh?

7 A I don't have any idea.

8 Q Well, generally speaking, what's the weight  
9 of the human head, adult human head?

10 A I don't have any idea, sir.

11 Q How many joints does the cervical spine  
12 consist of?

13 A How many joints?

14 Q Joints, yes. Doctor, if you want to get the  
15 X-ray and count them, feel free to do so.

16 A I don't think you can count them very well  
17 off the X-ray, sir.

18 Q How many does it consist of?

19 A There are six intervertebral disks in the  
20 cervical spine; there are two facets joints at each level.  
21 That's 14 and 6. It depends on whether you're talking about  
22 the cervical spine itself or it joins in the skull and the  
23 thoracic spine below, but 6 and 14 is 20; and if you want to  
24 include the other joints, then you get more.

25 Q How many muscle groups in the makeup of the

1 cervical spine?

2 A There are no muscle groups. The cervical  
3 spine is -- spine meaning the --

4 Q The bone?

5 A -- the bone.

6 Q I stand corrected, Doctor. How many muscle  
7 groups are there in the neck?

8 A There are numerous muscle groups in the  
9 neck.

10 Q What are the names of some of the muscle  
11 groups?

12 A The paravertebral muscles run along the back  
13 of the spine; there are numerous ones there. The trapezius  
14 muscle runs over the back of the head, down across the top of  
15 the shoulders; sternocleidomastoid muscles in front.

16 Q How many ligaments are there in the neck,  
17 Doctor?

18 A Sir, I couldn't begin to count the ligaments  
19 in the neck.

20 Q Okay. How about tendons?

21 MR. BUCK: I'm going to object  
22 to this line of questioning as being inappropriate for cross  
23 examination.

24 A How about tendons?

25 Q Yes, how many tendons are there in the neck?

1 A I couldn't begin to count those.

2 Q Joint capsules, how many joint capsules are  
3 in the neck, Doctor?

4 A One for each joint, sir.

5 Q And would you agree, Doctor, that the  
6 flexibility of the cervical spine predisposes it to acute  
7 injury from sudden motions?

8 A Yes.

9 Q What is an acute injury?

10 A It's an injury that just happened.

11 Q And would you agree that an impact from a  
12 truck that spins a car 180 degrees is a sudden motion that  
13 would exert a force on the cervical spine?

14 A It's possible.

15 Q And that type of force is going to throw the  
16 head around, won't it, Doctor?

17 A It's possible.

18 Q And the head's attached to the neck, isn't  
19 it, Doctor?

20 A Yes.

21 Q And that head has weight; isn't that  
22 correct?

23 A I certainly hope so.

24 Q Now, Doctor, you're not saying that Sharon  
25 Fellows wasn't injured in this accident, are you?

1 A No.

2 Q As a matter of fact, your report says that  
3 she suffered what was most likely a mild cervical strain at  
4 that time; isn't that correct?

5 A Yes.

6 Q Doctor, this is a soft tissue injury, isn't  
7 it?

8 A Yes, it is.

9 Q And would you agree that any soft tissue  
10 injury is a stretching and tearing of the tissues, muscles or  
11 ligaments in that area of the body?

12 A To some extent.

13 Q And a strain, Doctor, technically involves a  
14 stretching and tearing of muscles, doesn't it?

15 A Yes.

16 Q And a sprain, again, technically involves a  
17 stretching and tearing of ligaments?

18 A That's correct.

19 Q And, Doctor, what is a ligament?

20 A A ligament is a fibrous tissue structure  
21 that goes across a joint, one side of the joint to the other,  
22 that helps to stabilize the joint.

23 Q And trauma such as that from an automobile  
24 collision can cause a strain or a sprain, can't it?

25 A Certainly.

1 Q The soft tissues that we're talking about,  
2 the muscles, the ligaments, those aren't going to show up on  
3 an X-ray, are they, Doctor?

4 A No. If there is significant damage to them,  
5 you can see indirect evidence of that.

6 Q That takes some time to develop, doesn't it,  
7 Doctor?

8 A No.

9 Q The muscles of the neck aren't going to show  
10 up on X-ray, are they?

11 A No.

12 Q The ligaments aren't going to show up on  
13 X-ray, are they?

14 A No.

15 Q The tendons won't show up on X-ray, will  
16 they?

17 A No.

18 Q What other soft tissue is there in the neck,  
19 other than the muscles and ligaments?

20 A Fat, skin, nerve.

21 Q Joint capsules?

22 A That's primarily a ligamentous structure.

23 Q And these can be stretched and torn also,  
24 can't they?

25 A Yes.

1 Q And there can be stretching and tearing of  
2 this soft tissue without any damage to the bone; isn't that  
3 correct?

4 A Yes.

5 Q When you get a tearing of the soft tissue,  
6 the muscles and the ligaments, you're going to get bleeding,  
7 aren't you?

8 A If it's a significant tear.

9 Q Well, Doctor, do you remember testifying in  
10 another case on behalf of Mr. Buck's law firm, the name of the  
11 case was Sauce versus Zions, and you testified May 16 of 1988;  
12 do you remember that?

13 A No, I don't.

14 Q Do you remember making this statement:  
15 "Well, because any soft tissue injury is a stretching and  
16 tearing of tissues, muscles or ligaments." Do you agree with  
17 that statement?

18 A Yes.

19 Q And do you recall making this statement,  
20 Doctor: "And with that you get bleeding. If you put heat on  
21 it, you are going to make it bleed more and you are going to  
22 make it worse. You want to put ice on it to try to constrict  
23 the vessels, keep down the swelling"?

24 A What I said was you get bleeding to some  
25 extent. And it depends on the amount of tear. It may be a

1 drop from a very minimal tear to a very significant amount.

2 Q I see. And you don't know what degree of  
3 bleeding Sharon Fellows may have had, do you, Doctor?

4 A No, I did not see Sharon Fellows at that  
5 time. If she had any.

6 Q Is this what they call a petechial  
7 hemorrhage?

8 A No, sir.

9 Q What's a petechial hemorrhage?

10 A A petechial hemorrhage are little, tiny  
11 hemorrhagic spots, little, tiny dots you see in the skin.

12 Q Let me get back to the bleeding that you had  
13 talked about in Sauce versus Zinns with a soft tissue injury.  
14 That bleeding isn't going to show up on an X-ray, will it,  
15 Doctor?

16 A No. Maybe indirectly.

17 Q This tear then of the soft tissue, it will  
18 heal itself, mend itself by the body's natural processes,  
19 won't it?

20 A Absolutely.

21 Q And it grows back together, won't it?

22 A Yes.

23 Q And when it grows back together, there will  
24 be a formation of some scar tissue there, won't there, Doctor?

25 A Everything but bone in the body heals by



1 scar.

2 Q And the scar tissue isn't as elastic as  
3 normal tissue, is it?

4 A Not quite.

5 Q And scar tissue in a case like that is a  
6 permanent condition, isn't it, Doctor?

7 A Yes.

8 Q And would that scar tissue show up on an  
9 X-ray?

10 A No.

11 Q What is myofascitis?

12 A Technically myofascitis would mean an  
13 inflammation of the muscles and the fascia that covers the  
14 muscles. It is really a wastebasket term. It means nothing.

15 Q I see. Can myofascitis irritate nerves in  
16 the area where it is?

17 A Possible.

18 Q Doctor, would you agree that scar tissue is  
19 more prone to damage than normal tissue?

20 A Well, to a slight extent. It really depends  
21 on the amount of scar tissue you have. If you have a very  
22 minimal amount of scar tissue, then it's not even going to be  
23 noticeable.

24 Q I see. Doctor, your familiarity with sports  
25 medicine, the way I would relate to this is a boxer, he gets

1 punched in the eye and there is a cut and he's stitched up;  
2 and the next time he comes back to fight, that cut opens  
3 up a little more easily. Then the next time he fights, it  
4 opens up again, and then the next time he fights it opens up  
5 again more easily; is that a pretty good analogy?

6 A Not if it's well healed, it shouldn't.

7 Q Now, Doctor, what's the cervical curve?

8 A The cervical curve?

9 Q Yes.

10 A There is normally a little curve, and if you  
11 look from the side in the cervical spine --

12 Q And that's also called a lordotic curve?

13 A Yes.

14 Q And the straightening of the lordotic curve  
15 or the cervical curve on an X-ray is an objective finding,  
16 isn't it?

17 A No, sir. Well, it is an objective finding,  
18 yes, sir.

19 Q And that is something that can be caused by  
20 muscle spasm, isn't it, Doctor?

21 A It can be.

22 Q And the X-rays that were taken of Sharon on  
23 August the 29th of 1984, after this collision, were reported  
24 as being a straightening of the lordotic curve secondary to  
25 muscle spasm; isn't that correct?

- 1 A That was the report. As I previously  
2 testified, that's not a statement you can make from an X-ray.  
3 A radiologist is out of line when he makes that statement.
- 4 Q I see. What is muscle spasm?  
5 A It's a tightening, involuntary tightening of  
6 the muscle.
- 7 Q And that's an objective finding, isn't it?  
8 A Yes.
- 9 Q Now, a patient doesn't have control over his  
10 muscles to get a straightening of the lordotic curve, does he?  
11 A If the muscles cause it, he or she does not.
- 12 Q And the muscle spasm itself isn't going to  
13 show up on X-ray, is it?  
14 A No.
- 15 Q Now, the X-ray that is referred to in that  
16 report from the date of the accident at Salem Hospital, that  
17 X-ray was read by a radiologist, wasn't it?  
18 A It was.
- 19 Q What is a radiologist?  
20 A He's a doctor who specializes in reading  
21 X-rays.
- 22 Q He's a specialist in that like you're a  
23 specialist in orthopedics; is that correct?  
24 A Yes.
- 25 Q And all that doctor does as a radiologist

1 is read X-rays?

2 A That's correct.

3 Q And isn't it a fact that a radiologist's  
4 intrapretations are often relied upon by treating doctors in  
5 their treatment plans?

6 A Certainly.

7 Q And this radiologist happened to be at Salem  
8 Hospital when Sharon Fellows went there?

9 A Apparently.

10 Q And when a person goes to a hospital, to the  
11 emergency room of a hospital for X-rays, they don't select the  
12 doctor who's going to interpret those X-rays, do they?

13 A No.

14 Q And that doctor isn't hired by anybody in a  
15 lawsuit to interpret the X-rays, is he?

16 A No, he isn't.

17 Q If you look at that X-ray report, Doctor,  
18 and I'll give you a chance to find it.

19 A Okay.

20 Q Got it?

21 A Yes.

22 Q Okay. There were five views taken from  
23 different angles before this X-ray report was prepared,  
24 weren't there?

25 A Yes.

1 Q And the report says that there was a  
2 reversal of the curvature, most likely secondary to muscle  
3 spasm?

4 A Yes.

5 Q And it's negative for fracture?

6 A Yes.

7 Q And, Doctor, when a patient is X-rayed, the  
8 patient doesn't position himself for the X-ray, does he?

9 A Patient does. You do not hold their head.  
10 If you're doing a cervical spine, the patient is there and  
11 they are asked to place their head in position. They do  
12 position themselves.

13 Q Well, doesn't the doctor or the X-ray  
14 technician put the patient in the position?

15 A They tell them where they want them to  
16 hold it, yes.

17 Q And the X-ray technicians are trained to  
18 position patients for X-rays or to tell them?

19 A Yes.

20 Q And, Doctor, you have to assume that the  
21 people doing the test are familiar with the testing  
22 procedures, do you not?

23 A I would hope so.

24 Q And in forming a diagnosis or relying on a  
25 medical test, doesn't the physician have to depend on the

1 integrity of the test?

2 A I'm not sure I understand your question.

3 Q Well, let me ask it again. In forming a  
4 diagnosis based on an X-ray or a test, or in relying on a  
5 medical test, the physician has to depend on the integrity of  
6 that test, doesn't he?

7 A I am still not sure what you mean by that,  
8 sir.

9 Q Well, for instance, if you send a patient to  
10 a hospital for a blood test, whatever it is that you're looking  
11 for, the doctor himself oftentimes doesn't draw the blood and  
12 run it through the different procedures; correct?

13 A Correct.

14 Q So when the patient comes back and the  
15 report of the blood test comes back, the patient or the doctor  
16 has to assume that that test was competently done, doesn't he?

17 A Yes.

18 Q And the X-rays that were done of Sharon  
19 Fellows in your office, she was positioned by your technician,  
20 wasn't she?

21 A Correct.

22 Q And you didn't take those X-rays yourself,  
23 did you?

24 A Absolutely not.

25 Q As a matter of fact, did you have to repeat

1 any series of the X-rays?

2 A I don't recall. It's possible. And that is  
3 what you, very frequently the X-ray text will not have the  
4 projections properly; and you have to look at the X-rays and  
5 ask them to redo a certain view or something because it is not  
6 the proper view.

7 Q Now, you've examined other plaintiffs before  
8 who have been told that the vertebrae in their neck were  
9 straight instead of curved, haven't you?

10 A Yes.

11 Q That's a common finding in a cervical  
12 injury, isn't it?

13 A It can be.

14 Q Now, you had Dr. Brocker's records and you  
15 referred to an X-ray report of Sharon's neck November 1 of  
16 1983?

17 A Yes.

18 Q And, again, that report was prepared by a  
19 radiologist?

20 A Yes.

21 Q And there is no mention of a reversal of the  
22 cervical curve in that report, is there?

23 A That's correct.

24 Q And the report goes on to say no fracture,  
25 no dislocation, no bone destruction, no bone production; isn't

1 that correct?

2 A That's correct.

3 Q Normal X-ray?

4 A Yes.

5 Q And in your report to Mr. Buck, Doctor, you  
6 say that there is little, if any, difference between the X-ray  
7 taken on August 29 of '84 of Sharon's neck and this one taken  
8 November 1 of '83 of her neck ~~isn't~~ that correct?

9 A That's correct.

10 Q Now, part of your examination of Sharon was  
11 to put her neck through a range of motion?

12 A Yes.

13 Q Would you tell the jury what that is, please?

14 A That's asking her to put her chin on her  
15 chest, to bend her head back as far as she can, to turn to each  
16 side as far as she can.

17 Q Your report notes that Sharon had a very  
18 minimal loss of extension?

19 A Yes.

20 Q Would you tell us what extension is?

21 A That is, as testified before, bending the  
22 head back.

23 Q And how is the amount of extension measured?

24 A By observing the patient, how far they move.

25 Q Can't it be quantified?



1 A It's very difficult. It's not like taking  
2 an elbow where you can put a goniometer, angle measuring  
3 device, on and do it. It's very difficult to do that to the  
4 neck. It's not commonly done by measuring it directly.

5 Q It is measured in degrees, though, isn't it?

6 A It can be, yes.

7 Q Did you measure what her loss of extension  
8 was?

9 A I just testified I did not. This is by  
10 observing her, how much she bent; and it's very uncommon to  
11 get a goniometer and measure something in degrees on the neck.

12 Q Well, Doctor, you were doing an independent  
13 medical evaluation, weren't you?

14 A Yes, sir.

15 Q And you knew that you were going to be  
16 called upon to testify perhaps in this case?

17 A Possible.

18 Q And you didn't -- do you have a goniometer  
19 in the office?

20 A I carry one in my pocket all the time, sir.

21 Q You didn't measure what the loss of extension  
22 was?

23 A I examine these people exactly like I would  
24 examine someone in my practice who I'm treating. I don't know  
25 of anyone who routinely, to my knowledge, that goes around

1 trying to measure the exact degrees in a neck. To accurately  
2 do, it's almost impossible.

3 Q I asked you if you did, Doctor?

4 A I told you I didn't.

5 Q And that would not be reflected in the notes  
6 that you discarded?

7 A No.

8 Q Doctor, what do you consider to be very  
9 minimal?

10 A Probably less than 10, 15 degrees.

11 Q Ten, 15 degrees. Well, have you testified  
12 in another case that a loss of extension of 10 degrees was  
13 very minimal?

14 A I don't know, sir.

15 Q Would you agree with that statement?

16 A Yes.

17 Q What is normal extension, Doctor?

18 A Normal extension, probably 30 or 40 degrees.

19 Q That loss of motion is a positive finding,  
20 isn't it?

21 A What do you mean by positive finding, sir?

22 Q Well, --

23 MR. BUCK: Do you mean  
24 objective or subjective?

25 MR. MATAVICH: I mean positive.

1 A You'll have to tell me what you mean by  
2 positive finding.

3 Q Well, Doctor, when you say that a test is  
4 negative, what does that mean to a doctor?

5 A It means there are no abnormalities.

6 Q When a test is positive, what does that mean  
7 to a doctor?

8 A It means ~~for~~ what degree.

9 Q So if the amount of extension of Sharon  
10 Fellows was, of her neck, was limited, that would be a  
11 positive finding, wouldn't it?

12 A Yes.

13 Q And if there were contractures of the muscle  
14 in the neck or tightness in the neck, that would limit motion,  
15 wouldn't it?

16 A It could.

17 Q Doctor, you found no evidence of muscle  
18 atrophy in Sharon's arms?

19 A That's correct.

20 Q And atrophy is caused by disuse of the  
21 muscle; isn't that correct?

22 A Disuse or paralysis.

23 Q Did she give any <sup>history of</sup> disuse of her arms?

24 A She said she was having difficulty using her  
25 arm.

1 Q My question was, did she give you any disuse  
2 of the arms?

3 A No.

4 Q And atrophy, if you put somebody's arm or  
5 leg in a cast for a period of time, they're not going to be  
6 able to use that particular part of the body that's casted,  
7 are they?

8 A That's correct.

9 Q The radial pulses that you examined, isn't  
10 it a fact that sometimes you'll get a diminishing of the pulse  
11 from spasm or pressure on the nerves and vessels as they come  
12 out of the shoulder?

13 A Correct.

14 Q That's not going to happen all the time?

15 A No.

16 Q Now, this cogwheel type of weakness that you  
17 found in the biceps, triceps and shoulder abductors, that was  
18 a positive finding, wasn't it?

19 A Yes.

20 Q That wasn't a normal finding, was it?

21 A No.

22 Q What are the biceps?

23 A The muscles that flex the elbow.

24 Q When somebody tells you make a muscle,  
25 that's what the wrestlers show off; is that correct?

1 A Yes.

2 Q How about the triceps, what are those?

3 A As testified before, they're the muscles on  
4 the back of the arm that straighten the elbows out.

5 Q And the shoulder abductors?

6 A They're the muscles on the shoulder that  
7 raise the shoulder up.

8 Q Isn't it a ~~fact~~ that a weakness in the  
9 biceps indicates injury to the C6 nerve root?

10 A Can.

11 Q What is the C6 nerve root, Doctor?

12 A That's the sixth cervical root.

13 Q And a weakness in the triceps indicates  
14 injury to the C7 nerve root, doesn't it?

15 A Correct.

16 Q What is a nerve root?

17 A What is a nerve root?

18 Q Yes.

19 A That's a nerve that comes off of the spinal  
20 cord.

21 Q And if a person has an injury to the C5-6 or  
22 7 level, it's not unusual for that person to have referred  
23 pain to the shoulders or shoulder blades, is it?

24 A From C5 it isn't. Six, possible, 7, C7  
25 unlikely.

1 Q Physicians can disagree on issues in  
2 medicine; is that a fair statement?

3 A I think so.

4 Q And matter of fact, there's been a  
5 difference of opinion in the interpretation of Sharon's neck  
6 X-rays?

7 A Absolutely.

8 Q And there ~~are~~ other tests you could do to  
9 check neck pain, aren't there?

10 A Such as?

11 Q Well, did you do a compression test?

12 A Compression test?

13 Q Yes.

14 A You'll have to explain to me what you mean  
15 by that.

16 Q When you push on the patient's head.

17 A No, I didn't do that.

18 Q Doctor, wouldn't pressure on a vertebral  
19 joint cause pain upon compression?

20 A The compression is thought by some to be a  
21 reliable test for when you have a ruptured disk, and you can  
22 compress a neck to put pressure on the disk and cause it to  
23 bulge out more. It really doesn't have much to do with  
24 vertebral joint.

25 Q Well, Doctor, Sharon didn't make any

1 complaint about a ruptured disk in her neck, did she?

2 A A ruptured disk in the neck could explain  
3 arm weakness and arm pain and things like this if there was  
4 anything to go along with it to explain it.

5 Q Mr. Buck's letter to you didn't say anything  
6 about a ruptured disk in the neck, did it?

7 A I don't know what Mr. Buck's letter said.

8 Q Doctor, did you do a Valsalva test on  
9 Sharon?

10 A No.

11 Q And isn't it a fact that if there is a space-  
12 occupying lesion such as a herniated disk in the cervical  
13 canal, the patient may develop pain in the spine secondary to  
14 increased pressure as would come in a Valsalva test?

15 A That is a test that --

16 Q Well, Doctor, would you answer my question,  
17 please?

18 A I'm trying to answer your question, if you'll  
19 allow me.

20 Q Okay. I'm sorry.

21 A That could possibly reproduce radicular pain  
22 if there were a ruptured disk because of that, but it's not a  
23 very reliable thing that most people use routinely.

24 Q It's a test you had available to you as an  
25 orthopedic surgeon, isn't it?

1 A I use the tests that I feel comfortable  
2 with, sir, in evaluating the problem. There are certainly  
3 some that you could use. The return is not really justified.

4 Q Well, Doctor, I don't know if you answered  
5 my question. My question was that was a test that you had  
6 available to you, wasn't it?

7 A I could have done that, yes, sir.

8 Q It's not any kind of a unique test, is it?

9 A No.

10 Q Now, your report doesn't indicate whether or  
11 not there was any tenderness in Sharon's neck upon palpation,  
12 does it?

13 A No, which means that I didn't find that.

14 Q I see. Well, you pointed out in your report  
15 rather emphatically that there was no evidence of muscle  
16 spasm?

17 A Yes.

18 Q But you didn't say there wasn't any  
19 tenderness?

20 A I just didn't dictate it, sir.

21 Q Doctor, is it characteristic of a soft  
22 tissue injury to have periods of remission and exacerbation?

23 A Only if they're reinjured.

24 Q Tell us what remission is?

25 A Remission means that symptoms go away.



- 1 Q And exacerbation means they come back?
- 2 A They return.
- 3 Q It doesn't really have to be an injury,
- 4 though, does it, Doctor? I mean, could it come from stress on
- 5 the injured body part?
- 6 A What do you mean by stress?
- 7 Q Overuse, overexertion?
- 8 A That's in the form of an injury.
- 9 Q Okay. Maybe we're using the term injury a
- 10 little loosely?
- 11 A I think we're making two different -- I
- 12 think the assumption is that you may be assuming that soft
- 13 tissue injuries don't heal, and then these things come back.
- 14 I don't agree with that. I think they do heal, and if they do
- 15 come back it's because they're reinjured, whether it be by
- 16 stress from overuse or whatever.
- 17 Q Well, let's confine it to stress from
- 18 overuse. If there was an exacerbation, would there be muscle
- 19 spasm in that area?
- 20 A There could be.
- 21 Q Okay. And what kind of things would cause
- 22 an exacerbation of a soft tissue injury?
- 23 A Reinjury.
- 24 Q Okay. Housework?
- 25 A Well, if you're doing something vigorous

1 enough to reinjure it. Not likely that housework, soft tissue  
2 injury had healed unless there was really a very severe soft  
3 tissue injury with joint instability and things, it's very  
4 unlikely that something of that nature would cause a  
5 recurrence of this injury.

6 Q Physical activity?

7 A Depends on the extent of the physical  
8 activity.

9 Q And you examined Sharon first thing in the  
10 morning, didn't you?

11 A Yes.

12 Q Presumably she was well rested from the  
13 night-before?

14 A I assume so.

15 Q Now, she's also complained of low back pain;  
16 isn't that correct?

17 A Yes, sir.

18 Q And she had a lumbar laminectomy disk surgery  
19 about nine months before the automobile collision; isn't that  
20 correct?

21 A If you want me to look up the exact date,  
22 I'll be glad to. I don't recall the exact. 11/8/83; that's  
23 correct.

24 Q Would you tell us what an intervertebral  
25 disk is?

1 A It's a cartilaginous material that is  
2 present between two vertebral bodies.

3 Q Kind of the inside of it is kind of  
4 gelatinous?

5 A Nucleus pulposis.

6 Q Nucleus pulposis. And when that disk  
7 ruptures, the nucleus pulposis is kind of squeezed out between  
8 the cartilaginous material, isn't it?

9 A Yes.

10 Q And this nucleus pulposis is going to press  
11 on the nerves in that area, isn't it?

12 A It can.

13 Q And that's what causes the pain, isn't it,  
14 Doctor?

15 A Yes.

16 Q And when surgery is done on a disk, the  
17 neurosurgeon goes in and he removes the nucleus pulposis and  
18 the disk material between bones, doesn't he?

19 A He removes some of it.

20 Q Well, he can remove all of it, too, can't  
21 he?

22 A If he does an anterior discectomy in which  
23 he operates from the front, he can remove all of it. If he  
24 operates from the back on the posterior aspect, it's usually  
25 an attempt is made to remove as much as you can; but usually

1 what happens is it's in the range of 20, 30, 40 percent, not  
2 even half of the disk material.

3 Q Okay. And when the disk material is  
4 removed, presumably that solves the patient's problem?

5 A It would be nice to think that.

6 Q That's the objective of the surgery, isn't  
7 it?

8 A Unfortunately it doesn't work out that way  
9 often.

10 Q Well, you had Dr. Brocker's records to  
11 review, didn't you?

12 A Yes.

13 Q And I assume that you reviewed them?

14 A Yes.

15 Q And it appeared Sharon was making a good  
16 recovery from that surgery, didn't it?

17 A She was -- she was recovering, yes. That  
18 doesn't mean she's not going have problems.

19 Q Would you take a look at Dr. Brocker's  
20 records, please?

21 A (Complying.)

22 Q If you'll turn to the visit by Sharon to Dr.  
23 Brocker of December 6 of 1983?

24 A Okay.

25 Q And if you look at his records for that

1 visit, it says Sharon's doing well, doesn't it?

2 A Yes.

3 Q And if you'll go to the next visit?

4 A That's all it says. Doing well. Return two  
5 weeks. Does not say anything about what an exam showed.

6 Q December 20, if you'll look at that  
7 examination?

8 A Okay.

9 Q He says she could return to work, return to  
10 light work, excuse me, should not lift over 40 pounds; is that  
11 correct?

12 A Yes.

13 Q Then if you go to the February 14  
14 examination, it says doing well, doesn't it?

15 A Okay. We skipped --

16 Q I skipped that one. That was going to be my  
17 next. Well, let's go to the January 10. Apparently these  
18 aren't in order. The January 10, '84, exam, intermittent  
19 numbness in left leg; is that correct?

20 A Yeah, apparently that's a nurse's note.

21 Q Okay. And Dr. Brocker told her to return in  
22 two months?

23 A Yes.

24 Q So she went back February the 14th?

25 A Okay. It says doing well with generalized

1 muscle aching.

2 Q Okay. You don't know where the muscle  
3 aching was, though?

4 A No, these are really very brief notes.

5 Q And you don't know what her activities may  
6 have been; is that correct?

7 A That's correct.

8 Q Okay. Doctor, if you'll go to the next  
9 visit, March 13 of '84, doing well, return two months; isn't  
10 that correct?

11 A Yes.

12 Q Doctor, how about the next visit to Dr.  
13 Brocker; well, there is one May the 8th of '84, where it says  
14 intercostal neuralgia; do you see that?

15 A No, not offhand.

16 MR. BUCK: Perhaps that's one  
17 you haven't given us, Mr. Matavich. Because I don't have it  
18 in my records either.

19 MR. MATAVICH: Well, you  
20 subpoenaed Dr. Brocker's records, so I didn't give you these.

21 MR. BUCK: Perhaps Dr. Brocker  
22 did not give it to us then.

23 MR. MATAVICH: Well, here, take  
24 a look at mine.

25 Q Intercostal neuralgia?

1 A Yes.

2 Q That means rib pain, doesn't it, Doctor?

3 A Yes.

4 Q Now, if you'll look at the next visit, May  
5 the 15th, of 1984, the chart shows much improved, doesn't it?

6 MR. BUCK: Dr. Brocker did not  
7 provide us with those records.

8 MR. MATAVICH: Well, again, Mr.  
9 Buck subpoenaed those records.

10 MR. BUCK: Well, we can only  
11 give Dr. Thompson the records that are provided to us.

12 A It says improved; return two weeks.

13 Q Would you turn to the next visit, June 5 of  
14 '84?

15 A Says complaints of right calf knotting.  
16 Again, this is the nurse's notes. Really his notes are almost  
17 none.

18 Q Doesn't it saying doing well also?

19 A 6/5/84, doing well, yeah; nurse's note says  
20 doing well but complains the right calf knotting. That  
21 doesn't quite add up, does it? And he's got X-ray lumbosacral  
22 spine.

23 Q Doctor, would you look at the July 10, '84  
24 visit?

25 A Again he says doing well.

- 1 Q Okay. Fine. Could I have that, please?
- 2 And that's to be expected after a successful surgery; that the
- 3 patient comes along, isn't it, Doctor?
- 4 A Hopefully.
- 5 Q Now, Dr. Brocker's records don't show any
- 6 complaints of back pain after the surgery before August 29 of
- 7 '84, do they?
- 8 A They really don't show much at all, to be
- 9 very honest with you.
- 10 Q Well, they don't show any complaints of back
- 11 pain, do they, Doctor?
- 12 A No, they show complaints of leg pain. It's
- 13 interesting that he ordered X-rays. I'm curious why if there
- 14 were no complaints of back pain and all, why you would order
- 15 X-rays after a laminectomy, and he ordered numerous sets of
- 16 X-rays.
- 17 Q Well, maybe he wanted to monitor her
- 18 condition; that is one reason?
- 19 A I can't give you a good medical reason in
- 20 the absence of difficulty after a laminectomy, why you would
- 21 be doing repeat X-rays.
- 22 Q Another reason could be to charge for the
- 23 service, huh, Doctor?
- 24 A I don't know, sir. I just can't give you a
- 25 good medical reason, that's what I said, unless there was



1 difficulties that you wanted to evaluate.

2 Q It appears from that record she was coming  
3 along fine, doesn't it?

4 A From what little you can tell from the  
5 record, yes. There is no record of any sort of physical exam  
6 or findings, what her motion was; it's really very poor  
7 documentation of a postop care.

8 Q You're not saying Dr. Brocker committed  
9 malpractice in this, are you?

10 A No, sir, no, sir. I'm just saying it's not  
11 good documentation.

12 Q She returned to work?

13 A Apparently.

14 Q Nothing to indicate that Dr. Brocker's  
15 surgery was not successful?

16 A No.

17 Q Then we have the automobile accident on  
18 August the 29th of 1984; is that correct?

19 A Yes.

20 Q And Sharon's next visit to Dr. Brocker is  
21 September the 11th of '84; would you take a look at that,  
22 please, Doctor?

23 A (Complying.)

24 Q What's Sharon's complaint on September 11 of  
25 '84, to Dr. Brocker after the auto accident?

1 A Again, you're relying on what the nurse  
2 apparently has written down; it says burning in calf better.  
3 Has intermittent tightness in back.

4 Q And she was to return in two months?

5 A Yes. That's all he wrote. The nurse wrote  
6 the rest. He just wrote return two months. He doesn't say  
7 anything about physical findings, anything else.

8 Q Do you know if she returned to him after that  
9 visit?

10 A Well, at least she called. There is a note  
11 here that says on the 27th, patient called; she's having  
12 tingling sensations on left side top of leg. That's the one  
13 we talked about before. RJB. I guess that's Dr. Brocker.  
14 Said the the muscle around the disks still in healing  
15 process.

16 Q No indication from this chart that I've  
17 handed you that she ever returned to Dr. Brocker?

18 A No.

19 Q And after the collision on August 29 of '84,  
20 she was complaining of low back pain again?

21 A Some weeks later, I believe, sir.

22 Q Doctor, would you agree that collision  
23 probably had some affect on her low back?

24 A I would think it may likely have had some  
25 temporary effect on her low back, yes.

1 Q And within a reasonable degree of medical  
2 probability, that collision of August 29, '84, probably  
3 aggravated her low back condition, didn't it?

4 A Most likely, yes.

5 Q And aggravation means that an existing  
6 condition was made worse, doesn't it?

7 A Yes, for some degree of time.

8 Q And after neck or back surgery, a patient is  
9 advised not to ride in a car for a while; isn't that correct?

10 A Maybe for a few weeks or months. Not for  
11 years.

12 Q Fine. And that's to avoid reinjury of an  
13 area in the event of a collision, isn't it?

14 A Yes.

15 Q What level was that laminectomy done at?

16 A As I remember, I'll have to go to the  
17 operative report to be sure. Says lumbar discectomy,  
18 foraminal decompression, L5, right.

19 Q What level of Sharon's back did Dr. Pannozzo  
20 treat?

21 A Who knows.

22 Q Well, you have his report, don't you?

23 A Dr. Pannozzo said that he felt that her pain  
24 was most common at the L3-4 intervertebral joint and L2-3  
25 intervertebral joint on the right side.

- 1 Q That's a different area of the spine than  
2 where the laminectomy was, isn't it?
- 3 A That is higher, yes, sir.
- 4 Q And you can have injuries at different  
5 levels of the spine, can't you?
- 6 A Absolutely.
- 7 Q So it's conceivable that she sustained a new  
8 injury at that level in this accident, isn't it?
- 9 A It's conceivable.
- 10 Q Well, you've treated patients who have  
11 sustained low back injuries in automobile accidents, haven't  
12 you?
- 13 A Yes, sir.
- 14 Q Person can hurt more than just his neck,  
15 can't he?
- 16 A Absolutely.
- 17 Q Now, you did a straight leg raising test for  
18 Sharon during your examination?
- 19 A Yes, sir.
- 20 Q And I think you said that if that test was  
21 positive, it would produce pain or show sciatic nerve  
22 irritation?
- 23 A Correct.
- 24 Q And, Doctor, isn't a straight leg raising  
25 test one that's done to see if there is a disk herniation in

1 the lumbar spine?

2 A That's one thing that can produce irritation  
3 on the sciatic nerve. The straight leg raising test itself is  
4 for sciatic nerve irritation. The disk is one thing that can  
5 cause that.

6 Q All right. Sharon made no complaint about  
7 having another herniated disk in her low back, did she?

8 A No.

9 Q Okay. And you would expect the straight leg  
10 raising test to be negative, wouldn't you?

11 A If there were no nerve irritation present, I  
12 would, yes.

13 Q The myelographic dye that you pointed out in  
14 the Defendant's Exhibit A, that won't cause pain, will it?

15 A Unlikely.

16 Q Okay. That's something that's left over  
17 from the surgery of '83?

18 A You can get an arachnoiditis, an irritation  
19 of the lining that can cause pain from this.

20 Q Did she have that?

21 A I have no way of knowing that. You can't  
22 see that on an X-ray. You'd need another myelogram to  
23 determine that.

24 Q Doctor, would you take one of your X-rays,  
25 any one. I don't want to mark up Mr. Buck's exhibits.

1 A X-ray of what?

2 Q X-ray of the neck, please.

3 A Any particular view you want?

4 Q Let me look at one of the lateral views.

5 That would be a good one. Let's mark this as Plaintiff's  
6 Exhibit A.

7 (Whereupon Plaintiff's Exhibit A was marked.)

8 Q Okay. Doctor, you've been handed  
9 Plaintiff's Exhibit A. Is that one of the X-rays that you  
10 took of Sharon here?

11 A Yes.

12 Q Could you get your grease pencil and draw in  
13 the anterior longitudinal ligament, please?

14 A You can't see the anterior longitudinal  
15 ligament on an X-ray. You just know it's the ligament that  
16 runs down, connects the front of the vertebrae.

17 Q Okay. And you're using your yellow pencil  
18 for that?

19 A Yes.

20 Q Okay. I'm going to give you a red one, and  
21 if you'll draw in the posterior longitudinal ligament. I'll  
22 give you a blue pencil and ask you to shade in the  
23 sternocleidomastoid muscle, Doctor?

24 A You cannot see the sternocleidomastoid  
25 muscle on this, sir.

1 Q I know. That's why I'm asking you if you  
2 would shade it in where it's supposed to be?

3 A It would be much easier to draw that on a  
4 front to back view than on a lateral view.

5 Q Would you do it for us, please, get one of  
6 the proper views?

7 MR. HATAVICH: Let's mark that  
8 one first as Plaintiff's Exhibit B, Doctor.

9 (Whereupon Plaintiff's Exhibit B was marked.)

10 A It would be a muscle that has rather a broad  
11 base off of the clavicle and the sternum down in this area  
12 which would run up.

13 Q Doctor, if you'll get that pencil on there  
14 and just mark it up for us, if you would, please?

15 A There it is.

16 Q Can you kind of shade that in a little bit  
17 in the area that you've outlined?

18 A (Complying.)

19 Q There you go. Where is the trapezius  
20 muscle?

21 A That's a broad muscle that comes off the  
22 scapula in the back and again you can't see the scapula well;  
23 and it comes up along the back of the neck.

24 Q Okay. And the joint capsules, where are  
25 those at, Doctor? Here I'll give you a green pencil. You can

1 mark up some joint capsules for us.

2 A Joint capsules are the ligaments crossing  
3 the joint. All the ligaments are is thickening in the joint  
4 capsules, and they just go all across every joint you see  
5 here. Intervertebral joints.

6 Q What view of the neck is that, Doctor?

7 A That's an anterior-posterior view, AP, front  
8 to back.

9 Q Okay. Thank you. Now, your report says that  
10 Sharon had decreased sensation on her right side?

11 A That's correct.

12 Q You don't say that she didn't have any  
13 sensation; is that correct, too?

14 A No. I said she had decreased sensation.

15 Q Okay. So she has some sensation, at least  
16 she reported it to you?

17 A Yes.

18 Q And there is no way of quantifying what that  
19 loss of sensation is?

20 A No.

21 Q Okay. You're not a neurologist, are you,  
22 Doctor?

23 A No.

24 Q Or a neurosurgeon?

25 A No.



1 Q Now, your report goes on to say that the  
2 X-rays you took of her dorsal spine, that being the mid-back,  
3 could not show any abnormalities?

4 A That's correct.

5 Q And you also took some X-rays of the neck,  
6 which we've talked about, and your report says that they do  
7 not show any significant abnormalities; is that correct, too?

8 A Yes.

9 Q What abnormalities were there on the  
10 cervical X-ray that you did not consider to be significant?

11 A That's just semantics, sir.

12 Q Pardon me?

13 A That's just semantics where you say there  
14 were no. I say there are no abnormalities or no significant  
15 abnormalities. I mean the same thing.

16 Q Oh, I see. Now, you've testified that  
17 according to Dr. Fannozzo's EMG, electromyograph reports, that  
18 those were reported as normal?

19 A Yes.

20 Q And isn't it a fact that an electromyograph  
21 will often be reported normal even in patients with known  
22 intervertebral disk protrusions?

23 A Can be.

24 Q And you can have a negative EMG and still  
25 have a nerve problem, can't you?

1 A Yes.

2 Q In fact, Dr. Brocker's records show that the  
3 EMG taken of Sharen on November 2 of '83, before her surgery,  
4 when she was in for that back surgery, when we know she had a  
5 herniated disk, the EMG was reported as normal, wasn't it?

6 A Yes.

7 Q And the nerves that emanated from her low  
8 back were the ones that were tested, weren't they?

9 A Yes.

10 Q Now, the emergency room report that you read  
11 from August 29 of '84, said at the emergency room that she  
12 complained of her right leg tingling?

13 A Yes, I believe so.

14 Q What would that be indicative of?

15 A Could be that she had some nerve injury of  
16 the right leg or irritated something that was there.

17 Q Okay. Now, the numbness over the whole  
18 body, can that be explained by the flight or fright mechanism?

19 A Certainly.

20 Q And would you tell the jury what the flight  
21 or fright mechanism is?

22 A Well, it's just fear.

23 Q It's a jolt of adrenalin that one encounters  
24 in a frightening situation, automobile accident, armed  
25 robbery, something like that; is that correct?

1 A Correct.

2 Q And that jolt of adrenalin is going to stay  
3 with the patient for a while; isn't that correct?

4 A Well, usually 15, 20, 30 minutes, something  
5 like that.

6 Q And that jolt of adrenalin when everything's  
7 over with and things return to normal; sometimes then the  
8 patient will feel some pains in places that he hadn't before;  
9 isn't that correct?

10 A Yes.

11 Q The X-rays of Sharon's neck then you  
12 reported as essentially negative?

13 A Correct.

14 Q No changes in the neck from when they were  
15 first taken in November of '83 pursuant to Dr. Brocker's  
16 orders until you took them?

17 A In my opinion, yes.

18 Q No arthritis or anything like that?

19 A Not that I can detect.

20 Q Now, Doctor, you kept saying on your direct  
21 examination, or at least I heard it, that you could find no  
22 objective findings at the time of the examination; is that  
23 correct?

24 A Correct.

25 Q And you're limiting your findings to the one

1 time that you examined her; isn't that correct?

2 A Yes, sir.

3 Q And on that day at that time you found  
4 nothing?

5 A That's correct.

6 Q You can't say that she didn't have pain or  
7 muscle spasm before your exam, can you?

8 A No.

9 Q And you can't say that she didn't have pain  
10 or muscle spasm after your exam, can you?

11 A No.

12 Q And from your testimony you don't know what  
13 her condition was like two months, three months, six months  
14 after the collision, do you?

15 A No.

16 Q And she treated with Dr. Sansone in December  
17 of '84?

18 A I believe that's correct.

19 Q And she started treatment with Dr. Pannoizzo  
20 in January of '85?

21 A That's correct.

22 Q The limitation of motion that you found in  
23 her low back, that would be expected with a person who had a  
24 lumbar laminectomy; isn't that correct?

25 A Very frequently, yes.

1 Q And I think you testified that the motion  
2 that you found on your examination was more than what the  
3 X-ray showed before the accident but after the laminectomy?

4 A I don't think that's correct. I think I  
5 said that -- what I testified was, the question was that there  
6 was a report from a flexion-extension X-ray, and that's not  
7 a good way to determine motion, but again that's where the  
8 technician asked them to bend; but what I believe I said was  
9 at least according to that X-ray, she had more motion than  
10 than when I examined her. She had less motion when I examined  
11 her.

12 Q Oh, okay. Thanks for correcting me because  
13 that was the point I wanted to bring out. Thanks. Doctor,  
14 would you agree that a strain and sprain of muscles results in  
15 a weakening and usually some tightening of those structures?

16 A On a temporary basis, yes, sir.

17 Q And you want to prescribe exercises to help  
18 those muscles regain the strength, don't you?

19 A Absolutely.

20 Q Generally that helps to resolve the problem?

21 A It should.

22 Q Not always?

23 A Makes you nervous if it doesn't.

24 Q Well, as a doctor, as an orthopedic surgeon,  
25 do you always get the medical result with a patient that

1 you're hoping for?

2 A No, sir.

3 Q This degenerative disk that Dr. Brocker  
4 operated on back in 1983, Sharon was only 27 then; correct?

5 A Yes. I assume.

6 Q Is that kind of young?

7 A I'll take your word for it.

8 Q Is that kind of young for a degenerated disk?

9 A It can be seen. It's on a younger age than  
10 you usually see it, but you certainly can see it then.

11 Q Well, she had a traumatic episode right  
12 before that problem surfaced, didn't she?

13 A I'm not really sure.

14 Q Well, you said you looked at Dr. Brocker's --

15 A I did not commit them to memory, sir. I'll  
16 be glad to go back and look at it.

17 Q I think you'll find it in Dr. Brocker's  
18 letter to Dr. Bookwalter. And it will be in the first  
19 paragraph, the last sentence.

20 A When she fell, yes.

21 Q She had a fall on August 18 of '83, and at  
22 that time the symptoms progressively got worse?

23 A Yes.

24 Q Doctor, is it true that a traumatic episode  
25 could be followed by a development of degenerative changes at

1 a single disk space rather than multiple levels of the spine?

2 A Certainly.

3 Q What I'm saying is, if you have a degenerated  
4 disk at one level, that doesn't mean every disk in your back is  
5 bad?

6 A Unlikely, not every disk in your back.

7 Q And the narrowing of the L5-S1 disk space  
8 where Dr. Brocker did the surgery, you would expect to see  
9 that, wouldn't you?

10 A Yes.

11 Q The CAT scan report that was done at the  
12 request of Dr. Sansone, what did you say the facets were?

13 A The facets are the small joints in the back  
14 of the spine.

15 Q Okay. What are those made of?

16 A What are they made of?

17 Q Yeah.

18 A They're just like any joint where two bones  
19 come together, and they're covered with thick cartilage; and  
20 they have a joint capsule and they have a synovial lining.

21 Q And the report says there is no hypertrophy?

22 A Yes.

23 Q And what's hypertrophy?

24 A It means increase in size.

25 Q And, Doctor, I must have put this note that

1 I asked you about earlier, that on your finding, Sharon's low  
2 back movement, the limitation was more than it was back in  
3 April of '84 when the X-ray after surgery was taken?

4 A Okay. Again, according to the X-ray report,  
5 the limitation was more. The X-ray is a poor way to judge  
6 motion. Dr. Brocker has no records of motion or anything in  
7 his notes.

8 Q You didn't find any evidence of degenerative  
9 disk disease in Sharon's neck?

10 A No.

11 Q And, Doctor, you testified that at the  
12 outset that you treated patients on a regular basis for soft  
13 tissue injuries?

14 A Correct.

15 Q And in those patients, what do they tell  
16 you is bothering them or gives them pain?

17 A It varies.

18 Q Okay. Generally speaking, from what to what?

19 A Well, first of all, you got to tell me what  
20 type of soft tissue injury you're talking about.

21 Q Neck and low back.

22 A Usually it's stiffness and pain with motion.  
23 It's usually a condition that's relieved by rest and is worse  
24 with activity.

25 Q And in such patients, have you taken X-rays



1 which don't show any fractures of the vertebrae, the bones?

2 A Absolutely.

3 Q And have you ever treated a patient with  
4 muscle injuries to the neck and low back where there aren't  
5 any broken bones, but the pain and discomfort that the patient  
6 has continues for a long period of time?

7 A Yes.

8 Q And have you ever treated a patient with  
9 soft tissue injuries to the neck and low back that have not  
10 responded to your treatment?

11 A Yes.

12 Q And have you ever treated a patient over a  
13 long period of time for soft tissue injuries to the neck and  
14 low back where you've concluded those injuries to be  
15 permanent?

16 A Yes.

17 Q And have you ever treated a patient who  
18 suffered a soft tissue injury to the muscles, nerves,  
19 ligaments, of the neck or low back who have suffered pain over  
20 a period of years?

21 A Yes.

22 Q And when you treat your own patients with  
23 those soft tissue injuries to the neck and low back, do you  
24 require seeing them over a period of time before you arrive at  
25 a conclusion as to how serious or permanent that patient's

1 injuries are or were?

2 A It depends on the frame of time when I see  
3 him. If I see him immediately after the accident or the  
4 injury, whatever it was, then it requires a frame of time to  
5 see how they respond and all. If I see someone a year or two  
6 years later, then usually you can tell with one evaluation.  
7 You don't need repeated evaluations.

8 Q And have you ever disagreed with another  
9 doctor's opinion about permanency of a patient's injuries?

10 A Absolutely.

11 Q Medicine is not an exact science, is it?

12 A No, it is not.

13 Q Have you ever made a prognosis of a  
14 patient's medical future and then changed it after you've had  
15 further opportunity to see the patient in your office?

16 A Certainly.

17 Q And have you ever seen a patient you're  
18 treating for soft tissue injury to the neck or low back who  
19 has muscle spasm on one visit to your office, has no muscle  
20 spasm on a second visit, and then has muscle spasm again on a  
21 third visit and later visits?

22 A That usually only occurs if they've had  
23 reinjury or reaggravation of a problem.

24 Q And what are generally accepted procedures  
25 that a physician uses on a patient who's suffered a soft

1 tissue injury to the neck or low back?

2 A The initial phase is a phase to allow the  
3 acute injury to subside, or phase one, in which you would  
4 treat with rest or limited activity, ice, to prevent swelling.  
5 After that then you should go into a form of rehabilitation  
6 and which you're placing him on an exercise program, first of  
7 all to stretch, general stretching of the tissues back out to  
8 regain normal motion, and gradually adding on first an  
9 isometric then an isotonic type exercise program to strengthen  
10 the affected muscles around the area and regain the normal  
11 motion and strength present.

12 Q How about physiotherapy?

13 A That's usually how you do it.

14 Q Okay. Hot packs?

15 A Well, the hot packs don't hurt anything, but  
16 they may help him loosen up a little bit at the time; but  
17 that's not the important thing. The important thing is the  
18 exercises and the stretching-type things.

19 Q Doctor, you occasionally use hot packs in  
20 your care and treatment of soft tissue injuries, don't you?

21 A Yeah, but you've got to realize what you're  
22 doing. It's just like telling somebody to put a heating pad  
23 on at home. It feels good while you do it, but it really does  
24 nothing for the overall solution of the problem.

25 Q How about ultrasound?

1 A Same type thing. It's just a way of deep  
2 heat.

3 Q Injections?

4 A Occasionally.

5 Q You use ultrasound sometime in your care and  
6 treatment of soft tissue injuries, don't you?

7 A Rarely.

8 Q You use it sometimes, don't you?

9 A I said rarely.

10 Q Doctor, if Sharon came to see you on the  
11 very first occasion for her treatment, would you want to see  
12 her more than once or twice before you made up your mind as to  
13 the permanency of her injuries?

14 A If I saw her right after the injury, yes.

15 Q And do you always make up your mind that  
16 your patients are telling you the truth about their pain after  
17 the first visit?

18 A I think you have to put everything in  
19 perspective, sir, and you have to see what they say, how this  
20 correlates with the findings you have, and also, you know, how  
21 it fits into how they're responding to treatment.

22 Q Pain to a patient is real, isn't it?

23 A Sure.

24 Q Can't see the pain, can you?

25 A No.

1 Q Doctor, are you suggesting that Sharon  
2 Fellows was untruthful with you?

3 A I did not say, that sir.

4 Q Is it true that a conscientious person will  
5 try to carry out his normal duties despite the fact that he  
6 may have a soft tissue injury to the low back or neck?

7 A Yes.

8 Q It's not uncommon for a patient to present  
9 himself to a doctor with a condition that's supported only by  
10 subjective complaints, is it?

11 A No.

12 Q And it isn't unusual for a doctor to base a  
13 medical diagnosis solely on subjective complaints, is it?

14 A Not over a prolonged period of time.

15 Q As a matter of fact, the first thing that  
16 you as a doctor do when you see a patient in the examining  
17 room is ask what happened and how do you feel?

18 A Certainly.

19 Q That's where you guys have it over  
20 veterinarians and pediatricians; those patients can't talk  
21 to you?

22 A Certainly.

23 Q Doctor, someone who sustains a cervical  
24 strain or sprain or a low back strain or sprain, they're more  
25 vulnerable to reinjury in those areas, aren't they?

1 A Not if they're properly rehabilitated.

2 Q Did you suggest to Sharon that she see any  
3 sort of other doctor for what you've called a conversion  
4 hysteria?

5 A No, sir; my purpose to see her was not to  
6 advise treatment.

7 Q Conversion hysteria, if it exists, that's  
8 real to the patient, isn't it?

9 A Yes.

10 Q The structures that we've been talking  
11 about, the neck, the muscles, the tendons, in a child they're  
12 more supple, more flexible than in an adult, aren't they?

13 A Certainly.

14 Q A kid could take a better wallop than an  
15 adult?

16 A Certainly.

17 Q And in an automobile accident, there is  
18 different forces involved, aren't there?

19 A Certainly.

20 Q As a matter of fact, you've probably seen  
21 people who have crawled out of cars that have rolled over and  
22 smashed without an injury and other people who were injured in  
23 accidents with less damage?

24 A Certainly.

25 Q And the way that one is sitting in a seat

1 can play a role in the type of an injury?

2 A Certainly.

3 Q And one's body size in relation to the seat,  
4 how the seat envelopes that body, plays a role, doesn't it?

5 A Certainly.

6 Q And, Doctor, to make it clear, you're not  
7 testifying that Sharon was not injured in any way in this  
8 collision?

9 A No.

10 Q And it's not your testimony that she should  
11 not have sought treatment for those injuries after the  
12 collision?

13 A No.

14 Q And you don't claim to be infallible in any  
15 of the opinions you've expressed here today, do you?

16 A Absolutely not.

17 Q You make mistakes?

18 A Absolutely.

19 Q Just like all doctors and lawyers, too?

20 A Like everyone.

21 Q Would you agree that a doctor who sees a  
22 patient over a period of time charts that patient's progress,  
23 treats that patient, is in a pretty good position to assess  
24 that patient's injury?

25 A Yes.

1 MR. MATAVICH: Thanks, Doctor.  
2 I don't have any more questions at this time.

3 REDIRECT EXAMINATION:

4 By Mr. Buck

5 Q Doctor, I have just a couple questions I'd  
6 like to follow up. In response to Mr. Matavich's questions,  
7 you said you examined or performed 30 to 35 independent  
8 examinations per year. Do you perform all of those for me  
9 or my office?

10 A No, absolutely not.

11 Q Do you have any -- I don't have a guess, but  
12 do you have any idea how many you might perform in a year at  
13 my request?

14 A No.

15 Q Would it be more than three or four?

16 A You know, I really don't know.

17 Q Doctor, do you also treat patients who are  
18 plaintiffs in lawsuits?

19 A Yes.

20 Q Do you testify on their behalf?

21 A I do.

22 Q And is the testimony that you render on  
23 behalf of your patients who are plaintiffs or in cases where  
24 you have performed an independent medical examination any  
25 different?



1 MR. MATAVICH: Object.

2 A No.

3 Q How do you testify in the two types of cases  
4 where your patients are plaintiffs or where you've done an  
5 independent medical examination; what is your purpose in  
6 testifying?

7 MR. MATAVICH: Object.

8 A I just try to give my honest opinion on  
9 what's there, whether it's my patient or whether it's someone  
10 I did an independent evaluation on. It's no different in my  
11 mind. I'm just trying to tell honestly what I felt.

12 Q Thank you, Doctor. Now, Mr. Matavich asked  
13 you about some notes that you might take during the course of  
14 the examination. Do you dictate your report from those notes  
15 immediately after the examination is completed?

16 A Fairly soon after yes.

17 Q When it's still fresh in your mind?

18 A Yes. Actually I can assure you those notes  
19 would mean nothing to anyone. It's my form of shorthand.

20 Q Doctor, Mr. Matavich asked you about mild  
21 cervical strain and talked about stretching and tearing of  
22 muscles and ligaments. You indicated that you can see  
23 indirectly evidence on X-rays where muscles and ligaments have  
24 been torn. Would you explain to the jury what you meant by  
25 that?

1 A Well, if there is a significant tear of the  
2 ligaments, then it's going to lead to a joint instability.  
3 And when you take the X-ray, you're going to see shifting of  
4 the joints.

5 Q That would be the bones?

6 A Yes. In relation to one another.

7 Q In the X-rays that you reviewed, the ones  
8 taken at the emergency room right after the accident, the ones  
9 taken prior to the accident, and the ones that you took in  
10 your office, did you find any evidence of any stretching,  
11 tearing, of the muscles, ligaments or tendons?

12 A Not that you could see on X-rays. The other  
13 thing you could see on an X-ray is, the ones made right after  
14 the injury, is a soft tissue swelling which leads to a  
15 displacement of the trachea, the windpipe, pushes it away from  
16 the bones.

17 Q And in reviewing the X-ray of the cervical  
18 spine taken at the emergency room, was there any evidence of  
19 soft tissue swelling?

20 A No.

21 Q What's the significance of lack of soft  
22 tissue swelling?

23 A Well, the severity of the injury.

24 Q And where there is no swelling, what does  
25 that mean?

1 A Well, you know, it means it's less severe.

2 Q Doctor, these mild cervical sprain and  
3 strains, do they heal?

4 A Yes.

5 Q Can you explain to the jury, give some type  
6 of analogy in your experience?

7 A Well, it's a stretching and tearing of soft  
8 tissues and ligaments and things. It's just like having a  
9 sprained ankle. I think we've all seen the athlete on TV, the  
10 football player or the basketball player who sprains his  
11 ankle. If we assume this didn't heal, we would expect never  
12 to see him back playing, he or she or whoever it might be.  
13 However, we know that routinely they are back in some period  
14 of time depending on the severity of the injury. So if you  
15 assume that these don't heal and they do heal with scar  
16 tissue, but this is in most instances is not a significant  
17 thing that causes permanent problems.

18 Q Okay. Doctor, was there any evidence of any  
19 significant formation of scar tissue in Sharon Fellows?

20 A Not that I could detect.

21 Q Any evidence of any significant tearing of  
22 muscles, ligaments or tendons?

23 A Not that I could detect.

24 Q Is there any evidence that she had any  
25 bleeding from torn muscles, ligaments or tendons?

1 A There is no way I could tell that.

2 Q Is there anyway anybody could tell that?

3 A Well, they could if it was severe enough at  
4 the time of injury that it, again, went to swelling, soft  
5 tissue displacement on the X-ray or swelling on exam or  
6 ecchymoses or bruising of the skin, where it spread out to  
7 the, the bleeding spread out to where it was in the tissue  
8 planes underneath the skin. That's what a bruising is.

9 Q All right. And from reviewing the emergency  
10 room report, was there any evidence of bleeding, swelling,  
11 bruising?

12 A I really can't read that, the doctor's  
13 writing well enough there to be sure what they're saying from  
14 that emergency room report. I don't know. I can only read  
15 part of this. The first part, you know, what this is, what  
16 doctor's writing. He says no LOC, which is loss of  
17 consciousness. Pupil means the pupil are okay. Heart's  
18 okay; lungs okay. I think the abdomen soft not tender.  
19 Something else. The bowel sounds; I don't know what it says  
20 there. Then there is a thing says cervical spine negative.

21 Q That's under the X-rays?

22 A Yeah, under X-ray findings. I can't read  
23 what he says under treatment. I think the diagnosis is rule  
24 out head injury, but it's really not legible enough to me that  
25 I can be sure what they're saying, to be very honest with you.

1 Q But based on the X-ray findings; and what  
2 you can read here, is there anything to indicate that there is  
3 any swelling of the soft tissues in the neck, or bruising?

4 A There is no indication of what I can read.

5 Q And would that also be true of your review  
6 of the emergency room X-rays of the neck?

7 A Yes.

8 Q Doctor, when you interpret the X-rays, is  
9 the most effective way to do an interpretation to compare one  
10 X-ray with another X-ray of the same anatomical part of the  
11 body taken at another time?

12 MR. MATAVICH: Object to the  
13 leading question.

14 Q Doctor, can you tell us what is the most  
15 effective way to interpret X-rays?

16 MR. MATAVICH: After Mr. Buck  
17 gave you the answer, Doctor, feel free to do so.

18 A Well, the interpretation of the X-ray is  
19 really based on what you see on that X-ray. Certainly if  
20 there had been a series of X-rays and you're looking for  
21 progressive changes, then it's beneficial to put those beside  
22 each other and see if there is a change or not, if you have  
23 those available. That's the only beneficial thing.

24 Q And would the radiologist in an emergency  
25 room have that opportunity to look at X-rays taken in other

1 doctor's offices?

2 A Not unless he requested them.

3 Q And is there any evidence in the emergency  
4 room X-ray that the radiologist in the emergency room  
5 compared --

6 A No, usually the radiologist will dictate,  
7 not always, but usually if they compare with previous films  
8 they will indicate that in their report.

9 Q And did you have an opportunity to compare  
10 X-rays prior to the accident, at the time of the accident, and  
11 X-rays taken three years later?

12 A I did.

13 Q And was there any change in the cervical  
14 spine in those three X-rays?

15 A Not in my opinion.

16 Q Now, you talked about a minimal limitation  
17 of motion in extension of her cervical spine. Is that  
18 something that's controlled by the patient?

19 A Yes.

20 Q What do you do; do you ask her to look up?

21 A Yes.

22 Q Do you force her or position her head in any  
23 respect?

24 A Absolutely not.

25 Q Is that what you would call a subjective

1 symptom?

2 A Certainly.

3 Q Now, Doctor, Mr. Matavich asked you about  
4 the arm weakness. You talked about the biceps having the  
5 cogwheel effect. Did you test Sharon Fellows using,  
6 testing the same types of muscles with other tests, asking her  
7 to perform other functions using the same muscles?

8 A Not against resistance, no.

9 Q But did she perform other tests using the  
10 same muscles; were all your tests consistent with the weakness  
11 in those muscles?

12 A I'm not sure you understood. Maybe you  
13 didn't understand what I testified. The cogwheel type  
14 weakness is not recognized as an organic type weakness. By  
15 that I mean if there is a true weakness in the muscle as I  
16 previously testified, you should expect a gradual give way of  
17 the muscle and not in a jerky fashion. A cogwheel type  
18 weakness is either associated with a hysterical type reaction  
19 or a, just a voluntary response by the patient.

20 Q Did you tell us in response to, I believe it  
21 was Mr. Matavich's question, that there were some other either  
22 abduction, aduction or abduction tests that would use the same  
23 muscles that did not show this same type of weakness?

24 A Yes, that was in response to yours.

25 Q Okay. So were there other tests that she

1 was able to perform without any weakness or deficit using the  
2 same muscle groups?

3 A Yes.

4 Q What conclusions can you draw where she  
5 gives inconsistent responses using the same muscle groups?

6 A Again, it's lack of a voluntary response on  
7 the patient or a hysterical type reaction.

8 Q Doctor, why would you not perform Valsalva  
9 tests or cervical compression tests?

10 A Well, Mr. Buck, there is literally hundreds  
11 or maybe thousands of different tests you can perform. When  
12 you learn to do your examinations and things you pick the  
13 tests that you feel in your hands give you the most  
14 information. You certainly, it's just not practical to try to  
15 do every test that's possible. You try to do tests that in  
16 the great majority of the time will yield a positive response  
17 if there is a problem there; and each person has to, each  
18 physician has to develop the things that they're comfortable  
19 with and the things that they feel are beneficial in doing  
20 this. And this is primarily things that you learn throughout  
21 your medical school training and your residency training and  
22 then you refine during your years of practice. And some  
23 people consider tests more beneficial than others, and it's  
24 just things that you consider beneficial in your practice and  
25 what you use day-to-day.



1 Q Now, Doctor, in the period following the  
2 laminectomy surgery up until the time of the accident, did  
3 Sharon Fellows have consistent complaints of problems with her  
4 legs?

5 A She had, I don't know whether they were  
6 consistent. The documentation is so poor there I don't know  
7 how you can make any statements about really what was going on  
8 and what wasn't going on. You know, I'm sure in all fairness  
9 to Dr. Brocker, he probably did do these examinations when he  
10 was doing it. He just didn't record it. He didn't take the  
11 time. He is probably a busy guy who didn't take the time to  
12 record it.

13 But more and more, especially in the present climate,  
14 we're learning you better record, better keep better records  
15 and keep things; but there are things that you do and, you  
16 know, usually take down. Just the movements of the low back  
17 after a lumbar laminectomy, and I can testify from personal  
18 experience about this since I've had one, that it's usually  
19 very limited at first and gradually gets better but it doesn't  
20 usually get full; and it's things that you might as an  
21 examining physician  
22 you say, well, the motion's a little better than last time;  
23 you know, you just don't even record that.

24 But there is really no way that I can make any  
25 conclusions about what was going on in this post-op period

1 from the information I have available.

2 Q Doctor, orthopedic surgeons also perform  
3 lumbar laminectomies, don't they?

4 A Yes.

5 Q What is the purpose of taking lumbosacral  
6 spine X-rays as a follow-up to a lumbar laminectomy?

7 A As I previously testified, the only reason  
8 you would really do this is if a patient in my mind, to my  
9 knowledge, if the patient were having some difficulty. There  
10 is no real purpose to get a routine study of the lumbosacral  
11 spine post-op unless you were looking for something wrong.

12 Q And from your review of the records, did Dr.  
13 Brocker order several lumbosacral spine films between the time  
14 of the surgery up until the time of the auto accident?

15 A Yes.

16 Q Mr. Matavich asked you about the EMG and  
17 having a normal finding when there is a disk herniation. Can  
18 you explain to the jury how that occurs?

19 A Well, the EMG measures basically motor  
20 activity of the nerve where there is any involvement that  
21 would involve the muscles; in other words, the motor  
22 activities is a part of the nerve that supplies the muscles  
23 and makes the muscles contract; whereas the sensory part of it  
24 is what gives you your sensation or feeling. An EMG is really  
25 just measuring the motor activity of it. So you can have the

1 nerve involved, if it is involved, purely just disturbing the  
2 sensory part of the nerve and not the motor part, you could  
3 have a normal EMG. You could also, you know, have the whole  
4 scenario and nerve really isn't involved. You could also have  
5 that it's involving the motor a little bit but not enough to  
6 give a significant EMG finding.

7 Q Now, Mr. Matavich also asked you about the  
8 flight or fright syndrome and talked about the surge of  
9 adrenalin. I think you said it would last for 20 to 30  
10 minutes possibly?

11 A It's usually not much longer than that.  
12 It's just like giving somebody a shot of adrenalin. This is a  
13 very-transient-type thing. It's not something that goes on  
14 for hours and hours.

15 Q Doctor, would you expect someone who was  
16 involved in an automobile accident that once the accident was  
17 over, the police investigation was completed, and they  
18 traveled to the emergency room where they were examined by a  
19 physician in the emergency room, would you expect the effect  
20 of the surge of adrenalin to have worn off by that time?

21 A I would certainly think so.

22 Q Doctor, if there were to be any changes or  
23 degenerative conditions develop as a result of the accident in  
24 August 1984, would you expect those changes to have started so  
25 you would be able to observe them on X-rays by July of 1988

1 when you examined her?

MR. MATAVICH: Object.

2  
3 A Certainly.

4 Q And did you observe any degenerative changes  
5 in Sharon Fellows?

6 A Just the degenerative disk disease at L5-S1  
7 on the lumbosacral spine.

8 Q That's where she had the surgery?

9 A Yes.

10 Q Anything related to the automobile  
11 accident?

12 A Not that I could really relate.

13 Q Doctor, you told Mr. Matavich you have  
14 treated people for soft tissue injuries who have not responded  
15 to treatment and you felt were injured permanently. Could you  
16 explain to the jury what it is you would find where the  
17 patient does not respond to the treatment?

18 A Well, it's usually you have a, you have  
19 objective findings to go along with the subjective complaints  
20 on a consistent basis and you know that are just going to be  
21 there, you know; you have, let's take the -- you have a  
22 significant, severe ligamentous injury to the cervical spine  
23 where you actually tear the ligaments and joint capsules; you  
24 get a subluxation of one vertebra on the other and you have a  
25 chronic instability.

1 Q Have you had patients --

2 A We can demonstrate this on X-ray.

3 Q Do you have patients where there is no  
4 objective findings that you can substantiate the complaints  
5 that you feel are injured permanently?

6 A Most of these turn out to have some  
7 secondary influence on them, and you can tell this by their  
8 response to therapy.

9 Q Doctor, if you saw a patient one time three  
10 years after the accident, would you feel comfortable in making  
11 a diagnosis at that time after you got a complete history,  
12 reviewed the medical records and performed a thorough  
13 examination and X-rays of the patient, could you form an  
14 opinion as to their status at that time and condition with  
15 regard to an accident that occurred three years earlier?

16 A Certainly.

17 Q Doctor, can a person who was involved in  
18 ongoing litigation for an extended period of time where there  
19 is compensation motivation, convince themselves of problems  
20 where there is no anatomical basis or objective evidence of  
21 injury?

22 MR. MATAVICH: Object.

23 A Absolutely.

24 Q That is something you observed in your  
25 practice?

1 MR. MATAVICH: Object.

2 A This is documented in the medical  
3 literature. There were 20 years ago articles in the Journal  
4 of the American Medical Association dealing with the accident  
5 process well documenting this.

6 Q Doctor, as far as Sharon Fellows is  
7 concerned, if you had seen her immediately after the accident,  
8 what would her course of treatment have been, if you had been  
9 her treating physician?

10 A Assuming that she had had a mild cervical  
11 sprain based upon the accident, I would, like I said,  
12 initially for the first week or so just primarily rest this,  
13 use ice initially, maybe some anti-inflammatory medication if  
14 she could tolerate it; then start therapy; again, the same  
15 thing that I outlined with stretching, range of motion, adding  
16 increasing strengthening exercises.

17 Q What is the expected course of recovery with  
18 regard to the amount of time it would take in this type of  
19 injury?

20 MR. MATAVICH: Object.

21 A I would say that 90 percent of people with no  
22 other problems will essentially be asymptomatic in six to  
23 eight weeks.

24 RECROSS EXAMINATION:

25 By Mr. Matavich

1 Q Doctor, you've testified in other cases that  
2 this type of an injury will generally heal in three to four  
3 months; a person will fully recover then, haven't you?

4 A It depends on the degree of the involvement,  
5 sir. I probably have. I think I prefaced this with saying  
6 this was a mild --

7 Q This was a hyperflexion-extension injury,  
8 wasn't it, to the neck? That's another way of saying cervical  
9 injury, hyperextension, hyperflexion?

10 A That's a way of doing it, yes.

11 Q Do you remember testifying in Sauce versus  
12 Zinns back on May 16 of '88 --

13 A No, sir.

14 Q And you were asked this question: "Now, you  
15 said earlier of course that does not follow in all of these  
16 cases where there is a hyperflexion-extension injury that in  
17 three or four months they should be all right?"

18 MR. BUCK: I'm going to object  
19 unless you establish the degree of injury of this  
20 hyperflexion-extension injury.

21 Q Do you remember your answer Doctor: "I  
22 talked about probabilities, sir, and I talk in the majority,  
23 great majority of cases she should be unless they show up on  
24 long-term things with post-traumatic changes, which she has  
25 not."

1 A I told you, sir, I don't remember that. I'm  
2 sure I said that's a reasonable thing, and it depends on  
3 degree of severity in which case you're talking about in the  
4 problem and if you want to say with her, if you want to  
5 stretch it out to three or four months, I would doubt that,  
6 but certainly by three or four months I would say you'd reach  
7 a 99 percent probability that it would be gone.

8 Q Now, Doctor, the X-ray from the emergency  
9 room of Salem Hospital on August 29 of '84, it says negative  
10 for fracture, doesn't it?

11 A Yes, sir.

12 Q And a person doesn't have to have swelling  
13 immediately after the trauma, does he?

14 A The significant -- the onset of the swelling  
15 is related directly to the significance of the trauma.

16 Q Well, Doctor, have you ever bumped your  
17 thigh on a table or a desk and not seen anything then, and  
18 then the next morning you have swelling and a bruise?

19 A Just what I said, it's totally related to the  
20 significance of the trauma. If it's just a real minor trauma  
21 and the less the degree of trauma, then the more likely it is  
22 that you would notice this at a later date. The more severe  
23 the trauma, the more tearing and things and the more you're  
24 going to see this right away. Just like in the knee joint,  
25 maybe I can explain it to you this way; if you see swelling in



1 the knee joint within two hours, that's bleeding. You tore  
2 something that bled or you chipped a piece of bone off.  
3 If something swells two hours or later, then it can just be  
4 the reaction of the joint fluid there. So the bleeding is how  
5 much you're bleeding, and so it's how much you're going  
6 to notice it right away. So it's directly related to severity  
7 of injury.

8 Q When was Sharon fellow seen in the emergency  
9 room after the accident?

10 A The time looks like 1350, which I would  
11 assume is the time that the accident occurred. The time in  
12 the emergency room here is 11:56: I really don't know what  
13 time her accident occurred.

14 Q What time did she go to the emergency room?

15 A I believe, if I can read this right, time  
16 in is 11:56, and I believe time out was 1350, which would be  
17 1:50.

18 Q Take a look at the copy of the police  
19 report. You'll see the accident time was 10:40.

20 A Okay.

21 Q Fair enough? Now, Doctor, would you agree  
22 that sometimes a medical examination in itself is stressful?

23 A Certainly.

24 Q Person's going to fear what the doctor might  
25 find; isn't that correct?

1 A Certainly.

2 Q And that in itself -- well, hospitals aren't  
3 pleasant places, are they?

4 A To some people. Some people like them.

5 Q Doctors probably like them?

6 A Not necessarily.

7 Q Well, be that as it may, a person who's just  
8 been in an accident and is awaiting treatment in an emergency  
9 room, diagnostic treatment, that would exert some stress on  
10 that person, wouldn't it?

11 A It could.

12 Q And you could still have adrenalin pumping,  
13 couldn't you?

14 A You could.

15 Q Now, the series of X-rays that Dr. Brocker  
16 took, those could serve multiple purposes, couldn't they?

17 A I guess. I can't tell you why he took them.

18 Q He could be charting the progress of the  
19 patient, couldn't he?

20 A I don't know what progress he would be  
21 charting.

22 Q To see what kind of changes there are in the  
23 area of the surgery?

24 A Okay. Over a short period of time you would  
25 not expect to see any changes.

1 Q Dr. Brocker could have been practicing  
2 defensive medicine, couldn't he?

3 A I guess. I don't know what he would be  
4 defending against with an X-ray.

5 Q The entries of his examinations after the  
6 surgery in his records say doing well, don't they?

7 A Yes.

8 Q Doctor, you mentioned in response to Mr.  
9 Buck's redirect examination that there were some other  
10 orthopedic tests other than the compression test and the  
11 Valsalva test that you could have performed but didn't; is  
12 that correct?

13 A I didn't say orthopedic. I said there are  
14 other tests. I didn't say specifically orthopedic tests.

15 Q Well, were there other orthopedic tests that  
16 you could have done but didn't, other than the Valsalva and  
17 compression?

18 A Sir, there are literally hundreds of  
19 thousands of test you could do.

20 Q So there were more available to you?

21 A Yes. I thought I very thoroughly explained  
22 that.

23 Q You compared a neck sprain with a sprained  
24 ankle. Doctor, how many joints are there in an ankle?

25 A Oh, there is probably 7 or 8 around the ankle

1 joint.

2 Q How many joints in the neck?

3 A We went over, what did I say? Depending  
4 what you're talking about, probably around 20.

5 Q Now, the range of motion tests that you put  
6 Sharon Fellows through, you say that she had control over  
7 that?

8 A Yes.

9 Q Flexion was normal; she was able to do that  
10 within normal limits?

11 A Yes.

12 Q Then there is also lateral bending, isn't  
13 there?

14 A Yes.

15 Q That would be when the person places his  
16 right ear on his right shoulder?

17 A Rotation is essentially the same thing.

18 Q Oh, okay. Fine. You did rotation?

19 A Yes.

20 Q She rotated to the right?

21 A Yes.

22 Q That was within normal limits?

23 A Yes.

24 Q And she rotated to the left when you asked  
25 her, didn't she?

1 A Yes.

2 Q And that was within normal limits?

3 A Yes.

4 Q It was only when she extended her neck and  
5 put it back that there was what you call minimal loss of  
6 extension?

7 A Correct.

8 MR. MATAVICH: Thanks, Doctor.  
9 I don't have any more questions.

10 MR. BUCK: Nothing further.  
11 Doctor, will you waive signature?

12 THE WITNESS: Yes.

13 MR. MATAVICH: Wait a minute, I  
14 do have another question. Let me ask a couple more questions  
15 here.

16 FURTHER RECROSS EXAMINATION:

17 By Mr. Matavich

18 Q Doctor, would you agree that it takes a  
19 considerable period of time for bone to be produced, and I'm  
20 talking about osteophyte spurring?

21 MR. BUCK: I'm going to object  
22 to any further cross-examination unless it is, in fact,  
23 cross-examination. If you're going into new areas now, I'm  
24 going to --

25 MR. MATAVICH: You were the one

1 who asked him about this.

2 MR. BUCK: I never asked him  
3 about that.

4 MR. MATAVICH: You asked him  
5 about degenerative changes in the neck that he would see on  
6 X-ray, and that's what degenerative changes are, osteophytes  
7 and spurs.

8 A What was your question?

9 Q Takes a considerable period of time for  
10 osteophytes and spurs to form on a person, doesn't it?

11 A Yes.

12 Q You can't tell us what Sharon Fellows' X-ray  
13 is going to look like a year or two from now, can you?

14 A No.

15 MR. MATAVICH: No further  
16 questions.

17 MR. BUCK: Waive signature,  
18 Doctor?

19 THE WITNESS: Yes.

20

21

22

23

24

25

## REPORTER'S CERTIFICATE

I HEREBY CERTIFY that the above and foregoing is true and correct transcript of all the testimony introduced and proceedings had in the taking of the testimony in the above-entitled matter, as shown by my stenotype notes, taken by me at the time said deposition was held.


  
Lisa C. Nagy-Baker  
Registered Professional Reporter

1 STATE OF OHIO )  
2 MAHONING COUNTY ) SS: CERTIFICATE

3 I, Lisa C. Nagy-Baker, Notary Public within  
4 the State and County aforesaid, duly commissioned and qualified,  
5 do hereby certify that the above-named, DR. E. HERBERT THOMPSON  
6 was by me first duly sworn to testify the truth, the whole  
7 truth, and nothing but the truth, and that the foregoing  
8 deposition was written by me in stenotype in the presence  
9 of the witness; that by agreement of counsel, signature was  
10 waived.

11 I do further certify that I am not of  
12 counsel, attorney or relative to either party, or otherwise  
13 interested in the event of this action or proceeding.

14 IN WITNESS WHEREOF, I have hereunto set  
15 my hand and seal of office at Youngstown, Ohio, this 19th  
16 Day of October, A.D., 1988.

17  
18   
19 Lisa C. Nagy-Baker, Notary Public  
My Commission Expires 12/14/88