

IN THE COMMON PLEAS COURT OF BELMONT COUNTY, OHIO

RONDA MEEKS, ET AL., }  
PLAINTIFFS, }  
-vs- } CASE NO. 04 CV 395  
MATTHEW J. STEWART, ET AL., }  
DEFENDANTS. }

VIDEOTAPED DEPOSITION OF ROBERT J. THOMPSON, M.D., a witness herein, called by the Defendants for direct examination under the statute, taken before us, Kathy J. McGlaughlin, Professional Reporter, and Terry L. Tahyi, Certified Legal Video Specialist, and Notaries Public in and for the State of Ohio, pursuant to the stipulations of counsel hereinafter set forth at offices of the deponent, 945 Bethesda Drive, Zanesville, Ohio, on Wednesday, November 15, 2006, scheduled for 4:15 p.m.

TAHYI VIDEO & COURT REPORTING, LTD.  
334 Main Street  
P.O. Box 935  
Zanesville, Ohio 43702-0935  
(740) 454-7157  
(800) 526-6508

S T I P U L A T I O N S

It is stipulated by and between

counsel for the respective parties that the deposition

of ROBERT J. THOMPSON, M.D., a witness herein, called

for direct examination by the Defendants under the

statute, may be taken at this time by the Notary by

agreement of counsel without notice or other legal

formality; that said deposition may be videotaped and

reduced to writing in stenotype by the Notary, whose

notes may thereafter be transcribed out of the

presence of the witness; that proof of the official

character and qualification of the Notary is waived;

that the viewing of the videotape and the reading and

signature of the said witness to the transcript of his

deposition are expressly waived by counsel and the

witness; said deposition to have the same force and

effect as though signed by the said witness; that the

exhibits referenced herein will remain in the

possession of Attorney Boetcher.

Page 31

APPEARANCES:

ON BEHALF OF THE PLAINTIFFS

THOMAS M. MEYERS  
KEVIN FLANNIGAN  
Attorneys at Law  
126 East Main Street  
St. Clairsville, Ohio 43950

ON BEHALF OF THE DEFENDANTS

MARTIN J. BOETCHER  
Attorney at Law  
Harrington, Hoppe & Mitchell, LTD  
26 Market Street, Suite 1200  
Youngstown, Ohio 44503-1769

MARK KEPPLER  
Attorney at Law  
Bailey & Wyant, PLLC  
1219 Chapline Street  
Wheeling, West Virginia 26003

INDEX TO DEPOSITION

WITNESS: Direct Cross Redirect Recross

|                          |   |    |    |
|--------------------------|---|----|----|
| ROBERT J. THOMPSON, M.D. | 5 | 38 | 99 |
|--------------------------|---|----|----|

OBJECTIONS: Page:Line

By Mr. Myers 19:03

By Mr. Boetcher 40:11

40:22

66:04

By Mr. Kepple 66:06

SY Mr. Boetcher 67:19

72:10

82:12

By Mr. Kepple 88:01

By Mr. Boetcher 88:06

98:22

Page 2

Page 4

Page 5

1 4:24 p.m., Wednesday,  
2 November 15, 2006.  
3 -----  
4 THE VIDEOGRAPHER: Dr. Thompson, would  
5 you raise your right hand for me please?  
6 Do you swear the testimony you are  
7 about to give shall be the truth, the whole truth, and  
8 nothing but the truth so help you God?  
9 THE WITNESS: Yes.  
10 THE VIDEOGRAPHER: Thank you very  
11 much.  
12 Go right ahead.  
13 -----  
14 ROBERT J. THOMPSON, M.D.,  
15 being first duly sworn, as hereinafter certified,  
16 deposes and says as follows:  
17 DIRECT EXAMINATION  
18 BY MR. BOETCHER:  
19 Q. Dr. Thompson, my name is Marty Boetcher and  
20 I represent the Defendant, Matthew Stewart. I'm going  
21 to be asking you some questions today about your  
22 examination of the Plaintiff, Rhonda Meeks, as well as  
23 your review of some medical records.  
24 Before we get to that, could you please  
25 tell the ladies and gentlemen of the jury your full

Page 6

1 name?  
2 A. Robert Jordon Thompson.  
3 Q. And, Dr. Thompson, could you tell the  
4 ladies and gentlemen of the jury what your occupation  
5 is?  
6 A. I am a neurologist.  
7 Q. And where do you practice at, Doctor?  
8 A. 945 Bethesda Drive, Zanesville, Ohio.  
9 Q. Is that at the Physicians Pavilion attached  
10 to Bethesda Hospital here in Zanesville?  
11 A. Yes.  
12 Q. Okay. And how long have you been in  
13 private practice, Doctor?  
14 A. Thirty years, since 1976.  
15 Q. Now you've told us that you are a  
16 neurologist. Could you explain to the jury what a  
17 neurologist is and does?  
18 A. A neurologist is a physician who  
19 specializes in diseases and injuries of the nervous  
20 system; including the brain, spinal cord, nerves,  
21 muscles, and those supporting structures, including  
22 the spine.  
23 Q. Before we get into some of the questions  
24 about your exam of Mrs. Meeks, could you tell -- tell  
25 us where you went to medical school at?

Page 7

1 A. Yes, I graduated from the University of  
2 Pittsburgh with a B.S. Degree in chemistry in 1968. I  
3 subsequently graduated from the University of  
4 Pittsburgh School of Medicine with an M.D. Degree in  
5 1972. I completed a medical internship at Montifior  
6 Hospital in Pittsburg in 1973. I then completed a  
7 three-year residency in neurology at the University of  
8 Pittsburgh in 1976; and I have been board certified in  
9 neurology since 1977.  
10 Q. You are a medical doctor; correct?  
11 A. Yes.  
12 Q. And you indicated that you were board  
13 certified in neurology. Is this in addition to  
14 getting your medical degree?  
15 A. Yes.  
16 Q. To become board certified in neurology, do  
17 you have to pass some type of additional testing?  
18 A. Yes. Once he or she completes a residency  
19 program, one sits for a one-day written examination  
20 and a two-day oral examination. If those examinations  
21 are successfully passed, one is said to be board  
22 certified.  
23 Q. And are you currently board certified in  
24 neurology?  
25 A. Yes.

Page 8

1 Q. Are you licensed to practice medicine in  
2 the State of Ohio?  
3 A. Yes.  
4 Q. Do you have any type of hospital  
5 privileges?  
6 A. Yes, I am on the staff of Bethesda and Good  
7 Samaritan Hospital in Zanesville, Ohio.  
8 Q. Okay. Do you have any type of medical  
9 appointments at Good Samaritan or Bethesda here in  
10 Zanesville?  
11 A. Yes, I've been the Medical Director of the  
12 Inpatient Rehabilitation Unit at Bethesda and Good  
13 Samaritan for almost 30 years. I've been the Medical  
14 Director of the Chronic Pain Management Program for  
15 the last 15 years. I also have privileges to do  
16 official interpretations of CAT scans and MRI scans of  
17 the brain and spine in the hospitals here.  
18 Q. As a neurologist and as your involvement in  
19 the Rehabilitation Unit and the Chronic Pain Unit at  
20 Good Samaritan Hospital in Zanesville, does your  
21 practice put you in contact with patients that have  
22 problems with their neck and their back?  
23 A. Yes, every day.  
24 Q. My partner, Harry Conn, earlier on asked  
25 you to review some records on Ronda Meeks; is that

Page 9

1 correct?  
2 A. Yes.  
3 Q. Okay. I'm going to hand you some records  
4 and ask, first, whether you -- tell me whether or not  
5 you have had a chance to review these records. The  
6 first is a record that I marked as Defendant's Exhibit  
7 No. 1, which is the chiropractic records of Dr.  
8 DeGameaux. Have you reviewed those records?  
9 A. Yes.  
10 Q. I'm going to hand you what's marked as  
11 Defendant's Exhibit 2, which are some rehabilitation  
12 medical records from Wheeling Hospital. Have you  
13 reviewed those?  
14 A. Yes.  
15 Q. I'm going to hand you what I marked as  
16 Defendant's Exhibit 3, which are medical records of an  
17 Dr. Charla Anderson. Have you reviewed those records?  
18 A. Yes.  
19 Q. And I'm going to hand you what I have  
20 marked as Defendant's Exhibit No. 4, which are some  
21 physical therapy records from Wheeling Hospital. Have  
22 you had a chance to review those, Doctor?  
23 A. Yes.  
24 Q. I'm going to hand you what I've marked as  
25 Defendant's Exhibit No. 5, which are medical records

Page 10

1 of Dr. Mark LoDico at Advanced Pain Medicine. Have  
2 you had a chance to review those?  
3 A. Yes.  
4 Q. I'm going to hand you what I marked as  
5 Defendant's Exhibit No. 6, which are the medical  
6 records of Dr. Matt El-Kadi at Tri-State Neurosurgical  
7 Associates. Have you had a chance to review those?  
8 A. Yes.  
9 Q. I'm going to hand you what I have marked as  
10 Defendant's Exhibit No. 7, which is a lumbar X-ray  
11 report from June 8th of 1993. Have you had a chance  
12 to look at that?  
13 A. Yes.  
14 Q. I'm going to hand you what's marked as  
15 Defendant's Exhibit No. 8, which is a prior lumbar  
16 MRI -- lumbar MRI report of June 12th, 1993. Have you  
17 reviewed that?  
18 A. Yes.  
19 Q. I'm going to hand you a cervical MRI report  
20 of December 4, 2002, marked as Defendant's Exhibit 9.  
21 Have you reviewed that?  
22 A. Yes.  
23 Q. I'm going to hand you Defendant's Exhibit  
24 No. 10, which is a cervical CT scan of April 4th,  
25 2003. Have you reviewed that?

Page 11

1 A. Yes.  
2 Q. I'm going to hand you Defendant's Exhibit  
3 11, which is a cervical MRI report of April 4th, 2003.  
4 Have you reviewed that?  
5 A. Yes.  
6 Q. I'm going to hand you a cervical CT scan of  
7 November 12th, 2003, marked as Defendant's Exhibit 12.  
8 Have you reviewed that?  
9 A. Yes.  
10 Q. And I'm going to hand you what I have  
11 marked as Defendant's Exhibit No. 13, which is a  
12 cervical myelogram report of November 12th, 2003.  
13 Have you reviewed that?  
14 A. Yes.  
15 Q. I'm going to hand you Defendant's Exhibit  
16 14, which is a cervical X-ray report of November 23rd,  
17 2002. Have you reviewed that?  
18 A. Yes.  
19 Q. I'm going to hand you a thoracic X-ray  
20 report of November 23rd, 2002, which is the same date,  
21 marked as Defendant's Exhibit No. 15. Have you  
22 reviewed that?  
23 A. Yes.  
24 Q. I'm going to hand you what's marked as  
25 Defendant's Exhibit No. 16, which is the MRI of the

Page 12

1 brain on June 9th, 2004. Have you reviewed that?  
2 A. Yes.  
3 Q. I'm going to hand you what's marked as  
4 Defendant's Exhibit 17, which is an MRI close to that  
5 time of the orbits of June 19, 2004. Have you  
6 reviewed that?  
7 A. Yes.  
8 Q. I'm going to hand you what's marked as  
9 Defendant's Exhibit 18, which is the EMG report of  
10 December 16, 2003. Have you had the opportunity to  
11 review that, Doctor?  
12 A. Yes.  
13 Q. I'm going to hand you what's been marked as  
14 Defendant's Exhibit 19, which is the medical records  
15 of Dr. Joseph Imbriglia. Have you had a chance to  
16 review those, Doctor?  
17 A. Yes.  
18 Q. And I'm going to hand you what's been  
19 marked as Defendant's Exhibit 20, which is the  
20 emergency room visit at Wheeling Hospital on October  
21 23rd, 2003. Have you had the opportunity to review  
22 that?  
23 A. Yes.  
24 Q. And I'm going to hand you what's been  
25 marked as Defendant's Exhibit 21, which are the

Page 13

1 medical records of Dr. Sakla at Ohio Valley Pain  
2 Management. Have you had the opportunity to review  
3 that?  
4 A. Yes.  
5 Q. And I'm going to hand you what have been  
6 marked what I've marked as Defendant's Exhibit 22,  
7 which are the medical records of Dr. Liebeskind. Have  
8 you had a chance to look at those?  
9 A. Yes.  
10 Q. I'm going to hand you what's been marked as  
11 Defendant's Exhibit No. 23, which are the medical  
12 records of Dr. Voelker at the West Virginia Department  
13 of Neurosurgery. Have you had an opportunity to look  
14 at those, Doctor?  
15 A. Yes.  
16 Q. You also had the opportunity to review the  
17 deposition of Ms. Ronda Meeks?  
18 A. Yes.  
19 Q. In addition to the medical records, did you  
20 also have the opportunity to look at some cervical MRI  
21 films and myelograms done November 12th of 2003 as  
22 well as a cervical CT scan and cervical myelogram --  
23 excuse me, cervical MRI done April 4th, 2003?  
24 A. Yes.  
25 Q. Okay. Doctor, let's go back to the date of

Page 14

1 this accident, auto accident, on November 18th, 2002.  
2 What's your understanding of the -- of the facts of  
3 this accident?  
4 A. Ms. Meeks informed me, when I saw her on  
5 November 21st of 2005, that she was the restrained  
6 driver of a vehicle that was rear-ended by another  
7 vehicle traveling approximately 40 miles per hour.  
8 She was driving a delivery van.  
9 She told me she experienced some head and  
10 neck pain at the scene, but her body did not hit  
11 anything inside the van. She told me she was able to  
12 drive approximately 30 minutes from the scene and she  
13 worked for another two days after the accident doing  
14 deliveries. She did not have to seek any emergency  
15 medical attention at the time of the accident.  
16 Q. What is your understanding of when Ms.  
17 Meeks first sought some type of treatment following  
18 this accident?  
19 A. She informed me that it was two or three  
20 days after the accident.  
21 Q. What is your understanding of what  
22 treatment Ms. Meeks received following this accident?  
23 A. She received some rhizotomies, which is a  
24 procedure where there's either a nerve block or a  
25 partial destruction of some of the nerves that go to

Page 15

1 part of the spine. She received some chiropractic  
2 treatment. She received some physical therapy. She  
3 had some diagnostic testing, including X-rays, MRI  
4 scans, and EMGs. She had some consultations with  
5 several neurosurgeons, but no surgery was recommended.  
6 She had a few trigger point injections by a Dr.  
7 Liebeskind. She saw Dr. Sakla, who attempted to do an  
8 epidural injection, but she had a reaction to that;  
9 and she subsequently had some more cervical epidural  
10 injections by Dr. LoDico. And I believe that's pretty  
11 much the treatment and evaluations that she had.  
12 Q. Is it your understanding that the first  
13 health care provider that she saw after this accident  
14 was her chiropractor, Dr. DeGameaux, on November 22nd,  
15 2002, which would have been four days after the  
16 accident?  
17 A. Yes.  
18 Q. I'm going to hand you, and ask you to take  
19 a look at what's marked as Defendant's Exhibit No. 14,  
20 which is the X-ray of the cervical spine or neck done,  
21 it looks like, five days after the accident. It's in  
22 your report as No. 10, Doctor.  
23 A. Yes.  
24 Q. And, first of all, when we use the term  
25 cervical spine, are we talking about the neck region?

Page 16

1 A. Yes.  
2 Q. Okay. What's your understanding of what  
3 that X-ray of the cervical spine showed five days  
4 after the accident?  
5 A. It showed some arthritis in the neck and  
6 some narrowing of the disk spaces in the neck  
7 consistent with degenerative disk disease. There was  
8 no evidence of a fracture, or a dislocation, or  
9 anything that could be related to an injury.  
10 Q. When you use the term degenerative disk  
11 disease, what do you mean by degenerative disk  
12 disease?  
13 A. In the spine -- the spine is made up of a  
14 series of bones called vertebrae that sit on top of  
15 one another and in between each one of those bones is  
16 a little cushion called a disk.  
17 As people get into their 30s, 40s, and 50s,  
18 they start to lose the water content in the disk and  
19 the soft spongy aspect of the disk, and we call that  
20 degenerative disk disease. It's very common in the  
21 general population.  
22 Q. And you're aware that she also had an MRI  
23 of the cervical spine done December 4th, 2002; is that  
24 correct?  
25 A. Yes.

Page 17

1 Q. Okay. Could you tell us what that MRI scan  
2 of the neck showed?  
3 A. At that time it was reported that she had a  
4 herniated disk at C5-6 and a -- and a second small  
5 herniated disk at C6-7.  
6 Q. Were there some later MRIs and CAT scans  
7 done of Ms. Meeks' neck which differed from that  
8 initial MRI of the neck on December 4th, 2002?  
9 A. Yes.  
10 Q. Could you tell the jury what those were?  
11 A. She subsequently had a cervical myelogram  
12 and a post-myelogram CAT scan. A myelogram is a test  
13 where X-ray dye is injected into the spine and run up  
14 into the neck and it's more sensitive than an MRI for  
15 detecting a herniated disk, and that test showed some  
16 mild arthritis and degenerative disk disease at C5-6  
17 and C6-7, but there was no evidence of a disk  
18 herniation as was reported on the original MRI scan in  
19 December of 2002.  
20 She also had a repeat MRI scan of the neck  
21 in April of '03, about five months after the original  
22 one, and the interpretation of that one was that there  
23 was some disk bulging, which is consistent with  
24 degenerative disk disease, but nothing that could be  
25 related to an injury.

Page 18

1 Q. Doctor, did Ms. Meeks later have what we  
2 call an EMG test -- first of all, before we get to  
3 that, what is an EMG study?  
4 A. An EMG is a test where a teeny -- a little  
5 tiny needle is put into some of the muscles in the arm  
6 and neck and the electrical activity in those muscles  
7 are measured. If someone has a pinched nerve in the  
8 neck, abnormalities will be seen on the EMG. But that  
9 test did not show any evidence of a pinched nerve in  
10 the neck.  
11 Q. Doctor, what's your understanding as to  
12 what Mrs. Meek's condition was before the November 18,  
13 2002 accident based upon your review of her records,  
14 including those of Dr. Anderson and the other doctors  
15 that we spoke about earlier?  
16 A. Ms. Meeks informed me that she had received  
17 chiropractic treatment years -- for some years prior  
18 to the accident for low back pain, but she denied that  
19 she had ever been treated for neck pain. She stated  
20 that in 1984 she had been in an auto accident in which  
21 she broke her leg, but that she did not have any head  
22 or neck injuries in that accident.  
23 In reviewing the medical records, in 1993,  
24 about nine years before the accident, she did have  
25 X-rays and an MRI scan of her low back, which did show

Page 19

1 arthritis and degenerative disk disease and a  
2 herniated disk in her low back.  
3 MR. MYERS: I'm going to interpose an  
4 objection with respect to the evaluations of the low  
5 back in 1993 as being unrelated to any -- any matters  
6 related in this case.  
7 BY MR. BOETCHER:  
8 Q. Doctor, before the accident of November  
9 18th, 2002, were there ever any reports in the medical  
10 records of problems with the neck or shoulders or  
11 headaches?  
12 A. Yes. I reviewed the records of Dr. Charla  
13 Anderson, who saw her on at least seven occasions in  
14 the year or so -- about a year and a half prior to the  
15 accident, at which point there were multiple  
16 references to recurrent headaches, pain in multiple  
17 joints, pain in the trapezius area, which is the  
18 muscles just adjacent to the neck and shoulder.  
19 Just 12 days before the November 18th,  
20 accident, on November 6th of '02, Ms. Meeks complained  
21 to Dr. Anderson of chronic headaches, muscle tension,  
22 mainly in the occipital region, which is the back of  
23 the head which attaches to the neck. She complained  
24 of muscle tightness at the top of her shoulders and  
25 indicated that she had to take a hot shower and

Page 20

1 massage these areas to get relief.  
2 Q. Doctor, what are trigger points? When you  
3 hear the term trigger points, what is that?  
4 A. Trigger points are small areas of muscle  
5 spasm that the doctor can actually palpate with his or  
6 her thumb when we palpate various muscles in the body,  
7 and they -- they feel like a hard -- hard nodule in  
8 the muscles.  
9 Q. In the medical records that you reviewed,  
10 were there -- was there ever any indication that Ms.  
11 Meeks had any type of trigger points before the  
12 accident of November 18, 2002; and, if so, at what  
13 part of her body?  
14 A. Yes. Dr. Anderson noted on May 10th of  
15 2001, about a year and a half prior to this accident,  
16 that Ms. Meeks did have some positive trigger points  
17 in the trapezius muscles and in the occipital areas.  
18 The trapezius muscles are the muscles that are at the  
19 base of the neck, between the neck and shoulder, and  
20 the occipital area is where the neck muscles attach to  
21 the base of the skull.  
22 Q. Now, in addition to the review of the  
23 medical records from before and after the November  
24 18th, 2002 accident, did you also do a medical  
25 examination of Ms. Meeks at my partner Harry Conn's

Page 21

request?

A. Yes.

Q. And when was that examination performed?

A. November 21st of 2005.

Q. Could you describe for the jury what your medical examination consisted of and what your findings were on your medical examination of Ms. Meeks at that time?

A. Yes. She was five foot five and weighed 215 pounds. She was examined in a disrobed state with an examination gown on. She was very pleasant. She had excellent memory of the accident. There was no evidence of any language dysfunction. Her gait and station was normal. That is, watching her walk in the exam room, she was able to walk normally with no evidence of a limp. She was able to walk on her toes and heels well. In people who have had an injury to the spinal cord or the nerves in the neck or low back, they may have weakness in their legs, but there was no evidence of that.

I checked her low back by having her bend over and touch her toes and bend from side to side and back as far as she could, and that was normal. She was able to get up from a laying to a sitting position with no difficulty. The straight-leg

Page 22

raising test was normal. That's a test with the person laying on their back, the doctor will lift their leg straight up in the air, and if they have a pinched nerve in the back, they will experience some discomfort. But that test was normal.

I checked the range of motion of her neck by having her put her chin over toward each shoulder as far as she could and down on her chest and as far up as she could, and that was reduced to about 50 percent of normal in all directions.

She had a little bit of tenderness in her right upper thoracic area, which is the area just below the neck, between the shoulder blades, but there was no -- there was no muscle tenderness in the neck. There was no muscle spasm.

I did a complete trigger point examination and there was no evidence of any trigger points.

I did -- there was no evidence of any muscle weakness, atrophy, or muscle fasciculations. Fasciculations are little twitches that they can see in the muscles in people who have had nerve damage in the neck, but there was no evidence of any muscle weakness that we would see with any type of a pinched nerve.

Also, there was no loss of sensation.

Page 23

Again, in someone who has a pinched nerve in the neck, they may have loss of sensation in their arms or hands, but she did not have any sensory loss.

Also, all of her deep tendon reflexes were normal. We check that with a reflex hammer, where we tap over the reflex -- the tendons in the arms and legs and, again, if someone has a pinched never in the neck or back they will either have an absent or diminished deep tendon reflex; but that was normal.

And that completed my exam of Ms. Meeks.

Q. Now, you indicated that you -- that part of your examination was to check to see if she had muscle atrophy. First of all, what is atrophy?

A. Atrophy is when a muscle gets smaller. Like when someone has worn a cast on their arm or leg for a period of time, the muscles will actually get smaller. If someone has a pinched nerve in the spine, very often the muscles that that nerve supplies will get smaller or atrophy.

Q. In your examination of Ms. Meeks, was there any evidence of any type of muscle atrophy?

A. No.

Q. Okay. Doctor, I'm going to be asking you some questions and asking for some opinions. I would

Page 24

ask that your answers to my questions be to a reasonable degree of medical certainty and medical probability and that your answers be based upon your examination of Ms. Meeks and her records, her medical records, as well as your education, training, and experience as a physician and neurologist for over 30 years.

Will you give your answers to a reasonable degree of medical certainty and probability?

A. Yes.

Q. Okay. Do you have an opinion to a reasonable degree of medical certainty and probability as to what injuries Ronda Meeks sustained in the accident of November 18th, 2002?

A. Yes.

Q. And what is that opinion?

A. As a result of this accident, I believe Mrs. Meeks did suffer a mild strain to the muscles in her neck.

Q. When you say a strain to the muscles in the neck, what do you mean by that?

A. A strain is a stretching of the muscles to the point where pain is produced.

Q. Other than the -- the strain to the muscles in the neck, do you have an opinion as to whether Ms.

Page 25

Page 27

1 Meeks sustained any other injuries as a result of the  
2 November 18, 2002 accident?

3 A. No.

4 Q. Do you have an opinion to a reasonable  
5 degree of medical certainty and medical probability as  
6 to whether Ms. Meeks has a condition called cervical  
7 degenerative disk disease and osteoarthritis?

8 A. Yes.

9 Q. And what is that opinion?

10 A. This condition does exist, but it is not  
11 related to nor was it permanently worsened by this  
12 accident.

13 Q. And why do you say that?

14 A. Arthritis and degenerative disk disease are  
15 degenerative conditions unrelated to trauma. They  
16 preexisted the accident. Also, these conditions are  
17 never permanently aggravated by trauma unless there's  
18 significant injury to the spine, such as a fracture or  
19 dislocation, which was not the case here.

20 Also, X-rays of her neck five days after  
21 the accident did show arthritis and degenerative disk  
22 disease at C5-6 and C6-7, and these X-ray changes take  
23 years to develop. So, these are not recent X-rays.

24 Also, Ms. Meeks had a long history, ten  
25 years prior to the accident, of arthritis and

1 officer at the scene that she did not have any injury  
2 or pain of any kind, continued to drive and work for  
3 several more days. Certainly with a mild muscle  
4 strain, there may be a delay in the onset of the  
5 symptoms until the next day; but with a herniated  
6 disk, the symptoms would be immediate.

7 I realize that she did have an MRI scan  
8 dated December 4th of '02 which reportedly showed disk  
9 herniations at C5-6 and 6-7, but Dr. Voelker, a  
10 neurosurgeon, subsequently reviewed this MRI scan and  
11 opined that there was no evidence of a disk  
12 herniation.

13 She subsequently had a CAT scan of the  
14 cervical spine and a myelogram which showed  
15 degenerative disk disease and arthritis, but no  
16 evidence of a herniation.

17 And she also had an EMG, which showed no  
18 evidence of any nerve damage. The way disk  
19 herniations cause pain is by putting pressure on the  
20 nerve and the EMG showed absolutely no evidence of any  
21 nerve involvement and at no time on my exam or any  
22 other doctor's exam was there any evidence of muscle  
23 weakness, reflex changes, or anything to suggest  
24 significant nerve injury as would be expected with a  
25 disk herniation.

Page 26

Page 28

1 degenerative disk disease in her lumbar spine, and we  
2 know that arthritis is not just limited to one part of  
3 the spine. If somebody has it in the low back, the  
4 odds are overwhelming that they will have it  
5 throughout their spine.

6 Q. You may have already answered this. When  
7 you say the lumbar region, are you speaking about the  
8 low back region?

9 A. Yes.

10 Q. Okay. Do you have an opinion to a  
11 reasonable degree of medical certainty and medical  
12 probability as to whether Ms. Meeks has a condition  
13 called cervical disk herniations at the C5-6 and C6-7  
14 disk levels?

15 A. Yes.

16 Q. And what is that opinion?

17 A. After reviewing her records and her X-ray  
18 and MRI films, I do not think that she had a disk  
19 herniation as a result of this accident.

20 Q. Okay. And why is that your opinion?

21 A. Number one, in order to have an injury  
22 severe enough to cause a herniated disk, one has to  
23 tear the tough outer layer of the disk called the  
24 annulus fibrosis. This would produce immediate  
25 discomfort. Ms. Meeks reported to the investigating

1 Q. Now, Doctor, you said that initially she  
2 had a -- a cervical or a neck MRI which seemed to  
3 indicate a disk herniation, but she later had a  
4 cervical CAT scan and cervical myelogram. Have you  
5 reviewed those cervical CAT scans and myelograms  
6 yourself?

7 A. Yes.

8 Q. Okay. And in your opinion, does -- do  
9 those films -- these later films done later on, do  
10 those show disk herniations?

11 A. No.

12 Q. Is there a difference between what we call  
13 a disk herniation and, let's say, a disk bulge or  
14 protrusion?

15 A. Yes. A disk bulge is a common finding in  
16 the general population and also in people that have  
17 degenerative disk disease. So, many, many normal  
18 people who have no neck problems at all could have  
19 neck -- disk bulges.

20 Q. Now, you had mentioned before something  
21 about an EMG test, and I think that you explained that  
22 the purpose of doing the EMG test is to see whether or  
23 not a -- a herniated disk or a bulging disk is  
24 pressing on a nerve; is that correct?

25 A. Yes.

Page 29

Page 31

1 Q. And Ms. Meeks did have an EMG test done in  
2 this case, is that correct, on September 16th, 2003?

3 A. Yes.

4 Q. And did that EMG test reveal any type of  
5 nerve -- excuse me, disk pressing on any nerves as a  
6 result of that test?

7 A. No.

8 Q. Is that what we call cervical  
9 radiculopathy? Is that the term?

10 A. The term cervical radiculopathy just means  
11 that there's something wrong with the nerve as it  
12 comes out of the hole in the neck to go down to the  
13 arm. There's a lot of things that can cause cervical  
14 radiculopathy, the most common of which is a herniated  
15 cervical disk.

16 Q. And this EMG done September 16th, 2003 did  
17 not indicate any evidence of cervical radiculopathy?

18 A. Yes, that's correct.

19 Q. Now, you had indicated earlier when Ms.  
20 Meeks came to your office and had an examination that  
21 she did report to you that she was in a prior accident  
22 sometime in the 19 -- 1980s; is that correct?

23 A. Yes.

24 Q. And I think she indicated that there wasn't  
25 any type of head injury in that accident. Was there

1 she had been in an auto accident and had some type of  
2 head trauma?

3 A. Yes.

4 MR. BOETCHER: Off the record.

5 THE VIDEOGRAPHER: We're going off the  
6 record at 4:56:29.

7 (OFF THE RECORD.)

8 THE VIDEOGRAPHER: We're going back on  
9 the record at 4:57:13.

10 Go right ahead.

11 BY MR. BOETCHER:

12 Q. Doctor, you indicated that in your opinion  
13 Ms. Meeks sustained a mild cervical strain as a result  
14 of the accident of November 18th, 2002. What is the  
15 typical recovery time for someone who has suffered a  
16 mild cervical strain in a motor vehicle accident, if  
17 there is a typical recovery time?

18 A. Four weeks or less.

19 Q. In your opinion, what was the recovery time  
20 of Ms. Meeks in this case to recover from the cervical  
21 strain in this case?

22 A. I did not see any evidence of any injury in  
23 this accident that would have required more than four  
24 weeks to recover.

25 Q. And why is that your opinion?

Page 30

Page 32

1 anything in the medical records that indicated that  
2 she may have had some type of head trauma in a prior  
3 accident?

4 A. Yes. The records of Ohio Valley Asthma and  
5 Allergy on October 31st of '01 indicate that she had  
6 been in a vehicle accident in 1984 and had had a head  
7 injury. And I believe there was another reference to  
8 it that I can't put my finger on right at this second,  
9 but I could look for it.

10 MR. BOETCHER: Off the record.

11 THE VIDEOGRAPHER: We're going off the  
12 record at 4:55:20.

13 (OFF THE RECORD.)

14 THE VIDEOGRAPHER: We're going back on  
15 the record at 4:55:52.

16 Go right ahead.

17 BY MR. BOETCHER:

18 Q. Doctor, I'm going to hand you what's been  
19 marked as Defendant's Exhibit No. 3, which are the  
20 medical records Dr. Charla Anderson. Contained within  
21 those records is there a report from the Allergy and  
22 Asthma Institute?

23 A. Yes.

24 Q. Okay. And does that indicate that she  
25 reported at that time that approximately ten years ago

1 A. Number one, the natural history of recovery  
2 from mild muscle strains is for complete recovery  
3 within four weeks.

4 Number two, this was a mild strain. People  
5 who get severe muscle strains will have pain  
6 immediately. They won't, you know, report they have  
7 no symptoms and continue to work. Also, there was no  
8 definite evidence of any more serious injury, such as  
9 disk herniation, cervical radiculopathy, fracture,  
10 dislocation, or any other injury that would be  
11 expected to cause symptoms beyond four weeks. Ms.  
12 Meeks herself reports that she was able to drive some  
13 30 minutes from the scene and work for another two  
14 days after the accident, which, again, is consistent  
15 with the behavior of someone who had a mild muscle  
16 strain.

17 My exam of Ms. Meeks on November 21st of  
18 '05 revealed no objective abnormalities. The Ohio  
19 Crash Report on the day of the accident, Ms. Meeks  
20 indicated in her signed statement that she denied any  
21 injuries at the scene and had no symptoms. Again,  
22 consistent with a mild strain.

23 Dr. Anderson, who examined her on March  
24 18th of '03, about four months after the accident,  
25 found her to be in, quote, no acute distress, unquote,



Page 33

1 with full range of motion of the neck and no  
2 neurologic findings.

3 Dr. Voelker, a neurosurgeon who examined  
4 her on April 29th of '03, found no objective  
5 abnormalities. Dr. Voelker agreed that -- agreed with  
6 me that she was was suffering from cervical strain and  
7 had arthritis with degenerative disk disease, but no  
8 evidence of nerve root compression or any injury that  
9 would be expected to cause symptoms beyond four weeks.

10 A second neurosurgeon, Dr. El-Kadi, who  
11 examined her on August 26th of '03, found no objective  
12 abnormalities on exam. He found that she had cervical  
13 degenerative disk disease but with no significant  
14 pressure on the exiting nerve roots or on the spinal  
15 cord. Also, a repeat exam by Dr. El-Kadi on November  
16 25th of '02 revealed no objective abnormalities.

17 EMGs performed on September 16th of '03  
18 revealed no evidence of nerve damage in the neck, nor  
19 was there any evidence of muscle spasm.

20 In a patient questionnaire filled out by  
21 Ms. Meeks on 10-1-03, she indicated that she was able  
22 to carry heavy objects, do her housework and yard  
23 work, although with some difficulty.

24 Dr. Sakla's exam on October 13th of '03  
25 revealed no objective abnormalities. He reported that

Page 34

1 her neck was soft with no tenderness.

2 Exam by another physician in the emergency  
3 room on October 23rd of '03 revealed full range of  
4 motion.

5 Exam by Dr. LoDico on February 17th of '04  
6 found her to be in no acute distress and, again, there  
7 was no evidence of muscle spasm or trigger point  
8 tenderness.

9 So, for all of these reasons, I would  
10 have expected full recovery from these muscle strains  
11 within four weeks at the most.

12 Q. Doctor, you have given an opinion that, in  
13 your opinion, Ms. Meeks had sustained a cervical  
14 strain as a result of this accident. In your opinion  
15 to a reasonable degree of medical certainty and  
16 probability what -- what was the appropriate amount of  
17 treatment for that type of injury?

18 A. Certainly someone may require pain  
19 medications, chiropractic treatment, physical therapy,  
20 and rest for those type of injuries.

21 Q. Ms. Meeks later had some additional  
22 treatment, including treatment by some of the other  
23 folks, after that four-week period. Do you relate  
24 that treatment as being necessitated as a result of  
25 the accident of November 18th, 2002?

Page 35

1 A. No.

2 Q. And why is that?

3 A. For the same reasons that I've already  
4 stated in my previous testimony as to why she should  
5 have recovered within four weeks.

6 Q. You also indicated that she had some other  
7 treatment, including injections in the neck and a  
8 rhizotomy later on down the line, I think a couple  
9 years later. In your opinion, to a reasonable degree  
10 of medical certainty and probability, was that type of  
11 treatment necessitated from injuries sustained in the  
12 accident of November 18th, 2002?

13 A. No.

14 Q. And why is that your opinion?

15 A. Muscle strains -- rhizotomies are  
16 procedures where there's injections into the nerves  
17 that go to the facet joints in the neck. There's  
18 absolutely no evidence in this accident that she  
19 injured any of the joints in her spine. She did  
20 suffer some muscle strains, but nothing that would  
21 have necessitated injections into the spine.

22 Q. Doctor, do you have an opinion to a  
23 reasonable degree of medical certainty and medical  
24 probability as to whether or not Mrs. Meeks sustained  
25 any type of permanent injury in the accident of

Page 36

1 November 18th, 2002?

2 A. Yes.

3 Q. And what is that opinion?

4 A. There are no objective findings on  
5 examination or any of the diagnostic testing of any  
6 injury related to this accident that would have caused  
7 any permanent problems beyond four weeks.

8 Q. To a reasonable degree of medical certainty  
9 and probability, will Ms. Meeks need any future  
10 treatment, in your opinion, for injuries sustained in  
11 the accident of November 18th, 2002?

12 A. No. Again, there are no objective findings  
13 on examination or any of her testing of any injury  
14 related to this accident that would require any future  
15 medical, chiropractic, or surgical treatment.

16 Q. In your opinion to a reasonable degree of  
17 medical certainty and probability, does Ms. Meeks have  
18 any type of physical restrictions as a result of  
19 injuries sustained in the November 18th, 2002  
20 accident?

21 A. No.

22 Q. And why is that your opinion?

23 A. Again, I would have expected complete  
24 recovery from mild muscle strains within four weeks  
25 for the reasons I've already stated.

Page 37

Page 39

1 Q. Now, in this case Ms. Meeks is claiming  
2 that after she worked a couple days at her job as a  
3 delivery person that she had to quit that job because  
4 she was physically unable to continue as a result of  
5 injuries related to the accident.

6 Do you have an opinion to a reasonable  
7 degree of medical certainty and probability as to  
8 whether or not Ms. Meeks was physically able to  
9 continue her job as a delivery person, or whether or  
10 not the injuries from the accident prevented her from  
11 performing her duties as a delivery person?

12 A. Yes.

13 Q. And what is that opinion, Doctor?

14 A. Again, there is no evidence of any injury  
15 related to this accident that would have impaired her  
16 ability to work as a delivery person beyond four weeks  
17 after the accident.

18 Q. Did you see any notations in the records as  
19 to -- strike that.

20 MR. BOETCHER: Doctor, that's all the  
21 questions that I have for you right now. I am sure  
22 Mr. Myers has some questions.

23 -----  
24  
25

1 in the past?

2 A. I would estimate that when I'm in town,  
3 when I'm working, I do about three to five per week.  
4 About 80 percent of those are at the request of  
5 defense attorneys and about 20 percent at the request  
6 of plaintiffs attorneys.

7 Q. Okay. And that 80 percent that is  
8 requested by defense attorneys, have you ever been  
9 requested to do a similar examination on another  
10 individual by this particular law firm, Harrington,  
11 Hoppe, and Mitchell?

12 A. Yes. This is the first time I've ever met  
13 this particular attorney, but I have been asked by  
14 their firm to do previous exams.

15 Q. And how many of those exams have you done  
16 by this firm?

17 A. I -- I couldn't even venture --  
18 occasionally. Not often, but occasionally.

19 Q. Okay.

20 THE WITNESS: Where is your firm? I  
21 forget.

22 MR. BOETCHER: It's in Youngstown.

23 THE WITNESS: Oh, yeah.

24 Occasionally. I'm pretty far from  
25 Youngstown.

Page 38

Page 40

1 CROSS-EXAMINATION

2 BY MR. MYERS:

3 Q. Thank you. Good afternoon, Dr. Thompson.  
4 My name is Thomas Myers and I represent Ronda Meeks  
5 and Mark Meeks in this action.

6 Before we begin talking about the specifics  
7 of your evaluation of Ms. Meeks and her condition and  
8 your opinions with regard to that, I'd like to ask you  
9 some questions about your practice in general, if I  
10 could, please.

11 It's my understanding in that regard that  
12 you are a doctor who does hold himself out to perform  
13 examinations in situations where you do not treat  
14 individuals; is that correct?

15 A. Yes.

16 Q. And it's my understanding that you do --  
17 and this is based on a prior deposition in which I had  
18 an opportunity to speak to you before -- you do, at  
19 least at that time, anywhere from three to five of  
20 these independent examinations per week; is that  
21 correct?

22 A. Yes.

23 Q. Okay. And has that changed any -- what's  
24 it been in the last, say, six months to a year, have  
25 you been doing more defense medical examinations than

1 BY MR. MYERS:

2 Q. And do you perform basically the same type  
3 of procedure; you review medical records, conduct an  
4 examination of the individual, and then provide a  
5 report of your findings?

6 A. Yes.

7 Q. And the amount of money which you make  
8 doing this, on an annual basis, is somewhere between  
9 200 and 250 thousand dollars a year; is that correct?

10 A. Yes.

11 MR. BOETCHER: Objection; move to  
12 strike.

13 BY MR. MYERS:

14 Q. You are paid for your services when you do  
15 these examinations at the request of defense counsel;  
16 is that correct?

17 A. Yes.

18 Q. And how much do you charge for -- let's say  
19 in this particular circumstance, to review the medical  
20 records of Ms. Meeks, conduct an examination of her,  
21 and then provide a report?

22 MR. BOETCHER: Continuing objection.

23 A. Well, in this case, I spent about half an  
24 hour face-to-face with Ms. Meeks. I spent  
25 approximately four hours reviewing extensive medical

Page 41

Page 43

1 records, both before and after the accident. I  
2 reviewed various diagnostic studies. So, all told, I  
3 spent about five hours on this case and I charged  
4 \$1800.

5 Q. Thank you, Doctor.

6 Now, did you review the records of Ms.  
7 Meeks prior to conducting the examination which you  
8 did on November 21st, 2005, or after?

9 A. After.

10 Q. Is that your standard practice, to look at  
11 the records after you conduct the examination?

12 A. Yes. I might thumb through the records  
13 while the patient's in the room, but the -- the vast  
14 amount of time I spend looking through the records is  
15 after the patient has left.

16 Q. Now, when you indicated you took a history  
17 from Ms. Meeks -- and I believe you testified -- and I  
18 think you -- a lot of your testimony is incorporated  
19 in your November 23rd, 2005 report; is that correct?

20 A. Yes.

21 Q. Because I've seen you've been using that  
22 report to testify in response to some of the questions  
23 asked by counsel. So is it safe to assume that you  
24 don't have an independent recollection of your  
25 examination and review of all the records of Ms. Meeks

1 understand what type of delivery van she drove or what  
2 type of products she delivered?

3 A. No.

4 Q. So, you don't know whether she was required  
5 to lift heavy objects or no lifting at all; is that  
6 correct?

7 A. No, I don't know exactly what she had to  
8 lift.

9 Q. Now, you also indicate in your report that  
10 she did not tell the arresting officer that she was  
11 injured; is that correct?

12 A. Yes.

13 Q. And I believe you had a notation -- you  
14 actually quoted from the Ohio State accident report;  
15 is that correct?

16 A. Yes.

17 Q. Okay. Were you aware of the fact that that  
18 arresting officer was actually at the scene at the  
19 time the accident occurred?

20 A. I'm sorry, repeat the question.

21 Q. Were you aware of the fact that the  
22 arresting officer was in and around the scene of the  
23 accident at the time that it occurred?

24 A. No.

25 Q. So, you were unaware of the fact that the

Page 42

Page 44

1 at this time?

2 A. Yes.

3 Q. And that is why you are reviewing your  
4 report in that regard; is that correct?

5 A. Yes.

6 Q. Now, in looking at your narrative report in  
7 that portion of it where you indicated you took a  
8 history of this lady, she indicated that she was  
9 rear-ended on November 18th, 2002; is that correct?

10 A. Yes.

11 Q. By a vehicle traveling approximately 40  
12 miles per hour; correct?

13 A. Yes.

14 Q. Now, your exam of Ms. Meeks was conducted  
15 three years and three days after the date of injury;  
16 is that correct?

17 A. Yes.

18 Q. And after she had received various  
19 modalities of treatment; i.e., chiropractic treatment,  
20 physical therapy treatment, epidural injections,  
21 cervical facet blocks, and rhizotomies; is that  
22 correct?

23 A. Yes.

24 Q. And in reviewing the information that you  
25 took from her about driving a delivery van, did you

1 officer, when he questioned Ms. Meeks about the extent  
2 of her injuries, asked her that within minutes or more  
3 than a couple minutes after the impact took place?

4 A. No.

5 Q. And at that time she indicated that asked  
6 her, are you injured, she said: I don't think so; I  
7 am fine. Isn't that -- wasn't that her words at the  
8 time?

9 A. Yes.

10 Q. Okay. And then you indicated that she  
11 drove from that -- from the scene then. You said she  
12 was able to drive 30 minutes from the scene?

13 A. Yes.

14 Q. Okay. Where did you get the idea that she  
15 drove 30 minutes? Did she tell you that?

16 A. That's what she told me, yes.

17 Q. And would that be unusual for someone who  
18 has just been rear-ended at 40 miles an hour and  
19 having complaints of neck pain at the scene, to be  
20 able to drive to their home?

21 A. In someone who had a relatively mild injury  
22 to the spine, I would certainly expect them to be able  
23 to drive from the scene. In someone who had had a  
24 more serious injury, such as a fracture or a herniated  
25 disk, it would be less likely that they would be able

Page 45

Page 47

1 to drive from the scene.  
2 **Q. So, it would be your opinion that people**  
3 **who experience herniated disks are unable to drive?**  
4 **A. I said it would be less likely that they**  
5 **would be able to drive. They would experience**  
6 **significant pain. The disk is surrounded by a tough**  
7 **outer envelope called the annulus fibrosis and in**  
8 **order to have an injury that would subsequently result**  
9 **in a herniated disk, one has to tear that annulus**  
10 **fibrosis, which would produce immediate pain. The**  
11 **pain would subsequently improve, but at least the pain**  
12 **would be immediate at the time of the incident.**  
13 **Q. But my question is though, your suggestion**  
14 **is that she would have had to have had a herniated**  
15 **disk and if she did, she would most likely be unable**  
16 **to drive from the scene; is that correct?**  
17 **A. No. It's possible you could tear your**  
18 **annulus fibrosis and not have herniated disk at that**  
19 **point. It could develop later.**  
20 **Q. But you've seen plenty of people who have**  
21 **had herniated disks, right, and they drive all the**  
22 **time; correct?**  
23 **A. Yes. In fact, some people have herniated**  
24 **disks and don't even know it, because the way**  
25 **herniated disks cause pain is by pushing on the nerve,**

1 **that?**  
2 **A. Would it -- would it have made a difference**  
3 **as far as what?**  
4 **Q. In your opinion. You somehow think the**  
5 **fact that she was able to drive 30 minutes and work**  
6 **the next two days that that's indicative somehow that**  
7 **she only sustained a mild cervical strain.**  
8 **A. Yeah. People who have severe muscle**  
9 **strains would not be able -- I would not expect them**  
10 **to be able to continue to work for the next two days**  
11 **because the pain -- you know, the pain is usually**  
12 **pretty bad when you have a severe cervical strain. If**  
13 **it's a mild cervical strain, I would expect you to be**  
14 **able to continue to work, yes.**  
15 **Q. But people respond to trauma differently;**  
16 **do they not, Doc?**  
17 **A. Well, again, I'm talking within reasonable**  
18 **medical probability and what we would normally expect**  
19 **to see; but, of course, anything is possible.**  
20 **Q. But, I mean, some people could have better**  
21 **tolerance to pain and they might have a job that**  
22 **presses them so they would naturally -- necessarily go**  
23 **to work despite the fact that they're suffering**  
24 **significantly from an injury they just had the night**  
25 **before.**

Page 46

Page 48

1 and if you have a herniated disk that's not pushing on  
2 a nerve, you may not even have any symptoms.  
3 **Q. Thank you.**  
4 **Now, you indicated that she was also -- in**  
5 **addition to being able to drive from the scene, she**  
6 **worked for another two days doing deliveries; correct?**  
7 **A. Yes.**  
8 **Q. Do you know whether those were two**  
9 **consecutive days or whether or not she had a day off**  
10 **in between, at which time she rested and was able to**  
11 **self help herself in that regard, like heating pads**  
12 **and laying down and taking over-the-counter**  
13 **medications?**  
14 **A. She told me that she worked for two more**  
15 **days. I did not ask her whether it was -- whether it**  
16 **was in -- I assumed it was two more days after the**  
17 **accident, but I didn't specifically -- I assumed from**  
18 **the way she said it that it was the next two days, but**  
19 **I did not specifically ask her that.**  
20 **Q. Okay. Well, would that make a difference**  
21 **if she had worked the next day and then had a day off**  
22 **and then worked on the following day, which would have**  
23 **been a Thursdays, that she would have had a little bit**  
24 **of rest in between the first day of work and the**  
25 **second day of work so that she would be able to do**

1 **A. Again, in someone who has had a mild**  
2 **cervical strain, I would expect them to be able to**  
3 **continue to work for the next -- for another couple**  
4 **days, yes.**  
5 **Q. And you agree Ms. Meeks sustained a mild**  
6 **cervical strain; is that correct?**  
7 **A. Yes.**  
8 **Q. What other objective findings would you**  
9 **expect to see if that would -- if that would have been**  
10 **a serious cervical strain?**  
11 **A. With a serious cervical strain, you may see**  
12 **muscle spasm, severe decrease in a range of motion.**  
13 **If there's torn muscles, you may actually see visible**  
14 **swelling or even some discoloration under the skin.**  
15 **With severe muscle -- severe muscle strains, there can**  
16 **be actually bleeding and black and blue marks appear**  
17 **under the skin, under the severe strains.**  
18 **Q. So, you would expect to see some muscle**  
19 **spasms, some decrease of range of motions; is that**  
20 **correct? You indicated both of those things would be**  
21 **more prevalent in a serious cervical strain.**  
22 **A. They'd be more prevalent. They could --**  
23 **they could also be present for, you know, a short**  
24 **period of time with a mild strain, yes.**  
25 **Q. How about loss of lordosis in the curvature**

Page 49

Page 51

1 of the spine?

2 A. That's a common finding, especially in  
3 females, and is not really a reliable thing. Now, an  
4 EMG is an objective way of seeing muscle spasm, but --  
5 but that was not apparent with her.

6 Q. Doctor, I'd like to bring your attention to  
7 at least a deposition that you gave in another case,  
8 the case of Sabine Young versus Veda Harper, and in  
9 that deposition you were asked a couple questions  
10 about -- in a very similar circumstances with an  
11 individual who had, perhaps had an injury, and you  
12 were discussing the difference between cervical strain  
13 being mild or serious. And if I could --

14 MR. MYERS: I don't know how, Marty,  
15 you want to proceed, but I would like to ask him a  
16 couple questions about his previous testimony in that  
17 deposition.

18 BY MR. MYERS:

19 Q. And in this particular case, Doctor, you  
20 were asked: "Doctor, the cervical or neck sprain that  
21 you felt occurred to Ms. Young, in terms of levels of  
22 severity, how would you characterize it?"

23 You answered: "I would characterize it as  
24 a mild sprain."

25 Question: "Okay. And how do you

1 Q. And in looking at the first record of  
2 11-22-02, as Mr. Boetcher had indicated that was four  
3 days after the original -- after the incident, it  
4 notes in that report that she was in a motor vehicle  
5 accident Monday, 11-18-02, entrance ramp over in Ohio  
6 returning from her delivery in Cleveland. Impact to  
7 vehicles, whiplash, no treatment to date.

8 Would you agree with me that's how that  
9 reads? It's a little hard to read, but --

10 A. Yes.

11 Q. Okay. It reads: Today, very sore, slash,  
12 antalgic to the cervical spine."

13 What does antalgic mean?

14 A. Antalgic means slowness of movement due to  
15 pain.

16 Q. So it would be a decrease in the range of  
17 motion?

18 A. Yes.

19 Q. Okay. And he says: "Very limited ROM --"  
20 that's range of motion "-- in all modes;" correct?

21 A. Yes.

22 Q. Okay. So, she has a decrease in her range  
23 of motion in lateral flexion and extension and  
24 rotation; is that correct?

25 A. Yes.

Page 50

Page 52

1 differentiate between mild versus more serious neck  
2 strains?"

3 Your answer: "With severe strains, the  
4 pain is immediate and severe. When the patient is  
5 examined there is -- there can be muscle spasm, and  
6 certainly will be decrease in range of motion of the  
7 neck. Sometimes on X-ray of the neck, there may be  
8 some loss of normal curve of the neck. With mild  
9 sprains, range of motion may be normal. There may be  
10 nothing more than just some tenderness over the  
11 muscles."

12 So, at least in that prior deposition,  
13 Doctor, you characterize evidence of severe strain as  
14 being muscle spasms and certainly decrease in range of  
15 motion in the neck, and sometimes X-ray evidence of  
16 loss of normal curvature in the neck; correct?

17 A. Yes, but in that testimony I'm talking  
18 about the exam immediately after the accident.

19 Q. Okay. I'd like to turn your attention now  
20 to the exam that took place closest in time to the  
21 accident, and that would be the evaluation conducted  
22 by Dr. DeGameaux, and you've indicated that you have  
23 reviewed Defendant's Exhibit No. 1, which are Dr.  
24 DeGameaux's office records; is that correct?

25 A. Yes.

1 Q. Okay. Again, range of motion, that loss of  
2 range of motion was one of those things you indicated  
3 you would see in a more severe strain; correct?

4 A. In that -- in that --

5 Q. Just answer yes or no first, and then if  
6 you want to explain, you can.

7 A. Yes. May I explain?

8 MR. BOETCHER: He said you could.

9 Q. Yes, go ahead. Sure.

10 A. What I was referring to in that previous  
11 deposition is the examination immediately after the  
12 injury. Certainly with muscle strains and even with  
13 mild muscle strains, I would not be at all surprised  
14 for someone to have decreased range of motion, muscle  
15 tenderness, as -- these findings are very consistent  
16 with a mild muscle strain in the days after the  
17 injury. And I said it takes up to four weeks to  
18 recover from a mild muscle strain.

19 Q. But in four weeks, Mrs. Meeks wasn't  
20 better; was she?

21 A. No, she's still complaining of pain three  
22 years later.

23 Q. Right. So, then, your belief that she  
24 should have gotten better in four weeks did not occur  
25 in this particular case; is that correct?

Page 53

Page 55

1 A. Her symptoms are still persistent three  
2 years later, but in my opinion there's no evidence of  
3 anything that could have occurred in this accident  
4 that would have caused that.

5 Q. Well, if her symptoms were persistent --  
6 and they were also consistent, were they not? Each  
7 physican or practitioner she saw, she made very  
8 similar complaints; decrease in range of motion, neck  
9 pain in the shoulders and in the thoracic region?

10 A. No.

11 Q. Well, we'll go through them individually,  
12 Doctor. If you don't mind, I'd like to stick now with  
13 Dr. DeGameaux's office record there. First he said  
14 the range of motion was very limited in all modes;  
15 correct?

16 A. Yes.

17 Q. And he noted that her pain has gotten worse  
18 in the days since the accident; correct?

19 A. Yes.

20 Q. And he notes she had pain in the entire  
21 cervical spine and down into the mid dorsal. That's  
22 the -- the thoracic region; is that correct?

23 A. Yes.

24 Q. Down through -- into the middle of the  
25 back, between the shoulder blades; correct?

1 was bad since Tuesday night and that she had been  
2 taking some over-the-counter -- over-the-counter drugs  
3 with no help; is that correct?

4 A. Yes.

5 Q. And now, the cervical X-ray that you made  
6 reference to in Defendant's Exhibit 1, that was  
7 conducted the very next day and probably in --  
8 pursuant to doctor's request that that be done;  
9 correct?

10 A. Yes.

11 Q. Because you had reviewed the X-ray that is  
12 is dated 11-23-02, both the cervical and thoracic  
13 X-rays that were done at Dr. DeGameaux's request?

14 A. Yes.

15 Q. Now, in the X-ray -- first of all, X-rays  
16 only -- only tell us about the bony structures for the  
17 most part; isn't that true?

18 A. No. With severe soft tissue injuries, you  
19 may see some swelling or edema in the soft tissues.  
20 But with mild soft tissue injuries, all you can really  
21 see are the bones.

22 Q. Now, in this particular X-ray, it noted  
23 that the curvature is straight. This is the X-ray of  
24 the cervical spine; is that correct?

25 A. Yes.

Page 54

Page 56

1 A. Yes.

2 Q. Okay. No radiation of the upper  
3 extremities, but along the traps and rhomboids. Those  
4 are the muscles in the back of the shoulder that you  
5 referenced earlier in your testimony; is that correct?

6 A. Yes.

7 Q. Okay. He also notes: Primary pain in the  
8 cervical extension and she had a positive S/H. That's  
9 a reference to Soto Hall; is it not?

10 A. Yes.

11 Q. And CC, that's a cervical compression test;  
12 correct?

13 A. Yes.

14 Q. Those were both positive at the time Dr.  
15 DeGameaux did his examination; is that correct?

16 A. Yes.

17 Q. And it noted that she was tender to the  
18 touch in the involved IAs, that's involved areas;  
19 correct?

20 A. I -- you would have to ask Dr. DeGameaux  
21 that.

22 Q. Okay. And at that time he ordered X-rays  
23 of the cervical and dorsal spine; correct?

24 A. Yes.

25 Q. Okay. And he noted she had a headache that

1 Q. And one of the impressions was that she had  
2 a straight curvature; is that correct?

3 A. Yes.

4 Q. Now, a straight curvature is a long way off  
5 from what the normal lordosis of the cervical spine  
6 is; isn't that true?

7 A. No. Many normal people, including females,  
8 will have a straight cervical spine. But a straight  
9 curvature, again, is consistent with a mild cervical  
10 strain.

11 Q. And that straight -- straightening of the  
12 curvature would be due to what, to the muscle spasms  
13 that are pulling on the --

14 A. Muscle tightness of the spine.

15 Q. Because the normal lordosis of the cervical  
16 spine has a bit of a curve to do it, does it not, and  
17 the spine in general looks like sort of an elongated  
18 S-shaped form; does it not?

19 A. Yes. And all these things are consistent  
20 with what I've testified, that she had a cervical  
21 strain.

22 Q. Okay. And that also indicated that she had  
23 disk space narrowing and some spondyloarthritic  
24 changes. That's the osteoarthritic condition in the  
25 vertebrae; is that correct?

Page 57

1 A. That's correct.  
2 Q. Now, if you also look at the thoracic X-ray  
3 that was done on that very same day, that thoracic  
4 X-ray noted she had some degenerative spurring in the  
5 thoracic region, but she also had some  
6 dextroscoliosis; is that correct?  
7 A. Yes.  
8 Q. And dextroscoliosis is where the portion of  
9 the thoracic spine is bowed, but it's not bowed in the  
10 way that you would expect in the cervical, but that's  
11 actually to the side; is it not?  
12 A. Yes.  
13 Q. If you were looking at the cervical -- the  
14 spinal column straight on, that means that the  
15 thoracic spine is sort of curved out to the side a  
16 little bit; is that correct?  
17 A. Yes.  
18 Q. Is that also consistent with a cervical  
19 strain condition that Ms. Meeks sustained --  
20 A. Yes.  
21 Q. -- several days before?  
22 A. Yes.  
23 Q. And would that dextroscoliosis and the  
24 straightening of the lordotic curvature be the result  
25 of muscle spasms that she might be experiencing would

Page 58

1 be pulling on those vertebral parts?  
2 A. Well, it's really impossible to tell  
3 because, number one, those findings are commonly seen  
4 in the normal population. You would have to have an  
5 X-ray before and after. But in the case of a -- of  
6 cervical muscle strains, I would certainly not be at  
7 all surprised to see these findings due to that.  
8 Q. So, then, she did have some muscle spasms,  
9 she did have significant loss in range of motion based  
10 on Dr. DeGameaux's initial evaluation; is that  
11 correct?  
12 A. Yes.  
13 Q. Okay. Now, in looking back to his next  
14 office note, Dr. DeGameaux's, he had an opportunity  
15 very -- in three days later to -- she -- and he  
16 indicated that she had a bad weekend; is that correct?  
17 A. Yes.  
18 Q. And he noted that he reviewed the X-rays  
19 and at that time he suspected HNPs, herniated nucleus  
20 pulposus; is that correct?  
21 A. Yes.  
22 Q. And he noted there were some dorsal  
23 degenerative changes. He told her to just -- he did  
24 easy manual traction in the spine and some G five  
25 traps and rhomboids and he discussed an MRI and told

Page 59

1 her to see a doctor for any pain meds that she might  
2 want, is that correct, and, if necessary, go to the  
3 emergency room for that?  
4 A. Yes.  
5 Q. Is that what his office record indicates?  
6 A. Yes.  
7 Q. Okay. And then shortly after the X-rays  
8 were taken and that office visit, she did have a  
9 cervical MRI which was performed on December 4th; is  
10 that correct?  
11 A. Yes.  
12 Q. Now, in your report, you indicate that you  
13 had not actually had a chance to review those films  
14 yourself, but you relied upon the radiologist's report  
15 and the subsequent examinations to make your opinion  
16 with regard to these findings; is that correct?  
17 A. Yes, plus I subsequently reviewed  
18 additional MRI scans and CAT scans, myelograms, and  
19 EMGs that she had.  
20 Q. But you have yet to see these cervical  
21 films; is that correct?  
22 A. I never received those films; that's  
23 correct.  
24 Q. Now, it's true, is it not, Doctor, that  
25 it's not unusual for one MRI scan done at one

Page 60

1 particular time to show one finding and a subsequent  
2 MRI to have a different finding; in fact, for, say, a  
3 cervical disk herniation to show up on one MRI and to  
4 then for it to show up on a later as a degenerative  
5 disk disease in terms of the findings?  
6 A. Well, it's certainly possible, if someone  
7 has -- had a small disk herniation, it could just  
8 recover and go away, yes.  
9 Q. And in this particular MRI reading, in  
10 addition to them finding -- the doctor finding a C5-6  
11 disk herniation which was impinging on the  
12 subarachnoid space and the orifice of the left  
13 foramen -- is that correct?  
14 A. That's what that radiologist reported, yes.  
15 Q. And he also reported a C6-7 disk herniation  
16 which impinges on the same subarachnoid space;  
17 correct?  
18 A. Yes.  
19 Q. Also noted on that MRI though, is that it  
20 had a notation of abnormal lordosis; correct?  
21 A. Yes.  
22 Q. And, again, that abnormal lordosis -- when  
23 they call it abnormal, we're not just talking about  
24 some minimal variation that you might find in the  
25 population, but an abnormal lordosis -- this is



Page 61

Page 63

1 showing the same thing that was sort of evidenced on  
2 the X-ray of the cervical region taken several days  
3 before; isn't that correct?  
4 A. Yes.  
5 Q. Okay. So, she was still having some  
6 lordotic problems, curvature problems as a result of  
7 the strain she sustained in the auto collision of  
8 August 18th; correct?  
9 A. And again, this may be a normal finding in  
10 her, but it can be seen in patients that have muscle  
11 strains also.  
12 Q. And in this particular case, there really  
13 was no evidence in any of the prior records that  
14 you've seen that showed she had any cervical injury to  
15 her neck; isn't that correct?  
16 A. Other than the head -- head injury that  
17 supposedly occurred in the 1980s, but --  
18 Q. And that --  
19 A. And she complained of muscle tension in  
20 her -- the base of her skull and the top of her  
21 shoulders to her family doctor two weeks prior to the  
22 accident.  
23 Q. But in review of all her prior records,  
24 there was no evidence of any injury to her neck; is  
25 that correct?

1 should have needed any care or treatment and she  
2 should have been healed thereafter; is that correct?  
3 A. Yes.  
4 Q. Okay. So, in looking at Dr. DeGameaux's  
5 records, after about nine visits she should have been  
6 completely healed and totally asymptomatic and pain  
7 free? Is that your opinion?  
8 A. As far as the muscle strains that occurred  
9 in this accident, I would have expected complete  
10 recovery within four weeks, yes.  
11 Q. Okay. Dr. DeGameaux or Dr. Anderson also  
12 referred her to some -- for some physical therapy; is  
13 that correct?  
14 A. Yes.  
15 Q. And she did have physical therapy at  
16 Medical Park Hospital very close in time to the  
17 automobile collision. In fact, it was in February; is  
18 that correct?  
19 A. Yes.  
20 MR. MYERS: Can we go off the record a  
21 minute?  
22 THE VIDEOGRAPHER: Sure. We're going  
23 off the record at 5:30:38.  
24 (OFF THE RECORD.)  
25 THE VIDEOGRAPHER: We're going back on

Page 62

Page 64

1 A. Other than what I mentioned, was the injury  
2 back in the mid 80s.  
3 Q. 1985, I believe, sometime in that range?  
4 A. Yes.  
5 Q. And did you review any records that showed  
6 she had any care and treatment between that time and  
7 the time of this particular injury?  
8 A. Yes. Dr. Anderson's records indicate as  
9 far as back as a year and a half prior to the accident  
10 that she was having recurrent headaches, pain at the  
11 base of her skull, pain in the occipital areas, muscle  
12 tightness at the top of her shoulders, requiring  
13 relief by taking a hot shower or massaging the area.  
14 Q. Now, you may have misunderstood me.  
15 There's no indication that Dr. Anderson was treating  
16 her for the results of the impact she had or whatever  
17 injuries she had in 1985?  
18 A. Oh, no.  
19 Q. Okay. Thank you.  
20 A. No, these were just -- these were symptoms  
21 she was having prior to the accident, but Dr. Anderson  
22 did not relate them to the '85 accident.  
23 Q. Now -- so, it's your testimony, then, that  
24 four weeks after Ms. Meeks' auto collision on November  
25 18th, 2002, would have been the extent to which she

1 the record at 5:31:30.  
2 Go right ahead.  
3 BY MR. MYERS:  
4 Q. And, Doctor, the physical therapy that she  
5 had that done at Medical Park with a licensed physical  
6 therapist; is that correct?  
7 A. Yes.  
8 Q. Okay. And that physical therapy began on  
9 February 21st, 2003, which is, again, beyond the  
10 period of time where you thought Ms. Meeks should be  
11 complaining or having any type of care and treatment  
12 or any type of problems with regard to the mild  
13 cervical strain she sustained; is that correct?  
14 A. Yes.  
15 Q. In her complaints -- and I assume you've  
16 read the symptom questionnaire where she indicates why  
17 she was coming to therapy was her neck and her  
18 complaint and what she wanted to accomplish in  
19 physical therapy was to get movement back and to end  
20 the pain; is that correct?  
21 A. Yes.  
22 Q. And at that time, she indicated that her  
23 pain level ranged between a 4 and an 8; is that  
24 correct?  
25 A. Yes.



Page 65

1 Q. Now, there's also a -- the plan of care  
2 that was established by the physical therapist  
3 indicated that the problem was she had decreased  
4 cervical range of motion and she also had muscle  
5 spasm; isn't that correct?

6 A. Yes.

7 Q. And muscle spasm is one of the other things  
8 that you mentioned in that prior deposition that you  
9 would expect to see in a more serious cervical strain;  
10 is that correct?

11 A. Yes.

12 Q. So, then, in February, 2003, this physical  
13 therapist is diagnosing her with having muscle spasms,  
14 nothing that one of the goals was to try to increase  
15 her range of motion by 50 percent, and to minimize the  
16 muscle spasms; is that correct?

17 A. Yes.

18 Q. And that was the goal of that physical  
19 therapy?

20 A. Yes.

21 Q. And she undertook and went to approximately  
22 14 or 15 sessions of physical therapy between February  
23 and sometime in April; is that correct?

24 A. Yes.

25 Q. Okay. If Ms. Meeks weren't continuing to

Page 66

1 suffer from the impact and the resultant symptoms she  
2 had at the -- in the car collision, why would she go  
3 to physical therapy two to three times a week for four  
4 weeks?

5 MR. BOETCHER: Objection.

6 MR. KEPPLER: Object.

7 A. The only person who knows if Ms. Meeks is  
8 having pain is Ms. Meeks herself. Certainly she had  
9 pain in her neck that she complained to her family  
10 doctor prior to this accident and she did have known  
11 arthritis in the neck. So, if Ms. Meeks was having  
12 discomfort in her neck, I certainly don't object to  
13 her getting treatment for it, it's just that in my  
14 opinion it wasn't related to anything that happened in  
15 this accident beyond four weeks after the accident.

16 Q. What would it have been related to?

17 A. Well, she had a preexisting history of  
18 similar symptoms for which she saw her family doctor a  
19 very short time prior to the accident, 12 days prior  
20 to the accident.

21 The X-rays of her neck and spine showed  
22 arthritis all the way from her neck down through her  
23 thoracic spine to her low back. Subsequent MRI scans,  
24 CAT scans, and myelograms showed arthritis and  
25 degenerative disk disease in her spine. So, it

Page 67

1 certainly, in my opinion would be related to those  
2 unrelated conditions.

3 Q. Well, when during the period of time  
4 between November 18, 2002, the date of her accident,  
5 and the time she saw this physical therapist in  
6 February, did she stop suffering from the cervical  
7 strain and begin suffering from the degenerative disk  
8 disease?

9 A. I would estimate that at the most it would  
10 have taken her four weeks to have recovered from this  
11 accident; that is, December 18th of 2002.

12 Q. So, after December 18th, 2002, you  
13 attribute the spasms, the decrease in range of motion  
14 to her having preexisting degenerative disk disease?

15 A. Yes.

16 Q. And isn't it correct that the injury could  
17 have easily aggravated that preexisting degenerative  
18 disk disease?

19 MR. BOETCHER: Objection.

20 A. No, arthritis and degenerative disease are  
21 never permanently aggravated by an injury unless  
22 there's significant injury to the spine, such as a  
23 fracture or a dislocation, which was not the case  
24 here.

25 Q. And there are though, Doctor, are there

Page 68

1 not, different schools of thought on that in terms of  
2 whether or not an injury can cause aggravation to  
3 preexisting degenerative conditions; is that correct?

4 A. I think most specialists in the areas of  
5 the spine would agree that in the absence of a  
6 fracture or dislocation or serious injury to the  
7 spine, that arthritis is never permanently aggravated.  
8 Certainly muscle strains would not cause any type of a  
9 permanent aggravation to a preexisting arthritis.

10 Q. So, she undertook the 14 or so visits with  
11 regard to physical therapy and at that time she  
12 noticed that her pain had -- had developed increasing  
13 neck pain since the accident. That would be up until  
14 the time he was seeing her, then, in February, is that  
15 correct? That's what she reported to the physical  
16 therapist?

17 A. I'm sorry?

18 Q. In the note.

19 A. I'm sorry, I'm confused as to what you're  
20 asking me.

21 Q. I am asking you, in February of 2001, when  
22 she saw the physical therapist, she was still  
23 reporting that she had developed increasing neck pain  
24 since the accident?

25 A. You mean February of 2003?

Page 69

Q. Yes, sir.

A. I agree that's what she reported, yes.

Q. Okay. And her biggest complaint at that time was that she had a decrease in range of motion; is that correct?

A. Yes.

Q. In none of the records you saw, what you claim to be her treatment prior to this incident, was there any decrease in range of motion in Dr. Anderson's records; is that correct?

A. Dr. Anderson did not report that she checked it. She just reported that there was tightness, muscle tension, but she didn't report on the range of motion.

Q. Okay.

A. Dr. Anderson did examine her after the accident, however, in February of '03, and found her neck to be soft and supple with normal range of motion.

Q. Which is also somewhat inconsistent with some of the other practitioners who evaluated and examined her; is that correct?

A. Well, it's different from what the physical therapist reported. Dr. Voelker said there was some decrease in range of motion. I found some decrease in

Page 70

range of motion.

Q. Well --

A. Dr. Liebeskind found some decrease in range of motion.

Q. That's well beyond the four weeks that you suggest is when she should have been better or not suffering any further from this incident; is that correct?

A. Well, again, I don't think the decrease in range of motion was related to anything that occurred in this accident, since decrease in range of motion is commonly related to arthritis and degenerative disk disease.

Q. But she never experienced any decrease in range of motion that you can see from the prior medical records that you looked at in this case; isn't that correct?

A. I can't find that anyone ever reported her range of motion prior to this. I'd be surprised if she had normal range of motion because of the significant arthritis that she had in her neck prior to this accident, but I can't find where anybody ever actually reported it or tried to examine her for that.

Q. You say she had significant arthritis in her neck?

Page 71

1 A. Yes.

2 Q. All the diagnostic studies indicate it to  
3 be mild.

4 A. Well, the X-ray of the cervical spine said  
5 arthritis with narrowing of the disk spaces at C5-6  
6 and 6-7, and the X-ray of her thoracic spine showed  
7 some arthritic changes noted throughout the thoracic  
8 spine. With early arthritis, the X-rays are perfectly  
9 normal. You have to have had arthritis for a period  
10 of time for X-rays to show any changes.

11 Q. And none of these diagnostic studies showed  
12 any changes from the first to last; did they?

13 A. Well, the -- the MRI scan of her neck in  
14 February of '02 reportedly showed a disk herniation  
15 which subsequently disappeared on a repeat MRI scan,  
16 CAT scan, a myelogram.

17 Q. Right. And it was actually seen by Dr.  
18 Voelker to be disk bulging, is that correct, his  
19 interpretation of that first MRI in December?

20 A. Yeah, he reported a mild disk bulging and  
21 then said he thought she was suffering from cervical  
22 strain, as I -- as I opined, and mild cervical  
23 spondylosis, which means arthritis and degenerative  
24 disk disease.

25 Q. But Dr. Voelker is making that comment many

Page 72

1 months after the four weeks that you say she should  
2 have been healed and better; is that correct?

3 A. Yes.

4 Q. I would like to turn to Dr. Liebeskind for  
5 a moment, because Dr. Liebeskind is the Director of  
6 Rehabilitation Medicine and board certified in pain  
7 management and rehabilitation. Are you board  
8 certified in pain management and rehabilitation?

9 A. No, I am board --

10 MR. BOETCHER: Objection.

11 A. I am board certified in neurology.

12 Q. Okay. You had indicated in your report  
13 that you didn't believe any other doctor had found  
14 anything trigger points in Ms. Meeks; is that correct?

15 A. I can't recall my exact testimony. I know  
16 Dr. Liebeskind reported a few trigger points --

17 Q. Okay.

18 A. -- and that after the accident. And that  
19 Dr. Anderson found some trigger points prior to the  
20 accident.

21 Q. Dr. Liebeskind, in his June 5th, 2003  
22 report, almost six months beyond the period of time  
23 you suggest Ms. Meeks should have been healed and  
24 cured and no longer suffering from the injuries  
25 sustained in the automobile collision, noted in his

Page 73

1 history of the present illness as taken by -- from Ms.  
2 Meeks that she was injured on November 18th, 2002, she  
3 stopped at a light when she was rear-ended by another  
4 vehicle. He said at first she thought the -- she had  
5 some pain and she thought it would go away, but it  
6 persisted. It notes she went to Dr. DeGameaux, he  
7 took X-rays, had the MRI; the MRIs revealed the disk  
8 herniations that it shows at C5-6 and C6-7. Am I  
9 correct about that review of his report thus far?  
10 A. Yes.  
11 Q. Okay. Now, at the time Dr. Liebeskind saw  
12 her in June, she had previously already seen Dr.  
13 Voelker; is that correct?  
14 A. Yes.  
15 Q. Okay. And Dr. Voelker had noted in his  
16 review of this -- of Ms. Meeks' situation and as far  
17 as his review, he knew that she had had physical  
18 therapy, but Dr. Voelker recommended pain management;  
19 did he not?  
20 A. Yes.  
21 Q. And so his recommendation of pain  
22 management, would you agree, sort of was the precursor  
23 to the referral by Dr. Anderson of Ms. Meeks to Dr.  
24 Liebeskind for some pain management? Is that correct?  
25 A. Yes.

Page 74

1 Q. Okay. And in Dr. Liebeskind's evaluation,  
2 he indicated that she had -- range of motion is  
3 globally reduced with most of her pain in left  
4 rotation. Is that correct?  
5 A. Yes.  
6 Q. Okay. And Dr. Liebeskind actually  
7 indicates he found well-defined trigger points over  
8 the occiput and over the trapezius and over the  
9 levator scapula bilaterally and over C7 and T6;  
10 correct?  
11 A. Yes.  
12 Q. And as result of that, his diagnosis was  
13 that she had chronic cervical pain syndrome that was  
14 superimposed upon the HNP and some myofascial  
15 ligamentus component as well; is that correct?  
16 A. Yes.  
17 Q. And that's strain and sprain of the  
18 musculature and ligaments in the neck; is that  
19 correct?  
20 A. Myofascial pain syndrome is a rather  
21 nebulous condition of unknown cause in which people  
22 complain of muscle pain and on exam will have some  
23 trigger points. The cause is not known.  
24 Q. Well, Dr. Liebeskind at least felt it  
25 necessary to provide her with a regimen of trigger

Page 75

1 point injections due to the observations he made at  
2 that time; isn't -- correct?  
3 A. Yes.  
4 Q. And he did, in fact, conduct a series of  
5 three of those trigger point injections; is that  
6 correct?  
7 A. Yes.  
8 Q. And, again, that's treatment which, in your  
9 opinion, was not necessary to Ms. Meeks?  
10 A. No, I never testified to that. I said that  
11 the treatment she received was not related to the  
12 November 18th of '02 incident after four weeks.  
13 Q. But it was related to her cervical  
14 degenerative disk disease?  
15 A. Yes.  
16 Q. Which was unaffected by the automobile  
17 collision?  
18 A. In my opinion, there was certainly no  
19 permanent aggravation of that preexisting condition by  
20 this accident.  
21 Q. When you say permanent aggravation, is that  
22 distinguished from temporary aggravation?  
23 A. Well, certainly after you have a muscle  
24 strain, there may be some temporary aggravation, but,  
25 again, I wouldn't expect it to last beyond a few

Page 76

1 weeks.  
2 Q. So, temporary to you means only a few  
3 weeks, it can't be a year, six months, or anything of  
4 that sort; is that correct?  
5 A. In the case of a mild strain, the recovery  
6 is usually within a few days to a few weeks. I said  
7 four weeks just to give her the benefit of the doubt.  
8 Many people will recover from mild strains within a  
9 few days or a few weeks.  
10 Q. Now, after the evaluation by Dr. Liebeskind  
11 and the three trigger -- epidural trigger points that  
12 he had gave her, she was also evaluated -- had a  
13 second neurosurgical consultation with Dr. El-Kadi; is  
14 that correct?  
15 A. Yes.  
16 Q. And you reviewed Dr. El-Kadi's report?  
17 A. Yes.  
18 Q. And basically, as far as history goes, she  
19 related the same thing, that she was in motor vehicle  
20 accident November 18th of '02 and that she -- the  
21 Friday after her motor vehicle accident she went to  
22 the chiropractor, who ordered X-rays; and at that time  
23 she had denied any neck pain or arm pain before the  
24 motor vehicle accident and had never had any X-rays of  
25 her cervical or an MRI of her cervical spine before

Page 77

Page 79

1 that; isn't that correct?  
2 A. Yes.  
3 Q. And at that time, her complaints to Dr.  
4 El-Kadi were headache, neck pain radiating with  
5 back -- into the back area, pain in -- bilateral in  
6 the shoulders, greater on the left than the right.  
7 He, at that time, conducted his examination and did an  
8 evaluation of her and his final recommendation, he  
9 noted that she had had mild multi-level cervical  
10 spondylosis; is that correct?  
11 A. Yes.  
12 Q. Cervical spondylosis, is that synonymous  
13 with cervical degenerative disk disease?  
14 A. Cervical spondylosis means cervical  
15 degenerative disk disease and arthritis.  
16 Q. So some impact on the vertebral bodies as  
17 well as the disks?  
18 A. Well, it actually means the joints of - the  
19 facet joints in the spine, because arthritis is a  
20 disease of the spine. Arthritis is a disease of the  
21 facet joints and not so much of the vertebral bodies.  
22 Q. And in looking at Dr. El-Kadi, he made an  
23 evaluation, he indicated he did not believe she had  
24 any neuro -- any need for neurosurgical intervention,  
25 but he indicated that considering her pain, he would

1 A. Yes.  
2 Q. And that was discontinued as a result of  
3 that reaction; correct?  
4 A. Yes.  
5 Q. So, Dr. Sakla at that time, in October of  
6 2003, was in the process of providing her with an  
7 epidural injection based primarily on the same  
8 complaints that she had from this accident up until  
9 the time she had seen him; correct?  
10 A. Well, actually, Dr. Sakla spoke on the  
11 phone with Dr. Anderson on October 22nd and Dr.  
12 Anderson referred to pain prior to the accident. So,  
13 at least Dr. Anderson reported to him that there was  
14 pain even prior to the accident.  
15 Q. And where is that notation in this report?  
16 A. You mean on my report?  
17 Q. Yes.  
18 A. Page 5.  
19 Q. No, no, I am sorry, in Dr. Sakla's notes.  
20 A. That was on October 22nd of '03, which is  
21 several pages here.  
22 Okay. Dr. Sakla's --  
23 Q. Addendum?  
24 A. -- typed note, doctor -- down at the bottom  
25 of the page, the last paragraph. I will just read it.

Page 78

Page 80

1 refer her for pain management, for epidural injection;  
2 is that correct?  
3 A. Yes.  
4 Q. Okay. And he, in fact, referred her and  
5 then she went to see Dr. Sakla after that, sometime in  
6 October of 2003; would you agree?  
7 A. Yes.  
8 Q. And Dr. Sakla -- it appears as if Dr. Sakla  
9 did an initial evaluation and got to the point where  
10 he was going to provide her with an epidural  
11 injection, but MRS. Meeks had some -- what's called a  
12 vasovagal reaction to the treatment; is that correct?  
13 A. Vasovagal, yes.  
14 Q. Vasovagal reaction?  
15 A. Yes.  
16 Q. And what is that, Doctor?  
17 A. She fainted or almost fainted.  
18 Q. Those aren't necessarily -- have you ever  
19 experienced any of that in your chronic pain clinic,  
20 people just get woozey and don't like the needles and  
21 can't go through with the procedure?  
22 A. Yes.  
23 Q. In this case, her blood pressured dropped  
24 or her blood pressure went up and she got light-headed  
25 and all that; is that correct?

1 "We received a phone call this afternoon from Dr.  
2 Anderson's office." And he goes on, it says: "Dr.  
3 Anderson had advised me that the patient at some point  
4 had accused her --" Dr. Anderson "-- of changing her  
5 medical records in reference to neck pain problems  
6 three years ago and the patient was in disagreement  
7 with her."  
8 So apparently Dr. Anderson had something in  
9 her records about neck pain prior to the accident that  
10 Ms. Meeks was -- wanted to -- wanted Dr. Anderson to  
11 change.  
12 Q. And that was a reference -- three --  
13 reference to -- a reference to Dr. Anderson's records  
14 of three years ago; is that correct?  
15 A. Yes, which would have been about a year  
16 prior to the accident.  
17 Q. Okay. Thank you.  
18 Now, in addition to the care and treatment  
19 that had been rendered, that had -- that had been  
20 rendered by Dr. DeGameaux and the physical therapist,  
21 and Dr. Liebeskind, and the attempt by Dr. Sakla which  
22 never came through, she -- Ms. Meeks was also referred  
23 to and treated by Dr. LoDico; is that correct?  
24 A. Yes.  
25 Q. And, again, Dr. LoDico -- if -- you've had

Page 81

Page 83

1 a review of his records.  
2 A. Yes.  
3 Q. Dr. LoDico first saw her on February 17th,  
4 2004; is that correct?  
5 A. Yes.  
6 Q. And he is also a pain management  
7 practitioner; is that correct?  
8 A. That's my understanding, yes.  
9 Q. And then, Doctor, if I could ask you a  
10 couple questions, you indicated that you're the  
11 Director of Chronic Pain Management Program here in  
12 Zanesville?  
13 A. Yes.  
14 Q. Okay. And do you regularly provide your  
15 patients with epidural injections and cervical facet  
16 blocks?  
17 A. Yes. I don't do them myself, but I refer  
18 them to the anesthesiologist to do them.  
19 Q. You don't do them yourself. How about  
20 rhizotomies; do you do those?  
21 A. No.  
22 Q. Okay. In looking at the evaluation  
23 conducted by Dr. LoDico on February 17th, 2004, he  
24 notes that she's having pain throughout the entire  
25 cervical spine, through the occiput, as well as

1 about this. Pain management doctors tend to talk in  
2 sometimes different terms than other doctors do; would  
3 you agree with me there?  
4 A. I don't know what you mean.  
5 Q. Well, he referred to that she was having a  
6 cervical spinal pain secondary to diskogenic syndrome  
7 versus facet arthropathy, status post motor vehicle  
8 accident; correct?  
9 A. Yes.  
10 Q. So, that's his diagnosis that he's making  
11 as a result of the motor vehicle accident; would you  
12 agree?  
13 A. Well, you would have to ask him that.  
14 Q. Well, and I'm --  
15 A. I mean, that's what it says.  
16 Q. Okay.  
17 A. I don't know if it's relating it to the  
18 accident or not, or whether he's just saying -- well,  
19 first of all, she told him that she had never had  
20 symptoms before the accident, but he obviously hadn't  
21 reviewed Dr. Anderson's pre-accident records that  
22 showed she had had those symptoms before.  
23 Q. The symptoms of what, decreased range of  
24 motion and the spasms in her neck and pain in her  
25 neck?

Page 82

Page 84

1 shoulders bilaterally and into the lateral aspects of  
2 her upper extremities bilaterally; correct?  
3 A. Yes.  
4 Q. And he states: This pain began after a  
5 motor vehicle accident one year and three and a half  
6 months ago, whereupon she was hit from behind. Now,  
7 the doctor -- he reviewed some of the same medical  
8 records that you did in terms of the CT scan and the  
9 MRI scans; is that correct?  
10 A. I don't know what -- I'd have to look.  
11 Does he say that in there? I'd have to look.  
12 MR. BOETCHER: I am going to object.  
13 He doesn't say what scans he looked at, which CT scans  
14 and MRIs.  
15 BY MR. MYERS:  
16 Q. The MRI of the cervical spine showed C5-6  
17 disk herniation. Those would have been the earlier  
18 ones done in December of '02; correct?  
19 A. Okay. We're on Dr. LoDico?  
20 Q. Yes, sir.  
21 A. Okay. He kind of just reiterated what  
22 tests she had had, yes.  
23 Q. Okay. And Dr. LoDico, also similar to you,  
24 conducted a physical examination -- he made a bit of a  
25 different diagnosis, but -- you tell me if I am wrong

1 A. No, the record of November 6th of 2002,  
2 six -- 12 days before the accident, in which she was  
3 having chronic headaches, muscle tension, tight,  
4 mainly in the occipital regions bilateral, muscle  
5 tightness at the top of her shoulders, had to take hot  
6 showers and massage the area to get relief.  
7 April 3rd of '02, taking Vioxx, which is a  
8 pain medicine, for headaches and joint pain.  
9 October 15th of '01, requesting samples of  
10 Vioxx for her chronic headaches and joint pains.  
11 Q. Joint pains, that's talking about her hands  
12 and her fingers and her --  
13 A. Well, arthritis -- arthritis is a  
14 generalized disease. It's throughout the spine.  
15 May 10th of '01, positive trigger points in  
16 the trapezius muscles in the occipital areas.  
17 February 2nd of '01, long history of  
18 recurrent headaches at the top of her head, base of  
19 her skull. So --  
20 Q. But those are the only office records you  
21 have from Dr. Anderson, those six or seven; is that  
22 correct?  
23 A. No, I actually have some records from Dr.  
24 Anderson after the accident. Specifically --  
25 Q. Well, I know. I was talking about the ones

Page 85

Page 87

1 prior to the accident. Is that correct?

2 A. Well, I have those records and I have some  
3 records after the accident, yes.

4 Q. Because despite what all those records say,  
5 it doesn't appear like she's treating on a real  
6 frequent basis with Dr. Anderson; is that correct?

7 A. Well, in the year and a half prior to the  
8 accident she saw her one, two, three, four, five,  
9 six -- at least seven times.

10 Q. In a year and a half?

11 A. In a year and a half.

12 Q. Now, in looking back at Dr. LoDico's report  
13 and his evaluation, he also noted that he felt that  
14 she would benefit from interlaminar cervical epidural  
15 steroid injections and then if that fails to give  
16 added relief, he was going to do the cervical facet  
17 nerve blocks; is that correct?

18 A. Yes.

19 Q. And the interlaminar cervical epidural  
20 injections, those are different than trigger point  
21 injections; are they not?

22 A. Yes.

23 Q. And they're a little deeper in -- through  
24 the muscle tissue and down into the area around the  
25 vertebra; is that correct?

Page 86

1 A. Trigger point injections are just  
2 underneath the skin. Epidural injections are actually  
3 into the spinal canal, but not going through the dura,  
4 which is the tough outer membrane surrounding the  
5 nerve roots and spinal cord. So, with -- with an  
6 epidural, you're actually putting the needle into the  
7 spinal canal.

8 Q. Well, there are several types of epidurals,  
9 though, right; there's interlaminar and there's  
10 also -- and a cervical facet block, for instance, is a  
11 little bit different than one of these interlaminar  
12 cervical epidural injections; is that correct?

13 A. Well, there's several different types of  
14 epidural injections depending what approach you take,  
15 but most people take the interlaminar approach. A  
16 facet nerve block is -- facet is the joint.

17 Say somebody has severe arthritis pain in a  
18 joint. You can actually do a facet nerve block or  
19 destroy or inject the nerve that goes to that  
20 arthritic joint. So -- so you're injecting  
21 different -- different areas, either the nerve going  
22 to the joint or within the spinal canal itself.

23 Q. Correct. And the word epidural -- dural is  
24 a reference to the dura, which is is sheath that  
25 surrounds the spinal cord; correct?

1 A. Correct.

2 Q. And epi is just a phrase for around, so  
3 that epidural injection, it -- they inject the -- what  
4 lidocaine or the fluid into and around the dura; is  
5 that correct?

6 A. Correct.

7 Q. And if you look, Dr. LoDico actually did  
8 three interlaminar cervical epidural injections at the  
9 C4-5 level; is that correct?

10 A. Yes.

11 Q. He did the first one on February 17th.  
12 That was at C4-5. And then on March 16th he did one  
13 at the C5-6 level; is that correct?

14 A. Yes.

15 Q. And then he again did another one on --  
16 well, he had a follow-up evaluation on June 1st. Is  
17 that your impression, that he saw her again on June  
18 1st?

19 A. Yes.

20 Q. Okay. And he noted that his impression was  
21 that she had cervical spinal pain secondary to  
22 diskogenic syndrome versus facet arthropathy, status  
23 post motor vehicle accident. When a doctor uses a  
24 phrase status post motor vehicle accident, that --  
25 doesn't that mean as a result of or stemming from?

Page 88

1 MR. KEPPLER: Objection.

2 A. Well, again, a doctor would normally say  
3 secondary to a motor vehicle accident or due to a  
4 motor vehicle accident.

5 Q. What's status post mean?

6 MR. BOETCHER: Objection.

7 A. Well, I don't know. You would have to ask  
8 Dr. LoDico.

9 Q. Well, isn't it common -- isn't it a  
10 commonly used medical terminology?

11 A. You know what, it's commonly used if the  
12 doctor is having some doubts as to whether it's  
13 related to the motor vehicle accident; otherwise they  
14 would generally use a term such as due to or secondary  
15 to. So, you would have to ask him what he -- whether  
16 he thinks it's related to the accident.

17 Q. And he indicates that he gave her three of  
18 those cervical epidural injections; correct?

19 A. Yes.

20 Q. And that they each helped for about a  
21 month?

22 A. Yes.

23 Q. Okay. And then he indicated that, two, she  
24 continues to have posterior neck pain that radiates  
25 into her inner scapular area, left greater than the

Page 89

1 right, and in the trapezius area and as a result of  
2 that he scheduled her for cervical facet nerve block;  
3 is that correct?

4 A. Yes.

5 Q. And those are, as you indicated, different  
6 than the cervical epidural injections?

7 A. Yes.

8 Q. And they're -- they are to help deaden the  
9 nerve at the facet joint; is that correct?

10 A. Yeah. If somebody has a problem with the  
11 facet joint, either a fracture of the facet joint or  
12 arthritis in the facet joint, facet nerve blocks can  
13 be helpful.

14 Q. Okay. And he gave her a series of these  
15 cervical facet nerve blocks at C3-4, 4-5, and 5-6;  
16 correct?

17 A. Yes.

18 Q. Okay. And as a result of those cervical  
19 facet blocks, tell me, Doctor, isn't it true they can  
20 be used sort of as a diagnostic tool to determine  
21 whether or not a rhizotomy may provide a little bit  
22 longer lasting benefit than the cervical facet block  
23 itself; is that correct?

24 A. Well, certainly if someone doesn't respond  
25 to a facet block, you would not want to go on to do a

Page 90

1 rhizotomy; but if someone does respond to it, then  
2 they're more likely to respond to a rhizotomy.

3 Q. So, if -- yeah, the outcome of a cervical  
4 facet block sort of tells the doctor whether or not he  
5 should go on and do a rhizotomy; is that correct?

6 A. Yeah, but I'm here to tell you it's not  
7 fool-proof. There's some people that respond to the  
8 facet block and get a rhizotomy and don't get a good  
9 result.

10 Q. And don't get good results.

11 A. So it's not fool-proof.

12 Q. But in this particular --

13 A. It's a very subjective test. You're just  
14 basically saying: Did the pain go away. So,  
15 there's -- again --

16 Q. Well, do you refer people for cervical  
17 facet blocks?

18 A. Yes.

19 Q. And rhizatomies as well?

20 A. Yes.

21 Q. If they've had a positive outcome, meaning  
22 if the cervical facet block has, in fact, given them  
23 relief and deadened the nerve sufficiently; correct?

24 A. Cervical facet blocks, if they work  
25 temporarily, a rhizotomy could be indicated to -- to

Page 91

1 help, but it doesn't always give long-lasting relief  
2 even if the nerve block gives temporary relief.

3 Q. But, Doctor --

4 A. If that answer is clear.

5 Q. But, Dr. LoDico, based upon the results  
6 that Ms. Meeks had from the cervical facet blocks, he  
7 went on and did rhizatomies on the right; isn't that  
8 correct?

9 A. Yes.

10 Q. And his follow-up reports indicate that she  
11 got significant relief from that; is that correct?

12 A. Yes.

13 Q. But it's your opinion that she didn't need  
14 those facet blocks or the rhizatomies in any way,  
15 shape, or form due to injuries she sustained in this  
16 automobile accident?

17 A. Correct. I don't object to her getting  
18 them, but I just don't think there was any injury in  
19 this accident that injured her facet joints. There  
20 was no evidence of a fracture or dislocation or  
21 anything that injured the facet joints.

22 Q. Well, then, why would Dr. LoDico give those  
23 to her?

24 A. Well, she has arthritis. I'm sure she has  
25 pain in her -- I'm sure she has pain due to arthritis,

Page 92

1 in which case that's -- that's the most common thing  
2 facet blocks or rhizatomies are given for, is  
3 arthritis.

4 Q. But it's your opinion that this injury  
5 could not have aggravated what was otherwise her  
6 preexisting osteoarthritis in her neck; is that  
7 correct?

8 A. Not beyond four weeks, no.

9 Q. Okay. And, Doctor, you've testified in  
10 many cases with regard to cervical strains and  
11 sprains; is that correct?

12 A. Yes.

13 Q. And probably more than a hundred or a  
14 hundred and fifty times; is that not correct?

15 A. Yes.

16 Q. And it's true in those cases where you,  
17 based upon your examination, review of medical  
18 records, have concluded in many of those cases that  
19 there are -- the persons have only sustained mild  
20 cervical strains; correct?

21 A. Well, I have testified several ways. I  
22 have testified people that have mild strains, moderate  
23 strains, or severe strains.

24 Q. But in all those circumstances where you  
25 have testified that the injury -- that the individual



Page 93

Page 95

1 had mild cervical strains, it's always your conclusion  
2 that they should have had no treatment beyond the  
3 four -- say four to six week period; is that correct?  
4 A. Yes, I have consistently testified through  
5 the years that mild cervical muscle strains should  
6 recover within four weeks.  
7 Q. Now, Doctor, you indicated when you  
8 examined Ms. Meeks yourself -- and you say you spent  
9 how long actually with her, in her presence?  
10 A. I spent 30 minutes with her. I can tell  
11 you exactly.  
12 I spent 33 minutes with her face-to-face.  
13 Q. That's certainly a lot less time than Dr.  
14 DeGameaux or Dr. Liebeskind and Dr. LoDico would have  
15 spent with her actually treating her; is that correct?  
16 A. Well, you would have to ask them how much  
17 time they spent with her.  
18 Q. And you didn't provide her with any advice  
19 as to treatment or modalities that you would recommend  
20 or anything of that sort; correct.  
21 A. No.  
22 Q. And you did not establish a  
23 physician/patient relationship with her; is that  
24 correct?  
25 A. Yes, that's correct.

1 difficulty.  
2 Q. Right. That was afterwards and after she  
3 had had some of the treatment; is that correct?  
4 A. She told me she couldn't do any yard work,  
5 she indicated she could do some, although it was hard  
6 for her.  
7 Q. Now, she also indicated to you that -- she  
8 said -- she reports that today is a bad day for her;  
9 is that correct?  
10 A. Yes.  
11 Q. Did you ask her why or in what respect she  
12 was having a bad day?  
13 A. Well, on medical/legal cases, sometimes  
14 people will say: Well, you know, you saw me only --  
15 you only saw me once and you saw me on a good day.  
16 So, I always ask people whether it was a good day, bad  
17 day, or average day for them and she told me that --  
18 that the day I saw her was a particularly bad day.  
19 Q. Okay.  
20 A. She did drive two hours to get here, or an  
21 hour and --  
22 Q. Would you expect that the drive may have  
23 been a cause for her to indicate she's having a bad  
24 day?  
25 A. Well, I don't know, but she --

Page 94

Page 96

1 Q. Now, when you conducted your examination of  
2 her, first she told you that she's unable to do any  
3 yard work; is that correct?  
4 A. Yes.  
5 Q. That she is able to do the cooking and  
6 shopping, so long as someone can carry the heavier  
7 bags for her; is that correct?  
8 A. Yes.  
9 Q. Okay. Now, did you ask her whether or not  
10 she was ever previously precluded from doing yard work  
11 or anything like that before, say during that period  
12 of time where you suggest that Dr. Anderson is noting  
13 all these problems with her neck?  
14 A. Yes, these are things that she indicated  
15 she could do before but could not do now.  
16 Q. And there's no indication that she was  
17 unable to do anything of these things during the time  
18 that you made reference to Dr. Anderson's notes about  
19 her problems; is there?  
20 A. Actually -- let me look here. I'm sorry,  
21 there was something I just wanted to check here in the  
22 records.  
23 Actually, she did indicate on a patient  
24 questionnaire about a year after the accident that she  
25 was able to do yard work, although with some

1 Q. Okay. Now, during your actual hands-on  
2 examination of Ms. Meeks, you indicated that she  
3 had -- range of motion of the cervical spine is  
4 reduced to about 50 percent of normal in all  
5 directions; is that correct?  
6 A. Yes.  
7 Q. And even at that time she had, you said --  
8 indicated slight tenderness in the right upper  
9 thoracic musculature; is that correct?  
10 A. Yes.  
11 Q. And those were the areas where she has  
12 consistently made complaints since the accident;  
13 correct?  
14 A. Yes.  
15 Q. Now, when you indicated she had range of  
16 motion reduced to about 50 percent of normal in all  
17 directions, that's a fairly significant reduction in  
18 range of motion; is it not?  
19 A. Well, in somebody who has a lot of  
20 arthritis and degenerative disk disease, that's -- you  
21 know, that's a pretty -- that's normal for someone who  
22 has those conditions.  
23 Q. Well, could you demonstrate a normal range  
24 of motion?  
25 A. Normal range of motion would be -- I can't



Page 97

Page 99

1 do it because I've got some arthritis in my neck --  
2 but being able to put your chin all the way over on  
3 your shoulder. About half way would be about to here,  
4 (indicating).

5 Q. And what you're doing is rotation  
6 component?

7 A. Rotation.

8 Q. Okay.

9 A. Extension would be -- again, I'm not normal  
10 because I've got some arthritis in my neck -- but  
11 being able to look almost all the way up; about 50  
12 percent would be about like this, (indicating ), and  
13 then flexion would be about here, (indicating).

14 Q. And then lateral flexion?

15 A. Again, if you can put your ear on your  
16 shoulder, that's normal. So about half way to your  
17 shoulder is about 50 percent.

18 Q. So, she had 50 percent range of motion in  
19 all directions; is that correct?

20 A. Yes.

21 Q. Okay. We talked about the difference  
22 between mild and serious cervical sprains and we  
23 looked at your testimony from a prior deposition in  
24 that regard. You also reviewed the CT cervical spine  
25 done on April 4th, 2003; is that correct?

Page 98

1 A. Yes.

2 Q. And it noted that the vertebrae were of  
3 normal height and alignment, there was no fracture in  
4 the vertebrae, but that -- that CT scan, even though  
5 the impression said no fracture or malalignment  
6 identified in the cervical psine, it did indicate  
7 there was a loss of normal cervical lordosis which be  
8 seen with neuromusculature spasm; correct?

9 A. Yes.

10 Q. So, even in April, 2003, the findings on  
11 this CT scan showed that she had a loss of the normal  
12 cervical lordosis and that it may be as a result of  
13 neuromuscular spasm in the neck; is that correct?

14 A. It can be, yes.

15 Q. Well, and that's what this radiologist  
16 said, which can be seen with neuromuscular spasm;  
17 correct?

18 A. Yes.

19 Q. And so, April, 2003, she could still have  
20 been experiencing neuromuscular spasm and a loss of  
21 cervical lordosis?

22 MR. BOETCHER: Objection.

23 A. Again, anything is possible, but if she  
24 was, I don't think it was related to anything that  
25 happened in this accident.

1 THE REPORTER: Excuse me, gentlemen,  
2 but I need to change my paper, so if we can go off the  
3 record.

4 MR. MYERS: Okay.

5 THE VIDEOGRAPHER: We're going off the  
6 record at 6:07:50.

7 (OFF THE RECORD.)

8 THE VIDEOGRAPHER: We're going back on  
9 the record at 6:11:04.

10 Go right ahead.

11 MR. MYERS: Dr. Thompson, thank you, I  
12 don't have any more questions for you at this time.

13 MR. BOETCHER: Doctor, I have a couple  
14 follow up questions.

15 -----

16 REDIRECT EXAMINATION

17 BY MR. BOETCHER:

18 Q. Mr. Myers asked you some questions about  
19 Ms. Meeks' condition and obviously of interest is her  
20 condition before the accident and after the accident.  
21 Is it your opinion that Ms. Meeks had arthritic or  
22 osteoarthritic changes to her neck and back, middle  
23 and low back, before the accident?

24 A. Yes.

25 Q. And is it your opinion that she had, based

Page 100

1 upon your review of the records, including Dr.  
2 Anderson's records, that she had prior complaints of  
3 neck tightness and headaches before the accident in  
4 May of 2001 and just a couple weeks before November of  
5 2002?

6 A. Yes.

7 Q. And do you have an opinion to a reasonable  
8 degree of medical certainty and probability as to  
9 whether there was any type of structural change in her  
10 neck or back or spine as a result of this accident?

11 A. Yes.

12 Q. And what is that opinion?

13 A. There was no evidence of any significant  
14 injury to the spine itself in this accident.

15 Q. And is it your opinion to a reasonable  
16 degree of medical certainty and probability that Ms.  
17 Meeks did not sustain any type of herniated disk as a  
18 result of this accident?

19 A. Yes.

20 Q. And why is that your opinion?

21 A. Well, she did have -- again, she did not  
22 have -- she reported to the officer that she did not  
23 have any pain at the scene. In people who have  
24 herniated disks, you have to tear the tough outer  
25 layer of the disk called the annulus fibrosis and that

Page 101

1 would produce immediate pain. The pain may  
2 subsequently get better, but you wouldn't have -- you  
3 wouldn't have no pain at all.

4 She subsequently had a CAT scan of her neck  
5 in April of '03 which did not show any evidence of a  
6 herniated disk. Dr. Voelker, a neurosurgeon, reviewed  
7 the MRI scan which supposedly showed a herniated disk  
8 and opined that there was no evidence of a disk  
9 herniation.

10 She had EMGs in September of '03 which did  
11 not reveal any evidence of a pinched nerve, and the  
12 way that herniated disks produce pain is by putting  
13 pressure on the nerve.

14 She also had a cervical myelogram in  
15 November of '03 which showed some degenerative disk  
16 disease and arthritis, but no evidence of a disk  
17 herniation. And a myelogram and a post-myelogram CAT  
18 scan is a more sensitive test than an MRI scan for  
19 detecting a herniated disk.

20 So, for this reason I do not think she had  
21 a herniated disk as a result of this accident.

22 Q. And is it your opinion that her mild  
23 cervical strain has resolved following this accident?  
24 A. Yes.  
25 Q. And is it your opinion that this cervical

Page 102

1 strain resolved within approximately four weeks after  
2 this accident?  
3 A. Yes.  
4 Q. Now, a question was asked about your  
5 opinions and the fact that you didn't see Ms. Meeks  
6 until three years after. Are you basing your opinion  
7 just upon your medical exam, or are you basing it on  
8 your medical exam plus your review of all the records  
9 that you've had a chance to look at, both before and  
10 after the accident of November 18th, 2002?  
11 A. I only saw Ms. Meeks for half an hour on  
12 one occasion, three hours after -- three years after  
13 the accident. For that reason, I am very careful to  
14 review whatever every other doctor who saw her before  
15 and after the accident found when they examined her  
16 and also reviewed the results of her -- all of her  
17 diagnostic tests that she had after the accident  
18 before coming to any opinions. So, my opinion was not  
19 just based on my, you know, one time, 30-minute  
20 encounter with her.

21 MR. BOETCHER: Doctor, that's all the  
22 questions that I have. Thank you.

23 MR. MYERS: Nothing further.

24 THE VIDEOGRAPHER: Dr. Thompson, you  
25 have the right to view this videotaped deposition

Page 103

1 right now for its accuracy. You also have the right  
2 to read the typewritten transcript after its been  
3 prepared, or you can waive those rights.

4 THE WITNESS: I waive.  
5 THE VIDEOGRAPHER: Thank you very  
6 much.  
7 (Viewing and signature waived.)  
8 -----  
9 THEREUPON, THE DEPOSITION CONCLUDED AT  
10 6:15 P.M.  
11 -----  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

Page 104

1 State of Ohio : C E R T I F I C A T E  
2 County of Muskingum :  
3 I, Kathy J. McGlaughlin, Notary Public in  
4 and for the State of Ohio, duly commissioned and  
5 qualified, do hereby certify that the within-named  
6 ROBERT J. THOMPSON, M.D., was first duly sworn to  
7 testify to the truth, the whole truth, and nothing but  
8 the truth in the cause aforesaid; that the testimony  
9 then given by him was by me reduced to stenotype in  
10 the presence of said witness; that the foregoing is a  
11 true and correct transcript of the testimony so given  
12 by him as aforesaid, transcribed from my stenographic  
13 notes; and that this deposition was taken at the time  
14 and place in the foregoing caption specified, and was  
15 completed without adjournment.  
16  
17 I do further certify that I am not, nor is  
18 the court reporting firm with which I am affiliated,  
19 under a contract as defined in Civil Rule 28(D); that  
20 I am not a relative, employee, or attorney of any of  
21 the parties hereto and further that I am not a  
22 relative or employee of any attorney or counsel  
23 employed by the parties hereto, or financially  
24 interested in this action.  
25  
IN WITNESS WHEREOF, I have hereunto set my  
hand and affixed my seal of office at Zanesville,  
Ohio, on this 30th day of November, 2006.

KATHY J. MCGLAUGHLIN,  
NOTARY PUBLIC, STATE OF OHIO

My Commission Expires September 18, 2007.