

1 IN THE

2 CUYAHOGA COUNTY, OHIO

3 TRAVIS CATES, et al.,

Doc. 436

4 Plaintiffs,

5 -vs-

JUDGE BURNSIDE
CASE NO. 167835

6 CLEVELAND METROPOLITAN
7 GENERAL HOSPITAL, et al.,

8 Defendants.

9 - - - -

10 Deposition of J. WALTON TOMFORD, M.D. taken as
11 if upon cross-examination before Sandra L.
12 Mazzola, a Registered Professional Reporter and
13 Notary Public within and for the State of Ohio,
14 at Cleveland Clinic Foundation, 9500 Euclid
15 Avenue, Cleveland, Ohio, at 5:00 p.m. on
16 Tuesday, September 17, 1991, pursuant to notice
17 and/or stipulations of counsel, on behalf of the
18 Plaintiffs in this cause.

19 - - - -

20 MEHLER & HAGESTROM
21 Court Reporters
22 1750 Midland Building
23 Cleveland, Ohio 44115
24 216.621.4984
25 FAX 621.0050
 800.822.0650

APPEARANCES:

Christopher F. Mellino, Esq.
Charles Kampinski Co. L.P.A.
1530 Standard Building
Cleveland, Ohio 44113
(216) 781-4110,

On behalf of the Plaintiffs;

Thomas H. Allison, Esq.
Donna M. Congeni, Esq.
Arter & Hadden
1100 Huntington Building
Cleveland, Ohio 44115
(216) 696-1100,

On behalf of Defendant
Cleveland Metropolitan General
Hospital;

Robert C. Seibel, Esq.
Jacobson, Maynard, Tuschman & Kalur
1001 Lakeside Avenue
Suite 1600
Cleveland, Ohio 44114-1192
(216) 736-8600,

On behalf of Defendant Dr. Matejczyk.

1 J. WALTON TOMFORD, M.D., of lawful age,
2 called by the Plaintiffs for the purpose of
3 cross-examination, as provided by the Rules of
4 Civil Procedure, being by me first duly sworn,
5 as hereinafter certified, deposed and said as
6 follows:

7 CROSS-EXAMINATION OF J. WALTON TOMFORD, M.D.

8 BY MR. MELLINO:

9 Q. Will you state your full name, please?

10 A. John Walton Tomford.

11 Q. And where do you live, Dr. Tomford?

12 A. Cleveland Heights.

13 Q. What's your address?

14 A. 3145 Fairmount.

15 Q. And apparently you were employed by Metropolitan
16 General Hospital in November of '87, is that
17 true?

18 A. That's correct.

19 Q. And now you're employed at the Cleveland Clinic?

20 A. That's correct.

21 Q. How long have you been employed at the Clinic?

22 A. Since February of 1989.

23 Q. And did you go from Metro to the Clinic?

24 A. Yes, I did.

25 Q. Okay. Why did you leave Metro?

- 2 A. It's a long story. I left Metro because I felt
2 the job at the Clinic was a better position for
3 me in terms of a small salary increase, better
4 patient opportunities and better support in
5 terms of my colleagues in terms of time off.
- 6 Q. Okay.
- 7 A. Is that fair game?
- 8 Q. What was your involvement with Mr. Cates in
9 November of 1987?
- 10 A. I was the attending physician on the infectious
11% disease service for the month of November and
12% into December, usually a four-week block,
13 assigned to be the attending physician for the
14 hospital on that particular service.
- 15 Q. What was the four-week block? When did it start
16 and when did it end?
- 17 A. I don't exactly remember the dates, but I was
18 involved in his case throughout that time period
19 of his hospitalization.
- 20 Q. All right. So your block would have started
21 before he was admitted?
- 22 A. Correct.
- 23 Q. And you were still on the service when he was
24 discharged?
- 25 A. That's correct.

1 Q. How many attendings were on the staff at Metro
2 in '87?

3 MR. ALLISON: In infectious
4 disease, you mean?

5 MR. MELLINO: Yes.

6 A. We had three and a half to four full-time
7 equivalents.

8 Q. Pardon?

9 A. Three and a half to four full-time equivalents,
10 FTEs.

11 Q. What does that mean?

12 A. Well, a full-time equivalent means a body that
13 is full-time based in that particular job. In
14 other words, if a person does half
15 administration and half consulting work, they're
16 considered a half FTE.

17 Q. How many doctors, infectious disease doctors,
18 would take one of these blocks during the
19 year --

20 A. In a relatively small hospital like Metro with
21 less than a thousand beds you generally would
22 need one infectious disease doctor per month
23 block.

24 Q. Okay. And how many blocks did you take that
25 year?

1 A. Probably three or four. We would usually split
2 it up equally.

3 Q. Well, who would you split it up among? Who were
4 the doctors?

5 A. The other staff doctors?

6 Q. Yes.

7 A. Dr. Wolinsky, Dr. Frengley would do several
8 months, Dr. Marino would do several months and
9 Dr. Spagnoulo would do the rest.

10 Q. Do you have any recollection of Travis Cates?

11 A. I certainly do. I have known the patient well
12 since early 1980 when I consulted on him on an
13 outpatient basis, and I generally remember my
14 patients very well.

15 Q. Okay. What do you mean when you say you
16 consulted with him on an outpatient basis? What
17 were the circumstances that you came to see him?

18 A. Well, I was asked to see him for a skin
19 infection in his arm.

20 Q. By who?

21 A. Stan Ballou.

22 Q. And how many times did you see him?

23 MR. ALLISON: If you recall,
24 Doctor, exactly how many times you saw
25 him.

1 A. Two or three times, but --

2 Q. This was for the skin infection?

3 A. For the skin infections.

4 Q. And this was in early '80?

5 A. Early 1980s I saw him, and then I saw him again
6 in, I believe the mid 1980s.

7 Q. Okay. Well, what were the circumstances in --

8 A. And again in '87.

9 Q. What were the circumstances that you saw him in
10 the mid '80s?

11 A. Again, cutaneous infections.

12 Q. And who asked you to see him?

13 A. I believe it was Dr. Ballou again.

14 Q. And when did you see him in '87?

15 A. I saw him in '87 when I was the visitant in
16 November, and Dr. Bender was my fellow. And we
17 were asked to see him by Dr. Matejczyk.

18 Q. Okay. How many times did you personally see him
19 in that hospitalization?

20 A. I saw him at least once. I know I saw him once
21 if not several other times.

22 Q. Okay. And this is based on your recollection or
23 your --

24 MR. ALLISON: Do you need to get
25 that, Doctor? You can make a call if you

1 need to.

[illegible]

3 (Thereupon, a discussion was had off
4 the record.)

5

6 Q. You said you saw him once --

7 MR. ALLISON: At least once, I
8 believe was his testimony.

9 A. Right, at least once.

10 Q. I wasn't done with my question, but is that
11 based on your memory or from your review of the
12 chart?

13 A. It's based from both.

14 Q. Okay. Where is it documented in the chart that
15 you saw him?

16 A. There is no signature of mine on the chart.

17 Q. Okay.

18 A. But Dr. Bender did say, Will discuss cultures
19 with Dr. Tomford, and I well remember seeing him
20 during the initial part of his hospitalization
21 when we were asked to see him by Dr. Matejczyk.

22 Q. Okay. Well, what do you remember from seeing
23 him?

24 A. Well, I was called.

25 MR. ALLISON: Independent of the

1 medical records? Is that what you're
2 asking, Chris, what he specifically recalls
3 independent of the medical records?

4 MR. MELLINO: Well, he just said
5 there's nothing in the medical records.

6 MR. ALLISON: No, that is not
7 exactly what he said. He said that he
8 recalls Mr. Cates --

9 Q. Well, Doctor, why don't --

10 MR. ALLISON: Based on his memory
11 and the chart and there was no signature of
12 his in the chart. So what's your question.

13 MR. MELLINO: Read the question
14 back.

15 - - - -

16 (Thereupon, the requested portion of
17 the record was read by the Notary.)

18 - - - -

19 MR. ALLISON: So independent of the
20 chart, is that correct?

21 MR. MELLINO: Yeah.

22 A. We were called to see a man who was admitted to
23 the orthopedic service that Dr. Matejczyk wanted
24 to see us with regard to antibiotic management
25 of what she felt was an infection, and it is

1 customary in the I.D. service at that hospital,
2 and in many other institutions that the fellow,
3 Dr. Bender, saw the patient before me, presented
4 the findings in the infectious disease consult
5 quarter or room across from the microbiology
6 lab. And then we would go up, as we did in this
7 case, in the afternoon and see the patient
8 together, which we did.

9 Q. Why would it be customary for her to see him
10 first?

11 A. Because that's the way a training hospital
12 works, a teaching hospital works.

13 Q. Well, I'm not sure that answered the question.
14 Why is that?

15 MR. ALLISON: Do you have a better
16 answer than that, Doctor? Or if you know
17 exactly why that is, that's fine, you can
18 try and answer his question.

19 A. Oh, yeah. The general philosophy is that the
20 fellow, particularly a person as seasoned and as
21 excellent as Dr. Bender is and was, sees the
22 patient before the attending.

23 Q. What was Dr. Bender's level of training in
24 November of '87?

25 A. She had completed her internal medicine training

1 after three years and was a fellow in infectious
2 disease. I can't remember whether she was --
3 this was her first year of fellowship or second
4 year, but she was not brand new to the
5 infectious disease consultation service. She
6 had had at least five months at that point.

7 Q. Do you still have contact with Dr. Bender?

8 A. Surely. In Northeast Ohio, the infectious
9 disease doctors are assembled twice a month to
10 try to stump one another with difficult cases,
11 and it's a good continuing medical education
12 forum.

13 Q. All right. Do you have any contact with her
14 outside of these meetings?

15 A. Once in a while we have shared patients simply
16 because she has seen them at another hospital
17 and the patient is then referred to the
18 Cleveland Clinic.

19 Q. Anything else?

20 A. Well, if there's a lecture in town by a world
21 class authority in infectious disease, I might
22 see her there, too.

23 Q. Isn't it customary for you to write a note in
24 the chart when you see a patient?

25 MR. ALLISON: You mean at Metro in

1 November and December of 1987?

2 MR. MELLINO: Not necessarily, no.

3 MR. ALLISON: Okay. Well, that's
4 what I wanted to know. What is your time
5 frame?

6 MR. MELLINO: It was open-ended. I
7 think you picked that up from the
8 question.

9 MR. ALLISON: Okay. I was just
10 trying to be a little more specific.

11 MR. MELLINO: Why?

12 MR. ALLISON: Why not?

E3 MR. MELLINO: Well, because I'm
14 asking questions and I ask them how I
35 please. That's why not.

16 MR. ALLISON: I understand.

17 Q. Can you answer the question, Doctor?

18 A. Maybe can you repeat it, please?

19 Q. Sure. Isn't it customary for you to write a
20 note in the chart when you see a patient?

21 MR. ALLISON: Objection. You may
22 answer, Doctor, as to the entire
23 constellation of what customs may exist in
24 different institutions and et cetera as you
25 can do to that open-ended question.

1 A. At Metropolitan Hospital, in a teaching hospital
2 of that sort, it is customary on our service for
3 the infectious disease -- for the fellow to
4 write the notes. We were encouraged, but not
5 absolutely demanded, to sign it. And far more
6 importantly would it be that we saw the patient
7 and changed the fellow's recommendations if we
8 felt that was appropriate, or if we felt that
9 the fellow was doing the right thing, which I
10 did in this case, we would simply let them write
11 the note and continue to follow along, which we
12 did.

13 Q. Okay. And if you don't write a note in the
14 chart, there's no documentation that you
15 actually saw the patient?

16 MR. ALLISON: Objection. You may
17 answer, Doctor.

18 Q. Would that be a true statement?

19 A. Well --

20 MR. SEIBEL: What did the note
21 say?

22 MR. MELLINO: We know what the note
23 said in this case.

24 MR. SEIBEL: We do?

25 MR. MELLINO: Yeah. Doesn't say

1 anything about him seeing the patient.

2 A. In the record there, there is no signature of
3 mine on the hospital chart.

4 Q. And the note doesn't say that you saw the
5 patient?

6 MR. ALLISON: Which note is that,
a Chris?

8 MR. MELLINO: Well, the only note
9 that he's mentioned in.

10 MR. ALLISON: Do you want to read
12 it to him? Do you want to let him look at
12 it?

13 Here, Doctor, you're free to refer to
14 the **records** at any time.

15 MR. MELLINO: He already said what
76 the note said.

17 A. There are multiple notes from Dr. Bender during
18 Mr. Cates' hospitalization.

29 Q. Well, do any of them say that you saw the
20 patient?

23 A. No.

22 MR. ALLISON: If you recall,
23 Doctor.

24 A. If I recall, none of them -- one of them says,
25 Will discuss culture results with Dr. Tomford.

- 1 Q. One of them says that?
- 2 A Correct.
- 3 Q. Right. Okay. And you **a**ready said that.
- 4 A. And she did discuss it with me and I did examine
- 5 the patient.
- 6 Q. And you're basing that on your recollection?
- 7 A. That's correct.
- 8 Q. Okay.
- 9 A. And **every patient** on the I.D. service that was
- 10 presented by the fellow was seen by the
- 11 attending.
- 12 Q. Did you talk to Dr. Matejczyk on December 30?
- 13 A. I do not recall discussing the case with her at
- 14 that time.
- 15 Q. Okay.
- 16 A. I realize that there was a phone call made to
- 17 infectious disease. Whether that was me or not,
- 18 I cannot remember.
- 19 Q. Okay.
- 20 A. It would be unfair for me to say otherwise.
- 21 Q. All right. So you have no recollection of
- 22 talking to her?
- 23 A. Correct.
- 24 Q. Would it be customary for her to call you or to
- 25 call the fellow?

1 MR. ALLISON: Objection. If you
2 know what Dr. Matejczyk's custom was.

3 Q. Well, I didn't ask you what Dr. Matejczyk's
4 custom was. I just asked you if it was
5 customary.

6 A. It's general custom as in the case of the
7 consultation was to go up through the pecking
8 order, and the pecking order would start with
9 the fellow.

10 Q. Okay.

11 A. If the fellow could not be reached or there was
12 question or concern, the attending would be
13 consulted.

14 Q. All right. But you have no recollection in this
15 case of discussing it with her?

16 A. Right.

17 MR. ALLISON: Objection. Asked and
18 answered three times.

19 Q. And would you agree with the fellows that it
20 would be inappropriate for the infectious
21 disease doctor, whoever it was, to give the
22 advice -- have you seen that December 30th note,
23 by the way?

24 A. I have.

25 Q. Okay. -- that it would be inappropriate to give

1 the advice that's contained in that note without
2 actually seeing the wound?

3 MR. ALLISON: Objection. I believe
4 that that is a mischaracterization of both
5 of the fellows' testimony.

6 MR. MELLINO: Well, that's fine.

7 MR. ALLISON: If you want to pose a
8 question --

9 MR. MELLINO: Don't point your
10 glasses at me. I posed a question to him.

11 MR. ALLISON: -- to Dr. Tomford,
12 that's fine.

13 MR. MELLINO: I posed it to him.
14 You can make an objection.

15 MR. ALLISON: Don't mischaracterize
16 prior testimony in the form of a question.
17 If you want to make a hypothetical, that's
18 fine.

19 MR. MELLINO: Don't lecture me.

20 MR. ALLISON: I'm not lecturing
21 you.

22 Q. Answer the question, Doctor.

23 MR. ALLISON: Don't answer the
24 question, Doctor, until Mr. Mellino
25 rephrases it.

1 It's a mischaracterization of their
2 testimony, Chris.

3 MR. MELLINO: Well, I don't think
4 it is. And it's written up, so what's the
5 big deal?

6 MR. ALLISON: Well --

7 Q. Doctor, if you had been the one that had been
8 called, would you have given the advice that was
9 contained in that note?

10 MR. ALLISON: Objection. You may
11 answer, Doctor.

12 THE WITNESS: What did you say?

13 MR. ALLISON: Objection. You may
14 answer.

15 A. Well, do I have to answer?

16 MR. ALLISON: Yes. Go ahead and
17 answer his question.

18 THE WITNESS: In other words, you
19 filed an objection, but I have to answer?

20 MR. ALLISON: Right. That's just
21 for the record.

22 THE WITNESS: For the record?

23 MR. ALLISON: Uh-huh.

24 A. Would you repeat it, please?

25 Q. Sure. If you had been the doctor that was

I called on the 30th by Dr. Matejczyk, would you
2 have given the advice that's contained in her
3 note?

4 MR. ALLISON: Objection. You may
5 answer.

6 A. The note states, as I recall --

7 Q. Yes, why don't you get the note out.

8 A. Yeah, can we get that out here?

9 MR. SEIBEL: Here, Doctor.

10 MR. ALLISON: Mr. Seibel has a
11 ready copy today.

12 A. The note states 12-30-87. No treatment,
13 presumably antibiotics, per I.D., if wound fine.

14 MR. ALLISON: Read the whole thing,
15 Doctor.

16 A. Path report from the surgical specimen from the
17 22nd of December states, Rheumatoid nodule,
18 wound checked, excellent, 12-30. Would I have
19 given this advice?

20 Q. The advice not to give antibiotics if wound
21 fine. Would you have given that --

22 A. I agree with that.

23 Q. You would have given that advice. Would you
24 yourself have wanted to check the wound or would
25 you rely on Dr. Matejczyk to check the wound?

1 A. This was a phone consultation that she placed
2 and the advice that was given to her, I would
3 agree with. If the wound was fine, no
4 antibiotics would have been indicated. And she
5 wrote that the wound check was excellent, 12-30,
6 as a good surgeon should, following up, and
7 stated it was excellent in which case no therapy
8 is indicated.

9 Q. Okay. And that would be true even if a culture
10 of the wound from December 22 was positive?

11 A. My interpretation of that culture is that it was
12 a superficial colonization of a rheumatoid
13 nodule on the surface of the skin. Mr. Cates
14 was a methicillin resistant staph aureus
15 carrier, so if you had cultured his pinky, it
16 would have shown methicillin resistant staph
17 aureus.

18 Q. Would it have shown a colony of staph?

19 A. Sure. It would have shown growth. A colony,
20 one colony, two colonies. It's all the same.

21 Q. Do you know what it is specifically that you're
22 going to be asked to testify about in the trial
23 of this case?

24 MR. ALLISON: No, he doesn't.

25 Hasn't been discussed. Go ahead and

1 answer.

2 A. No. I don't know.

3 Q. You can probably answer these questions without
4 Mr. Allison's suggesting them to you, couldn't
5 you?

6 A. I'll do the best I can.

7 Q. Okay. Go ahead.

8 A But I don't know what I'm going to be asked.

9 Q. Okay. You haven't discussed that with Mr.
10 Allison or anybody on behalf of the hospital?

11 A. I have a general idea of my opinions about this
12 case after reviewing the medical records.

13 Q. Well, I asked you if you had had any discussions
14 with Mr. Allison or anybody on behalf of the
15 hospital regarding what your testimony was going
16 to be.

17 MR. ALLISON: Objection. You may
18 answer, Doctor.

19 A. I've had general discussions with Mr. Allison
20 about --

21 MR. ALLISON: Just answer his
22 question yes or no to the extent that you
23 can. Because you realize that Dr. Tomford
24 was an employee of Cleveland Metropolitan
25 General Hospital at the time of the

1 incidents giving rise to this lawsuit and
2 the substance of our conversations is
3 privileged. Now if you want to go ahead.

4 MR. MELLINO: No. Let me just stop
5 you right there because I don't want to
6 invade your privilege. I just want to
7 shortcut this thing and find out what it is
8 that he's going to say if he knows. And if
9 he doesn't, that's fine and we can continue
10 through this process and I'll just ask him
11 some general questions about the case.

12 MR. ALLISON: Chris, any
13 substantive conversations we had, including
14 what his anticipated trial testimony might
15 be, as you well know, is privileged. To be
16 perfectly blunt and honest with you, I
17 haven't decided nor has anyone else from
18 our office what Dr. Tomford may be asked to
19 testify at trial. So that's the answer to
20 your question.

21 MR. MELLINO: Okay. Fine.

22 Q. I take it you have an opinion as to whether or
23 not this was a deep knee infection or a
24 superficial wound infection, is that true?

25 A. Yeah, I have a opinion, certainly.

1 Q. And what is it?

2 A. My opinion is this was a wound infection of the
3 skin but it did not involve the joint nor the
4 prosthesis.

5 Q. And what do you base that opinion on?

6 A. My review of the records.

7 Q. Okay. And what in the records leads you to that
8 conclusion?

9 A. Several findings. First of all, that the
10 aspirate of the knee taken early in the
EX hospitalization shows a joint fluid which did
12 not grow staph aureus, that the findings in that
E3 joint fluid are compatible with a joint fluid of
I4 a person with rheumatoid arthritis, not of a
15 person with a septic prosthetic knee.

16 Q. Is that the only finding your opinion is based
17 on?

18 MR. ALLISON: Objection. You said
19 based on the records.

20 Q. Well, I don't want you to go through the record
21 and tell me what findings your opinion is based
22 on.

23 MR. ALLISON: Doctor, if you need
24 to look at the record to refresh your
25 recollection as to each test and et cetera

1 and the results of those tests that were
2 done, then please feel free to do that
3 because he's asking for every single item
4 you considered.

5 A. Okay. Sure. The glucose in the joint fluid was
6 low. That is in keeping with rheumatoid
7 arthritis. The cell count was low which is in
8 keeping with rheumatoid arthritis and certainly
9 not compatible with an infected joint. Most
10 importantly, however, is the fact that the joint
11 aspirate did not grow any organisms and was
12 sterile. And that's the bona fide proof of an
13 infection in the joint.

14 MR. ALLISON: If you could please,
15 Doctor, just review the medical records as
16 to any other tests that may have been done
17 on November 13 that would also support your
18 opinion.

19 Q. Well, I didn't ask you that question, Doctor.

20 So --

21 MR. ALLISON: Actually, that is
22 what you asked him, I think, Chris.

23 MR. MELLINO: No, that is not --

24 MR. ALLISON: I think you wanted
25 every single point that he considered in

1 determining that, and I don't want you to
2 come up later and say, Well, why didn't you
3 mention this to me.

4 MR. MELLINO: Well, that's fine
5 then. Go ahead.

6 MR. ALLISON: I mean if that's what
7 you want, he can sure do that and look for
8 every single test that was run on that
9 fluid and on that stuff on November the
10 13th.

11 MR. MELLINO: Well, I didn't ask
12 him to do that but, you know, if you want
13 him to do that, then --

14 Q. Are you satisfied that you've given me the
15 foundation of your opinion?

16 A. My opinion concerning what, sir?

17 Q. The fact that this was a superficial wound
18 infection.

19 A. Well, the physical examination of the wound at
20 the bedside is just -- is as important as is the
21 laboratory data which I mentioned to you. And
22 the wound was infected.

23 Q. Well, could his wound have been infected and he
24 also have a joint infection?

25 MR. ALLISON: Objection. You may

1 answer.

2 A. Could this patient have had a joint infection
3 --?

4 MR. SEIBEL: I missed the question,
5 Chris. I'm sorry.

6 Q. The question is could this patient have had both
7 an infected knee and a wound over the knee
8 infected?

9 MR. ALLISON: Objection. You may
10 answer.

11 A. He could have both, of course.

12 Q. But I'm saying --

13 MR. ALLISON: Are you talking about
14 at that time in the November
15 hospitalization he could have had both
16 based upon the things that Dr. Tomford
17 testified, gave you the opinion he didn't
18 have an infection over the joint?

19 MR. MELLINO: I asked the question
20 and he answered it. I don't think there's
21 any reason to go back and clarify it.

22 A. I would like to clarify it though.

23 Q. Okay.

24 A. Prior to the joint aspiration, based on the
25 examination --

1 Q. No. I understand that you don't think that he
2 did have both.

3 A. Correct.

4 Q. But it is possible for both to occur in the same
5 person?

6 MR. SEIBEL: That's a different
7 question.

8 MR. ALLISON: That's a different
9 question, Chris.

10 A. That's an entirely different question.

11 Q. Yes, I understand that.

12 A. He could have infection one place, the other or
13 both. I mean that's just because infection can
14 be anywhere.

15 Q. Okay. All right. So the fact that you saw an
16 infection in his wound doesn't rule out a knee
17 infection?

18 MR. ALLISON: Objection. You may
19 answer.

20 A. But it is ruled out by the fact that the
21 aspirate was no growth.

22 Q. Right.

23 A. And the other laboratory corroboration that I
24 mentioned.

25 Q. Is it possible that the knee aspirate missed

1 areas of sepsis within the knee?

2 MR. ALLISON: Objection. You may
3 answer.

4 A. In my opinion, no.

5 Q. Why not?

6 A. Because the joint fluid is continuous in a
7 moving joint. It is homogeneous fluid. It is
8 not loculated.

9 Q. Do you have any opinions on the care rendered by
10 Dr. Matejczyk?

11 A. I think her care was first rate in this case.

12 Q. Why is that?

13 A. Because I interpret this case in November as a
14 wound infection that was superficial and it was
15 handled appropriately with the appropriate
16 length of antibiotics and local wound care.

17 Q. How about after he was discharged, do you have
18 any opinions on her care?

19 A. I think the care was excellent there as well.

20 Q. Did you see Mr. Cates after he was discharged in
21 November?

22 MR. ALLISON: At any time?

23 Q. I mean December --.

24 A. I saw him in January.

25 Q. Okay. When he was back in the hospital?

1 A. Correct.

2 Q. Why did you see him then? Were you the
3 attending again?

4 A. I was not the attending but the residents wished
5 my opinion as well.

6 Q. What residents?

7 A. The residents taking care of him on the medical
8 service as well as the fellows at that time.

9 Q. And why did --

10 A. As I recall also, it was a teaching forum at
11 that time where every morning or three times a
12 week the residents present interesting cases and
13 so forth, and he was presented and we went to
14 the bedside to see and discuss the case.

15 Q. This was presented at some kind of forum as an
16 interesting case, is that what you said?

17 A. Well, most cases that are presented at a
18 teaching report by the residents to selected
a9 attending of various subspecialties are
20 interesting or have some teaching value or
21 physical findings or many other things. I mean
22 that's how I learn. That's how any physician
23 learns.

24 Q. Well, what was it that was interesting about
25 this case?

1 A. Well, he had meningitis. There's no denying
2 that. And the residents wanted my opinion about
3 how to treat meningitis.

4 Q. How did he get the meningitis?

5 A. My theory on how he got meningitis is that this
6 gentleman has been a methicillin resistant staph
7 aureus carrier for years. He has multiple skin
8 nodules all over the place. Carbuncles,
9 furuncles, rheumatoid nodules, whatever you want
10 to call them. He is a very poor immunologic
11 host and has been for ten years.

12 He has underlying heart disease of a
13 valvular type, mitral valve disease and IHSS. I
14 think he seeded his heart valve from his skin,
15 which can happen in anybody like this, and that
16 it therefore spread into his bloodstream and
17 into his meninges.

18 Q. So this could have happened at any time to him?

19 A. Absolutely.

20 Q. It was just coincidence that it happened after
21 this November hospitalization?

22 A. I agree with that.

23 Q. What did his knee look like in December after he
24 was discharged from the hospital? Did you see
25 it at all in December?

1 A. I did not see the patient after discharge in
2 December.

3 Q. All right. So you don't know what it looked
4 like?

5 A. Correct.

6 Q. Could his knee have seeded the meningitis?

7 A. I don't think so.

8 Q. You don't think it could have?

9 A. I don't think it could have, no.

10 Q. Okay. Just not possible?

11 A. It's not possible.

12 Q. Why not?

13 A. Because the knee joint wasn't infected for the
14 reasons that I alluded to above.

15 Q. Well, if you have staph over the knee joint
16 isn't it possible for it to become infected?

17 MR. ALLISON: For what to become
18 infected? I'm sorry.

19 Q. The joint.

20 A. Staph usually attacks a joint hematogenously
21 through the bloodstream rather than a direct
22 invasion. That is the much more common path of
23 genesis.

24 Q. All right. But is it possible, if there's staph
25 on a wound over the knee joint, for that joint

1 to become infected?

2 A. It's possible.

3 Q. Okay. And he had staph in that wound over the
4 knee joint from November 13 to at least
5 December 22?

6 MR. ALLISON: Objection.

7 A. The culture from December the 1st of the ulcer
8 was no growth.

9 Q. The culture from December 22 --

10 A. Showed staph aureus. It's right here. But that
11 was a superficial ulcer and his skin was
12 colonized with staph aureus.

13 Q. But there was staph present over the knee joint?

14 A. In the skin.

15 Q. Yes. And I think you said before it's possible
16 for that staph to infect the knee joint?

17 MR. ALLISON: Objection.

18 A. The staph can -- I told you, but it's more
19 likely that it seeds a joint hematogenously.

20 Q. Are you going out of town this week?

21 A. Yeah. My father has colon cancer and needs
22 surgery Thursday.

23 Q. I'm sorry to hear that.

24 A. Yes. That's the way it goes.

25 Q. Are you planning on returning to testify at

1 trial?

2 A. I'll be back on Sunday night.

3 Q. Do you have any other opinions about this case
4 that we haven't talked about this afternoon?

5 MR. ALLISON: If you have any other
6 opinions that you recall at this time
7 without being asked a specific question.

8 A. I think it's important to note that the path
9 report from the 12-22 debridement and cleaning
10 up of the wound showed rheumatoid nodule with
11 chronic inflammation rather than acute
12 inflammation, again confirming my opinion that
13 this was a superficial culture of the skin above
14 the rheumatoid nodule, and to me connotes that
15 there was no evidence of deep infection at that
16 time.

17 Q. Is there anything else?

18 A. Specific to what, may I ask?

19 Q. Well, any other opinions you have or facts you
20 think you might be testifying about at trial
21 that we haven't talked about.

22 A. Well, you asked the other reason why I don't
23 think the joint was infected upon the initial
24 evaluation, was that the x-rays of the joint did
25 not suggest loosening or infection

1 radiographically.

2 Q. Which x-rays are these?

3 A. In mid to late November.

4 Q. Okay. If the knee -- well, is there anything
5 else?

6 A. I don't think so.

7 Q. Okay. If the knee is red and swollen and warm,
8 is that evidence of draining infection?

9 A. It can simply be a wound infection or
10 cellulitis, which is wound and skin infection.

11 Q. Okay. So it could be a wound infection. Did he
12 have a wound infection or was his knee, right
13 knee, infected on January 3 when he was admitted
14 to the hospital?

15 A. I have not entirely reviewed those records. I
16 would be happy to do that.

17 Q. What records did you review?

18 A. I've reviewed the records from the December and
19 November hospitalization.

20 Q. That's it?

21 A. By my recollection, there was pus in the right
22 knee during the January '88 hospitalization.

23 MR. SEIBEL: In both knees?

24 A. Both knees, which supports my hypothesis that it
25 was hematogenously seeded from his heart valve.

1 Q. Because there was pus in both knees?

2 A. Correct.

3 Q. All right. Does pus in the knee mean the
4 joint's infected?

5 A. Pus staph aureus isolated from a knee aspirate
6 means the joint's infected.

7 MR. MELLINO: Read that answer back
8 again.

9 - - - -

10 (Thereupon, the requested portion of
11 the record was read by the Notary.)

12 - - - -

13 Q. I guess I got confused because I asked you pus
14 and you said pus staph aureus. Is there a
15 difference?

16 A. Well, pus simply means inflammatory cells that
17 almost invariably contain microorganisms. They
18 don't have to, but it usually means that. And
19 it did grow at that time.

20 Q. And what's pus staph aureus mean?

21 A. It means pus that is culturing or showing staph
22 aureus on the gram stain as opposed to E. coli,
23 Klebsiella pneumoniae. That's another
24 pathogen.

25 Q. Is it likely that a wound on a knee would look

1 excellent and then three days later would be
2 infected?

3 MR. ALLISON: Objection.

4 A. Can you tell me the context in which you're
5 talking about?

6 Q. Well, in Mr. Cates, I'm talking about.

7 A. At what time, during the hospitalization or --

8 Q. No. I'm talking about that his knee would look
9 excellent on 12-30-87 and could be infected on
10 January 3.

11 MR. ALLISON: I think the problem
12 I'm having, maybe the doctor is too, Chris,
13 is you're not differentiating the
14 superficial wound over the knee from the
15 knee joint. I mean that's two obviously
16 separate things. And you've stated the
17 question differently when you've asked it
18 both times, and I don't know what you are
19 looking for.

20 Q. Okay. Well, I'll see if I can ask it better
21 this time. Is it likely that the knee wound,
22 Mr. Cates' knee wound or his knee in general,
23 would look excellent on 12-30-87 and then for
24 him to have an infected knee joint on January 3?

25 MR. SEIBEL: Objection.

1 MR. ALLISON: Objection. You may
2 answer if you can, Doctor.

3 A. My hypothesis about what happened is that he
4 seeded his heart valve from the skin from
5 anyplace, a boil, a zit. It then seeded the two
6 joints, right and left, hematogenously through
7 the bloodstream as well as his brain, meaning
8 the meningitis.

9 Q. How long would it take for this to happen?

10 A. It can occur extremely rapidly, 24, 48 hours.
11 I've seen it happen.

12 Q. Can it take longer than that to happen?

13 A. Not commonly.

14 Q. You're saying it most commonly occurs in 24, 48
15 hours?

16 A. Usually very rapidly.

17 Q. Okay. And this is according to your hypothesis?

18 A. Correct. I mean based on clinical experience
19 and knowledge about the behavior of this
20 organism and those diseases.

21 Q. What if hypothetically this was all seeded
22 through the artificial knee joint that was
23 infected?

24 MR. SEIBEL: I'm sorry. I missed
25 that. Were you done?

1 MR. MELLINO: No, I wasn't done.

2 MR. SEIBEL: I'm sorry.

3 Q. Is it likely that the appearance of the knee
4 would be excellent on 12-30 and that he would
5 have the presentation that he did on January 3?

6 MR. ALLISON: Objection. You may
7 answer that.

8 A. My hypothesis is that the two events are -- that
9 the two findings are separate.

10 Q. Yeah, I understand that was your hypothesis. I
11 asked to you accept my hypothesis, and that is
12 that the knee joint was infected and that's what
13 seeded the heart valve and caused the
14 meningitis.

15 A. I don't accept that hypothesis.

16 Q. Well, I'm asking you to accept that for purposes
17 of answering the question that I'm going to ask
18 you, and that question is given those facts, is
19 it likely that the knee would have looked
20 excellent on December 30 and that he would have
21 had the presentation that he did on January 3?

22 MR. ALLISON: Objection. You may
23 answer that.

24 A. It is unlikely.

25 Q. Did you answer?

1 A. Yeah. If the knee is primarily infected, it's
2 going to hurt like hell for a day or a period of
3 time followed by the scenario of seeding the
4 heart valve. But that's not what occurred
5 according to my review of the records and
4 opinion on the case.

7 Q. It's based on this 12-30-89 note?

8 A. Correct.

9 MR. SEIBEL: '87.

10 A. 12-87.

11 Q. '87. I'm sorry. Well, I guess if you don't
12 have anything else to tell me, I don't have
13 anything else to ask.

14 MR. ALLISON: Mr. Seibel?

15 MR. SEIBEL: No questions.

16 MR. ALLISON: Doctor, you have the
17 right to read this transcript for its
18 accuracy. Although we know our court
19 reporter has taken down everything
20 accurately, I would suggest that you do
21 read this thing for its accuracy and sign
22 it because of the medical terminology and
23 that type of thing.

24 Are we going to have waiver of filing
25 requirements on this transcript? Yes or

1 no?

2 MR. MELLINO: Yeah.

3 MR. ALLISON: Would you please
4 inform the court reporter you don't waive
5 signature?

6 A. I don't waive signature.

7 MR. MELLINO: I'm not going to
8 waive the filing requirements.

9 MR. ALLISON: Are you going to
10 order this?

11 - - - -

12 (Thereupon, a discussion was had off
13 the record.)

14 - - - -

15

16

17

J. WALTON TOMFORD, M.D.

18

19

20

21

22

23

24

25

C E R T I F I C A T E

The State of Ohio,) SS:
County of Cuyahoga.)

I, Sandra L. Mazzola, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named J. WALTON TOMFORD, M.D., was by me, before the giving of his deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this ____ day of _____, A.D. 19 ____.

Sandra L. Mazzola, Notary Public, State of Ohio
1750 Midland Building, Cleveland, Ohio 44115
My commission expires January 6, 1992

W I T N E S S I N D E XPAGE

CROSS-EXAMINATION 3
J. WALTON TOMFORD, M.D.
BY MR. MELLINO

O B J E C T I O N I N D E XOBJECTION BY PAGE NUMBER

MR. ALLISON:	12
MR. ALLISON:	13
MR. ALLISON:	16
MR. ALLISON:	16
MR. ALLISON:	17
MR. ALLISON:	18
MR. ALLISON:	18
MR. ALLISON:	19
MR. ALLISON:	21
MR. ALLISON:	23
MR. ALLISON:	25
MR. ALLISON:	26
MR. ALLISON:	27
MR. ALLISON:	28
MR. ALLISON:	32
MR. ALLISON:	32
MR. ALLISON:	36
MR. SEIBEL:	36
MR. ALLISON:	37
MR. ALLISON:	38
MR. ALLISON:	38

LAWYER'S NOTES

[illegible]

J. Walton Tomford, M.D.
Curriculum Vitae

CURRENT POSITION

Staff Physician
Department of Infectious Disease
Cleveland Clinic Foundation
Cleveland, Ohio

PAST POSITION

Assistant Professor of Medicine
Case Western Reserve University at
Cleveland Metropolitan General Hospital
Division of Infectious Diseases
3395 Scranton Road
Cleveland, Ohio 44109

ACADEMIC APPOINTMENTS

Clinical Assistant Professor of Medicine
Case Western Reserve University, School of Medicine

PAST CLINICAL RESPONSIBILITIES

Cleveland Metropolitan General Hospital Attending Physician
Cuyahoga County Tuberculosis Clinic Attending Physician
Cleveland Metropolitan General Hospital Sexually Transmitted Disease Clinic

CURRENT ATTENDING PRIVILEGES AND HOSPITAL STAFF PRIVILEGES

Cleveland Clinic Foundation
Associate Staff, Cleveland Metropolitan General Hospital
Associate Staff, Cleveland Veterans Hospital

CURRENT COMMITTEES

Co-Chairman, Scientific and Technical Issues Subcommittee of the AIDS
Commission of Greater Cleveland

Chairman, Medical Student Committee, Cleveland Clinic Foundation

Member, Physician Education Council, Cleveland Clinic Foundation

Member, General Medical Review Committee, Cleveland Clinic Foundation

Member, Task Force for Medical Student Programs, Ohio State University
Affiliation Development

COMPLETED RESEARCH PROJECTS

Lederle Laboratories: "A Double-Blind Multi-Center Study *to* Compare the Efficacy of CL284635 Versus Amoxicillin in the Treatment of Acute Lower Respiratory Tract Infections Including Pneumonia."

Evaluation of Skin Test Antigens in Evaluation of Diagnosis of Mycobacterial Diseases, CDC Sponsored Program.

BOARD CERTIFICATION

American Board of Internal Medicine, September, 1978
Subspecialty Board of Infectious Diseases, June, 1980

LICENSURE

Parts I, II, III of National Boards completed
Ohio License # 041458

PROFESSIONAL SOCIETIES

Infectious Diseases Society of America, 1984
Cleveland Medical Library Association, Life Member

HOUSE STAFF TRAINING

Internship: The Johns Hopkins Hospital
Department of Medicine, 1975-1977

Residency: Junior Residency: Johns Hopkins Hospital, 1976-1977
Senior Residency: University Hospitals of Cleveland, 1977-1978

Fellowship: University Hospitals of Cleveland
Department of Medicine
Division of Infectious Diseases, 1978-1980

Chief Residency: University Hospitals of Cleveland, 1980-1981

EDUCATION

The Choate School, Connecticut 9/63-9/67
Harvard College, B.A. Summa Cum Laude 9/67-6/71
The Johns Hopkins School of Medicine, M.D., 9/71-6/75

ACADEMIC HONORS

Phi Beta Kappa
Alpha Omega Alpha

ABSTRACTS PRESENTED

Tomford JW, Hershey CO, Cohen DI: The Effect of an Intravenous Therapy Team on Peripheral Venous Catheter Associated Phlebitis: A Controlled Trial. Presented November 1982 - Central Society for Clinical Research, Chicago.

Tomford JW, Kumar ML, Frengley JD, Halvorsen P, Frantz NA, Fratianne RB, Roizman B: Herpes Simplex Burn Wound Infection. Presented November 1984 - Central Society for Clinical Research, Chicago.

OTHER PROFESSIONAL VITIES

Member, AIDS Commission of Northeast Ohio

GRAND ROUND PRESENTATIONS

Cleveland Metropolitan General Hospital
University Hospitals of Cleveland
Lutheran Medical Center
Southwest General Hospital
Barberton Citizens Hospital
Fairview General Hospital
Brecksville VA Hospital
CWRU School of Dentistry
Cuyahoga Falls Hospital
St. Luke's Hospital
St. Alexis Hospital
Case Western Reserve University, School of Medicine

MEDICAL SCHOOL TEACHING ACTIVITIES

Medical Apprenticeship 1987-1988 (2 students for 6 weeks each)
Type A Elective - CWRU - Infectious Diseases: A Problem Oriented Approach
(2-3 two weeks sessions/year, 1982 - present)

PUBLICATIONS

Hirshfield IN, Tomford JW, Zamecnik PC: Thiosine-Resistant Mutants of E. Coli K-12 with Growth-Medium-Dependent Lysyl-tRNA Synthetase. Biochimica et Biophysica Acta, 259:344-356, 1972.

Baylin SB, Abeloff MD, Weiman KC, Tomford JW, Ettinger DS: Elevated Histaminase Activity in Small Cell Carcinoma of the Lung. New Engl J Med, 293:1286-1290, 1975.

Tomford JW, Alving BM, Torretti D: Ureteral Obstruction and Pyelonephritis Caused by an Inguinal Hernia: Case Report. J Urol, 115:333-334, 1976.

Tomford JW, Laskin O, Dec W: A New Technique for Fistula Visualization Through Use of a Swan-Ganz Catheter. Res and Staff Phys., Accepted for Publication

Tomford JW, Whittlesey D, Ellner JJ, Tomashefski J: Invasive Primary Cutaneous Phycomycosis in Diabetic Leg Ulcers. Arch Surg., 115:770-771, 1980.

Spagnuolo PJ, Ellner JJ, Bouknight R, Tomford JW, Kleinhenz ME, Edmonds KB: Interrelationships of Immunoregulatory Cells and Serum Factors in Sarcoidosis. J Immunol., 125:1071, 1980.

Aronoff S, Tomford JW, Bertino JJ, Speck WT: Antibiotic-Induced Meningitis Associated with Cefamandole. Pediatrics, 67:727, 1981.

Tomford JW, Hershey CO, Cohen DI: The Effect of an Intravenous Therapy Team on Peripheral Venous Catheter Associated Phlebitis. Clin Res, 30:770A, 1982.

Moede AL, Artsob H, Tomford JW, Jawicki MO, Tesh RB, Brandriss MW, Kothari H, Brandt WE: Imported Dengue Fever - United States 1982. Morbidity and Mortality Weekly Report, 32:145, 1983.

Moede AL, Artsob H, Tomford JW, Jawicki MO, Tesh RB, Brandriss MW, Kothari K, Brandt WE: Dengue Fever Surveillance. Weekly Epidemiological Record, 25:1985, 1983.

Sorenson RU, Tomford JW, Gyves MT, Judge NE, Polmar SH: Use of Intravenous Immune Globulin in Pregnant Women with Common Variable Hypogammaglobulinemia. Am J Med, 76(3A):73, 1984.

Tomford JW, Hershey CO, McLaren CE, Porter DK, Cohen DI: Intravenous Therapy Team and Peripheral Venous Catheter-Associated Complications. Arch Int Med, 144:1191, 1984.

Hershey CO, Tomford JW, McLaren CE, Porter DK, Cohen DI: The Natural History of Intravenous Catheter-Associated Phlebitis. Arch Int Med, 144:1373, 1984.

Tomford JW, Kumar ML, Frantz NA, Frengley JD, Halvorsen P, Roizman B, Fratianne RB: Burn Wound Infection with Herpes Simplex. Clin Res, 32:794A, 1984.

Tomford JW, Hershey CO: The IV Therapy Team - Impact on Patient Care and Costs of Hospitalization. NITA 8:387, 1985.

Wenger JD, Whalen CC, Lederman MM, Speck TJ, Carey JT, Tomford JW, and Landefeld CS: Prognostic Factors in Patients with the Acquired Immunodeficiency Syndrome. J GEN INT MED, 3:464-470, 1988.

Toosi Z, Edmonds KL, Tomford JW, Ellner JJ: Suppression of PPD-Induced Interleukin-2 Production by Interaction of CD16 (Leu-11 Reactive) Lymphocytes and Adherent Mononuclear Cells in Tuberculosis. J Infect Dis, 159(2):352-356, February, 1989.

J. Walton Tomford, M.D.
Curriculum Vitae
Page five

Liu YC, Tomashefski JF, Tomford JW, Green H: Necrotizing Pneumocystis Carinii Vasculitis. Arch Pathol and Lab Med, 113:494-497, May, 1989.

Tomford JW: Septic Sternoclavicular Arthritis". Cleve Clin J Med, Jan/Feb, 1990.

PERSONAL INFORMATION

Born : February 15, 1949 - Memphis, Tennessee
Married : Gretchen A. Hallerberg (Medical Librarian)
Activities : Woodworking/Home Repairs, Cross-Country Skiing
Address : 3145 Fairmount Blvd.
Cleveland Heights, Ohio 44118
Phone : (216) 397-0509

SPECIAL PRESENTATIONS

Ohio Thoracic Society, "Mycobacterial Diseases - Update," March, 1986
St. Michael Hospital, Oregon, Ohio, "Chlamydial Infections", April, 1986
St. Charles Hospital, Milwaukee, Wisconsin, "AIDS Update", March, 1986
St. John Westshore Seminar on Sexually Transmitted Diseases, May, 1986
Cleveland Metropolitan General Hospital "Update on Tuberculosis", April, 1987
Huron Road Hospital "Diagnosis and Management of AIDS," September, 1987
Marymount Hospital Conference on Diabetes Mellitus "Infectious Complications of Diabetes Mellitus" September, 1987
Greater Cleveland Hospital Association, "AIDS: Impact on Employment Practices", September, 1987
Cleveland Metropolitan General Hospital Medicine Update, "Sexually Transmitted Diseases", October, 1987
"AIDS Update"
May, 1986: Lorain Community College
November, 1986: Lake County Community College
April, 1987: United Churches of Chagrin Falls
May, 1987: Pharmacist's Association of Cleveland
September, 1987: Greater Cleveland Pharmacist's Association
September, 1987: Lorain County Community College
September, 1987: First Congressional Church of Elyria
October, 1987: United Methodist Church of Chagrin Falls
November, 1987: Cleveland Surgical Society
December, 1987: Mayfield School System
January, 1988: Chagrin Falls School System
January, 1988: Bainbridge Church of Christ
February, 1988: Orthopaedic Society of Cleveland
March, 1988: Perinatal Network Mt. Sinai and University Hospitals of Cleveland
April, 1988: Cleveland Clinic Foundation
June 20, 1990: Cleveland Clinic Foundation
May 1, 1991: Cleveland Clinic Foundation

OTHER SPECIAL PRESENTATIONS

CWRU Dental School, "Osteomyelitis of the Jaw," Special Symposium on Infections in Oral Surgery, November, 1981.

American College of Physicians State Meeting, October, 1983, "Acquired Immunodeficiency Syndrome," Akron, Ohio.

Case Western Reserve University - Medicine, 1984 Antibiotic Update.

National IV Therapy Association National Meeting, Phoenix, Tuscon, Arizona, May, 1985, "The IV Therapy Team: Its Role in Improved Patient Care."

Ohio Society of Oral and Maxillofacial Surgeons, July, 1985, Antibiotic Update.

International Symposium on Allergy, Immunology and Infectious Diseases, Bombay, India, December, 1985. "Update AIDS, 1985," "Antibiotic Update", and "Serious Nongenital Herpes Infection."

All India Physicians Annual Scientific Session, Delhi, India, December, 1985, "AIDS Update, 1985".

Medicine Today Presentations: January, 1986: Urinary Tract Infections; January, 1988: Antibiotic Update 1988

Lake Hospital System, Inc., East-Painesville, Ohio: "Newer Antibiotics - Indication and Side Effects". May 2, 1989.

Metropolitan Health Center, Medical Grand Rounds: "Odonotogenic Infections: What the Internist Needs to Know", May 4, 1989.

Cleveland State University: "Sexually Transmitted Diseases". May 11, 1989.

University Hospitals of Cleveland, International Health Summer Course: 1989. "Leprosy", August 1, 1989.

Madison High School Students, "AIDS Education", October 17, 1989.

Northeast Ohio Society of Oral & Maxillofacial Surgeons, Cleveland, Ohio. "Bacterial Endocarditis", December, 1989.

St. Luke's Hospital Medical Grand Rounds: "Methicillin Resistant Staph Aureus", January, 17, 1990.

AIDS Symposium: AIDS and the Health Care Professional. "HIV Positive Health Care Worker: A View From the Clinical Arena", Highland View Pavilion, March 13, 1990.

John Hay High School Students, "Sexually Transmitted Diseases", March, 1990.

St. Alexis Hospital, "Community and Nosocomial Acquired Pneumonias", January 20, 1990.

Ohio Society Medical Technology (OSMT): Networking for Opportunity. "Bizarre Clinical Case Studies in Microbiology", Holiday Inn, Rockside, April 26, 1990.

University Hospitals of Cleveland. "Infectious Complications of Median Sternotomy. April, 1990.

13th District Academy of the Ohio Osteopathic Association. Radisson Hotel, Sandusky, Ohio. "HIV Complications", May 12, 1990.

Internal Medicine Symposium. Stouffer's Hotel, Cleveland, Ohio. "Tuberculosis, 1990", June 3-8, 1990..

Case Western Reserve University, School of Medicine. "Relationship Between AIDS and Other STD'S July 20, 1990.

Ohio School of Podiatry, Cleveland, Ohio. "AIDS & the Health Care Worker". September 26, 1990.

Cleveland Metropolitan General Hospital. "Anti-Anaerobic Drugs". October 3, 1990.

Case Western Reserve University, School of Medicine. AOA Lecture. "History of Bedside Diagnostics". October 10, 1990.

Lorain County Osteopathic Society, CME Lecture: "Infectious Complications of Median Sternotomy". November 21, 1990.

Akron City Hospital, Akron, Ohio. "The IV Therapy Team". March 4, 1991.

Renaissance Inn, Lorain, Ohio. Seminar on AIDS. "Infectious Disease and the AIDS Patient". March 16, 1991.

Cleveland Clinic Foundation, Bunts Auditorium. Neurosurgical & Neurological Intensive Care Update & Review. "Infectious Disorders of the Intracranial CNS of Importance for the Neurosurgeon/Neurologist." (Meningitis and Encephalitis) May 16-18, 1991.

Intensive Review of Internal Medicine. Stouffer's Hotel, Cleveland, Ohio. "Tuberculosis", June 2-7, 1991.