

1                   IN THE COURT OF COMMON PLEAS

2                   OF ATHENS COUNTY, OHIO

3                   - - - - -

4       JUDITH SAVAGE, ET AL.,

5                   Plaintiffs,

6                   vs.

Case No.

7       O'BLENESS MEMORIAL

8       HOSPITAL, ET AL.,

98 CI 000217

9                   Defendants.

10                  - - - - -

11               DEPOSITION OF ROBERT L. TOMSAK, M.D., Ph.D.

12                   Thursday, July 13, 2000

13                  - - - - -

14                   Deposition of ROBERT L. TOMSAK, M.D.,  
15       Ph.D., a witness herein, called by the Defendants  
16       for examination under the statute, taken before  
17       me, Karen M. Patterson, a Registered Merit  
18       Reporter and Notary Public in and for the State  
19       of Ohio, pursuant to notice and stipulations of  
20       counsel, at the offices of Becker & Mishkind Co.,  
21       L.P.A., Suite 660 Skylight Office Tower, 1660 W.  
22       2nd Street, Cleveland, Ohio, at 10:25 o'clock  
23       a.m. on the day and date set forth above.

24                  - - - - -

25

1 APPEARANCES:

2 On behalf of the Plaintiffs:

3 Becker & Mishkind Co., L.P.A., by

4 HOWARD MISHKIND, ESQ.

5 Suite 660 Skylight Office Tower

6 1660 W. 2nd Street

7 Cleveland, Ohio 44113

8 (216) 241-2600

9 On behalf of the Defendants:

10 Law Office of Patrick F. Smith, by

11 PETER VAN LIGTEN, M.D., J.D.

12 1490 Old Henderson Road

13 Columbus, Ohio 43220

14 (614) 457-5600

15 -----

16

17

18

19

20

21

22

23

24

25

1           ROBERT L. TOMSAK, M.D., Ph.D., of lawful  
2   age, called for examination, as provided by the  
3   Ohio Rules of Civil Procedure, being by me first  
4   duly sworn, as hereinafter certified, deposed and  
5   said as follows:

6           EXAMINATION OF ROBERT L. TOMSAK, M.D., Ph.D.  
7   BY MR. VAN LIGTEN:

8           Q.     Good morning, Dr. Tomsak, my name is  
9   Peter Van Ligten, and I'll be asking you some  
10   questions regarding Judith Savage.

11                 Just some preliminary ground rules.  
12   I'll be asking you questions. If you don't  
13   understand my questions, please let me know and  
14   I'll rephrase them. Try not to guess at  
15   answers. If you don't know or need to speculate,  
16   just let me know that you don't have the  
17   necessary information to give me an answer.

18                 Please respond verbally, as the court  
19   reporter has a hard time with nodding your head  
20   or uh-huhs. Those don't transcribe well.

21                 Let me just start, I was handed this  
22   curriculum vitae. Is this your current  
23   curriculum vitae?

24           A.     Yes, I believe it's current as of  
25   October 1999. There's a date down there, but

1 nothing significant has changed from that  
2 interval till now.

3 Q. What is your current position?

4 A. I am employed by Lakeland Eye  
5 Surgeons, which is a group of private  
6 ophthalmologists in Lorain County.

7 Q. So you're in private practice?

8 A. Yes.

9 Q. Is that group affiliated with any  
10 hospital or medical system on a contractual  
11 basis?

12 A. Not to my knowledge. We do have our  
13 offices in the Cleveland Clinic Lorain Building.  
14 Again, as an employee, I don't know the financial  
15 ins and outs of the arrangement, but my  
16 understanding is that the Lakeland Eye Surgeons  
17 group is freestanding and just simply rents space  
18 from the Cleveland Clinic.

19 Q. Are you a faculty member at the  
20 Cleveland Clinic?

21 A. No, but I am a faculty member at Case  
22 Western Reserve University. I'm clinical  
23 associate professor of ophthalmology and  
24 neurology.

25 Q. Do you at times have medical students

1 rotating with you?

2 A. Not at the present time, but I do  
3 attend at the Cleveland VA Hospital eye clinic  
4 once a month. So at that time I do actually have  
5 a neuro-ophthalmology clinic which residents  
6 bring patients back for, and we don't usually  
7 have medical students on that rotation, but if  
8 they were there, I would be instructing them.

9 Q. And the residents there are Case  
10 Western residents?

11 A. Yes.

12 Q. In ophthalmology, I take it?

13 A. Correct, but they also have a busy  
14 optometry practice, so I also teach the  
15 optometrists, to some degree, but the same  
16 location.

17 Q. And how long have you been with the  
18 Lakeland group?

19 A. Just about two years. I joined -- I  
20 actually started in May, but my contract was  
21 signed August 7th. So it will be, I  
22 guess, physically a little over two years, and  
23 contractually, not quite two years.

24 Q. And before that time, what kind of  
25 practice, or who were you employed by?

1           A.     Before that time, I was employed by  
2     Mt. Sinai Medical Center. And, as you know, they  
3     went under financially, and that really prompted  
4     my move. Had they remained open, I would have  
5     stayed in my position, which was basically having  
6     a clinical neuro-ophthalmology practice, but also  
7     being the only full-time attending, meaning  
8     on-site attending. So about 50 percent of my  
9     time was doing neuro-ophthalmology, and about 50  
10    percent -- in a private setting, and about 50  
11    percent was resident education and overseeing the  
12    clinics.

13          Q.     At the time you examined Judith, were  
14    you working for Mt. Sinai?

15          A.     What date is that? I think it was  
16    shortly --

17          Q.     3-26, I believe.

18          A.     Oh, I definitely was, yes, and I was  
19    also spending part time in Dr. Levine's office,  
20    and that's what that letterhead refers to. I was  
21    doing a half day a week in a private practice  
22    setting also doing clinical neuro-ophthalmology.

23          Q.     Now, your note here reflects that you  
24    were requested to see Mrs. Savage by Mr.  
25    Mishkind. Have you done previous consultations

1 or examinations on his behalf?

2 A. Not to my knowledge.

3 Q. Have you ever reviewed cases for a  
4 medical malpractice purpose?

5 A. Yes, I have.

6 Q. How long have you been doing that,  
7 sir?

8 A. Probably since I finished my  
9 fellowship. I would say since 1980.

10 Q. And how many cases currently in a year  
11 would you say you review?

12 A. I would say about five, six.

13 Q. And is that about where it's been  
14 throughout your career, or is that higher or  
15 lower?

16 A. I'd say it's a good average. I don't  
17 think I've ever done more than seven or eight in  
18 a year.

19 Q. In terms of, if you can tell me, those  
20 cases that you do review, can you divide those  
21 into the patient or Plaintiff's side versus the  
22 physician Defense side?

23 A. I would say the vast majority are  
24 physician Defense.

25 Q. And, if you can, just give me a

1 general idea of the states where some of these  
2 cases have arisen from.

3 A. The vast majority in Ohio. I can  
4 remember the first one I did was actually a  
5 Florida-based case, and I had seen the Plaintiff  
6 as part of my fellowship duties, actually, and I  
7 recently was involved in a case, actually still  
8 am, from, I think, Michigan, but almost all are  
9 from Ohio.

10 Q. So Ohio, Florida, Michigan?

11 A. Yes.

12 Q. Have you personally been involved as a  
13 party in any lawsuits?

14 MR. MISHKIND: Objection, but you can  
15 go ahead and answer.

16 A. Okay. I was sued once, but it was  
17 dropped without prejudice.

18 Q. Have you ever testified in a  
19 deposition before?

20 A. Yes, I have.

21 Q. Approximately how many times, sir?

22 A. Well, let's see, in that 20-year  
23 period, maybe 20 times.

24 Q. How many times would you estimate you  
25 have testified at trial?



1           A.       Just once.

2           Q.       Would you happen to recall the name of  
3       the case or the patient name or the doctor's  
4       name?

5                   MR. MISHKIND:  The trial testimony?

6                   MR. VAN LIGTEN:  Yes, on the trial  
7       testimony.

8           A.       I'm really not sure, but I can tell  
9       you it was a case that was defended by the firm  
10      Jacobson, Maynard that is no longer --  
11      everybody's smiling.

12          Q.       Was it in Ohio, sir?

13          A.       Yes, it was, and I think it was in  
14      Akron.  I think that's where the trial was, yes.

15          Q.       Do you have any personal or  
16      professional relationship with Mr. Mishkind or  
17      any other member of this law firm?

18          A.       No.

19          Q.       Any personal or financial relationship  
20      with the Plaintiffs?

21          A.       No.

22          Q.       Have you reviewed cases for this firm  
23      previously?

24          A.       Not to my knowledge.

25          Q.       Since I have your CV, I won't go over

1     that any further, but just in general, I saw your  
2     list of publications. Have you been involved in  
3     any type of academic work or published any papers  
4     or presented any papers which are on point to the  
5     issues in Mrs. Savage's case?

6           A.     Well, if you look at my CV, I have  
7     been involved in the publication of two books;  
8     one that I solely authored and another that I  
9     edited, and I think it's called Pediatric  
10    neuro-ophthalmology. Can I just look at this and  
11    I'll tell you for sure?

12          Q.     Sure.

13          A.     I think that we're missing some pages  
14    here, unfortunately, or they're out of order.

15                 MR. MISHKIND: Off the record.

16                 (Discussion off the record.)

17          A.     Here we go. Pediatric  
18    neuro-ophthalmology, and that's the literature  
19    citation there. Anyhow, I wrote a chapter, I  
20    personally wrote a chapter, in that on what we  
21    call functional visual loss. It was aimed more  
22    towards the pediatric side of the problem, but it  
23    does have some relevance to adult patients with  
24    this sort of problem.

25          Q.     I guess I'll ask, would you consider

1 your article on functional visual loss a reliable  
2 publication?

3 A. Yes. I would just qualify that by  
4 saying I think it's probably vintage 1996 or so,  
5 and I really haven't reviewed the literature  
6 since then, but I think it's a good summary. It  
7 contains what I consider to be the, you know, the  
8 better references that deal with that topic.

9 Q. Are there any other pieces of  
10 literature in your CV that relate to the issues  
11 in this case?

12 A. I don't believe so, but if you'd like,  
13 I could go through them one-by-one.

14 Q. If you would.

15 A. I don't mind.

16 Q. Unfortunately, they're not in order.  
17 We'll give you all the time you need.

18 (Discussion off the record.)

19 A. Actually, this kind of will take me a  
20 minute to describe to you, but there is a company  
21 that's called Audio Digest, and they basically  
22 summarize verbal presentations at meetings, make  
23 tapes of them and sell the tapes. And, anyhow,  
24 one of my presentations, it looks like back in  
25 1985 was taped and sold, I suppose at no

1 remuneration to me, by the way, but it's entitled  
2 Functional Visual Loss, but, again, that was  
3 1985.

4 Q. Can you tell me what materials you  
5 were given to review for this case in order to  
6 help you form an opinion?

7 A. Yes. I have a copy of my report dated  
8 Thursday, May 14th, 1998. I have Dr. Carl  
9 Asseff's report. I have Dr. McAdoo's notes, and  
10 I have the hospital records from is it O'Bleness,  
11 is that -- O'Bleness Memorial Hospital.

12 Q. Were you given any depositions, sir?

13 A. No.

14 Q. Did you review any literature or do  
15 any research to help form the basis of your  
16 opinions?

17 A. No.

18 Q. Didn't even review your own articles?

19 A. No, I didn't, actually.

20 Q. And I take it that you have formed one  
21 or more professional opinions regarding Mrs.  
22 Savage?

23 A. Yes. The opinions I have formed are  
24 detailed in my report letter to Mr. Mishkind.

25 Q. Can you tell me what your opinion is

1 as to Mrs. Savage's current diagnosis or  
2 diagnoses?

3 A. As to her eye?

4 Q. Yes. I'm sorry.

5 A. I would say they would be two. One  
6 would be recurrent corneal erosion syndrome, and  
7 the next one would be functional or nonorganic  
8 visual loss.

9 Q. And let's start with the first one,  
10 recurrent corneal erosion syndrome. Can you tell  
11 me what that is, sir?

12 A. Well, recurrent corneal erosion  
13 syndrome is usually seen in the setting of  
14 corneal trauma where the basement membrane of the  
15 corneal epithelium is damaged, so that the  
16 epithelium, when it reheals, is not as sticky as  
17 it normally is. And what normally happens is  
18 that from time to time the epithelium will  
19 actually slough off and expose the pain-sensitive  
20 nerves at the base of the epithelium leading to  
21 symptoms like mini corneal abrasions, basically.

22 Q. And how is that diagnosis made?

23 A. The diagnosis is usually made  
24 historically by prior history of a corneal  
25 abrasion or other corneal injury and then by the

1 symptoms of intermittent episodic stabbing pain.

2 That's basically it.

3 Q. Are there any physical findings  
4 present to help you make this diagnosis?

5 A. Sometimes yes and sometimes no.  
6 Sometimes when looking at the slit lamp, which is  
7 our microscope that we use to examine the eye,  
8 one can see an area where there is an abnormality  
9 of the basement membrane of the cornea or a small  
10 scar in what's called the anterior stroma of the  
11 cornea. But very often one doesn't see the  
12 abnormal area unless the patient is examined at  
13 the time they're symptomatic.

14 Q. And the character of the pain, how  
15 would you describe that in this syndrome?

16 A. It's usually sharp, severe, usually  
17 associated with a light sensitivity, sometimes  
18 lacrimation; in other words, tearing.

19 Q. And how long does that painful episode  
20 last?

21 A. It really depends on the severity. It  
22 can -- usually it's something that if ocular  
23 lubricants are put in the eye it goes away  
24 relatively rapidly, within a period of minutes to  
25 an hour or so, or if the person closes the eye

1 and just rests the eye with the eye closed.

2 Q. In terms of the frequency of symptoms,  
3 how often do you expect painful episodes to occur  
4 with recurrent corneal erosion syndrome?

5 A. It really varies all over the  
6 ballpark. I would say usually -- well, again, I  
7 really can't tell you. It can go anywhere from  
8 once a year or once every couple of years to  
9 being on a daily basis. It's kind of dependent  
10 on the severity of the syndrome.

11 Q. If I understand you right, the pain is  
12 caused by nerve irritation; is that correct,  
13 sir?

14 A. Right. The cornea is the most  
15 pain-sensitive part of the human body. Whenever  
16 the corneal epithelium, which is the outer layer  
17 of the cornea, outer with respect to the air, is  
18 damaged, then the pain nerves that are right  
19 under the epithelium become stimulated, and  
20 that's where the pain comes from.

21 Q. Would that require, for pain to occur,  
22 a defect in the epithelium?

23 A. Correct.

24 Q. And --

25 A. For this type of pain to occur.

1           Q.     Yes.  So if I understand you  
2     correctly, every time there's pain, there's a  
3     corneal epithelial defect that occurs?

4           A.     Yes.  It may be on a microscopic  
5     level, but basically, I think that's the best way  
6     to look at it pathologically.

7           Q.     How does a lubricant help alleviate  
8     that pain?

9           A.     Well, I think what the lubricant does  
10    is it essentially covers over the damaged area  
11    and acts as a bandage, in a sense, and it just  
12    simply makes the pain better as far as that goes.

13          Q.     Is it known why, in these instances or  
14    in this condition, the epithelium couldn't reheal  
15    to the basement membrane?

16          A.     Well, the thought is that the basement  
17    membrane is damaged, so it's almost like a pot  
18    hole in the road, it's an area where the  
19    structural integrity of that particular part of  
20    the cornea is not normal, so the epithelium just  
21    doesn't attach to it as it normally should.

22          Q.     Is there any definitive treatment for  
23    this, sir?

24          A.     Well, other than lubricants, I believe  
25    for awhile, a few years ago, people were talking



1 about what's called corneal tattooing, where  
2 actually you would puncture the abnormal area  
3 with a fine needle and actually cause sort of a  
4 scar, small scars to form, that would hold the  
5 epithelium down, but I really haven't seen that  
6 in the general ophthalmology literature  
7 recently. The other thing I suppose that could  
8 be tried would be a soft contact lense, bandage  
9 contact lens. Again, that requires a fair amount  
10 of maintenance and is one of these things that  
11 could, you know, sometimes become more  
12 problematic than the underlying condition if the  
13 contact lens is not taken care of correctly.

14 Q. Is corneal transplanting an option?

15 A. I don't think it would ever be  
16 indicated. A corneal transplant is one of these  
17 procedures that the lay public looks at and, you  
18 know, thinks it's kind of miraculous in the sense  
19 that, you know, you get your sight back. But the  
20 fact of the matter is a corneal transplant is an  
21 extremely time intensive operation for the  
22 patient in the sense that they have to be on  
23 drops basically for the rest of their life. They  
24 have to come back to the doctor every couple of  
25 days after the surgery for awhile. Their vision

1 is never really clear. So we reserve corneal  
2 transplants for blinding corneal conditions.  
3 Another way to say it would be the risks of the  
4 procedure far outweigh the benefits in that  
5 particular instance.

6 Q. Is there anything that can be done to  
7 minimize or reduce the number of painful episodes  
8 or recurrent episodes of corneal detachment or --

9 A. Yes. The standard of care is to use  
10 some sort of a lubricating ointment during the  
11 night, and we have a number available that are of  
12 different chemical compositions in order to  
13 lubricate the surface of the cornea between the  
14 eyelid so that, upon awakening, the opening of  
15 the eyelid doesn't cause the abrasion to occur.  
16 That's number one.

17 And then number two would be some sort  
18 of a lubricating drop during the day. Again, if  
19 you go to the pharmacy, you'll see 20 different  
20 types of artificial tear substitutes, and they  
21 all have different chemicals in them, and they  
22 all are touted to do certain special things. So  
23 it's kind of a hit-and-miss sort of proposition.

24 But what I would do would be --  
25 meaning if I was treating a patient with this

1     problem, I would have them experiment to try to  
2     see which tear seems to make them less  
3     symptomatic.

4           Q.     Dr. McAdoo has seen Mrs. Savage  
5     several times after the initial injury, and aside  
6     from the early course, he's not ever seen any  
7     recurrent corneal injury or damage. Does that  
8     comport with the diagnosis of recurrent corneal  
9     erosion syndrome?

10           MR. MISHKIND: Doctor, before you  
11     answer, let me just indicate on the record, he  
12     just faxed up the pages, and, if you want, I can  
13     actually hand this to the doctor before he  
14     answers the question.

15           MR. VAN LIGTEN: That's fine.

16           MR. MISHKIND: This is a May 28, 1999  
17     visit and then a May 3, 2000 that the doctor  
18     faxed up from yesterday's deposition. We'll run  
19     off a copy before you leave.

20           MR. VAN LIGTEN: Sure. We can pause  
21     here for a couple of minutes.

22           (Pause.)

23           A.     I'm ready. Would you mind asking me  
24     the question again?

25           Q.     Sure. If I remember right, there was

1 a period just after her surgery and the injury to  
2 her cornea where there were some abnormalities of  
3 her cornea visible.

4 A. Correct.

5 Q. Subsequent to that time, however, on a  
6 subsequent visit, no identifiable injury has been  
7 seen by Dr. McAdoo?

8 A. Yes, I understand that.

9 Q. And is that inconsistent with this  
10 diagnosis? Is it very understandable, or  
11 unexpected, I guess? What is your impression of  
12 that?

13 A. Right. Well, I think there are a  
14 couple of issues at hand. First of all, if she  
15 is not actually symptomatic at the time that the  
16 exam is done, it's very possible that you will  
17 not see anything, okay.

18 Number two, at least on this note of  
19 5-28-99, there's a statement here that she has  
20 ointment in her right eye. So that would really  
21 obscure the finding if it was there to a small  
22 degree. I don't know if that answers your  
23 question.

24 Q. Well, let me put it hypothetically.

25 A. Okay.

1           Q.     If a patient with recurrent corneal  
2     erosive syndrome had multiple eye examinations  
3     over a 12 or 18-month time period without any  
4     evidence, would that contradict that diagnosis or  
5     would it still be consistent with the diagnosis?

6           MR. MISHKIND:  Objection.  Before you  
7     answer that, in that hypothetical, are you  
8     including or excluding ointment from the eye?

9           MR. VAN LIGTEN:  No ointment, without  
10    ointment.

11          MR. MISHKIND:  Let me just show an  
12    objection, but you can go ahead and answer the  
13    question.

14          A.     My answer to that would be, again, if  
15    the person came into the office while they were  
16    acutely symptomatic, it's not uncommon to see it,  
17    a corneal defect, an epithelial defect.  But if  
18    it's one of these things, I woke up this morning,  
19    my eye felt like someone stabbed me with an ice  
20    pick and I laid down on the couch and closed my  
21    eyes and 30 minutes later I feel better, but I  
22    really want to come see you today, and I examine  
23    the person at 2:00 or 3:00 in the afternoon, it  
24    would be very consistent for me not to find any  
25    abnormality.

1           Q.     So those corneal injuries that occur  
2     with this syndrome would heal to objective  
3     inspection within how many hours?

4           A.     Depends on how big they are.  In other  
5     words, it's a surface area issue, in the sense  
6     that I was mentioning to Mr. Mishkind earlier,  
7     that the size of a corneal defect, in a sense,  
8     kind of can tell you by estimate how long it's  
9     going to take to heal, you know, all things going  
10    correctly.  But we're usually talking about  
11    extremely small defects in the recurrent erosion  
12    syndrome; nothing like, for example, what's  
13    documented in the chart after her surgery.

14          Q.     Is there a correlation with size of  
15    injury and degree of pain?

16          A.     For sure.  Absolutely.

17          Q.     So is there a minimum amount of injury  
18    you have to sustain to suffer severe stabbing  
19    pain?

20          A.     Well, again, I think I've said before  
21    that the cornea is really one of the -- I think  
22    is the most pain sensitive structure in the human  
23    body.  I think I've said that before, and even  
24    extremely small lesions, so to speak, can be  
25    extremely painful.

1                   And I'll just give you an example of  
2     something that's related but not directly germane  
3     to this, and that is the whole issue of getting  
4     foreign bodies in the eye. In my present  
5     practice situation, I see a lot of industrial  
6     accidents where something flies into a person's  
7     eye and then they come in, you know, because  
8     they're feeling extremely uncomfortable and they  
9     have a lot of tearing and redness, and to make a  
10    long story short, oftentimes the foreign body is  
11    so small that even with a needle, let's say  
12    25-gauge or 27-gauge, the tip of the needle under  
13    the microscope looks even bigger than the foreign  
14    body. So I'm just giving you this as an example  
15    of an extremely small lesion that can cause a  
16    tremendous amount of symptoms.

17           Q.     When you examined Mrs. Savage on March  
18    26, 98, did you find any evidence of corneal  
19    injury?

20           A.     No, I didn't.

21           Q.     Did you find any abnormalities at all  
22    on her eye examination?

23           A.     Yes, I did, in terms of her visual  
24    function.

25           Q.     And what were those abnormalities?

1           A.     Her visual acuity in the right eye was  
2     subnormal. I had measured it at 20/200 at  
3     distance and the near equivalent of 20/100.

4           Q.     And how was the 20/200 measured, sir?  
5     How did you do that?

6           A.     Well, the way an ophthalmologist  
7     measures distance acuity is the person is in an  
8     exam chair, standardized distance away from the  
9     chart that's projected on the wall, and the scale  
10    that we use is something that was actually  
11    dreamed up in the mid 1800s by a man by the name  
12    of Snellen, S-N-E-L-L-E-N. And, essentially, Dr.  
13    Snellen felt that a person who could see a  
14    standardized image at a certain distance, namely  
15    20 feet, was normal. And that's the 20/20  
16    notation.

17                   20/200 means that a normal person  
18    could be 200 feet away from an object that a  
19    person who has 20/200 vision would have to be 20  
20    feet away from to see. So distance vision is, in  
21    an ophthalmology office, is measured on a  
22    standardized distance from a projector. Near  
23    vision is measured with a card that a person  
24    holds at 14 inches, normally at 14 inches.

25           Q.     From my experience in having my eyes



1 checked, a lot of that depends on the person  
2 being examined; is that a fair statement?

3 A. Yes, it is.

4 Q. It would be difficult to make your  
5 vision appear better than it was; is that  
6 correct?

7 A. Yes, it would be difficult.

8 Q. But it would be possible to make your  
9 vision appear worse than it was?

10 MR. MISHKIND: Objection, but you can  
11 go ahead and answer the question.

12 A. Yes, it is possible.

13 Q. Is it possible for an ophthalmologist  
14 to determine the actual refractive capacity of  
15 the eye?

16 MR. MISHKIND: Objection, but go ahead  
17 and answer, doctor.

18 A. The answer to that question is yes.

19 Q. And how is that, doctor?

20 A. Okay. You were headed down one path  
21 and you changed and diverted yourself. Do you  
22 want me just to address the refractive issue  
23 right now?

24 Q. Yes.

25 A. Refraction really refers to the use of

1 lenses to neutralize the optical error of a  
2 person's eye. So, for example, I'm nearsighted,  
3 plus I also have what's called presbyopia, I have  
4 a need for reading correction, and using special  
5 instruments, it's possible to objectively measure  
6 a person's refractive error by shining a special  
7 light in their eye and neutralizing a reflex that  
8 we observe through these instruments. So that's  
9 our first step.

10 Q. And did you do that in Mrs. Savage?

11 A. I believe I did.

12 Q. And what result did you get?

13 A. Well, you know, I may have to go back  
14 and say I don't have her refraction written down  
15 here, so I'm not really sure if I did.

16 Q. What other kind of methodology do you  
17 have in your armamentarium to decide or determine  
18 whether the patient is actually giving you the  
19 true response in terms of their visual acuity?

20 MR. MISHKIND: Objection. But go  
21 ahead.

22 A. Well, one thing we always do in a  
23 person who has subnormal vision in one eye versus  
24 the other eye is we check to see how their pupils  
25 react to light, and the reason for that is that a

1 person with a significant optic nerve problem or  
2 even retinal problem whose vision is 20/200  
3 normally has a pupil that does not react as well  
4 on that side when compared to the other. We call  
5 that an afferent pupillary defect. In this case,  
6 she did not have an afferent pupillary defect.

7           The other thing we do is look at the  
8 eye, the structural integrity of the eye, to make  
9 sure that there's not anything that would be an  
10 explanation for that reduced vision such as a  
11 corneal scar, a cataract, vitreous hemorrhage,  
12 retinal problem like macular degeneration or  
13 branch vein occlusion, et cetera, et cetera.

14           So it's refraction, checking the  
15 pupils, checking the structural integrity of the  
16 eye. And then the other thing that's extremely  
17 important and germane to Mrs. Savage's case is  
18 that I did a visual field analysis by two  
19 different methods, and that's essentially  
20 checking the peripheral vision, which is a whole  
21 different form of vision than what we read on the  
22 eye chart. And her peripheral vision in that  
23 right eye was abnormal, but it was inconsistently  
24 abnormal.

25           Q.       What does that mean when it's

1       inconsistently abnormal?

2               A.       Well, could you ask it another way?

3       I'm not -- you mean what does it mean in terms of  
4       what goes through my mind at that time?

5               Q.       Well, you said it was inconsistently  
6       abnormal. My impression of that statement is  
7       that you shine the light in one part of her  
8       visual field; on one response she sees it, on  
9       another response she doesn't see it. Is that  
10      what you're meaning or --

11              A.       No.

12              Q.       I'm just trying to figure out what you  
13      meant by that.

14              A.       No problem. What I did is I did  
15      what's called a confrontation visual field  
16      initially, meaning I covered her left eye and sat  
17      across from her about this distance (indicating)  
18      and held up fingers in different areas of her  
19      visual field and I asked her to count them as she  
20      looked at my nose with her eye. That's a gross  
21      measure of peripheral vision. We call that a  
22      confrontational visual field.

23                      What I found on that, according to my  
24      report, was that there was an abnormality in the  
25      upper nasal visual field of her right eye. That

1 would be, if I'm looking at you with my right  
2 eye, that would be this area up here  
3 (indicating).

4 We then did a test on a computerized  
5 machine called an automated perimeter that uses a  
6 very sophisticated computer-driven strategy,  
7 measures the actual visual threshold of the  
8 visual field at various points in space. And  
9 that was abnormal, but it showed a defect in the  
10 temporal peripheral visual field of her right  
11 eye. In other words, the confrontation field  
12 result and the automated perimetry result did not  
13 coincide with one another, but they were both  
14 abnormal.

15 Q. Is there any anatomic or physiological  
16 finding in Mrs. Savage's eye that would account  
17 for those test findings?

18 A. No.

19 Q. Let me just ask you in general. Is  
20 there anything that you saw in your examination  
21 that was abnormal on her -- let's start from the  
22 back forward, from the retina; did you see any  
23 abnormality in the posterior aspect of the eye?

24 A. No.

25 Q. The lens appeared normal to you?

1           A.     Correct.

2           Q.     And the anterior components were also  
3     normal?

4           A.     Correct.

5           Q.     So anatomically, at least to  
6     inspection, everything seemed to be okay?

7           A.     That's correct.

8           Q.     And are you aware that Mrs. Savage had  
9     a fluorescein angiogram done?

10          A.     I believe I saw that notation  
11     somewhere, but I don't believe I know the results  
12     of the test.

13          Q.     You did not review those films or  
14     those --

15          A.     No, I did not.

16          Q.     She also had an MRI done of her brain  
17     and optic nerve, I believe, whatever  
18     ophthalmologists scan to look for visual acuity  
19     loss, and were you able to review those films  
20     or --

21          A.     I don't believe I did.

22          Q.     -- or reports?

23          A.     I don't believe I did, but it wouldn't  
24     surprise me if they were completely normal. I  
25     presume that that's what the result was.

1           Q.     The recurrent corneal erosion syndrome  
2     would not account for her visual acuity  
3     deficits?

4           A.     Correct, with one small caveat,  
5     obviously, if she had the ointment in her eye at  
6     the time of the exam, but other than that, we  
7     would expect that to get better as the ointment  
8     was blinked out of the eye.

9           Q.     Have you had any patients prior to  
10    Mrs. Savage with this problem, sir?

11          A.     Which problem?

12          Q.     The recurrent corneal erosion  
13    syndrome.

14          A.     Yes, I have.

15          Q.     How common of a malady is this?

16          A.     Well, how should -- what term shall we  
17    put this in?

18          Q.     What's the relative incidence or  
19    frequency in the population?

20          A.     How about if we do it this way,  
21    because I have no idea, to be honest with you.

22          Q.     Okay.

23          A.     How about if we use our frame of  
24    reference in a general ophthalmology practice.  
25    In other words, in a month how many people with

1 recurrent corneal erosion syndrome would I see.  
2 I would say something like two. And we're  
3 talking about, let's say, in reference to my  
4 patient volume, maybe 500 patients a month, so  
5 whatever that works out to be. But that's only  
6 my personal experience. I don't know what, if  
7 any, formal reports have been done or reviews of  
8 the literature.

9 Q. Would this be in your practice?

10 A. My personal experience is what I said.

11 Q. Right.

12 A. It just refers to me only.

13 Q. You had mentioned general  
14 ophthalmology, and I take it you're a  
15 subspecialist?

16 A. I am, but in my present venue, which  
17 hopefully will change very shortly, I am mainly  
18 doing general ophthalmology, yes.

19 Q. So two out of 500, that's not very  
20 rare.

21 A. Well --

22 Q. I guess.

23 MR. MISHKIND: Is that a question or a  
24 comment?

25 Q. It surprises me it's that common



1     because I never heard of this.

2                   MR. MISHKIND: I'll take it that's a  
3     comment rather than a question.

4                   MR. VAN LIGTEN: Yes.

5                   MR. MISHKIND: There's no answer  
6     required.

7           Q.     What is your experience in terms of  
8     long-term outcome for these patients?

9           A.     Again, we are speaking about recurrent  
10    corneal erosions?

11          Q.     Yes.

12          A.     I think I sort of addressed that  
13    before, but I'll be happy to go over it again. I  
14    think it really depends on getting them on the  
15    right combination of ocular lubricants, and, like  
16    anything else in life -- in fact, I just saw a  
17    man within this past month who came in, let's  
18    say, for the fourth or fifth time in two or three  
19    months with the same symptoms of recurrent  
20    corneal erosion, and I said, "Well, are you using  
21    your ointment in your eye at night?" "No, I  
22    stopped it because my eyes started feeling  
23    better, so I haven't been using it."

24                   So the point is compliance is an  
25    issue, obviously, so if you don't take your

1 medicine, you're not going to get the effect of  
2 the medicine. It's as simple as that. I'm just  
3 kind of giving you that as an aside. I think  
4 you're referring to it under optimal  
5 circumstances.

6 Q. Right.

7 A. If you or I had a recurrent corneal  
8 erosion, you know, I think we would have a good  
9 response to treatment. I'm not saying we would  
10 necessarily be cured, but I think we would be  
11 informed as to what the problem was and what  
12 could be done for it when it occurred.

13 Q. Once you have that basement membrane  
14 problem, that's a life-long condition that will  
15 not ever return to its previous or normal state?

16 A. That's my understanding of the -- and  
17 personal experience, yes.

18 Q. I think we've pretty much beat  
19 recurrent corneal erosion syndrome.

20 The second diagnosis you have made is  
21 functional visual loss. Could you please give me  
22 a definition for that.

23 A. Functional visual loss is a condition  
24 where visual acuity and/or visual field is  
25 abnormal with no underlying organic lesion to

1 explain that response. That's probably as simple  
2 as I can make it.

3 Q. So what is the cause?

4 A. Well --

5 MR. MISHKIND: Are you talking about  
6 in general or specific to this case, in his  
7 opinion?

8 MR. VAN LIGTEN: Let's say in  
9 general.

10 MR. MISHKIND: Okay.

11 Q. If there's no organic lesion, what's  
12 causing the decreased visual function?

13 MR. MISHKIND: Again, you're speaking  
14 in general?

15 MR. VAN LIGTEN: In general, yes.

16 A. If we are going to speak generally,  
17 then we might as well cover the whole topic, I  
18 think. I divide nonorganic or functional visual  
19 loss, and I'm using those terms synonymously,  
20 into two categories; malingering or, let's call  
21 it, hysterical visual loss. And the difference  
22 is a person who is malingering is consciously  
23 feigning visual disability for some gain, usually  
24 financial. The other form has been looked at --  
25 has not been well looked at from a psychiatric or

1 psychological standpoint, and it's not understood  
2 why some people will develop this hysterical form  
3 of visual loss, but it's extremely common after  
4 injuries to the eye. And as to why that is, I  
5 don't think anyone in the world can tell you.

6               So I look at functional visual loss of  
7 the nonmalinger sort as essentially a  
8 psychological problem very much akin to  
9 hysterical paralysis, for example. The problem  
10 is there's not a whole lot of literature on it.  
11 And, for some reason, psychiatrists and  
12 psychologists are not really interested in  
13 studying it. I don't know why that is, but in  
14 terms of my scheme of dealing with it, that's how  
15 I look at it, and that's what the literature  
16 states, basically.

17              However, in those rare studies that  
18 I've actually looked at, things like the MMPI,  
19 the Minnesota Multiphasic Personal Inventory,  
20 they don't really show any severe  
21 psychopathology, so it's not like it's a warning  
22 sign of schizophrenia or manic depressive illness  
23 or anything like this.

24           Q.     How do you make this diagnosis? Is it  
25 one that you rule out everything else?

1           A.       Correct.  It's a diagnosis of  
2       exclusion.

3           Q.       Let's now focus on Mrs. Savage.  How  
4       did you determine that that was the diagnosis in  
5       her case?

6           A.       Well, I think from the -- from really  
7       what we have gone through already, but we might  
8       as well list them.

9                    Subnormal visual acuity with a  
10       difference between distance and near.  A person  
11       20/200 at distance should be a near equivalent of  
12       20/200 if it's organic, meaning if there's a  
13       lesion causing it.  That was one red flag for  
14       me.

15                   Number one was the fact that her eye  
16       exam was completely normal and she did not have  
17       any evidence of an afferent pupillary defect,  
18       which we discussed.

19                   Number three was the variability in  
20       her visual fields which had no organic  
21       explanation.

22                   And then, of course, we have talked  
23       about other things, such as a normal MRI and  
24       normal fluorescein angiogram, et cetera, et  
25       cetera.  That's further documentation that behind

1 the eye the optic nerve was not damaged by a  
2 tumor or something like this.

3 Q. Have you had patients in your practice  
4 with the diagnosis of functional visual loss  
5 before, sir?

6 A. Yes. Yes, I have.

7 MR. MISHKIND: Off the record.

8 (Discussion off the record.)

9 Q. And what is your experience in terms  
10 of their, I guess, their prognosis?

11 A. It's really variable, and the only  
12 thing in the literature and, also, I could echo  
13 that with my personal experience, that seems to  
14 have any positive effect is reassurance in the  
15 sense that what you say is essentially we have  
16 excluded anything real serious in your case, and,  
17 you know, we are certain that you can get better,  
18 your vision can come back; we just don't know the  
19 time frame. It may be tomorrow, it may be a  
20 week, it may be a month.

21 And the people who are receptive to  
22 that will get better, and the people who are not  
23 receptive to that, and I don't mean that in a  
24 volitional way, won't. And it's just one of  
25 those things we don't have a handle on. It's

1 kind of like trying to pick up a drop of mercury,  
2 functional visual loss. It's very difficult to  
3 pin it down from a scientific standpoint.

4 Q. Of the people with this diagnosis, how  
5 many do return to their previous function?

6 A. I think that's a question that could  
7 be answered in two ways. One is how they act or  
8 how they measure, and let me tell you what I'm  
9 getting at. I've seen people with functional  
10 visual loss who, when you see them in the office,  
11 they measure still having a visual disability,  
12 but yet from a functional standpoint, meaning in  
13 their daily lives, they're doing better from  
14 their perspective. And I've also seen people  
15 who, you know, are always disabled, so to speak,  
16 or symptomatic, symptomatic for their condition.  
17 And, again, I can't tell you what separates those  
18 two groups of people.

19 Q. Is it your experience that the  
20 majority get better, or do the majority stay  
21 impaired?

22 A. I would say that the majority stay  
23 impaired.

24 Q. In your practice, besides reassurance,  
25 do you provide any other referrals or

1 recommendations to psychiatric or psychological  
2 care, sir?

3 A. Unfortunately not, sir, because  
4 there's no documentation that that helps. I wish  
5 it did, because that would -- it's one of these  
6 things that many neuro-ophthalmologists just hate  
7 to see because it's so frustrating. It's a  
8 condition where you spend a whole lot of time.  
9 You spend probably more time in a person with  
10 functional visual loss than with some other  
11 easily identified entities because you have to go  
12 through everything to make sure you're not  
13 missing something, if you understand what I  
14 mean. And then you come up with this, you know,  
15 there's no organic cause for it and the treatment  
16 is a reassurance and the prognosis is not good.

17 So it's a difficult thing for some  
18 guys to handle in that sense. But, fortunately,  
19 it's not a common event in the sense of we don't  
20 see one every day or whatever, but it's a common  
21 neuro-ophthalmology referral problem.

22 Q. In your practice, how often would you  
23 have a patient with functional visual loss come  
24 back to your office for reexaminations?

25 A. I would probably say three months



1 initially for a couple of visits and then, you  
2 know, increase the interval from there. The  
3 reason for that would be if I saw someone back in  
4 three months and they are better objectively,  
5 then I would really hit them with the reassurance  
6 again saying you're doing great, this is  
7 fabulous. I would coach them; you know what I'm  
8 saying? So I would do that rather short interval  
9 initially to see if I got a positive effect.  
10 Then I would, you know, up the reassurance part  
11 of it.

12 Q. So you do it for three months for a  
13 couple of times, and then go to six months or --

14 A. Yeah. You know, I'm just giving you a  
15 ballpark. I've never even thought about that  
16 question before, but I would say that would be  
17 something that would be something that I would do  
18 probably. Oftentimes I'll ask the patient and  
19 say when would you like to come back, you know,  
20 and that's often a good barometer because it  
21 tells you a little bit about where they stand  
22 with regard to their anxiety level about the  
23 condition.

24 Q. Do you ever discharge these patients  
25 from your practice and say we've had maximal

1 benefit from my intervention, or do you continue  
2 to see these people on an annual basis or --

3 A. Well, again, ideally, in a normal  
4 ophthalmology practice it's a referral practice,  
5 so you try to get the patients back to the doctor  
6 that initially referred them to you. And that  
7 sometimes can be done with functional patients  
8 and sometimes can't because sometimes the doctors  
9 who refer them to you really don't want them back  
10 for the reasons we've just talked about. So in  
11 that case I would follow them. I would become  
12 their primary ophthalmologist, I would have no  
13 trouble with that. But, again, that's an  
14 individualized sort of thing.

15 Q. If Judith Savage was your patient, how  
16 much would you, at a minimum, be seeing her?

17 A. Again, I saw her only once back in  
18 March of 98. I would have probably seen her  
19 three or four months later and then taken it from  
20 there.

21 Q. Say you have a patient -- let me try  
22 and hypothesize this -- such as Judith Savage who  
23 has had, again, hypothetically, no improvement in  
24 a five-year period. How often would you see her  
25 for the remainder of her life?

1                   MR. MISHKIND: Before you answer, let  
2 me just object only because it's only been three  
3 years, so the hypothetical does not contain facts  
4 consistent with this case, but it's a five-year  
5 interval in your hypothetical and he can go ahead  
6 and answer it.

7           A.     I would say yearly.

8           Q.     I'm just trying to get an idea of what  
9 Judith is looking at in terms of long-term care.

10          A.     Sure.

11          Q.     It would be your expectation that she  
12 sees an ophthalmologist on an annual basis at a  
13 minimum?

14          A.     For the functional visual loss  
15 aspect?

16          Q.     Yes.

17          A.     Then the corneal erosion aspect is  
18 obviously another issue.

19          Q.     It just seems surprising to me that  
20 there isn't medical trials with Paxil or one of  
21 these newer agents to see if they would help.

22                   Are you aware of any medical  
23 research --

24          A.     No.

25          Q.     -- trying to aggressively treat this

1 entity of functional visual loss?

2 A. No, I'm not.

3 Q. Since you raised the issue, and I  
4 guess I should cover it to be complete, in terms  
5 of what your recommendation or expectation would  
6 be in terms of the recurrent corneal erosion  
7 syndrome, how often do you think a patient with  
8 that condition needs to be seen on an ongoing  
9 chronic basis?

10 A. It would be totally driven by her  
11 symptoms. So, in other words, if she was  
12 asymptomatic, depending on the age, in  
13 ophthalmology, we have certain general guidelines  
14 about what happens to you at different ages and  
15 when you should be seen, but, you know, a year to  
16 two, whatever, in that general time frame, if she  
17 was asymptomatic.

18 Q. In terms of the entity she has now,  
19 have you formed an opinion as to what caused  
20 that?

21 A. Yes, I have.

22 Q. Can you tell me what your opinion is,  
23 sir?

24 A. We're dealing with two issues that are  
25 related. Can we go through them one at a time?

1 Q. Absolutely.

2 A. Which issue would you like to start  
3 with?

4 Q. With the recurrent corneal erosion  
5 syndrome.

6 A. Well, we have documentation that Mrs.  
7 Savage had corneal trauma, if we define trauma as  
8 an abnormality in her corneal epithelium leading  
9 to a corneal abrasion during her  
10 hospitalization. And that is sufficient to cause  
11 a recurrent corneal erosion syndrome.

12 And, secondly, we have the functional  
13 visual disturbance which I think I indicated  
14 earlier often follows trauma, eye trauma. So in  
15 that regard, I think they're related.

16 Q. From your review of the materials that  
17 you were given and your examination, can you form  
18 an opinion as to what caused the trauma?

19 A. Yeah. I can form an opinion.

20 Q. Let me just lay --

21 MR. MISHKIND: To a reasonable degree  
22 of medical probability.

23 A. Yes, of course. My understanding,  
24 after reviewing the records, is that when Mrs.  
25 Savage awoke from anesthesia, having had her

1 carotid gland on the right operated on and the  
2 facial nerve dissected partially, that she  
3 complained very shortly thereafter of some  
4 irritation in her right eye. And, subsequently,  
5 a few hours later, an ophthalmologist examined  
6 her and diagnosed a corneal abrasion, and as part  
7 of his note -- or corneal -- let me just see what  
8 he actually said. Corneal abrasion. And his  
9 conclusion was that it was probably secondary to  
10 exposure, possibly secondary to trauma.

11 So, given those facts, my opinion is  
12 that this corneal problem originated during the  
13 surgical procedure.

14 Q. Let me interject that if a nurse had  
15 witnessed the patient rubbing her eyes repeatedly  
16 in the post-anesthesia period, would that change  
17 your opinion, sir?

18 MR. MISHKIND: Let me just object for  
19 several reasons. The testimony is inconsistent  
20 between the nurses as to the observations, and  
21 certainly inconsistent with what's in the  
22 records. But if you're basing it just solely on  
23 that hypothetical, he can go ahead and answer the  
24 question.

25 Q. You can take this as a hypothetical.

1 If there is testimony that, prior to Mrs. Savage  
2 complaining of severe eye pain, she was noticed  
3 by a nurse to be rubbing her eyes repeatedly,  
4 would that change your opinion?

5 MR. MISHKIND: Let me show an  
6 objection to the hypothetical, but go ahead and  
7 answer the question, if you can.

8 A. I think what you're asking is could  
9 she have caused her own corneal abrasion.

10 Q. Yes.

11 A. It's a possibility, under those  
12 hypothetical terms.

13 Q. I'm just going to ask some general  
14 housekeeping kind of questions while I try and  
15 kickstart my brain here a little bit.

16 I take it you're licensed in the State  
17 of Ohio?

18 A. Yes.

19 Q. Has your license ever been suspended,  
20 revoked or limited in any way, sir?

21 A. Well, I had a problem in 1989.  
22 Actually, I had a problem with alcohol and went  
23 away for 30 days in 1984, and then in 1989 the  
24 Ohio State Medical Board said that I didn't tell  
25 them that I had gone away for treatment and they

1     suspended my license for a month, but this didn't  
2     involve any sort of patient care issues, and I've  
3     had no problems in that regard since.

4           Q.     Have your privileges at any hospitals,  
5     aside from the hospital going bankrupt, been  
6     restricted, revoked or limited or denied?

7           A.     Only administratively during that one  
8     month.

9           Q.     That would be in 1989?

10          A.     Correct.

11          Q.     Do you hold licensure in any other  
12     states, sir?

13          A.     No, I don't.

14          Q.     Have you ever been convicted of any  
15     felonies?

16          A.     No.

17          Q.     In terms of your time spent doing this  
18     expert witness work, how much do you charge for  
19     that?

20          A.     For review of records and meetings  
21     with attorneys, \$250 an hour. For depositions,  
22     \$500, and then in-court testimony would be  
23     negotiable depending on the situation.

24          Q.     Is that based on hourly, or how do you  
25     base your fees for trial testimony?



1           A.       Well, it would -- I would have to  
2       decide how much time I would have to take off  
3       work, travel, et cetera, et cetera, so that's  
4       what I meant by negotiable in terms of the time  
5       put in.

6                   MR. VAN LIGTEN:   If we could take a  
7       minute, Howard.

8                                       (Recess had.)

9           Q.       Are there any other entities that  
10       involve the basement membrane and cornea, any  
11       other medical entities, that could cause similar  
12       symptoms aside from recurrent corneal erosive  
13       syndrome?

14          A.       I'm not sure I really -- I understand  
15       your question, but I don't really -- you're  
16       asking about other basement membrane problems  
17       that do not cause corneal erosion syndrome, sir?

18          Q.       Let me rephrase that.  If someone  
19       comes into your office with complaints of  
20       recurrent pain of the cornea or, I guess,  
21       recurrent eye pain which symptomatically sounds a  
22       lot like recurrent corneal erosive syndrome, and  
23       your evaluation initially would include a  
24       differential diagnosis in addition to corneal  
25       erosive syndrome, what would be on that list of

1 differential diagnoses?

2 A. Well, I guess the other big one would  
3 be what we call dry eye syndrome where the --  
4 it's not just tear production, but it's the  
5 quality of the tears is abnormal and essentially  
6 leads to the same symptoms, but, again, the  
7 treatment is the same, would be the same, as for  
8 corneal erosion syndrome.

9 Q. Are there any ocular conditions  
10 related to patients with thyroid disease?

11 A. Are there any ocular conditions  
12 related to thyroid?

13 Q. Yes.

14 A. Yes, there are. There are a whole  
15 number.

16 Q. Are there any other disease processes  
17 that would mimic or be similar to recurrent  
18 corneal erosive syndrome in patients with thyroid  
19 disorders?

20 A. Only secondarily to exposure or tear  
21 forming ability. In other words, the  
22 pathophysiology of the cornea would be the same,  
23 but the cause for the corneal problem would be  
24 the abnormal blinking or abnormal tear production  
25 in the thyroid eye disease.

1           Q.     Do you know what caused Mrs. Savage's  
2     thyroid problem?

3           A.     No, I don't.

4           Q.     Are there some autoimmune disorders of  
5     the eye that could mimic corneal erosive  
6     syndrome?

7           A.     Sjogren's syndrome would be the big  
8     one, I suppose.

9           Q.     Is there some association in terms of  
10    the incidence with Sjogren's and thyroid disease,  
11    sir?

12          A.     I bet there is, but I don't know the  
13    statistic.

14          Q.     As far as you know, are there any  
15    medications which may cause symptoms similar to  
16    recurrent corneal erosive syndrome?

17          A.     Well, there are numerous medications  
18    that can cause the dry eye syndrome, and, really,  
19    they're all over the ballpark. But we usually  
20    think of them as the medications that have some  
21    sort of an anticholinergic effect, therefore,  
22    decreasing tear production, but I would bet if we  
23    looked it up in the books, just about any  
24    medication -- not just about any, but there would  
25    be more than we would expect to find that have

1     been associated with dry eye problems.

2           Q.     Do anesthetic agents fit in that  
3     category?

4           A.     I'm sure they do, but, again, one  
5     would expect their actions to be limited to the  
6     time which they were given and metabolized by the  
7     body.

8           Q.     As far as you know, was Mrs. Savage on  
9     any medications which may have caused symptoms  
10    similar to recurrent corneal erosive syndrome?

11          A.     I don't have that in my notes, so I  
12    can't say whether she was or wasn't.  Although I  
13    would like to just add on that most of the time  
14    those symptoms are bilateral in dry eye, in  
15    thyroid, et cetera, in medicational-related dry  
16    eye, so here we have a person with unilateral  
17    symptoms, basically.

18                   MR. VAN LIGTEN:  I'm done.

19                   MR. MISHKIND:  We'll reserve  
20    signature.

21                               - - - - -

22                   (Deposition concluded at 11:30 o'clock a.m.)

23                               (Signature not waived.)

24                               - - - - -

25

1 AFFIDAVIT

2 I have read the foregoing transcript from  
3 page 1 through 52 and note the following  
4 corrections:

5 PAGE LINE REQUESTED CHANGE

6

7

8

9

10

11

12

13

14

15

16

17

18 \_\_\_\_\_  
ROBERT L. TOMSAK, M.D., Ph.D.

19

20 Subscribed and sworn to before me this  
21 \_\_\_\_\_ day of \_\_\_\_\_, 2000.

22

23

24 \_\_\_\_\_  
Notary Public

25 My commission expires \_\_\_\_\_.

## 1 CERTIFICATE

2 State of Ohio, )  
 ) SS:  
3 County of Cuyahoga. )  
4

5 I, Karen M. Patterson, a Notary Public  
within and for the State of Ohio, duly  
6 commissioned and qualified, do hereby certify  
that the within named ROBERT L. TOMSAK, M.D.,  
7 Ph.D. was by me first duly sworn to testify to  
the truth, the whole truth and nothing but the  
8 truth in the cause aforesaid; that the testimony  
as above set forth was by me reduced to  
9 stenotypy, afterwards transcribed, and that the  
foregoing is a true and correct transcription of  
10 the testimony.

11 I do further certify that this deposition  
was taken at the time and place specified and was  
12 completed without adjournment; that I am not a  
relative or attorney for either party or  
13 otherwise interested in the event of this action.

14 IN WITNESS WHEREOF, I have hereunto set my  
hand and affixed my seal of office at Cleveland,  
15 Ohio, on this 13th day of July 2000.  
16  
17

Karen M. Patterson, Notary Public  
18 Within and for the State of Ohio  
19 My commission expires October 7, 2004.  
20  
21  
22  
23  
24  
25

A				C
ability 50:21	20:1 22:13 36:3	anywhere 15:7	bankrupt 48:5	call 10:21 27:4
able 30:19	45:24	appear 25:5,9	barometer 41:20	28:21 35:20 50:3
abnormal 14:12	afternoon 21:23	APPEARANCES	base 13:20 48:25	called 1:15 3:2 10:9
17:2 27:23,24	afterwards 54:9	2:1	based 48:24	11:21 14:10 17:1
28:1,6 29:9,14,21	again 4:14 12:2	appeared 29:25	basement 13:14	26:3 28:15 29:5
34:25 50:5,24,24	15:6 17:9 18:18	Approximately	14:9 16:15,16	came 21:15 33:17
abnormalities 20:2	19:24 21:14	8:21	34:13 49:10,16	capacity 25:14
23:21,25	22:20 33:9,13	area 14:8,12 16:10	basically 6:5 11:21	card 24:23
abnormality 14:8	35:13 39:17 41:6	16:18 17:2 22:5	13:21 14:2 16:5	care 17:13 18:9
21:25 28:24	42:3,13,17,23	29:2	17:23 36:16	40:2 43:9 48:2
29:23 45:8	50:6 52:4	areas 28:18	52:17	career 7:14
about 5:19 6:8,9,10	age 3:2 44:12	arisen 8:2	basing 46:22	Carl 12:8
7:12,13 17:1	agents 43:21 52:2	armamentarium	basis 4:11 12:15	carotid 46:1
22:10 28:17	ages 44:14	26:17	15:9 42:2 43:12	case 1:6 4:21 5:9
31:20,23 32:3	aggressively 43:25	arrangement 4:15	44:9	8:5,7 9:3,9 10:5
33:9 35:5 37:23	ago 16:25	article 11:1	beat 34:18	11:11 12:5 27:5
41:15,21,22 42:10	ahead 8:15 21:12	articles 12:18	Becker 1:20 2:3	27:17 35:6 37:5
44:14 49:16	25:11,16 26:21	artificial 18:20	become 15:19	38:16 42:11 43:4
51:23,24	43:5 46:23 47:6	aside 19:5 34:3 48:5	17:11 42:11	cases 7:3,10,20 8:2
above 1:23 54:8	aimed 10:21	49:12	before 1:16 5:24	9:22
abrasion 13:25	air 15:17	asked 28:19	6:1 8:19 19:10,13	cataract 27:11
18:15 45:9 46:6,8	akin 36:8	asking 3:9,12 19:23	19:19 21:6 22:20	categories 35:20
47:9	Akron 9:14	47:8 49:16	22:23 33:13 38:5	category 52:3
abrasions 13:21	AL 1:4,8	aspect 29:23 43:15	41:16 43:1 53:20	cause 17:3 18:15
Absolutely 22:16	alcohol 47:22	43:17	behalf 2:2,9 7:1	23:15 35:3 40:15
45:1	alleviate 16:7	Asseff's 12:9	behind 37:25	45:10 49:11,17
academic 10:3	almost 8:8 16:17	associate 4:23	being 3:3 6:7 15:9	50:23 51:15,18
accidents 23:6	already 37:7	associated 14:17	25:2	54:8
according 28:23	Although 52:12	52:1	believe 3:24 6:17	caused 15:12 44:19
account 29:16 31:2	always 26:22 39:15	association 51:9	11:12 16:24	45:18 47:9 51:1
across 28:17	amount 17:9 22:17	asymptomatic	26:11 30:10,11,17	52:9
act 39:7	23:16	44:12,17	30:21,23	causing 35:12
action 54:13	analysis 27:18	ATHENS 1:2	benefit 42:1	37:13
actions 52:5	anatomic 29:15	attach 16:21	benefits 18:4	caveat 31:4
acts 16:11	anatomically 30:5	attend 5:3	besides 39:24	Center 6:2
actual 25:14 29:7	and/or 34:24	attending 6:7,8	best 16:5	certain 18:22 24:14
actually 5:4,20 8:4	anesthesia 45:25	attorney 54:12	bet 51:12,22	38:17 44:13
8:6,7 11:19 12:19	anesthetic 52:2	attorneys 48:21	better 11:8 16:12	certainly 46:21
13:19 17:2,3	angiogram 30:9	Audio 11:21	21:21 25:5 31:7	CERTIFICATE
19:13 20:15	37:24	August 5:21	33:23 38:17,22	54:1
24:10 26:18	annual 42:2 43:12	authored 10:8	39:13,20 41:4	certified 3:4
36:18 46:8 47:22	another 10:8 18:3	autoimmune 51:4	between 18:13	certify 54:6,11
acuity 24:1,7 26:19	28:2,9 29:13	automated 29:5,12	37:10 46:20	cetera 27:13,13
30:18 31:2 34:24	43:18	available 18:11	big 22:4 50:2 51:7	37:24,25 49:3,3
37:9	answer 3:17 8:15	average 7:16	bigger 23:13	52:15
acutely 21:16	19:11 21:7,12,14	awakening 18:14	bilateral 52:14	chair 24:8
add 52:13	25:11,17,18 33:5	aware 30:8 43:22	bit 41:21 47:15	change 32:17 46:16
addition 49:24	43:1,6 46:23 47:7	away 14:23 24:8,18	blinding 18:2	47:4 53:5
address 25:22	answered 39:7	24:20 47:23,25	blinked 31:8	changed 4:1 25:21
addressed 33:12	answers 3:15 19:14	awhile 16:25 17:25	blinking 50:24	chapter 10:19,20
adjournment 54:12	20:22	awoke 45:25	Board 47:24	character 14:14

<b>CI</b> 1:8 <b>circumstances</b> 34:5 <b>citation</b> 10:19 <b>Civil</b> 3:3 <b>clear</b> 18:1 <b>Cleveland</b> 1:22 2:7 4:13,18,20 5:3 54:14 <b>clinic</b> 4:13,18,20 5:3,5 <b>clinical</b> 4:22 6:6,22 <b>clinics</b> 6:12 <b>closed</b> 15:1 21:20 <b>closes</b> 14:25 <b>Co</b> 1:20 2:3 <b>coach</b> 41:7 <b>coincide</b> 29:13 <b>Columbus</b> 2:13 <b>combination</b> 33:15 <b>come</b> 17:24 21:22 23:7 38:18 40:14 40:23 41:19 <b>comes</b> 15:20 49:19 <b>comment</b> 32:24 33:3 <b>commission</b> 53:25 54:19 <b>commissioned</b> 54:6 <b>common</b> 1:1 31:15 32:25 36:3 40:19 40:20 <b>company</b> 11:20 <b>compared</b> 27:4 <b>complained</b> 46:3 <b>complaining</b> 47:2 <b>complaints</b> 49:19 <b>complete</b> 44:4 <b>completed</b> 54:12 <b>completely</b> 30:24 37:16 <b>compliance</b> 33:24 <b>components</b> 30:2 <b>comport</b> 19:8 <b>compositions</b> 18:12 <b>computerized</b> 29:4 <b>computer-driven</b> 29:6 <b>concluded</b> 52:22 <b>conclusion</b> 46:9 <b>condition</b> 16:14 17:12 34:14,23 39:16 40:8 41:23 44:8 <b>conditions</b> 18:2 50:9,11 <b>confrontation</b> 28:15 29:11 <b>confrontational</b> 28:22 <b>consciously</b> 35:22 <b>consider</b> 10:25 11:7 <b>consistent</b> 21:5,24	43:4 <b>consultations</b> 6:25 <b>contact</b> 17:8,9,13 <b>contain</b> 43:3 <b>contains</b> 11:7 <b>continue</b> 42:1 <b>contract</b> 5:20 <b>contractual</b> 4:10 <b>contractually</b> 5:23 <b>contradict</b> 21:4 <b>convicted</b> 48:14 <b>copy</b> 12:7 19:19 <b>cornea</b> 14:9,11 15:14,17 16:20 18:13 20:2,3 22:21 49:10,20 50:22 <b>corneal</b> 13:6,10,12 13:14,15,21,24,25 15:4,16 16:3 17:1 17:14,16,20 18:1 18:2,8 19:7,8 21:1,17 22:1,7 23:18 27:11 31:1 31:12 32:1 33:10 33:20 34:7,19 43:17 44:6 45:4,7 45:8,9,11 46:6,7,8 46:12 47:9 49:12 49:17,22,24 50:8 50:18,23 51:5,16 52:10 <b>correct</b> 5:13 15:12 15:23 20:4 25:6 30:1,4,7 31:4 37:1 48:10 54:9 <b>correction</b> 26:4 <b>corrections</b> 53:4 <b>correctly</b> 16:2 17:13 22:10 <b>correlation</b> 22:14 <b>couch</b> 21:20 <b>counsel</b> 1:20 <b>count</b> 28:19 <b>county</b> 1:2 4:6 54:3 <b>couple</b> 15:8 17:24 19:21 20:14 41:1 41:13 <b>course</b> 19:6 37:22 45:23 <b>court</b> 1:1 3:18 <b>cover</b> 35:17 44:4 <b>covered</b> 28:16 <b>covers</b> 16:10 <b>cured</b> 34:10 <b>current</b> 3:22,24 4:3 13:1 <b>currently</b> 7:10 <b>curriculum</b> 3:22,23 <b>Cuyahoga</b> 54:3 <b>CV</b> 9:25 10:6 11:10	<b>D</b> <b>daily</b> 15:9 39:13 <b>damage</b> 19:7 <b>damaged</b> 13:15 15:18 16:10,17 38:1 <b>date</b> 1:23 3:25 6:15 <b>dated</b> 12:7 <b>day</b> 1:23 6:21 18:18 40:20 53:21 54:15 <b>days</b> 17:25 47:23 <b>deal</b> 11:8 <b>dealing</b> 36:14 44:24 <b>decide</b> 26:17 49:2 <b>decreased</b> 35:12 <b>decreasing</b> 51:22 <b>defect</b> 15:22 16:3 21:17,17 22:7 27:5,6 29:9 37:17 <b>defects</b> 22:11 <b>Defendants</b> 1:9,15 2:9 <b>defended</b> 9:9 <b>Defense</b> 7:22,24 <b>deficits</b> 31:3 <b>define</b> 45:7 <b>definitely</b> 6:18 <b>definition</b> 34:22 <b>definitive</b> 16:22 <b>degeneration</b> 27:12 <b>degree</b> 5:15 20:22 22:15 45:21 <b>denied</b> 48:6 <b>dependent</b> 15:9 <b>depending</b> 44:12 48:23 <b>depends</b> 14:21 22:4 25:1 33:14 <b>deposed</b> 3:4 <b>deposition</b> 1:11,14 8:19 19:18 52:22 54:11 <b>depositions</b> 12:12 48:21 <b>depressive</b> 36:22 <b>describe</b> 11:20 14:15 <b>detachment</b> 18:8 <b>detailed</b> 12:24 <b>determine</b> 25:14 26:17 37:4 <b>develop</b> 36:2 <b>diagnosed</b> 46:6 <b>diagnoses</b> 13:2 50:1 <b>diagnosis</b> 13:1,22 13:23 14:4 19:8 20:10 21:4,5 34:20 36:24 37:1 37:4 38:4 39:4 49:24 <b>difference</b> 35:21	37:10 <b>different</b> 18:12,19 18:21 27:19,21 28:18 44:14 <b>differential</b> 49:24 50:1 <b>difficult</b> 25:4,7 39:2 40:17 <b>Digest</b> 11:21 <b>directly</b> 23:2 <b>disability</b> 35:23 39:11 <b>disabled</b> 39:15 <b>discharge</b> 41:24 <b>discussed</b> 37:18 <b>Discussion</b> 10:16 11:18 38:8 <b>disease</b> 50:10,16,25 51:10 <b>disorders</b> 50:19 51:4 <b>dissected</b> 46:2 <b>distance</b> 24:3,7,8 24:14,20,22 28:17 37:10,11 <b>disturbance</b> 45:13 <b>diverted</b> 25:21 <b>divide</b> 7:20 35:18 <b>doctor</b> 17:24 19:10 19:13,17 25:17,19 42:5 <b>doctors</b> 42:8 <b>doctor's</b> 9:3 <b>documentation</b> 37:25 40:4 45:6 <b>documented</b> 22:13 <b>doing</b> 6:9,21,22 7:6 32:18 39:13 41:6 48:17 <b>done</b> 6:25 7:17 18:6 20:16 30:9,16 32:7 34:12 42:7 52:18 <b>down</b> 3:25 17:5 21:20 25:20 26:14 39:3 <b>Dr</b> 3:8 6:19 12:8,9 19:4 20:7 24:12 <b>dreamed</b> 24:11 <b>driven</b> 44:10 <b>drop</b> 18:18 39:1 <b>dropped</b> 8:17 <b>drops</b> 17:23 <b>dry</b> 50:3 51:18 52:1 52:14,15 <b>duly</b> 3:4 54:5,7 <b>during</b> 18:10,18 45:9 46:12 48:7 <b>duties</b> 8:6	<b>early</b> 19:6 <b>easily</b> 40:11 <b>echo</b> 38:12 <b>edited</b> 10:9 <b>education</b> 6:11 <b>effect</b> 34:1 38:14 41:9 51:21 <b>eight</b> 7:17 <b>either</b> 54:12 <b>employed</b> 4:4 5:25 6:1 <b>employee</b> 4:14 <b>entities</b> 40:11 49:9 49:11 <b>entitled</b> 12:1 <b>entity</b> 44:1,18 <b>episode</b> 14:19 <b>episodes</b> 15:3 18:7 18:8 <b>episodic</b> 14:1 <b>epithelial</b> 16:3 21:17 <b>epithelium</b> 13:15 13:16,18,20 15:16 15:19,22 16:14,20 17:5 45:8 <b>equivalent</b> 24:3 37:11 <b>erosion</b> 13:6,10,12 15:4 19:9 22:11 31:1,12 32:1 33:20 34:8,19 43:17 44:6 45:4 45:11 49:17 50:8 <b>erosions</b> 33:10 <b>erosive</b> 21:2 49:12 49:22,25 50:18 51:5,16 52:10 <b>error</b> 26:1,6 <b>ESQ</b> 2:4 <b>essentially</b> 16:10 24:12 27:19 36:7 38:15 50:5 <b>estimate</b> 8:24 22:8 <b>et</b> 1:4,8 27:13,13 37:24,24 49:3,3 52:15 <b>evaluation</b> 49:23 <b>even</b> 12:18 22:23 23:11,13 27:2 41:15 <b>event</b> 40:19 54:13 <b>ever</b> 7:3,17 8:18 17:15 19:6 34:15 41:24 47:19 48:14 <b>every</b> 15:8 16:2 17:24 40:20 <b>everybody's</b> 9:11 <b>everything</b> 30:6 36:25 40:12 <b>evidence</b> 21:4 23:18
---	---	---	--	---



37:17 <b>exam</b> 20:16 24:8 31:6 37:16 <b>examination</b> 1:16 3:2,6 23:22 29:20 45:17 <b>examinations</b> 7:1 21:2 <b>examine</b> 14:7 21:22 <b>examined</b> 6:13 14:12 23:17 25:2 46:5 <b>example</b> 22:12 23:1 23:14 26:2 36:9 <b>excluded</b> 38:16 <b>excluding</b> 21:8 <b>exclusion</b> 37:2 <b>expect</b> 15:3 31:7 51:25 52:5 <b>expectation</b> 43:11 44:5 <b>experience</b> 24:25 32:6,10 33:7 34:17 38:9,13 39:19 <b>experiment</b> 19:1 <b>expert</b> 48:18 <b>expires</b> 53:25 54:19 <b>explain</b> 35:1 <b>explanation</b> 27:10 37:21 <b>expose</b> 13:19 <b>exposure</b> 46:10 50:20 <b>extremely</b> 17:21 22:11,24,25 23:8 23:15 27:16 36:3 <b>eye</b> 4:4,16 5:3 13:3 14:7,23,25 15:1,1 20:20 21:2,8,19 23:4,7,22 24:1 25:15 26:2,7,23 26:24 27:8,8,16 27:22,23 28:16,20 28:25 29:2,11,16 29:23 31:5,8 33:21 36:4 37:15 38:1 45:14 46:4 47:2 49:21 50:3 50:25 51:5,18 52:1,14,16 <b>eyelid</b> 18:14,15 <b>eyes</b> 21:21 24:25 33:22 46:15 47:3	<b>faculty</b> 4:19,21 <b>fair</b> 17:9 25:2 <b>far</b> 16:12 18:4 51:14 52:8 <b>faxed</b> 19:12,18 <b>feel</b> 21:21 <b>feeling</b> 23:8 33:22 <b>fees</b> 48:25 <b>feet</b> 24:15,18,20 <b>feigning</b> 35:23 <b>fellowship</b> 7:9 8:6 <b>felonies</b> 48:15 <b>felt</b> 21:19 24:13 <b>few</b> 16:25 46:5 <b>field</b> 27:18 28:8,15 28:19,22,25 29:8 29:10,11 34:24 <b>fields</b> 37:20 <b>fifth</b> 33:18 <b>figure</b> 28:12 <b>films</b> 30:13,19 <b>financial</b> 4:14 9:19 35:24 <b>financially</b> 6:3 <b>find</b> 21:24 23:18,21 51:25 <b>finding</b> 20:21 29:16 <b>findings</b> 14:3 29:17 <b>fine</b> 17:3 19:15 <b>fingers</b> 28:18 <b>finished</b> 7:8 <b>firm</b> 9:9,17,22 <b>first</b> 3:3 8:4 13:9 20:14 26:9 54:7 <b>fit</b> 52:2 <b>five</b> 7:12 <b>five-year</b> 42:24 43:4 <b>flag</b> 37:13 <b>flies</b> 23:6 <b>Florida</b> 8:10 <b>Florida-based</b> 8:5 <b>fluorescein</b> 30:9 <b>fluorescein</b> 37:24 <b>focus</b> 37:3 <b>follow</b> 42:11 <b>following</b> 53:3 <b>follows</b> 3:5 45:14 <b>foregoing</b> 53:2 54:9 <b>foreign</b> 23:4,10,13 <b>form</b> 12:6,15 17:4 27:21 35:24 36:2 45:17,19 <b>formal</b> 32:7 <b>formed</b> 12:20,23 44:19 <b>forming</b> 50:21 <b>forth</b> 1:23 54:8 <b>fortunately</b> 40:18 <b>forward</b> 29:22 <b>found</b> 28:23 <b>four</b> 42:19	<b>fourth</b> 33:18 <b>frame</b> 31:23 38:19 44:16 <b>freestanding</b> 4:17 <b>frequency</b> 15:2 31:19 <b>from</b> 4:1,18 8:2,8,9 12:10 13:18 15:7 15:20 19:6,18 21:8 24:8,18,20 24:22,25 28:17 29:21,22 35:25 37:6,6 39:3,12,13 41:2,25 42:1,19 45:16,25 48:5 49:12 53:2 <b>frustrating</b> 40:7 <b>full-time</b> 6:7 <b>function</b> 23:24 35:12 39:5 <b>functional</b> 10:21 11:1 12:2 13:7 34:21,23 35:18 36:6 38:4 39:2,9 39:12 40:10,23 42:7 43:14 44:1 45:12 <b>further</b> 10:1 37:25 54:11	<b>great</b> 41:6 <b>gross</b> 28:20 <b>ground</b> 3:11 <b>group</b> 4:5,9,17 5:18 <b>groups</b> 39:18 <b>guess</b> 3:14 5:22 10:25 20:11 32:22 38:10 44:4 49:20 50:2 <b>guidelines</b> 44:13 <b>guys</b> 40:18	<b>hospitals</b> 48:4 <b>hour</b> 14:25 48:21 <b>hourly</b> 48:24 <b>hours</b> 22:3 46:5 <b>housekeeping</b> 47:14 <b>howard</b> 2:4 49:7 <b>human</b> 15:15 22:22 <b>hypothesize</b> 42:22 <b>hypothetical</b> 21:7 43:3,5 46:23,25 47:6,12 <b>hypothetically</b> 20:24 42:23 <b>hysterical</b> 35:21 36:2,9
<b>F</b> 2:10 <b>fabulous</b> 41:7 <b>facial</b> 46:2 <b>fact</b> 17:20 33:16 37:15 <b>facts</b> 43:3 46:11			<hr/> <b>H</b> <b>half</b> 6:21 <b>hand</b> 19:13 20:14 54:14 <b>handed</b> 3:21 <b>handle</b> 38:25 40:18 <b>happen</b> 9:2 <b>happens</b> 13:17 44:14 <b>happy</b> 33:13 <b>hard</b> 3:19 <b>hate</b> 40:6 <b>having</b> 6:5 24:25 39:11 45:25 <b>head</b> 3:19 <b>headed</b> 25:20 <b>heal</b> 22:2,9 <b>heard</b> 33:1 <b>held</b> 28:18 <b>help</b> 12:6,15 14:4 16:7 43:21 <b>helps</b> 40:4 <b>hemorrhage</b> 27:11 <b>Henderson</b> 2:12 <b>her</b> 13:3 20:1,2,3,20 22:13 23:22,23 24:1 26:14 27:22 28:7,16,17,18,19 28:20,25 29:10,21 30:16 31:2,5 37:5 37:15,20 42:16,17 42:18,24,25 44:10 45:8,9,25 46:4,6 46:15 47:3,9 <b>hereinafter</b> 3:4 <b>hereunto</b> 54:14 <b>higher</b> 7:14 <b>historically</b> 13:24 <b>history</b> 13:24 <b>hit</b> 41:5 <b>hit-and-miss</b> 18:23 <b>hold</b> 17:4 48:11 <b>holds</b> 24:24 <b>hole</b> 16:18 <b>honest</b> 31:21 <b>hopefully</b> 32:17 <b>hospital</b> 1:8 4:10 5:3 12:10,11 48:5 <b>hospitalization</b> 45:10	<hr/> <b>I</b> <b>ice</b> 21:19 <b>idea</b> 8:1 31:21 43:8 <b>ideally</b> 42:3 <b>identifiable</b> 20:6 <b>identified</b> 40:11 <b>illness</b> 36:22 <b>image</b> 24:14 <b>impaired</b> 39:21,23 <b>important</b> 27:17 <b>impression</b> 20:11 28:6 <b>improvement</b> 42:23 <b>inches</b> 24:24,24 <b>incidence</b> 31:18 51:10 <b>include</b> 49:23 <b>including</b> 21:8 <b>inconsistent</b> 20:9 46:19,21 <b>inconsistently</b> 27:23 28:1,5 <b>increase</b> 41:2 <b>indicate</b> 19:11 <b>indicated</b> 17:16 45:13 <b>indicating</b> 28:17 29:3 <b>individualized</b> 42:14 <b>industrial</b> 23:5 <b>information</b> 3:17 <b>informed</b> 34:11 <b>initial</b> 19:5 <b>initially</b> 28:16 41:1 41:9 42:6 49:23 <b>injuries</b> 22:1 36:4 <b>injury</b> 13:25 19:5,7 20:1,6 22:15,17 23:19 <b>ins</b> 4:15 <b>inspection</b> 22:3 30:6 <b>instance</b> 18:5 <b>instances</b> 16:13

<b>instructing</b> 5:8 <b>instruments</b> 26:5,8 <b>integrity</b> 16:19 27:8 27:15 <b>intensive</b> 17:21 <b>interested</b> 36:12 54:13 <b>interject</b> 46:14 <b>intermittent</b> 14:1 <b>interval</b> 4:2 41:2,8 43:5 <b>intervention</b> 42:1 <b>Inventory</b> 36:19 <b>involve</b> 48:2 49:10 <b>involved</b> 8:7,12 10:2,7 <b>in-court</b> 48:22 <b>irritation</b> 15:12 46:4 <b>issue</b> 22:5 23:3 25:22 33:25 43:18 44:3 45:2 <b>issues</b> 10:5 11:10 20:14 44:24 48:2 <hr/> <b>J</b> <b>Jacobson</b> 9:10 <b>joined</b> 5:19 <b>judith</b> 1:4 3:10 6:13 42:15,22 43:9 <b>July</b> 1:12 54:15 <b>just</b> 3:11,16,21 4:17 5:19 7:25 9:1 10:1,10 11:3 15:1 16:11,20 19:11,12 20:1 21:11 23:1 23:14 25:22 28:12 29:19 32:12 33:16 34:2 38:18,24 40:6 41:14 42:10 43:2 43:8,19 45:20 46:7,18,22 47:13 50:4 51:23,24 52:13 <b>J.D</b> 2:11 <hr/> <b>K</b> <b>Karen</b> 1:17 54:5,17 <b>kickstart</b> 47:15 <b>kind</b> 5:24 11:19 15:9 17:18 18:23 22:8 26:16 34:3 39:1 47:14 <b>know</b> 3:13,15,16 4:14 6:2 11:7 17:11,18,19 20:22 22:9 23:7 26:13 30:11 32:6 34:8 36:13 38:17,18 39:15 40:14 41:2 41:7,10,14,19	44:15 51:1,12,14 52:8 <b>knowledge</b> 4:12 7:2 9:24 <b>known</b> 16:13 <hr/> <b>L</b> <b>L</b> 1:11,14 3:1,6 53:18 54:6 <b>lacrimation</b> 14:18 <b>laid</b> 21:20 <b>Lakeland</b> 4:4,16 5:18 <b>lamp</b> 14:6 <b>last</b> 14:20 <b>later</b> 21:21 42:19 46:5 <b>law</b> 2:10 9:17 <b>lawful</b> 3:1 <b>lawsuits</b> 8:13 <b>lay</b> 17:17 45:20 <b>layer</b> 15:16 <b>leading</b> 13:20 45:8 <b>leads</b> 50:6 <b>least</b> 20:18 30:5 <b>leave</b> 19:19 <b>left</b> 28:16 <b>lens</b> 17:9,13 29:25 <b>lense</b> 17:8 <b>lenses</b> 26:1 <b>lesion</b> 23:15 34:25 35:11 37:13 <b>lesions</b> 22:24 <b>less</b> 19:2 <b>let</b> 3:13,16,21 19:11 20:24 21:11 29:19 39:8 42:21 43:1 45:20 46:7 46:14,18 47:5 49:18 <b>letter</b> 12:24 <b>letterhead</b> 6:20 <b>let's</b> 8:22 13:9 23:11 29:21 32:3 33:17 35:8,20 37:3 <b>level</b> 16:5 41:22 <b>Levine's</b> 6:19 <b>license</b> 47:19 48:1 <b>licensed</b> 47:16 <b>licensure</b> 48:11 <b>life</b> 17:23 33:16 42:25 <b>life-long</b> 34:14 <b>light</b> 14:17 26:7,25 28:7 <b>ligten</b> 2:11 3:7,9 9:6 19:15,20 21:9 33:4 35:8,15 49:6 52:18 <b>like</b> 11:12,24 13:21 16:17 21:19	22:12 27:12 32:2 33:15 36:18,21,23 38:2 39:1 41:19 45:2 49:22 52:13 <b>limited</b> 47:20 48:6 52:5 <b>LINE</b> 53:5 <b>list</b> 10:2 37:8 49:25 <b>literature</b> 10:18 11:5,10 12:14 17:6 32:8 36:10 36:15 38:12 <b>little</b> 5:22 41:21 47:15 <b>lives</b> 39:13 <b>location</b> 5:16 <b>long</b> 5:17 7:6 14:19 22:8 23:10 <b>longer</b> 9:10 <b>long-term</b> 33:8 43:9 <b>look</b> 10:6,10 16:6 27:7 30:18 36:6 36:15 <b>looked</b> 28:20 35:24 35:25 36:18 51:23 <b>looking</b> 14:6 29:1 43:9 <b>looks</b> 11:24 17:17 23:13 <b>Lorain</b> 4:6,13 <b>loss</b> 10:21 11:1 12:2 13:8 30:19 34:21 34:23 35:19,21 36:3,6 38:4 39:2 39:10 40:10,23 43:14 44:1 <b>lot</b> 23:5,9 25:1 36:10 40:8 49:22 <b>lower</b> 7:15 <b>lubricant</b> 16:7,9 <b>lubricants</b> 14:23 16:24 33:15 <b>lubricate</b> 18:13 <b>lubricating</b> 18:10 18:18 <b>L.P.A</b> 1:21 2:3 <hr/> <b>M</b> <b>M</b> 1:17 54:5,17 <b>machine</b> 29:5 <b>macular</b> 27:12 <b>made</b> 13:22,23 34:20 <b>mainly</b> 32:17 <b>maintenance</b> 17:10 <b>majority</b> 7:23 8:3 39:20,20,22 <b>make</b> 11:22 14:4 19:2 23:9 25:4,8 27:8 35:2 36:24	40:12 <b>makes</b> 16:12 <b>malady</b> 31:15 <b>malinger</b> 35:20 35:22 <b>malpractice</b> 7:4 <b>man</b> 24:11 33:17 <b>manic</b> 36:22 <b>many</b> 7:10 8:21,24 22:3 31:25 39:5 40:6 <b>March</b> 23:17 42:18 <b>materials</b> 12:4 45:16 <b>matter</b> 17:20 <b>maximal</b> 41:25 <b>may</b> 5:20 12:8 16:4 19:16,17 26:13 38:19,19,20 51:15 52:9 <b>maybe</b> 8:23 32:4 <b>Maynard</b> 9:10 <b>McAdoo</b> 19:4 20:7 <b>McAdoo's</b> 12:9 <b>mean</b> 27:25 28:3,3 38:23 40:14 <b>meaning</b> 6:7 18:25 28:10,16 37:12 39:12 <b>means</b> 24:17 <b>meant</b> 28:13 49:4 <b>measure</b> 26:5 28:21 39:8,11 <b>measured</b> 24:2,4,21 24:23 <b>measures</b> 24:7 29:7 <b>medical</b> 4:10,25 5:7 6:2 7:4 43:20,22 45:22 47:24 49:11 <b>medication</b> 51:24 <b>medicational-rela...</b> 52:15 <b>medications</b> 51:15 51:17,20 52:9 <b>medicine</b> 34:1,2 <b>meetings</b> 11:22 48:20 <b>member</b> 4:19,21 9:17 <b>membrane</b> 13:14 14:9 16:15,17 34:13 49:10,16 <b>memorial</b> 1:7 12:11 <b>mentioned</b> 32:13 <b>mentioning</b> 22:6 <b>mercury</b> 39:1 <b>Merit</b> 1:17 <b>metabolized</b> 52:6 <b>methodology</b> 26:16 <b>methods</b> 27:19 <b>Michigan</b> 8:8,10	<b>microscope</b> 14:7 23:13 <b>microscopic</b> 16:4 <b>mid</b> 24:11 <b>might</b> 35:17 37:7 <b>mimic</b> 50:17 51:5 <b>mind</b> 11:15 19:23 28:4 <b>mini</b> 13:21 <b>minimize</b> 18:7 <b>minimum</b> 22:17 42:16 43:13 <b>Minnesota</b> 36:19 <b>minute</b> 11:20 49:7 <b>minutes</b> 14:24 19:21 21:21 <b>miraculous</b> 17:18 <b>mishkind</b> 1:20 2:3 2:4 6:25 8:14 9:5 9:16 10:15 12:24 19:10,16 21:6,11 22:6 25:10,16 26:20 32:23 33:2 33:5 35:5,10,13 38:7 43:1 45:21 46:18 47:5 52:19 <b>missing</b> 10:13 40:13 <b>MMPI</b> 36:18 <b>month</b> 5:4 31:25 32:4 33:17 38:20 48:1,8 <b>months</b> 33:19 40:25 41:4,12,13 42:19 <b>more</b> 7:17 10:21 12:21 17:11 40:9 51:25 <b>morning</b> 3:8 21:18 <b>most</b> 15:14 22:22 52:13 <b>move</b> 6:4 <b>MRI</b> 30:16 37:23 <b>Mt</b> 6:2,14 <b>much</b> 34:18 36:8 42:16 48:18 49:2 <b>Multiphasic</b> 36:19 <b>multiple</b> 21:2 <b>M.D</b> 1:11,14 2:11 3:1,6 53:18 54:6 <hr/> <b>N</b> <b>name</b> 3:8 9:2,3,4 24:11 <b>named</b> 54:6 <b>namely</b> 24:14 <b>nasal</b> 28:25 <b>near</b> 24:3,22 37:10 37:11 <b>nearsighted</b> 26:2 <b>necessarily</b> 34:10 <b>necessary</b> 3:17 <b>need</b> 3:15 11:17 26:4
---	--	---	---	--

<p>needle 17:3 23:11 23:12 needs 44:8 negotiable 48:23 49:4 nerve 15:12 27:1 30:17 38:1 46:2 nerves 13:20 15:18 neurology 4:24 neuro-ophthalmo... 40:6 neuro-ophthalmo... 5:5 6:6,9,22 10:10,18 40:21 neutralize 26:1 neutralizing 26:7 never 18:1 33:1 41:15 newer 43:21 next 13:7 night 18:11 33:21 nodding 3:19 nonmalingering 36:7 nonorganic 13:7 35:18 normal 16:20 24:15 24:17 29:25 30:3 30:24 34:15 37:16,23,24 42:3 normally 13:17,17 16:21 24:24 27:3 nose 28:20 Notary 1:18 53:24 54:5,17 notation 24:16 30:10 note 6:23 20:18 46:7 53:3 notes 12:9 52:11 nothing 4:1 22:12 54:7 notice 1:19 noticed 47:2 number 18:7,11,16 18:17 20:18 37:15,19 50:15 numerous 51:17 nurse 46:14 47:3 nurses 46:20</p> <p><b>O</b></p> <p>object 24:18 43:2 46:18 objection 8:14 21:6 21:12 25:10,16 26:20 47:6 objective 22:2 objectively 26:5 41:4 obscure 20:21 observations 46:20</p>	<p>observe 26:8 obviously 31:5 33:25 43:18 occlusion 27:13 occur 15:3,21,25 18:15 22:1 occurred 34:12 occurs 16:3 October 3:25 54:19 ocular 14:22 33:15 50:9,11 off 10:15,16 11:18 13:19 19:19 38:7 38:8 49:2 office 1:21 2:5,10 6:19 21:15 24:21 39:10 40:24 49:19 54:14 offices 1:20 4:13 often 14:11 15:3 40:22 41:20 42:24 44:7 45:14 oftentimes 23:10 41:18 Oh 6:18 ohio 1:2,19,22 2:7 2:13 3:3 8:3,9,10 9:12 47:17,24 54:2,5,15,18 ointment 18:10 20:20 21:8,9,10 31:5,7 33:21 okay 8:16 20:17,25 25:20 30:6 31:22 35:10 Old 2:12 once 5:4 8:16 9:1 15:8,8 34:13 42:17 one 8:4 10:8 11:24 12:20 13:5,7,9 14:8,11 17:10,16 18:16 21:18 22:21 25:20 26:22,23 28:7,8 29:13 31:4 36:25 37:13,15 38:24 39:7 40:5,20 43:20 44:25 48:7 50:2 51:8 52:4 one-by-one 11:13 ongoing 44:8 only 6:7 32:5,12 38:11 42:17 43:2 43:2 48:7 50:20 on-site 6:8 open 6:4 opening 18:14 operated 46:1 operation 17:21 ophthalmologist 24:6 25:13 42:12</p>	<p>43:12 46:5 ophthalmologists 4:6 30:18 ophthalmology 4:23 5:12 17:6 24:21 31:24 32:14,18 42:4 44:13 opinion 12:6,25 35:7 44:19,22 45:18,19 46:11,17 47:4 opinions 12:16,21 12:23 optic 27:1 30:17 38:1 optical 26:1 optimal 34:4 option 17:14 optometrists 5:15 optometry 5:14 order 10:14 11:16 12:5 18:12 organic 34:25 35:11 37:12,20 40:15 originated 46:12 other 9:17 11:9 13:25 14:18 16:24 17:7 22:4 26:16,24 27:4,7 27:16 29:11 31:6 31:25 35:24 37:23 39:25 40:10 44:11 48:11 49:9,11,16 50:2,16,21 otherwise 54:13 out 10:14 28:12 31:8 32:5,19 36:25 outcome 33:8 outer 15:16,17 outs 4:15 outweigh 18:4 over 5:22 9:25 15:5 16:10 21:3 33:13 51:19 overseeing 6:11 own 12:18 47:9 o'bleness 1:7 12:10 12:11 o'clock 1:22 52:22</p> <p><b>P</b></p> <p>page 53:3,5 pages 10:13 19:12 pain 14:1,14 15:11 15:18,20,21,25 16:2,8,12 22:15 22:19,22 47:2 49:20,21</p>	<p>painful 14:19 15:3 18:7 22:25 pain-sensitive 13:19 15:15 papers 10:3,4 paralysis 36:9 part 6:19 8:6 15:15 16:19 28:7 41:10 46:6 partially 46:2 particular 16:19 18:5 party 8:13 54:12 past 33:17 path 25:20 pathologically 16:6 pathophysiology 50:22 patient 7:21 9:3 14:12 17:22 18:25 21:1 26:18 32:4 40:23 41:18 42:15,21 44:7 46:15 48:2 patients 5:6 10:23 31:9 32:4 33:8 38:3 41:24 42:5,7 50:10,18 Patrick 2:10 Patterson 1:17 54:5 54:17 pause 19:20,22 Paxil 43:20 pediatric 10:9,17 10:22 people 16:25 31:25 36:2 38:21,22 39:4,9,14,18 42:2 percent 6:8,10,11 perimeter 29:5 perimetry 29:12 period 8:23 14:24 20:1 21:3 42:24 46:16 peripheral 27:20,22 28:21 29:10 person 14:25 21:15 21:23 24:7,13,17 24:19,23 25:1 26:23 27:1 35:22 37:10 40:9 52:16 personal 9:15,19 32:6,10 34:17 36:19 38:13 personally 8:12 10:20 person's 23:6 26:2 26:6 perspective 39:14 peter 2:11 3:9 pharmacy 18:19 physical 14:3</p>	<p>physically 5:22 physician 7:22,24 physiological 29:15 Ph.D 1:11,15 3:1,6 53:18 54:7 pick 21:20 39:1 pieces 11:9 pin 39:3 place 54:11 Plaintiff 8:5 Plaintiffs 1:5 2:2 9:20 Plaintiff's 7:21 PLEAS 1:1 please 3:13,18 34:21 plus 26:3 point 10:4 33:24 points 29:8 population 31:19 position 4:3 6:5 positive 38:14 41:9 possibility 47:11 possible 20:16 25:8 25:12,13 26:5 possibly 46:10 posterior 29:23 post-anesthesia 46:16 pot 16:17 practice 4:7 5:14,25 6:6,21 23:5 31:24 32:9 38:3 39:24 40:22 41:25 42:4 42:4 prejudice 8:17 preliminary 3:11 presbyopia 26:3 present 5:2 14:4 23:4 32:16 presentations 11:22 11:24 presented 10:4 presume 30:25 pretty 34:18 previous 6:25 34:15 39:5 previously 9:23 primary 42:12 prior 13:24 31:9 47:1 private 4:5,7 6:10 6:21 privileges 48:4 probability 45:22 probably 7:8 11:4 35:1 40:9,25 41:18 42:18 46:9 problem 10:22,24 19:1 27:1,2,12 28:14 31:10,11 34:11,14 36:8,9</p>
--	--	--	--	--

40:21 46:12 47:21,22 50:23 51:2 problematic 17:12 problems 48:3 49:16 52:1 procedure 3:3 18:4 46:13 procedures 17:17 processes 50:16 production 50:4,24 51:22 professional 9:16 12:21 professor 4:23 prognosis 38:10 40:16 projected 24:9 projector 24:22 prompted 6:3 proposition 18:23 provide 39:25 provided 3:2 psychiatric 35:25 40:1 psychiatrists 36:11 psychological 36:1 36:8 40:1 psychologists 36:12 psychopathology 36:21 public 1:18 17:17 53:24 54:5,17 publication 10:7 11:2 publications 10:2 published 10:3 puncture 17:2 pupil 27:3 pupillary 27:5,6 37:17 pupils 26:24 27:15 purpose 7:4 pursuant 1:19 put 14:23 20:24 31:17 49:5	rapidly 14:24 rare 32:20 36:17 rather 33:3 41:8 react 26:25 27:3 read 27:21 53:2 reading 26:4 ready 19:23 real 38:16 really 6:3 9:8 11:5 14:21 15:5,7 17:5 18:1 20:20 21:22 22:21 25:25 26:15 33:14 36:12,20 37:6 38:11 41:5 42:9 49:14,15 51:18 reason 26:25 36:11 41:3 reasonable 45:21 reasons 42:10 46:19 reassurance 38:14 39:24 40:16 41:5 41:10 recall 9:2 recently 8:7 17:7 receptive 38:21,23 Recess 49:8 recommendation 44:5 recommendations 40:1 record 10:15,16 11:18 19:11 38:7 38:8 records 12:10 45:24 46:22 48:20 recurrent 13:6,10 13:12 15:4 18:8 19:7,8 21:1 22:11 31:1,12 32:1 33:9 33:19 34:7,19 44:6 45:4,11 49:12,20,21,22 50:17 51:16 52:10 red 37:13 redness 23:9 reduce 18:7 reduced 27:10 54:8 reexaminations 40:24 refer 42:9 reference 31:24 32:3 references 11:8 referral 40:21 42:4 referrals 39:25 referred 42:6 referring 34:4 refers 6:20 25:25	32:12 reflects 6:23 reflex 26:7 refraction 25:25 26:14 27:14 refractive 25:14,22 26:6 regard 41:22 45:15 48:3 regarding 3:10 12:21 Registered 1:17 reheal 16:14 reheals 13:16 relate 11:10 related 23:2 44:25 45:15 50:10,12 relationship 9:16 9:19 relative 31:18 54:12 relatively 14:24 relevance 10:23 reliable 11:1 remainder 42:25 remained 6:4 remember 8:4 19:25 remuneration 12:1 rents 4:17 repeatedly 46:15 47:3 rephrase 3:14 49:18 report 12:7,9,24 28:24 reporter 1:18 3:19 reports 30:22 32:7 requested 6:24 53:5 require 15:21 required 33:6 requires 17:9 research 12:15 43:23 reserve 4:22 18:1 52:19 resident 6:11 residents 5:5,9,10 respect 15:17 respond 3:18 response 26:19 28:8 28:9 34:9 35:1 rest 17:23 restricted 48:6 rests 15:1 result 26:12 29:12 29:12 30:25 results 30:11 retina 29:22 retinal 27:2,12 return 34:15 39:5 review 7:11,20 12:5	12:14,18 30:13,19 45:16 48:20 reviewed 7:3 9:22 11:5 reviewing 45:24 reviews 32:7 revoked 47:20 48:6 right 15:11,14,18 19:25 20:13,20 24:1 25:23 27:23 28:25 29:1,10 32:11 33:15 34:6 46:1,4 risks 18:3 road 2:12 16:18 ROBERT 1:11,14 3:1,6 53:18 54:6 rotating 5:1 rotation 5:7 rubbing 46:15 47:3 rule 36:25 rules 3:3,11 run 19:18	42:24 43:21 46:7 seeing 42:16 seemed 30:6 seems 19:2 38:13 43:19 seen 8:5 13:13 17:5 19:4,6 20:7 39:9 39:14 42:18 44:8 44:15 sees 28:8 43:12 sell 11:23 sense 16:11 17:18 17:22 22:5,7 38:15 40:18,19 sensitive 22:22 sensitivity 14:17 separates 39:17 serious 38:16 set 1:23 54:8,14 setting 6:10,22 13:13 seven 7:17 several 19:5 46:19 severe 14:16 22:18 36:20 47:2 severity 14:21 15:10 sharp 14:16 shine 28:7 shining 26:6 short 23:10 41:8 shortly 6:16 32:17 46:3 show 21:11 36:20 47:5 showed 29:9 side 7:21,22 10:22 27:4 sight 17:19 sign 36:22 signature 52:20,23 signed 5:21 significant 4:1 27:1 similar 49:11 50:17 51:15 52:10 simple 34:2 35:1 simply 4:17 16:12 Sinai 6:2,14 since 7:8,9 9:25 11:6 44:3 48:3 sir 7:7 8:21 9:12 12:12 13:11 15:13 16:23 24:4 31:10 38:5 40:2,3 44:23 46:17 47:20 48:12 49:17 51:11 situation 23:5 48:23 six 7:12 41:13 size 22:7,14 Sjogren's 51:7,10
<b>Q</b> qualified 54:6 qualify 11:3 quality 50:5 question 19:14,24 20:23 21:13 25:11,18 32:23 33:3 39:6 41:16 46:24 47:7 49:15 questions 3:10,12 3:13 47:14 quite 5:23	<b>R</b> raised 44:3		<b>S</b> same 5:15 33:19 50:6,7,7,22 sat 28:16 savage 1:4 3:10 6:24 12:22 19:4 23:17 26:10 30:8 31:10 37:3 42:15 42:22 45:7,25 47:1 52:8 Savage's 10:5 13:1 27:17 29:16 51:1 saw 10:1 29:20 30:10 33:16 41:3 42:17 saying 11:4 34:9 41:6,8 scale 24:9 scan 30:18 scar 14:10 17:4 27:11 scars 17:4 scheme 36:14 schizophrenia 36:22 scientific 39:3 seal 54:14 second 34:20 secondarily 50:20 secondary 46:9,10 secondly 45:12 see 6:24 8:22 14:8 14:11 18:19 19:2 20:17 21:16,22 23:5 24:13,20 26:24 28:9 29:22 32:1 39:10 40:7 40:20 41:9 42:2	

<b>Skylight</b> 1:21 2:5 <b>slit</b> 14:6 <b>slough</b> 13:19 <b>small</b> 14:9 17:4 20:21 22:11,24 23:11,15 31:4 <b>smiling</b> 9:11 <b>Smith</b> 2:10 <b>Snellen</b> 24:12,13 <b>soft</b> 17:8 <b>sold</b> 11:25 <b>solely</b> 10:8 46:22 <b>some</b> 3:9,11 5:15 8:1 10:13,23 18:10,17 20:2 35:23 36:2,11 40:10,17 46:3 47:13 51:4,9,20 <b>someone</b> 21:19 41:3 49:18 <b>something</b> 14:22 23:2,6 24:10 32:2 38:2 40:13 41:17 41:17 <b>sometimes</b> 14:5,5,6 14:17 17:11 42:7 42:8,8 <b>somewhere</b> 30:11 <b>sophisticated</b> 29:6 <b>sorry</b> 13:4 <b>sort</b> 10:24 17:3 18:10,17,23 33:12 36:7 42:14 48:2 51:21 <b>sounds</b> 49:21 <b>space</b> 4:17 29:8 <b>speak</b> 22:24 35:16 39:15 <b>speaking</b> 33:9 35:13 <b>special</b> 18:22 26:4,6 <b>specific</b> 35:6 <b>specified</b> 54:11 <b>speculate</b> 3:15 <b>spend</b> 40:8,9 <b>spending</b> 6:19 <b>spent</b> 48:17 <b>SS</b> 54:2 <b>stabbed</b> 21:19 <b>stabbing</b> 14:1 22:18 <b>stand</b> 41:21 <b>standard</b> 18:9 <b>standardized</b> 24:8 24:14,22 <b>standpoint</b> 36:1 39:3,12 <b>start</b> 3:21 13:9 29:21 45:2 <b>started</b> 5:20 33:22 <b>state</b> 1:18 34:15 47:16,24 54:2,5 54:18	<b>statement</b> 20:19 25:2 28:6 <b>states</b> 8:1 36:16 48:12 <b>statistic</b> 51:13 <b>statute</b> 1:16 <b>stay</b> 39:20,22 <b>stayed</b> 6:5 <b>stenotypy</b> 54:9 <b>step</b> 26:9 <b>sticky</b> 13:16 <b>still</b> 8:7 21:5 39:11 <b>stimulated</b> 15:19 <b>stipulations</b> 1:19 <b>stopped</b> 33:22 <b>story</b> 23:10 <b>strategy</b> 29:6 <b>Street</b> 1:22 2:6 <b>stroma</b> 14:10 <b>structural</b> 16:19 27:8,15 <b>structure</b> 22:22 <b>students</b> 4:25 5:7 <b>studies</b> 36:17 <b>studying</b> 36:13 <b>subnormal</b> 24:2 26:23 37:9 <b>Subscribed</b> 53:20 <b>subsequent</b> 20:5,6 <b>subsequently</b> 46:4 <b>subspecialist</b> 32:15 <b>substitutes</b> 18:20 <b>sued</b> 8:16 <b>suffer</b> 22:18 <b>sufficient</b> 45:10 <b>Suite</b> 1:21 2:5 <b>summarize</b> 11:22 <b>summary</b> 11:6 <b>suppose</b> 11:25 17:7 51:8 <b>sure</b> 9:8 10:11,12 19:20,25 22:16 26:15 27:9 40:12 43:10 49:14 52:4 <b>surface</b> 18:13 22:5 <b>Surgeons</b> 4:5,16 <b>surgery</b> 17:25 20:1 22:13 <b>surgical</b> 46:13 <b>surprise</b> 30:24 <b>surprises</b> 32:25 <b>surprising</b> 43:19 <b>suspended</b> 47:19 48:1 <b>sustain</b> 22:18 <b>sworn</b> 3:4 53:20 54:7 <b>symptomatic</b> 14:13 19:3 20:15 21:16 39:16,16 <b>symptomatically</b> 49:21	<b>symptoms</b> 13:21 14:1 15:2 23:16 33:19 44:11 49:12 50:6 51:15 52:9,14,17 <b>syndrome</b> 13:6,10 13:13 14:15 15:4 15:10 19:9 21:2 22:2,12 31:1,13 32:1 34:19 44:7 45:5,11 49:13,17 49:22,25 50:3,8 50:18 51:6,7,16 51:18 52:10 <b>synonymously</b> 35:19 <b>system</b> 4:10 <b>S-N-E-L-L-E-N</b> 24:12	<b>thing</b> 17:7 26:22 27:7,16 38:12 40:17 42:14 <b>things</b> 17:10 18:22 21:18 22:9 36:18 37:23 38:25 40:6 <b>think</b> 6:15 7:17 8:8 9:13,14 10:9,13 11:4,6 16:5,9 17:15 20:13 22:20,21,23 33:12 33:14 34:3,8,10 34:18 35:18 36:5 37:6 39:6 44:7 45:13,15 47:8 51:20 <b>thinks</b> 17:18 <b>thought</b> 16:16 41:15 <b>three</b> 33:18 37:19 40:25 41:4,12 42:19 43:2 <b>threshold</b> 29:7 <b>through</b> 11:13 26:8 28:4 37:7 40:12 44:25 53:3 <b>throughout</b> 7:14 <b>Thursday</b> 1:12 12:8 <b>thyroid</b> 50:10,12,18 50:25 51:2,10 52:15 <b>till</b> 4:2 <b>time</b> 3:19 5:2,4,24 6:1,9,13,19 11:17 13:18,18 14:13 16:2 17:21 20:5 20:15 21:3 28:4 31:6 33:18 38:19 40:8,9 44:16,25 48:17 49:2,4 52:6 52:13 54:11 <b>times</b> 4:25 8:21,23 8:24 19:5 41:13 <b>tip</b> 23:12 <b>today</b> 21:22 <b>tomorrow</b> 38:19 <b>tomsak</b> 1:11,14 3:1 3:6,8 53:18 54:6 <b>topic</b> 11:8 35:17 <b>totally</b> 44:10 <b>touted</b> 18:22 <b>towards</b> 10:22 <b>Tower</b> 1:21 2:5 <b>transcribe</b> 3:20 <b>transcribed</b> 54:9 <b>transcript</b> 53:2 <b>transcription</b> 54:9 <b>transplant</b> 17:16,20 <b>transplanting</b> 17:14 <b>transplants</b> 18:2 <b>trauma</b> 13:14 45:7	45:7,14,14,18 46:10 <b>travel</b> 49:3 <b>treat</b> 43:25 <b>treating</b> 18:25 <b>treatment</b> 16:22 34:9 40:15 47:25 50:7 <b>tremendous</b> 23:16 <b>trial</b> 8:25 9:5,6,14 48:25 <b>trials</b> 43:20 <b>tried</b> 17:8 <b>trouble</b> 42:13 <b>true</b> 26:19 54:9 <b>truth</b> 54:7,7,8 <b>try</b> 3:14 19:1 42:5 42:21 47:14 <b>trying</b> 28:12 39:1 43:8,25 <b>tumor</b> 38:2 <b>two</b> 5:19,22,23 10:7 13:5 18:17 20:18 27:18 32:2,19 33:18 35:20 39:7 39:18 44:16,24 <b>type</b> 10:3 15:25 <b>types</b> 18:20
<b>T</b>				
<b>take</b> 5:12 11:19 12:20 22:9 32:14 33:2,25 46:25 47:16 49:2,6 <b>taken</b> 1:16 17:13 42:19 54:11 <b>talked</b> 37:22 42:10 <b>talking</b> 16:25 22:10 32:3 35:5 <b>taped</b> 11:25 <b>tapes</b> 11:23,23 <b>tattooing</b> 17:1 <b>teach</b> 5:14 <b>tear</b> 18:20 19:2 50:4,20,24 51:22 <b>tearing</b> 14:18 23:9 <b>tears</b> 50:5 <b>tell</b> 7:19 9:8 10:11 12:4,25 13:10 15:7 22:8 36:5 39:8,17 44:22 47:24 <b>tells</b> 41:21 <b>temporal</b> 29:10 <b>term</b> 31:16 <b>terms</b> 7:19 15:2 23:23 26:19 28:3 33:7 35:19 36:14 38:9 43:9 44:4,6 44:18 47:12 48:17 49:4 51:9 <b>test</b> 29:4,17 30:12 <b>testified</b> 8:18,25 <b>testify</b> 54:7 <b>testimony</b> 9:5,7 46:19 47:1 48:22 48:25 54:8,10 <b>their</b> 17:23,25 26:7 26:19,24 38:10,10 39:5,13,14,16 41:22 42:12 52:5				
<b>U</b>				
<b>uh-huhs</b> 3:20 <b>uncomfortable</b> 23:8 <b>uncommon</b> 21:16 <b>under</b> 1:16 6:3 15:19 23:12 34:4 47:11 <b>underlying</b> 17:12 34:25 <b>understand</b> 3:13 15:11 16:1 20:8 40:13 49:14 <b>understandable</b> 20:10 <b>understanding</b> 4:16 34:16 45:23 <b>understood</b> 36:1 <b>unexpected</b> 20:11 <b>unfortunately</b> 10:14 11:16 40:3 <b>unilateral</b> 52:16 <b>University</b> 4:22 <b>unless</b> 14:12 <b>upper</b> 28:25 <b>use</b> 14:7 18:9 24:10 25:25 31:23 <b>uses</b> 29:5 <b>using</b> 26:4 33:20,23 35:19 <b>usually</b> 5:6 13:13 13:23 14:16,16,22 15:6 22:10 35:23				

51:19	13:12 15:6 16:9	I	7
V	16:16,24 20:13,24	1 53:3	7 54:19
VA 5:3	22:20 24:6 26:13	10:25 1:22	7th 5:21
van 2:11 3:7,9 9:6	26:22 27:3 28:2,5	11:30 52:22	9
19:15,20 21:9	31:16 32:21	12 21:3	98 1:8 23:18 42:18
33:4 35:8,15 49:6	33:20 35:4,17,25	13 1:12	
52:18	37:6,8 42:3 45:6	13th 54:15	
variability 37:19	47:21 49:1 50:2	14 24:24,24	
variable 38:11	51:17	14th 12:8	
varies 15:5	went 6:3 47:22	1490 2:12	
various 29:8	were 5:8,25 6:13,24	1660 1:21 2:6	
vast 7:23 8:3	12:5,12 16:25	18-month 21:3	
vein 27:13	20:2 21:15 23:25	1800s 24:11	
venue 32:16	25:20 29:13 30:2	1980 7:9	
verbal 11:22	30:19,24 45:17	1984 47:23	
verbally 3:18	52:6	1985 11:25 12:3	
versus 7:21 26:23	Western 4:22 5:10	1989 47:21,23 48:9	
very 14:11 20:10,16	We'll 11:17 19:18	1996 11:4	
21:24 29:6 32:17	52:19	1998 12:8	
32:19 36:8 39:2	we're 10:13 22:10	1999 3:25 19:16	
46:3	32:2 44:24		
vintage 11:4	we've 34:18 41:25	2	
visible 20:3	42:10	2nd 1:22 2:6	
vision 17:25 24:19	WHEREOF 54:14	2:00 21:23	
24:20,23 25:5,9	while 21:15 47:14	20 8:23 18:19 24:15	
26:23 27:2,10,20	whole 23:3 27:20	24:19	
27:21,22 28:21	35:17 36:10 40:8	20-year 8:22	
38:18	50:14 54:7	20/100 24:3	
visit 19:17 20:6	wish 40:4	20/20 24:15	
visits 41:1	witness 1:15 48:18	20/200 24:2,4,17,19	
visual 10:21 11:1	54:14	27:2 37:11,12	
12:2 13:8 23:23	witnessed 46:15	200 24:18	
24:1 26:19 27:18	woke 21:18	2000 1:12 19:17	
28:8,15,19,22,25	words 14:18 22:5	53:21 54:15	
29:7,8,10 30:18	29:11 31:25	2004 54:19	
31:2 34:21,23,24	44:11 50:21	216 2:8	
34:24 35:12,18,21	work 10:3 48:18	241-2600 2:8	
35:23 36:3,6 37:9	49:3	25-gauge 23:12	
37:20 38:4 39:2	working 6:14	26 23:18	
39:10,11 40:10,23	works 32:5	27-gauge 23:12	
43:14 44:1 45:13	world 36:5	28 19:16	
vitae 3:22,23	worse 25:9		
vitreous 27:11	wouldn't 30:23	3	
volitional 38:24	written 26:14	3 19:17	
volume 32:4	wrote 10:19,20	3-26 6:17	
vs 1:6	Y	3:00 21:23	
W	Yeah 41:14 45:19	30 21:21 47:23	
W 1:21 2:6	year 7:10,18 15:8	4	
waived 52:23	44:15	43220 2:13	
wall 24:9	yearly 43:7	44113 2:7	
want 19:12 21:22	years 5:19,22,23	457-5600 2:14	
25:22 42:9	15:8 16:25 43:3	5	
warning 36:21	yesterday's 19:18	5-28-99 20:19	
wasn't 52:12	\$	50 6:8,9,10	
way 12:1 16:5 18:3	\$250 48:21	500 32:4,19	
24:6 28:2 31:20	\$500 48:22	52 53:3	
38:24 47:20	0	6	
ways 39:7	000217 1:8	614 2:14	
week 6:21 38:20		660 1:21 2:5	
well 3:20 8:22 10:6			