

1 IN THE COURT OF COMMON PLEAS

2 CUYAHOGA COUNTY, OHIO

3 DAYLE YAFANERO, Administratrix
4 of the Estate of Anthony
 Yafanero, Deceased,

Doc 437

5 Plaintiff,

6 -vs-

JUDGE O'DONNELL
CASE NO. 180339

7 STANLEY T. MECKLER, D.D.S.,
8 et al.,

9 Defendants.

10 - - - -

11 Deposition of HARVEY M. TUCKER, M.D., taken as
12 if upon cross-examination before Susan M.
13 Cebren, a Registered Professional Reporter and
14 Notary Public within and for the State of Ohio,
15 at the Cleveland Clinic Foundation, One Clinic
16 Center Drive, Cleveland, Ohio, at 2:25 p.m. on
17 Monday, March 18, 1991, pursuant to notice
18 and/or stipulations of counsel, on behalf of the
19 Plaintiff in this cause.

20 - - - -

21 MEHLER & HAGESTROM
22 Court Reporters
23 1750 Midland Building
 Cleveland, Ohio 44115
24 216.621.4984
 FAX 621.0050
25 800.822.0650

1 APPEARANCES:

2 Charles I. Kampinski, Esq.
3 Charles I. Kampinski Co., L.P.A.
4 1530 Standard Building
5 Cleveland, Ohio 44113
6 (216) 781-4110,

7 On behalf of the Plaintiff;

8 Patrick J. Murphy, Esq.
9 Jacobson, Maynard, Tuschman & Kalur
10 1001 Lakeside Avenue, Suite 1600
11 Cleveland, Ohio 44114-1192
12 (216) 736-8600,

13 On behalf of the Defendant
14 Scott L. Alperin, D.D.S.;

15 Peter W. Marmaros, Esq.
16 Reminger & Reminger
17 Seventh Floor - 113 St. Clair Building
18 Cleveland, Ohio 44114
19 (216) 687-1311,

20 On behalf of the New Party Defendant
21 Philip J. Landsman, M.D.;

22 William D. Bonezzi, Esq.
23 Jacobson, Maynard, Tuschman & Kalur
24 1001 Lakeside Avenue, Suite 1600
25 Cleveland, Ohio 44114-1192
 (216) 736-8600,

 On behalf of the Defendant
 Richard Simms, M.D.

 - - - -

1 HARVEY M. TUCKER, M.D., of lawful age,
2 called by the Plaintiff for the purpose of
3 cross-examination, as provided by the Rules of
4 Civil Procedure, being by me first duly sworn,
5 as hereinafter certified, deposed and said as
6 follows:

7 CROSS-EXAMINATION OF HARVEY M. TUCKER, M.D.

8 BY MR. KAMPINSKI:

9 Q. Doctor, would you please state your full name?

10 A. Harvey Michael Tucker, M.D.

11 Q. All right. Doctor, you were retained by Mr.
12 Murphy to provide a report and opinion in the
13 Yafanero matter, correct?

14 A. That's correct.

15 Q. When is it that you were retained, doctor?

16 A. In early September.

17 Q. Of --

18 A. Of 1990.

19 Q. Okay. You are looking at what, doctor?

20 A. I am looking at the letter that I wrote in
21 response to the request -- that I wrote in
22 response to the request by Mr. Murphy that I
23 review some records.

24 Q. Your letter being September 6, 1990?

25 A. That's correct.

1 Q. Do you know how long before that you had been
2 retained?

3 A. I don't recall offhand. I mean I may have a
4 letter here that says.

5 Q. Is what you have in front of you, doctor, your
6 entire file?

7 A. I believe so.

8 Q. Okay. Can I see it, please? It will make it
9 easier.

10 A, Here is a letter, also.

11 Q. That's your letter?

12 A. That's okay for me to --

13 MR. MURPHY: Oh, sure.

14 A. That's from my file.

15 Q. All right. Doctor, there's a letter here from
16 Mr. Murphy dated August 17, 1990, and that
17 refers to discussions that he had with you some
18 months earlier.

19 A. Yes.

20 Q. So would it have been then somewhere in the
21 Summer of '90?

22 A. I assume so. I honestly don't remember when we
23 first talked about it, but this may have been
24 the earliest written material that I have, I
25 don't know. I would have to look through the

1 whole file, but that should be fairly
2 representative.

3 Q. And does that letter then contain all the
4 materials that you received up until the point
5 in time that you dictated your September 6th
6 letter?

7 A. I believe so.

8 Q. And in that letter on the first page there are
9 various facts which Mr. Murphy summarizes. Did
10 you rely on those facts for purposes of forming
11 your opinion?

12 A. No. I reviewed the records that were provided,
13 which are listed in the second page.

14 Q. Okay. Not included in those records are any
15 depositions, for example, of Mrs. Yafanero.

16 A. The items that were included that I had in my
17 possession, to the best of my knowledge, at the
18 time that I reviewed these records were what is
19 listed in the letter. If you would like I could
20 just specify what those are.

21 Q. All right.

22 A. Dr. Alperin's office records; Dr. Meckler's
23 records; Dr. Rose's office records; Dr. Katz's
24 office records; a letter dated 4/5/90 from one
25 of plaintiff's experts, Dr. Charles B.

1 Engelberg; a letter dated 7/27/90 from Dr.
2 Engelberg; a letter dated 5/24/90 from Dr. Harry
3 J. Bonnell, one of plaintiff's experts; a letter
4 dated 7/27/90 from Arthur E. Brenner, one of
5 plaintiff's experts; and a copy of Dr. Alperin's
6 deposition testimony.

7 Q. All right. So the only deposition then that you
8 received was Dr. Alperin's?

9 A. At the time of this letter that's all that I
10 believe I had in my possession.

11 Q. Looking at your file quickly, you have since
12 gotten the deposition of Dr. Bonnell and Dr.
13 Simms, as far as depositions go that looks like
14 it?

15 A. I believe that's all that's in the file.

16 Q. Have you ever testified for Jacobson, Maynard
17 before, doctor?

18 A. I have no idea. I honestly don't.

19 Q. Well, I mean Jacobson, Maynard Tuschman & Kalur
20 represents --

21 A. I have no idea.

22 Q. Have you testified before on cases?

23 A. Oh, yes.

24 Q. On behalf of plaintiffs or defendants or just
25 defendants?

1 A. Both. Both plaintiffs and defendants.

2 Q. But you don't know if you have testified on
3 behalf of Jacobson, Maynard, Tuschman & Kalur?

4 A. That's correct. I have great difficulty
5 remembering who all of these matchups are and I
6 frankly don't know. I could see in my records
7 easily if I had.

8 Q. Do you have something that you could refer to
9 that would indicate what cases you've been
10 involved in, who you have been retained by and
11 the nature of those cases?

12 A. I have records of the cases that I have been
13 involved in as an expert witness or to discuss
14 the case, although I do not retain those records
15 much beyond the time that the matter is resolved
16 in one way or another.

17 Q. So you're suggesting that you would have pending
18 cases?

19 A. Active cases that I have been asked to look at,
20 which might amount to two or three at any given
21 moment, or that I have been involved in as far
22 as giving testimony, which is not always the
23 case. And for reasons of space, once the matter
24 has been resolved to the satisfaction of
25 everybody involved or I'm no longer a party to

1 it, usually I don't keep the records much longer
2 than that. So I would say maybe for a year
3 after and that's about it.

4 Q. All right. Could you provide a list of that to
5 Mr. Murphy and then he could provide it to me,
6 and since we're going to have a trial on Monday
7 that would have to be done fairly
8 expeditiously.

9 A. A list of what exactly?

10 Q. Of all the cases that you do have a record of.

11 A. I suppose I can.

12 MR. MURPHY: If you can do it.

13 A, I can ask my secretary to see what she can come
14 up with. She keeps all the records.

15 MR. MARMAROS:: I am going to
16 object because if there is a pending case in
17 which some lawyer has asked the doctor to review
18 which for waiver reason he hasn't been
19 identified as an expert in that case, I don't
20 know that there should be -- it might interfere
21 with someone's work product and I would object
22 on that basis.

23 MR. KAMPINSKI: Well, I assume Mr.
24 Murphy will indicate that if that's a problem.
25 That's why I suggested that he give it to Mr.

1 Murphy first so if there is a problem you can
2 let us all know. Otherwise you can just send it
3 all.

4 MR. MURPHY: Okay.

5 Q. Have you testified, doctor, in failure to
6 diagnose cancer cases before?

7 A. I really don't recall exactly.

8 Q. Okay. Do you know Dr. Alperin or his partner?

9 A. I'm not sure if I have ever met Dr. Alperin ⁱⁿ
10 face-to-face. I have spoken to him on the
11 telephone about referrals of patients, not
12 related to this case.

13 Q. When you say referrals you mean by him to the
14 Clinic?

15 A. That's correct.

16 Q. All right. By him specifically to you?

17 A. Perhaps. I don't remember. They might have
18 been directly to me or they might have simply
19 come to the department and it may have been my
20 case that I happened to assume, but I don't
21 recall.

22 Q. So you have had a professional relationship with
23 him over some period of time then?

24 A. I couldn't say whether it was more than once or
25 twice over 15 years, but in that sense, yes.

1 Q. And -- well, how recent has it been?

2 A. I haven't the foggiest notion.

3 Q. Okay.

4 A. I would say it's probably not been within the
5 last year to two years in any event somewhere.
6 Certainly not as recently as within the last
7 year.

8 Q. Have you spoken to him about this case?

9 A. No.

10 Q. Are you in any organizations with Dr. Alperin?

11 A. Not that I know of.

12 Q. Okay. With respect to your report, doctor, and,
13 you know, if you need to refer to anything in
14 front of you obviously do so.

15 A. Uh-huh.

16 Q. You start off the second paragraph of your
17 report, Page 1, saying "If, in fact, the biopsy
18 site after the original biopsy was completely
19 healed with no evidence of residual disease",
20 what if it was not completely healed and there
21 was evidence of residual disease, would your
22 opinion be different, doctor?

23 A. If there were evidence of residual disease when
24 Dr. Alperin saw the patient at whatever was his
25 last visit --

1 Q. May of '87.

2 A. -- yes, then my opinion would be different.

3 Q. What would your opinion be if that were the
4 case?

5 A. That if there were evidence of residual disease
6 it would be necessary to obtain at least an
7 additional biopsy or else to have made referral
8 to someone else who was going to look further
9 into that.

10 Q. So your opinion then depends upon the factual
11 determination of whether or not there was still
12 something present in his mouth, in Mr.
13 Yafanero's mouth?

14 A. You have to be a little bit more specific.
15 There was something present.

16 Q. Okay. Let's back up a minute. When you say
17 residual disease, if there was a portion of the
18 lesion that was initially incisionally excised
19 in December of 1986, if a portion of that
20 remained in May of 1987, would that fall within
21 the ambit of your definition of residual
22 disease?

23 A. That's a difficult thing to answer yes or no.
24 Let me just say the following. If any of the
25 physical findings that lead a physician or an

1 oral surgeon to be suspicious of cancer in the
2 mouth were present at the last follow up, then,
3 of course, it's the responsibility of that
4 physician or oral surgeon to go further into
5 diagnosis or arrange for someone else to do it.

6 There are changes that take place as a
7 result of a biopsy. So that it's fair to say
8 that even if everything were, quote, normal, in
9 the sense that there was no evidence of residual
10 disease, that, of course, there is something
11 there that you can see, that is not normal in
12 the same sense that I hope if I looked in your
13 mouth it would look that way, That's all I am
14 saying.

15 In fact, when I wrote this I was trying to
16 make clear that if the facts in the case are
17 accurate, that is, that the biopsy site when Dr.
18 Alperin last saw it appeared to be completely
19 healed and he found no evidence of residual
20 disease, then it was not his responsibility to
21 go further.

22 Q. Well, okay. Let me back up again because I
23 don't want semantics to be a problem here.

24 A. Right. I don't either.

25 Q. And let me try to ask it again.

1 Obviously he was concerned in December of
2 1986 and that's what led him to do a biopsy to
3 begin with?

4 A. That seems fair.

5 Q. Okay. If, in fact, he didn't remove the entire
6 lesion which was biopsied in December of '86, if
7 some portion of that lesion remained in his
8 mouth through May of '87, would that qualify
9 then as what you have been referring to as
10 residual disease?

11 A. No. Because when you look in someone's mouth
12 and there is a lesion present, sometimes it's
13 very obvious that what you're dealing with
14 clinically at least is a larger, it's large,
15 it's fungating, it is eroded, it is invading
16 things around it and, of course, you still don't
17 know for certain until the biopsy has been done
18 whether it is cancer or not, but one is highly
19 suspicious of it.

20 In many cases more often than not what you
21 see is something that isn't supposed to be there
22 but you honestly don't know what it is, and you
23 use your clinical judgment and a decision is
24 made that a biopsy should be obtained.

25 A biopsy is obtained, I am not speaking

1 necessarily of this case now, but just in a
2 general way now, the biopsy is obtained, the
3 biopsy is something other than cancer, then
4 what's left of the lesion, unless it changes,
5 gets bigger, begins to erode, begins to do
6 something it hadn't done before, one would
7 presume then that this is not -- it's residual
8 disease, but it isn't residual cancer, because
9 you have already identified that it was not
10 cancer.

11 Q. Well, a pathologist can only examine what's
12 submitted to him?

13 A. Agreed.

14 Q. And if only a portion of a lesion is presented
15 to a pathologist he can't say with any assurance
16 what is in the remainder of the lesion, would
17 you agree with that?

18 A. If only a portion is submitted, that is true.

19 Q. So that what you're saying then wouldn't
20 necessarily be applicable to any lesion that was
21 left in a person's mouth that hadn't been
22 submitted?

23 A. Except that if it were cancer and we have a
24 period of months involved here, one would be --
25 one would expect there to be some worsening,

1 some negative changes about what was left in the
2 mouth.

3 Obviously if you've got a very large lesion
4 and you're not going to do major surgery to find
5 out what it is you take a representative slice,
6 that would be an incisional biopsy, and as long
7 as it is representative, if the pathologist says
8 well, there is no cancer in that specimen and,
9 in fact, says it is and he named something else
10 that isn't cancer.

11 If it's something that does not have to be
12 removed in and of itself, assuming it is not
13 cancer, then you leave it there and all you are
14 interested in is it getting bigger, is it
15 breaking down, is it beginning to erode, is it
16 beginning to invade anything that it didn't
17 before and, of course, you are suspicious that
18 maybe what we didn't biopsy has cancer and we
19 now have to biopsy again.

20 But if what you left doesn't change and you
21 already know that the representative biopsy was
22 not cancer, then that would be acceptable in the
23 sense that no evidence of residual disease,
24 meaning any cancer in this case specifically,
25 the word disease here refers to cancer.

1 Q. Pseudoepitheliomatous hyperplasia is a reaction
2 to something, isn't it, or can be?

3 A. It can be a reaction to something.

4 Q. And inflammatory changes or abnormal cells can
5 also be a reaction to something, correct?

6 A. Well, pseudoepitheliomatous hyperplasia is often
7 reaction to something that is going on in the
8 region.

9 Q. And if there is inflammatory cells aside from --

10 A. Well, that is part of pseudoepitheliomatous
11 hyperplasia. Inflammation is part and parcel of
12 that diagnosis.

13 Q. So the two of them are not distinct?

14 A. No, they are not distinct, but let me make sure
15 we get this right.

16 Inflammation can exist in the absence of
17 pseudoepitheliomatous hyperplasia. But
18 pseudoepitheliomatous hyperplasia includes
19 inflammation as part of it.

20 Q. The margins were not free and clear in this
21 case, were they, doctor?

22 A. Of what?

23 Q. Of inflammatory changes?

24 A. That's correct.

25 Q. And there is nothing on the slides or in the

1 reading that would indicate what it is, if
2 anything, that the pseudoepitheliomatous
3 hyperplasia was a result of, correct?

4 A. Well, that's usually true. Yes, that's usually
5 true, but that's usually the case.

6 Q. And it can be secondary to some other process?

7 A. It can be.

8 Q. If you assume, doctor, that the lesion in
9 December of 1987 was incisionally biopsied, that
10 it was not entirely removed, that only a small
11 portion of it was, in fact, removed, that the
12 remainder of the lesion stayed pretty much the
13 same, that Dr. Alperin indicated in his records
14 that he would, in fact, remove it if it didn't
15 get better, that his records subsequently
16 reflected that it didn't get better, and that he
17 didn't remove it for whatever reason, and that
18 the lesion still remained, and that he then told
19 the family that there was nothing more to worry
20 about, nothing to be concerned with, and that it
21 was not necessary to return for any additional
22 appointments.

23 Now, first of all, those are not the facts
24 you assumed for purposes of your opinion, are
25 they?

1 A. They are not the facts that I assumed, nor are
2 they necessarily the facts that were reflected
3 in the material that was sent to me.

4 Q. Okay. Let's assume that those are the facts.

5 A. All right.

6 Q. Would not it be incumbent upon the surgeon under
7 those circumstances to continue to follow that
8 patient up?

9 MR. MURPHY: Just note an
10 objection to the assumed facts. You can
11 answer.

12 Q. And you understand --

13 A. Yes, I understand. If I accept all of the
14 assumptions that you have made exactly as you
15 have made them?

16 Q. Yes, sir.

17 A. I think that it would be incumbent on the
18 surgeon to continue to follow the patient.

19 Q. Or biopsy?

20 A. Well, follow could be biopsy or it could mean
21 just watch it to see if there are changes that
22 are worrisome that means that you should
23 biopsy.

24 It's always a judgment when you decide to
25 take a biopsy. But as long as it was being

1 observed and as long as -- at some intervals,
2 and as long as there were no changes from what
3 had now become not normal but a customary
4 finding in this particular patient, one would
5 not be remiss in not taking an additional biopsy
6 as long as there were follow-up.

7 Q. So under my assumed facts, however, the failure
8 to follow-up or do something at that point in
9 time, and that point in time being May of '87,
10 would be a failure within the appropriate
11 standard of care, would it not?

12 A. I agree with that.

13 Q. Do you know why it is that you didn't receive
14 the deposition of Mrs. Yafanero? Did you ask
15 for it?

16 A. I don't know if I received it or not.

17 Q. Well, I mean it is not here.

18 A. Then I don't know anything about it, to tell the
19 truth.

20 Q. So you don't know what her testimony was?

21 A. I don't believe so. I don't recall. I frankly
22 don't recall all of the testimonies I read in
23 here. They are there.

24 Q. Sure. Doctor, in your report you further go on
25 to say that, I guess it's the second sentence in

1 the first paragraph, "The record does not
2 confirm that nothing was there."

3 There's a bunch of negatives in there.

4 A. Uh-huh.

5 Q. What were you trying to say there?

6 A. I was being very specific. As I recall, and I
7 can check this, but as I recall, Dr. Alperin's
8 office record for the visit, his last visit,
9 someone wrote, I am assuming, I don't know who
10 it was, something to the effect the lesion looks
11 the same or the site looks the same as it did in
12 January.

13 Q. Right.

14 A. It doesn't say, quote, there's nothing there at
15 all. It doesn't say there's nothing but a scar
16 from the biopsy.

17 Q. It implies just the reverse, actually.

18 A. Well, I don't think you can make -- I don't
19 think I can jump to any conclusions from that
20 because in January the description was, to
21 paraphrase, that it looked like what he expected
22 it to look like following a biopsy in the sense
23 that --

24 Q. In the record?

25 A. Well, I don't remember the exact quotation.

1 MR. MURPHY: Why don't you find
2 the records?

3 A. I believe the January note said something to the
4 effect that it's healing well -- well, I better
5 not. Let me just look it up, if I can lay my
6 hands on it quickly.

7 MR. MURPHY: Here's another copy,
8 doctor.

9 A. Thank you. Perhaps my recollection is not good.
10 It's better that we did look at this.

11 Q. Because I don't want you to confuse the
12 deposition.

13 A. I don't want to do that either. Obviously we
14 need to be as precise as possible.

15 There is a stamp here for January 2nd, and
16 I can -- it looks like "slight" --

17 Q. Irritation?

18 A. "Irritation".

19 Q. Okay.

20 A. "Slight irritation, return six weeks for check.
21 February 13th, patient did not show. January
22 9th, filled out Worker's Comp. May 2nd, quote,
23 area looks the same as January 2nd. No
24 change."

25 Now --

1 Q. Why don't you go to the entry before the January
2 2nd one as well.

3 A. Okay. Let's see. This looks like something 18.

4 Q. December?

5 A. Okay. Right. December 18th. "Copy of path
6 sent to Dr. Meckler. Area getting better.
7 Advised to check area if not better two to three
8 weeks, will remove area, copy of report given to
9 patient, return two weeks for a check."

10 Now, in January when, in fact, having
11 reviewed that note, on January 2nd, if there is
12 Dr. Alperin's slight irritation, it doesn't say
13 it's better, but slight irritation is not a big
14 deal after a biopsy has been done in the area,
15 and one must, I am assuming, because of the way
16 I know people write notes, that if Dr. Alperin
17 on January 2nd had seen some worsening of the
18 area, having already said that he would remove
19 it, that it certainly was not any worse than
20 what it looked like on December 18th, and the
21 statement about in May which says it looks the
22 same as in January again implies that there was
23 nothing more than just slight irritation.

24 Q. Also nothing less, though?

25 A. I can't argue with that. But exactly that is

1 the reason why when I tried to phrase my report
2 so as not to imply something that couldn't be
3 supported in the record that I said. The record
4 does not confirm that nothing was there, but Dr.
5 Alperin has testified, and this was information
6 that was provided to me, that the note in his
7 chart which states something to the effect that
8 the lesion is the same was written by an office
9 personnel and does not really describe his
10 findings. He alleges that the area was healed
11 except for a small scar and that he, therefore,
12 had no further concern.

13 Q. Okay. The point I was trying to make earlier in
14 terms of your indicating the record does not
15 confirm that nothing was there --

16 A. Right.

17 Q. -- does that then mean that for purposes of your
18 opinion and your report you then assume a-
19 accuracy of the testimony of Dr. Alperin as set
20 forth in his deposition?

21 A. Well, two things. I assume that that is
22 accurate. I also assume that had there been
23 something worrisome to Dr. Alperin, having
24 already stated he would remove it, that in
25 January he would not have simply written two

1 words, slight irritation.

2 So you can take that, you know, it's the
3 old story is the glass half full or half empty.
4 Slight irritation could be very serious when
5 there had been nothing there at all before.

6 On the other hand, slight irritation on the
7 face of what might have been a cancer was not
8 biopsied and is now healed to me is not a
9 pejorative or not a negative. It is a positive,
10 it looks okay.

11 Q. So you are interpreting that to say it looks
12 okay?

13 A. I am forced to interpret because I was not
14 present and I have to go on whatever material
15 was provided.

16 Q. So once again, if, in fact, it was the same as
17 before the biopsy, that would then change your
18 impression of what it looked like?

19 A. Well, again, that's a little difficult to say,
20 but the description of the lesion before the
21 biopsy is a great deal worse than slight
22 irritation.

23 Q. Okay. But are you reading the slight irritation
24 to mean --

25 A. That that's all that's left.

1 Q. As opposed to that being the incision line that
2 he was talking about?

3 A. How can I put this because I don't want to be
4 misleading. On the contrary, I want to be
5 precise.

6 Q. Let me stop you. Are you guessing here, because
7 you weren't there, I wasn't there.

8 A. That's correct. Neither of us was there.

9 Q. So wouldn't it be best then to rely or to make
10 assumptions based upon the people who did have
11 an opportunity to observe it, one being
12 apparently Dr. Alperin?

13 A. Uh-huh.

14 Q. And two, perhaps the family members who were in
15 a position to see it?

16 A. Well, this may not be appropriate for me to get
17 into, but you have asked me a question so I am
18 going to answer it.

19 Q. Sure.

20 A. Of all the people present at a time like this,
21 by far the most reliable source will be the
22 surgeon or physician in question simply because,
23 one, that's his business; two, he is in the
24 business of deciding what's going on; and three,
25 he has no emotional content about it such as a

1 family member may well have.

2 Q. Except, doctor, what we're confusing here is
3 that he does, in fact, have an emotional aspect
4 because what you're doing is you are relying
5 upon his testimony given after he has been
6 sued.

7 A. But I am also relying upon what is in the record
8 which can be interpreted to mean that there is,
9 quote, only slight irritation left as opposed to
10 there's some irritation that wasn't there
11 before. It doesn't say that.

12 Q. So you can interpret it then that way, too?

13 A. I suppose one could interpret it any way one
14 wanted to, but the way I phrased it in this
15 letter is because there are some bits of
16 information that cannot be gleaned directly and
17 only from the record. Therefore, it becomes a
18 matter of who believes who, I suppose, But
19 that's true, too.

20 Q. The second page, doctor, and I apologize if we
21 have already been through this but I want to
22 make sure I fully understand your opinion, your
23 report, you start out that paragraph by, quote,
24 assuming these two issues as stated above?

25 A. Correct.

1 Q. The two issues being one that was described as
2 an incisional biopsy was, in fact, an excisional
3 biopsy, and that is that it was all removed, and
4 two, that there was no evidence of any remaining
5 lesion in May of '87; am I accurately stating
6 that?

7 A. Almost. The two issues that are assumed in that
8 statement are, one, that it was Dr. Alperin's
9 opinion the last time he saw this lesion that
10 there was nothing worrisome going on there that
11 required further intervention or biopsy.

12 And second, that the description of the
13 biopsy by the pathologist, which measured
14 something in the neighborhood of nine
15 centimeters, if I remember, is accurate, then
16 using that against Dr. Alperin's original
17 measurement and description of the original
18 lesion, this could not have been just incisional
19 in the sense that it was a little piece of a
20 large lesion. This had to be all or very close
21 to all of the lesion that was originally
22 described and observed at the time of the
23 biopsy, and those I am again depending on the
24 record, of course, in both cases, because I
25 never saw the slides, either.

1 Q. Well, when you say all or close to all, it makes
2 a big difference if it is all or close **to** all.

3 A. Sure it does. But it also makes an even bigger
4 difference when you say incisional biopsy, if
5 you mean let's say a 10 centimeter lesion of
6 which you take out one centimeter or if you talk
7 about a one centimeter lesion of which you take
8 out nine millimeters --

9 Q. Well, nine millimeters was the entire slide
10 which contained material in elliptical portions,

11 A. It's measuring the greatest dimension of what
12 was removed.

13 Q. Right. And that may have only included a very
14 small portion **of** the lesion, though, doctor.

15 A. Well --

16 Q. And to take it even further --

17 A. **Do** we have a copy of the path report?

18 MR. MURPHY: You got it there
19 someplace.

20 You know where it is? I think it is
21 clipped to the copy of your letter that you were
22 referring to.

23 A. **No.**

24 Here we go. The -- make sure we **do** this
25 right.

1 The pathology report reads, "Received in
2 formalin is a pale, gray locally hemorrhagic
3 mucosal ellipse measuring .9 centimeters in
4 greatest diameter bisected entirely."

5 That means that the pathologist cut it
6 lengthwise so that he was able to look at the
7 entire nine millimeters from one end to the
8 other.

9 In point of fact, we seldom look at all of
10 what is submitted anyway. They don't often do
11 serial sections through the entire lesion unless
12 there is some reason to be suspicion.

13 A .9 centimeter is a fairly good sized, if
14 you wish, incisional or excisional biopsy. **Now**
15 I can't say that the whole lesion was removed,
16 but I can say that this is more than just
17 taking, I mean sometimes we just take a tiny
18 little piece with a cup forceps, which is very
19 tiny.

20 So if slightly less than a centimeter was
21 taken from the greatest dimension of this lesion
22 you are looking at what ought to be great
23 representative of what's in there, and that's
24 all there is to say.

25 Q. But that wasn't the question, though, doctor.

1 The question as it arose was whether or not the
2 two issues you assumed was that the entire
3 lesion had been removed, and, you know, that's
4 the part I think you responded to me by
5 saying --

6 A. My impression was that the size of the original
7 lesion was such that a nine millimeter biopsy
8 would include all or nearly all of it.

9 Q. So that's one of the issues you assumed.

10 A. That's correct.

11 Q. And the other one was that there was nothing
12 there in May of '87?

13 A. Not nothing.

14 Q. Well, that there was nothing --

15 A. That concerned Dr. Alperin by its appearance.

16 Q. Well, but that's begging the issue.

17 A. On the contrary. That's precisely the issue,
18 counselor.

19 Q. In other words, we just leave it up to his
20 judgment one way or the other and that's what
21 goes?

22 A. In fact, that's what is at issue in this whole
23 trial, isn't it, is whether or not his judgment
24 was correct. Any physician is faced with making
25 judgments all the time.

1 Case in point, I don't want to diverge --

2 Q. That's fine.

3 A. Case in point, I treat a lot of cancer of the
4 larynx. It is such that we often radiate it for
5 cure because that gives good cure rates, not a
6 hundred percent, it's about 80 percent. Then we
7 are faced with having to look at that without
8 biopsying it for some period of time.

9 The decision to biopsy it again is made by
10 the judgment of the observer, that there are
11 changes from what he has learned to accept as
12 the situation after radiation in a given patient
13 that look worrisome to him. There isn't a fixed
14 schedule, there isn't you always biopsy at this
15 point or you always biopsy at that point. The
16 surgeon or the following physician has to make
17 judgments.

18 There are many patients that I follow with
19 cancer, not even a situation like this in which
20 there was no proven cancer, but, in fact, where
21 I know the patient did have a cancer and we
22 treated them in some way, whether it be surgery
23 or not, where a strange physician looking at
24 this would have to say gee, this doesn't look
25 right, because he's never seen it before.

1 So the answer is there is a judgment
2 involved here, and when I discharge a patient
3 it's because in my judgment the risk that there
4 will be recurrent cancer there or persistent
5 cancer is now as close to zero as it's going to
6 get, and then the patient leaves and I tell them
7 if there are any changes that you observe that
8 are worrisome then you come back and we **look** at
9 it again.

10 Now, again, this doesn't apply directly to
11 this case, obviously, but I think it is
12 germane.

13 The answer is yes, it is the surgeon's
14 judgment as to whether you biopsy again.

15 Q. How about the surgeon's credibility, is that an
16 issue in this case, doctor?

17 A. It's not an issue I can address. That's not for
18 me to decide.

19 Q. Did you assume that Dr. Alperin knew the
20 difference between an incisional and an
21 excisional biopsy?

22 A, I presume that he does.

23 Q. And what is the difference, doctor?

24 A. Excisional biopsy means that a sufficient
25 quantity **of** tissue is taken that the surgeon

1 feels that all of the visible lesion was
2 included in it and that none of the visible
3 lesion was left.

4 An incisional biopsy means something less
5 than that.

6 Q. You were also -- all right. Before I get to
7 that.

8 You go on in your report in the last
9 paragraph or the last sentence of that first
10 paragraph on Page 2 to say "It is my opinion
11 that", and then you say "if Dr. Alperin, in
12 fact, carried out an excisional or complete
13 biopsy and, in fact, observed that the area had
14 healed completely."

15 So once again you are going back to the
16 assumption regarding the two issues that you
17 started that paragraph out referring to,
18 correct?

19 A. Yes.

20 Q. Okay. And we've already covered that if those
21 assumptions are not accurate then your opinion
22 would, in fact, be different?

23 A. If those assumptions are not correct, then this
24 is not a valid opinion.

25 Q. Okay. Doctor, since you wrote your report I

1 notice that you received additional materials.

2 A. Yes.

3 Q. Do you have any additional opinions in this
4 case?

5 A. Not -- based on the other materials that I have
6 reviewed I have seen nothing that changes my
7 opinion.

8 Q. Okay. But do you have any additional opinions
9 as it relates to Dr. Landsman, for example, and
10 in large part I think the additional materials
11 pertained to him?

12 A. I don't even know, really, which one of the
13 physicians involved in this is Dr. Landsman.

14 Q. If, in fact, a family physician, general
15 practitioner, saw a lesion that was worrisome to
16 him, and whether he measured it accurately or
17 not described as three-by-three centimeters in
18 August of '87, which would have been a few
19 months after Mr. Yafanero was last seen by Dr.
20 Alperin, would he have a right to rely at that
21 point in time on being told that Dr. Alperin had
22 already biopsied the area and that the biopsy
23 was negative and do nothing further? Could he
24 rely on the specialist, that is Dr. Alperin, at
25 that point?

1 MR. MARMAROS: Objection.

2 A. That's difficult to answer in a straightforward
3 fashion. Dr. Landsman --

4 Q. Yes.

5 A. -- if I understand the facts in the case or the
6 allegations in the case had never seen that
7 lesion or Mr. Yafanero I guess before -- that
8 may not be correct, but he hadn't seen what was
9 in his mouth.

10 Q. That's correct.

11 A. And was not aware of what it looked like at the
12 time that Dr. Alperin first saw it and decided
13 to biopsy it and had not seen it before this
14 date in the vicinity of the time that Dr.
15 Alperin last saw it and said that in his opinion
16 it was okay.

17 Q. Well, I'm not sure he said that. In his
18 record --

19 A. That's my understanding of what happened. I
20 don't know if it is exactly accurate or not.

21 Q. Okay. Go ahead.

22 A. Then Dr. Landsman is again put into a position
23 of making a judgment. He saw something in the
24 mouth. If a physician saw something in the
25 mouth and there was no previous history that

1 anyone had observed it, biopsied it, said
2 anything about it, and maybe there's not even an
3 idea of accurately how long it's been there,
4 then, of course, that would raise some concern
5 and you got to find out what it is, **or** find
6 someone who will find out what it is.

7 Q. So he has to refer or follow it up if, in fact,
8 he has no previous history about anything being
9 done about it?

10 A. Well, whether he should accept the patient's
11 statement, I presume that's where this came
12 from --

13 Q. Sure.

14 A. -- that Dr. Alperin biopsied this and said that
15 it's nothing to worry about or not, that, again,
16 that's a very difficult thing to say. I wasn't
17 there and I don't know what the patient said.

18 Q. **No.** Let's assume that that's accurate.

19 A. I believe that a general practitioner or a
20 nonsurgeon given that information and the
21 implication that this is about the way it was
22 when Dr. Alperin said don't worry about it, that
23 I don't suppose you would call the patient a
24 liar and you might or might not decide to
25 checkup on that.

1 If this is a family physician, he might
2 have requested or might have received records
3 from another treating medical practitioner or
4 physician, but, I mean, patients give me
5 histories, and unless they don't seem to fit the
6 situation that I'm dealing with I usually assume
7 that what they tell me is their understanding of
8 what's correct.

9 Q. Well, I have asked you to assume the correctness
10 of the communication.

11 A. Yes.

12 Q. But my real question is, did he have a right
13 then to rely on Dr. Alperin's having biopsied it
14 and the findings in that regard?

15 A. Yes, I believe so.

16 Q. Okay. Are there any specific articles that you
17 relied on for purposes of your opinion in this
18 case, doctor?

19 A. No.

20 Q. And once again, you don't have any opinions
21 pertaining to this case other than what are set
22 forth in your report?

23 A. I'm not sure what you're referring to.
24 Specifically opinions regarding what or about
25 what do you mean?

1 Q. Well, about any issue that would be relevant to
2 you in analyzing this case.

3 MR. MURPHY: I am going to object
4 to that. If you want to ask him any questions,
5 you can.

6 MR. KAMPINSKI: No. I want to ask
7 him exactly what I asked him because, see, in
8 accordance with the rules, any opinions he has
9 are supposed to be set forth in a report.

10 Q. We got a trial a week from today. I don't want
11 to walk into the courtroom and find you have
12 other opinions that are not in your report. I
13 mean, that's my concern.

14 A. I understand.

15 Q. If I walk out of here --

16 A. Allowing for some details that I have not
17 available to me at this time, I believe that the
18 opinion I have set forth in the letter is my
19 opinion regarding the matters in this case.

20 Q. Okay. Just to turn around the conversation that
21 I asked you to assume with respect to Dr.
22 Landsman, if, in fact, he was concerned about
23 the lesion, regardless of what he was told with
24 respect to Dr. Alperin's involvement, seeing it
and having a concern about it, would he have an

1 obligation then to follow up with a referral?

2 MR. MARMAROS: Objection.

3 A. I can answer the question, though?

4 Q. Oh, yes.

5 A. That's all. I just don't want to interfere
6 here.

7 Every physician has a responsibility to use
8 his judgment in any situation pertaining to a
9 patient's well-being. If -- a lot depends on
10 what it looked like.

11 Q. Two-by-three centimeters, leukoplactic?

12 A. I understand that, and that describes something
13 that certainly could be a cancer. If this is a
14 physician who is not especially expert in that
15 type of problem and who perhaps does not see
16 this sort of problem on a regular basis, but
17 just occasionally in his practice because he has
18 a different specialty interest, he, I guess, has
19 to make a judgment whether the fact that this
20 has been seen and biopsied within some period of
21 time and that it's his impression that this
22 hasn't changed appreciably since the doctor last
23 saw it that he doesn't have a responsibility to
24 push it further.

25 You always have the option, of course, to

1 call up the biopsying surgeon and say, you know,
2 this looks a little funny to me, do you want to
3 take another look at it and do you think you
4 need to get another biopsy. You certainly can
5 do that.

6 Whether you have a responsibility to do it,
7 very difficult to say in a case like this, to be
8 fair.

9 Q. What is your understanding of Dr. Alperin's
10 competence -- well, maybe that's the wrong
11 word -- Dr. Alperin's experience with respect to
12 oral cancers and their treatment?

13 A. As I said, I don't know Dr. Alperin personally
14 in that sense, and I know that he is an oral
15 surgeon and I believe he is board certified, and
16 that being the case, he should be -- he should
17 have certain background and experience in the
18 management of oral cancer, and not ever having
19 observed him in practice personally I can't
20 really comment on whether he does or doesn't.

21 But an oral surgeon who is board certified
22 should be competent to recognize lesions that
23 are at risk for cancer and to carry out
24 appropriate diagnostic studies to find out if
25 that is.

1 I will go back to the statement that I
2 made, if the facts are as they occurred as I
3 understand them, then it seems like he did that,
4 that he carried out appropriate evaluation and
5 diagnostic effort on behalf of this patient, and
6 I believe if he is board certified and licensed
7 by the State of Ohio to practice in that
8 specialty that he should be competent. I don't
9 know if he is because I don't have any observed
10 facts.

11 Q. What does he refer patients to you for, doctor?

12 A. For different kinds of lesions in the head and
13 neck. I don't remember specifically what he
14 sent them for.

15 Q. Cancers?

16 A, More than likely they would be cancers. I
17 honestly don't remember the case or two that
18 would be involved, but I would expect they would
19 be because that's the kind of thing that he does
20 that I might be called upon to take care of. It
21 could have been a tumor in the parotid gland or
22 something like that.

23 I honestly don't remember. I would have to
24 look and see. But they would probably be tumors
25 or cancers of some kind in the head and neck.

1 Q. Does that indicate to you that he's not all that
2 comfortable with treating cancers?

3 A. Well, now, the issue here is not treating it.
4 The issue here is diagnosing it, and I don't
5 know what he chooses to treat. Oral surgeons do
6 not often do major head and neck surgery. I can
7 define what I mean by that if you're interested.

8 Q. Some **of** them pull wisdom teeth, for example?

9 A. That's correct. And others do partial
10 glossectomies or even remove parts of jaws. For
11 example, the oral surgeon that I work closely
12 with at the Cleveland Clinic does do that kind
13 of work.

14 But by the same token, not every
15 otolaryngologist is competent to do head and
16 neck surgeon either. But every otolaryngologist
17 ought to be competent to examine a patient, see
18 if there is something suspicious and follow that
19 through to at least a diagnostic conclusion, and
20 I would hold an oral surgeon to the same
21 responsibility.

22 MR. KAMPINSKI: I think that's all
23 I have.

24 MR. MURPHY: One thing you may
25 want to inquire of the doctor would be patient

1 responsibility, Mr. Yafanero to follow up if he
2 had recurrences or other problems after that.

3 MR. KAMPINSKI: I think I asked
4 the doctor about any other opinions with respect
5 to his report. Now, that's the purpose of the
6 local rule, Mr. Murphy.

7 MR. MURPHY: I am telling you now
8 that I will ask Dr. Tucker regarding the patient
9 having responsibility to follow up for his own
10 well-being, just as I have asked your own
11 experts.

12 MR. KAMPINSKI: Is there anything
13 else that you're going to ask the doctor that is
14 not in his report?

15 MR. MURPHY: I just told you.

16 MR. KAMPINSKI: Anything other
17 than that?

18 MR. MURPHY: Besides patient
19 responsibility to follow up or come back to
20 Alperin? Nothing that I can think of now.

21 Since you seem to look at it as an issue I
22 will probably have the doctor read Mrs.
23 Yafanero's deposition. If that changes anything
24 I will let you know, but your hypo pretty much
25 assumed everything that she said.

1 Q. Do you believe that a patient has a right to
2 rely on what he's told by his physician?
3 A. To rely on it?
4 Q. Yes, sir.
5 A. To the exclusion of any other thing or just
6 simply to rely that the statement is probably
7 accurate as far as the physician can determine?
8 Q. Sure. When you tell a patient that in your
9 opinion he's okay and not to worry about
10 something, you would expect them to rely on you
11 to that extent, wouldn't you?
12 A. To that extent, yes.
13 Q. And if, in fact, he does so, you wouldn't fault
14 him for doing that, would you?
15 A. I will just answer your question.
16 Q. Sure.
17 A. No, I would not fault the patient for relying on
18 the patient's -- on the doctor's opinion.
19 Q. So that if Mr. Yafanero was told by Dr. Alperin
20 that he need not be concerned about the lesion
21 that still existed in his mouth in May of 1987
22 and remained the same until shortly before it
23 erupted in March of 1988, you wouldn't find
24 fault with Mr. Yafanero in that regard, would
25 you?

1 MR. MURPHY: I object because
2 that's an incomplete hypothetical. You would
3 want Mr. Yafanero to come back.

4 MR. KAMPINSKI: I think it is real
5 complete.

6 A. As you stated the question, I would not fault
7 Mr. Yafanero.

8 Q. Just so there is no confusion, Mr. Murphy is
9 implying somehow that Dr. Alperin told him to
10 come back if there were changes, and my question
11 to you assumed that there were none, that it
12 remained the same. So there is no difference
13 there in your answer given that hypothetical?

14 A. I will agree that if the lesion as far as
15 Mr. Yafanero could observe it in his own body
16 did not change at all, that he could detect from
17 the way it was when Dr. Alperin last looked at
18 it and said we biopsied it, it is not cancer, I
19 don't think you have anything to worry about,
20 that if there were no changes of any kind, then
21 obviously he would have no reason to do anything
22 else.

23 MR. KAMPINSKI: That's all I
24 have.

25

- - - -

(Off the record.)

- - - -

MR. MARMAROS: Upon Mr. Kampinski's request and with his permission I am putting this objection outside of his presence on the record.

We are objecting to the letter dated January 16, 1991 sent by Mr. Murphy to Dr. Tucker and the contents thereof.

HARVEY M. TUCKER, M.D.

C E R T I F I C A T E

The State of Ohio,) SS:
County of Cuyahoga.)

I, Susan M. Cebon, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named HARVEY M. TUCKER, M.D., was by me, before the giving of their deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this _____ day of _____, A.D. 19 ____.

Susan M. Cebon, Notary Public, State of Ohio
1750 Midland Building, Cleveland, Ohio 44115
My commission expires August 16, 1993

LAWYER'S NOTES

[illegible]