

IN THE COURT OF COMMON PLEAS  
CUYAHOGA COUNTY, OHIO

Doc. 442

-----  
JOANNE JEFFERS, ADMX., et al.,

Plaintiffs,

- against -

Case No. 235970  
JUDGE THOMAS J. POKORNY

SOUTHWEST GENERAL HOSPITAL,  
et al.,

Defendants.  
-----

EXAMINATION BEFORE TRIAL of Non-party Witness,  
VINCE VERDILE, M.D., held pursuant to Notice, at the  
court reporting office of Stephen N. Fiato, C.S.R.,  
112 State Street, Albany, New York 12207, commencing  
at 10:00 a.m., July 29, 1993, before Colleen  
B. Smith, a Shorthand Reporter and Notary Public for  
the State of New York.

ORIGINAL

Stephen N. Fiato, C.S.R.  
(518) 462-0766

## A P P E A R A N C E S:

CHARLES KAMPINSKI CO., L.P.A.  
1530 Standard Building  
Cleveland, Ohio 44113  
BY: CHRISTOPHER M. MELLINO, Esq.,  
of Counsel  
Attorneys for Plaintiffs

WESTON, HURD, FALLON, PAISLEY & HOWLEY, ESQS.  
Terminal Tower, 25th Floor  
Cleveland, Ohio 44113  
BY: DONALD H. SWITZER, Esq., of Counsel  
Attorneys for Defendant, Southwest  
General Hospital

MANSOUR, GAVIN, GERLACK & MANOS, CO., L.P.A.  
55 Public Square, Suite 2150  
Cleveland, Ohio 44113-1994  
BY: DALE E. MARKWORTH, Esq., of Counsel  
Attorneys for Defendant, Dr. Banaga

JACOBSON, MAYNARD, TUSCHMAN & KALUR, ESQS.  
1001 Lakeside Avenue, Suite 1600  
Cleveland, Ohio 44114-1192  
BY: STEVEN J. HUPP, Esq., of Counsel  
Attorneys for Defendant, Dr. Binder

1 VINCE VERDILE, M.D.,  
2 after first being duly sworn by the Notary  
3 Public, was examined and testified as follows:  
4 EXAMINATION BY MR. MELLINO:  
5 Q Doctor, would you state your full name and your  
6 business address for the record, please.  
7 A Vincent P. Verdile, V-e-r-d-i-l-e. My address  
8 is A-139 New Scotland Avenue, Albany Medical  
9 College, Albany, New York 12208.  
10 Q And what professional positions do you currently  
11 hold?  
12 A I'm currently an associate professor and vice  
13 chairman of Emergency Medicine -- Department of  
14 Emergency Medicine -- at Albany Medical College.  
15 And my clinical practice is in the Department of  
16 Emergency Medicine at the Albany Medical Center  
17 Hospital.  
18 Q What does your clinical practice consist of?  
19 A Patient care in the emergency department.  
20 Seeing and evaluating patients in the emergency  
21 department.  
22 Q How much time do you spend seeing patients in  
23 the emergency department?  
24 A Approximately 80 hours every month.  
25 Q Could you tell me what training and education

1           you've undergone to become an emergency  
2           physician.

3       A     Medical college was at Albany Medical College.  
4           I graduated 1984. I went to the University of  
5           Pittsburgh and trained in emergency medicine  
6           from 1984 through 1987. Then I was an attending  
7           faculty at the University of Pittsburgh from '84 --  
8           I'm sorry -- from '87 until '93. From '90 to  
9           '93 I served as the director of the emergency  
10          department at the University of Pittsburgh  
11          Hospitals.

12       Q     Is that it?

13       A     As far as my training?

14       Q     Yes.

15       A     Yes. And my boards were completed in '91, I  
16          believe. Or perhaps '90. March of '90 I think  
17          they were finished.

18       Q     Did you take two separate board certification  
19          exams?

20       A     Yes. You do a written first and then a year  
21          later you do the oral. So it's a two-part  
22          examination.

23       Q     Is that what the diplomate and the fellow --

24       A     Fellowship status is within our academic  
25          college. Once you become board certified, then

1           you're inducted as a fellow of the college,  
2           meaning that you have completed your board  
3           certification process and they have some  
4           criteria that enable you to become a fellow in  
5           the college. It's different than board  
6           certification.

7                       Board certification means you have  
8           completed a series of training and examinations  
9           that show your qualifications in the practice of  
10          emergency medicine. The fellowship status in  
11          the college is more of a recognition for  
12          completing that board certification process with --  
13          they have a Chinese menu all the things you can  
14          accomplish; research, administration, different  
15          things, and they acknowledge you by giving you  
16          fellowship status.

17       Q       It's not a separate examination?

18       A       No. No, there's just one examination process,  
19               that's right.

20       Q       Did you pass your written and orals the first  
21               time you took them?

22       A       Yes, I did.

23       Q       What hospitals do you currently have privileges  
24               at?

25       A       I currently am working at Albany Medical Center

1 Hospital. We, as part of our group, provide  
2 physician coverage at several community  
3 hospitals as well. So I have privileges at  
4 Little Falls Hospital in Little Falls, New York,  
5 which sees about 15,000 patients in their  
6 emergency department; Nathan Litter Hospital in  
7 Gloversville which sees about 25,000 patients in  
8 their department, and Hill Crest Hospital in  
9 Berkshire, Massachusetts, which sees about  
10 12,000 patients in their department.

11 So part of our practice is -- our  
12 practice is primarily at the Medical Center, but  
13 we provide administrative and clinical support  
14 to these community hospitals on a contractual  
15 basis.

16 Q How much time do you personally spend at these  
17 other three hospitals seeing patients in the  
18 emergency department?

19 A Of my clinical times, upwards of 20 percent,  
20 usually, on a monthly basis.

21 Q That's included in the 80 hours a month you see  
22 patients?

23 A Yes. My clinical commitment -- my job is  
24 academic and clinical. So my clinical  
25 commitment to my job is 80 hours a month, the

1 majority that I stay at the Medical Center where  
2 I teach house staff, resident doctors and  
3 medical students. The other time we provide  
4 physician coverage for the community hospitals.  
5 There's a problem in New York State, as many  
6 other states, getting physicians that are  
7 trained in emergency medicine to staff their  
8 emergency departments. So we provide a service  
9 by providing them with coverage, and we also  
10 help them effect referrals into the medical  
11 center if they have patients that need to be  
12 transferred in for a variety of tertiary care  
13 facility, we help that process.

14 Q But of the 80 hours that you spend seeing  
15 patients a month, 20 percent of that is at these  
16 other three hospitals?

17 A That's correct. Roughly 20 percent of it will  
18 be at one of these other facilities.

19 Q Have you ever held a position as a house  
20 officer?

21 A Yes. During my training in emergency medicine,  
22 the first year of that program is a general  
23 rotating internship where you expend inpatient  
24 services, medicine or surgery, and you serve as  
25 house resident doctor as part of training.

1     Q     Right.

2     A     Not as a separate -- I was never a separate

3           employee of a hospital as a house physician,

4           that's correct.

5     Q     You were retained in this case on behalf of Dr.

6           Banaga, the house physician, correct?

7     A     Yes.

8     Q     You said it was one year in your training that

9           you spend as, essentially, house officer?

10    A     Yeah. We may be mixing semantics in terms in

11          while you're training as a physician you spend

12          time as a house officer. But as part of your

13          training program, as opposed to I think what Dr.

14          Banaga was, which was a paid physician to cover

15          patients in the house.

16    Q     Right. That's what **I'm** getting at. Did you

17          ever hold a position similar to what Dr. Banaga

18          does?

19    A     No. I'm sorry. When you said "house officer,"

20          I immediately thought of a training position.

21          But she was not in training in her capacity, she

22          was retained by the hospital to manage patients

23          in the hospital.

24    Q     And you've never had --

25    A     That's correct.



1 Q You've never done what she was doing in this  
2 case?

3 A That's correct.

4 Q This is your file that you have with you?

5 A Yes.

6 Q Is that your complete file?

7 A Yes.

8 Q Has anything been removed from there?

9 MR. MARKWORTH: Correspondence.

10 MR. MELLINO: Pardon?

11 MR. MARKWORTH: Correspondence with me.

12 MR. MELLINO: That has been removed?

13 MR. MARKWORTH: Yes.

14 BY MR. MELLINO:

15 Q Did you review any medical literature in order  
16 to form any opinions in this case?

17 A No.

18 Q Is everything that you reviewed in order to form  
19 your opinions in this case here in front of me?

20 A Yes. Well, the opinion that I -- my written  
21 report may be it was not based on all this,  
22 because I'm not sure I had all the depositions  
23 at the time of my written report.

24 Q I wasn't limiting it to your report. To any  
25 opinions that you're going to express here today

1           or at the time of trial.

2     A     Right. What is contained in those reports --

3     Q     It's all based on everything that's right in

4           here?

5     A     Yes.

6     Q     I assume that everything that you had before you

7           wrote your report, you've listed what you

8           reviewed?

9     A     That's correct.

10    Q     That's the sum total of everything you reviewed

11          in order --

12    A     To formulate this written report, that's

13          correct.

14    Q     The items that are contained in your file today

15          that aren't listed in your report, from what I

16          can tell, are the experts' reports; is that

17          correct?

18    A     Yes.

19    Q     Is that the only thing you reviewed since you

20          wrote your report?

21    A     Yes. I didn't realize that I had all the

22          nurses' depositions when I did this, but I guess

23          I did.

24    Q     Okay. Well, you don't have all the nurses

25          depositions, do you? I mean, you never reviewed

1 Jenny Knopf's deposition?

2 A No. That's why -- I don't think I have. That's  
3 why I said I didn't know that I had all the  
4 depositions up to this point. My report was  
5 based on this material, and this is my entire  
6 report that I have thus far. No, I guess I  
7 don't have Jenny Knopf's.

8 Q Okay. You didn't review her deposition prior to  
9 authoring the report, correct?

10 A I don't recall that name. Having reviewed it.

11 Q And it's not one of the depositions in your  
12 file?

13 A Right.

14 Q Did you ever ask to review it?

15 A No, I don't think I particularly did.

16 Q You did see her name in the chart, correct, when  
17 you reviewed the chart?

18 A Yes, I did. But she was the -- I'll try to sort  
19 out what she was. But I don't recall ever  
20 seeing her deposition.

21 Q As you sit here today, do you have a  
22 recollection of what her role was in the events?

23 A Yes. She did the intake assessment. I almost  
24 was positive I saw her report. I don't know why  
25 I don't have it here. That's the nurse that did

1 his initial intake assessment --

2 Q Correct.

3 A -- so I'm almost positive I saw her deposition.

4 I don't know why it's not in my file.

5 Q Well, it's not only not in your file, it's not

6 one of the things you listed as something you

7 reviewed prior to authoring your report, is it?

8 A No.

9 Q And her testimony would be important in this

10 case, wouldn't it?

11 A Yes.

12 Q How many cases have you been retained to offer

13 an expert opinion in a medical malpractice case?

14 A How many cases by this firm?

15 Q No, total.

16 A How many cases total?

17 Q By anybody.

18 A I probably started doing this in 1990. Probably

19 total cases -- maybe 50 or 60 cases total. I

20 probably receive maybe 12 or 15 cases on an

21 annual basis and turn down anywhere from a third

22 to a half of them.

23 Q So the 50 to 60, that's all the cases you're

24 contacted in?

25 A That I've been contacted with, right.

- 1 Q How many of those have you written reports in?
- 2 A I don't know how many I've written reports in.
- 3 I think I've probably refused anywhere from a
- 4 third to a half of them based on I didn't
- 5 believe there was merit in the cases. I've
- 6 given about a dozen depositions and I've had one
- 7 trial appearance.
- 8 Q You've given --
- 9 A About 12 depositions, and I've had one trial
- 10 appearance.
- 11 Q Where was the trial?
- 12 A In Tulsa, Oklahoma.
- 13 Q Now, how many cases, other than this one, were
- 14 you retained by Mr. Markworth or his firm?
- 15 A This is the only one.
- 16 Q Have you ever been contacted by an attorney in
- 17 Cleveland or a case involving a Cleveland area
- 18 hospital?
- 19 A I don't know that.
- 20 Q Have you ever been retained by the firm of
- 21 Jacobson, Maynard, Tuschman & Kalur?
- 22 A I don't recall that name specifically.
- 23 Q How about Weston, Hurd?
- 24 A I don't recall that name either.
- 25 Q What states have you testified in?

1     A     Other than Tulsa, Oklahoma, what states have I  
2           given depositions in?

3     Q     That was a poorly worded question. I assume  
4           most of the depositions are in New York?

5     A     Pennsylvania prior to coming to New York.

6     Q     All right. What states have the cases been  
7           pending in that you've testified in?

8     A     Maryland, Michigan, Virginia, West Virginia,  
9           Ohio. I think that's probably all. And New  
10          York as well.

11    Q     You don't remember any other Ohio case, other  
12          than this one?

13    A     I don't remember any other Ohio case that I gave  
14          a deposition on.

15    Q     Were you retained in another Ohio case?

16    A     Yes, I think that I have been retained in  
17          another Ohio case. I don't know that I've given  
18          a deposition on any cases in Ohio.

19    Q     What law firm retained you in Ohio?

20    A     P-f-a-u, Pfau, something like that. Pfau & Pfau  
21          or something like that. That's the only one I  
22          can recall.. And it was a case out of Ashtabula,  
23          but that's not been in the last several --  
24          couple years. I don't recall the circumstances  
25          of the case. But Pfau & Pfau were the

1 attorneys.

2 Q Do you have any idea of what the breakdown is of  
3 the times you've testified? Has it been on  
4 behalf of a patient or on behalf of a hospital  
5 or physician?

6 A Regarding times I've testified, I couldn't tell  
7 you. But, generally, the cases I've reviewed,  
8 two thirds are plaintiff and a third are  
9 probably defendant.

10 Q The case that you testified in trial for, was  
11 that for a plaintiff or a defendant?

12 A That was a plaintiff.

13 Q Is this other Ohio case currently pending?

14 A It remains opened. I have not provided any  
15 testimony. Or deposition.

16 Q Have you written a report?

17 A No.

18 Q What were you asked to do in this case?

19 A I was asked to review the performance of Dr.  
20 Banaga.

21 Q Is that it?

22 A Yes.

23 Q Do you have any opinions about the performance  
24 of Dr. Binder?

25 A Otolaryngology is not my field of expertise, so

1 I don't have any specific opinions of his  
2 performance as an otolaryngologist.

3 Q You mentioned, I think when you were telling me  
4 about what your job was, that you train house  
5 officers?

6 A Yes.

7 Q And I assume that's one of the bases that you  
8 feel allows you to offer opinions on the  
9 performance of Dr. Banaga?

10 A No, I think -- instead when -- again, house  
11 officers, in my mind, are the physicians I train  
12 in emergency medicine. I don't train doctors to  
13 become house physicians like Dr. Banaga. But, I  
14 think the basis for my opinion would be that as  
15 an emergency physician, I routinely evaluate  
16 patients with headache, otitis, otitis media and  
17 fever, for example. So that's part of my daily  
18 practice, is to be the first line to evaluate  
19 those patients. Much like a house physician  
20 would after a patient's been admitted either  
21 directly from a physician's office or directly  
22 from the emergency department.

23 Q So when you told me you train house officers,  
24 you're referring to residents?

25 A Yes.



1 Q People in training?

2 A Yes. That's why we got confused. Semantics.

3 Q I should have used the term house physician.

4 A House physician is what Dr. Banaga was.

5 Q You don't train house physicians?

6 A That's correct.

7 Q Have you testified or been retained to be an

8 expert in a case involving meningitis prior to

9 this?

10 A I don't recall off the top of my head if I've

11 had a case of meningitis. It's a very common

12 problem. Meningitis is a very common problem in

13 emergency medicine. But I don't recall that

14 I've been retained to give expert opinion on a

15 case.

16 Q What are you basing the statement that it's a

17 very common --

18 A I think most of the emergency medicine risk

19 management literature would support that

20 meningitis is a very elusive diagnosis to make,

21 and that it's an area of risk for emergency

22 physicians.

23 Q When you're talking about it being missed as a

24 diagnosis, you're talking about by an emergency

25 physician?

- 1       A       Yes, in the emergency department capacity, yes.  
2               In the capacity of an emergency physician in an  
3               emergency department.
- 4       Q       When you do a physical examine in the emergency  
5               room of a patient, how long does that -- do you  
6               usually spend doing the physical exam?
- 7       A       It's complaint-specific. If a patient has a  
8               sprained ankle, it may be five or eight minutes.  
9               If the person has a headache, it would be a  
10              detailed 30-, 40-minute exam, depending upon the  
11              patient's complaint.
- 12      Q       What about a patient who presented with the same  
13              presentation as Mr. Jeffers?
- 14      A       Mr. Jeffers, it would probably be a 20- to  
15              30-minute examination, ballpark.
- 16      Q       He had a headache when he presented, correct?
- 17      A       Yes.
- 18      Q       You just told me if the patient had a headache  
19              it could be a 30- or 40-minute exam. What's the  
20              difference?
- 21      A       Mr. Jeffers had findings of acute otitis media  
22              as well as a headache and a fever.
- 23      Q       Can otitis media turn into meningitis?
- 24      A       Yes.
- 25      Q       Is that common knowledge among emergency

1 physicians?

2 A Yes.

3 Q What about house physicians?

4 A I think it's probably pretty much common  
5 knowledge, for anybody that went to medical  
6 school, that meningitis -- being in close  
7 proximity to the central nervous system, could  
8 extend to meningitis.

9 Q So should the physician seeing Mr. Jeffers have  
10 been suspicious of meningitis given his  
11 presentation?

12 A Given his presentation, no. He had no clinical  
13 evidence for meningitis, either in the emergency  
14 department or by Dr. Banaga. At the time Dr.  
15 Banaga saw him.

16 Q Based on the fact that he was presenting with  
17 otitis media, before anybody examined him, let's  
18 say, should they have been suspicious for that  
19 possibility?

20 A I think the physician who cares for a patient  
21 with acute otitis media has to exclude  
22 meningitis as a secondary infection from the  
23 otitis media, that's correct.

24 Q And it has to be ruled out by the physician?

25 A It has to be -- yes.

1       Q       Was that ruled out in the emergency department?

2       A       The emergency department examination was  
3               probably not as detailed as **Dr.** Banaga's, but I  
4               think both physicians felt reasonably  
5               comfortable with their clinical assessment of  
6               this patient that meningitis was not clinically  
7               apparent at the time that either of those  
8               physicians saw this patient.

9       Q       Well, what specifically did Dr. Jones do to rule  
10              out meningitis?

11      A       He did a very cursory examination, but  
12              demonstrated that there was -- that. the  
13              patient's neck was supple, and that there was a  
14              causative factor for his headache and fever.

15      Q       What was the causative factor?

16      A       Bilateral otitis media.

17      Q       And what are you assuming that supple means in  
18              this case?

19      A       Supple means pliable, soft. It means that the  
20              patient with passive range of motion does not  
21              complain of pain or tenderness of his neck or  
22              spinal column.

23      Q       Is that how you use the word supple?

24      A       I think traditionally that's how it's used in  
25              physical assessment of patients.

1       **a**       My question though is is that how you use it?

2       A       Yeah, absolutely. A supple neck is a patient  
3       who has a neck that you can move through the  
4       range of motion and he does not have pain or  
5       tenderness suggestive of meningeal irritation or  
6       infection in the CNS.

7       Q       When you're talking about moving the neck  
8       through the range of motion, are you including  
9       attempting to move the patient's chin to his  
10      chest?

11      A       Yes.

12      Q       And are you assuming that because Dr. Jones put  
13      that the neck was supple, that he moved the neck  
14      through an entire range of motion?

15      A       I don't know that he put it through an entire  
16      range of motion in every particular vector  
17      that's possible, but he was satisfied that the  
18      patient had a supple neck based on his  
19      examination of the patient. I don't know what  
20      Dr. Jones did to determine that, but he wrote  
21      that the neck was supple.

22      Q       Well, is it important to know what Dr. Jones did  
23      to know whether he did an examination that met  
24      standards of care?

25      A       Yes. In his deposition he said he did. He

1 flexed the patient's head and turned it. But  
2 what I'm saying is I'm not sure that he did a  
3 whole 360 degree rotation of the patient's head  
4 to assure himself that it was supple. By  
5 flexing the patient's head he probably thought  
6 that was sufficient based on the clinical  
7 findings of acute bilateral otitis media, fever  
8 and headache.

9 Q Well, fever and headache can also be signs of  
10 meningitis, correct?

11 A It can be signs of many, many things:  
12 Sinusitis, pharyngitis, sure. It can be signs  
13 of many things.

14 Q And if a patient has symptoms that are  
15 consistent with otitis media, I mean, you don't --  
16 you can't necessarily rule out meningitis by  
17 attributing those symptoms to the otitis media,  
18 can you?

19 A No. You have to examine the patient's neck,  
20 that's correct.

21 Q All right. So if Dr. Jones didn't examine the  
22 patient's neck, then that would have been below  
23 the standard of care --

24 MR. HUPP: Objection.

25 Q -- of an emergency physician?

1     A     If he did not examine this patient's neck, I  
2           would say yes.

3     Q     What would an examination of the neck have to  
4           include to meet the standard of care of an  
5           emergency physician?

6     A     Passive range of motion.

7     Q     What does that mean?

8     A     That the patient lies supine and you take the  
9           patient's head and move it forward and backwards  
10          and left and right.

11    Q     What do you mean by forward and backward?

12    A     Flexion is forward and extension is backwards.

13    Q     What is a Kernig's sign?

14    A     Kernig's sign is if you flex the patient's hip  
15          and knee they have meningeal irritation from the  
16          movement. Brudzinski's sign is when you  
17          passively flex the --

18    Q     I didn't ask you about Brudzinski.

19    A     It's the other sign of meningeal irritation.

20    Q     I know. I'm going to get to it. I have to go  
21          slow though. All right. You said Kernig is  
22          when you flex the hip and thigh?

23                   MR. MARKWORTH: Read back his answer.

24                   (The record was read by the reporter.)

25    Q     How **do** you flex the hip and knee? Can you

1 explain to me how that's done.

2 A The patient is supine, on their back, you raise  
3 their leg and bend it at the hip so that their  
4 knee and hip come forward towards their  
5 cephalad. And you bend the hip and you bend the  
6 knee. The knee is flexed at the 90 degrees to  
7 the femur.

8 Q And what are the signs that you're looking for  
9 that tell you that there's meningeal irritation?

10 A Pain in the back and the neck.

11 Q Was that done by Dr. Jones in this case?

12 A No.

13 Q Should it have been done?

14 A No.

15 Q Why not?

16 A Because he had a supple neck in a patient that  
17 had a source for headache and fever.

18 Q Isn't a positive Kernig's sign a classic sign of  
19 meningitis?

20 A I don't know what you mean by "classic." What I  
21 think of with Kernig's and Brudzinski's sign is  
22 when they're present they're helpful, when  
23 they're absent they're not helpful. So they're  
24 not always present in a setting of meningitis,  
25 but they can be present.



1       Q       But if you don't do the examination, you don't  
2               know one way or the other?

3       A       If you don't examine the patient for Kernig's  
4               and Brudzinski's, that's correct. Although with  
5               the Brudzinski's, if you flex the patient's neck  
6               and he brings up his knees, you have de facto  
7               done a Brudzinski's test.

8       Q       Let's talk about Brudzinski's now. What is  
9               that?

10      A       That is flexing the neck and the patient draws  
11              up their knees, or a knee, but flexes their  
12              body. Again, because they have irritation of  
13              their CNS and their meninges. So by doing a  
14              full range of motion passively in a patient, you  
15              will elicit a Brudzinski's if it's present by  
16              bringing his neck forward the leg will come up  
17              or legs will come up.

18      Q       And should the presence or absence of the  
19              patient bringing his legs up be charted by the  
20              physician if he's doing this examination?

21      A       If it was evident to him, sure, he should chart  
22              it.

23      Q       I mean, if it's negative shouldn't he also chart  
24              that in a patient that he is suspicious of  
25              meningitis in?

- 1     A     I think the documentation of positive or  
2           negative clinical findings is important.
- 3     Q     Let's talk about this specific clinical finding.
- 4     A     I think that Dr. Jones excluded the diagnosis of  
5           meningitis based on his examination. Now,  
6           whether or not he followed through with the  
7           Kernig's and Brudzinski's and didn't document  
8           that, I have no idea of whether or not he did  
9           that.
- 10    Q     I didn't ask you that question though, Doctor.  
11           In a patient where there's a suspicion of  
12           meningitis, shouldn't a -- first of all, can we  
13           agree that the Brudzinski's sign should have  
14           been done by a reasonable, prudent emergency  
15           room physician?
- 16    A     No. We can't agree on that, because you said  
17           that we have a suspicion of meningitis. I don't  
18           know that we have a suspicion. We're obligated  
19           to exclude that as one of the causative factors  
20           for headache and fever in this patient, and in  
21           general.
- 22    Q     I'll ask you to assume that we're suspicious of  
23           meningitis in the patient.
- 24    A     That wasn't what you asked me though before.  
25           You asked me is meningitis a possibility for

1 extension from an otitis and I said yes, it is.  
2 Q Okay. Assume that the emergency room physician  
3 should have been suspicious of meningitis --  
4 A Fine.  
5 Q -- does the standard of care require him to  
6 check for a positive Brudzinski?  
7 A I think the standard of care would dictate that  
8 he or she needs to exclude meningitis if they  
9 think that's high on their list of suspicions.  
10 But Brudzinski and a Kernig sign are not always  
11 positive findings. A supple neck in a patient  
12 that's awake and alert with a source of  
13 infection, you can exclude the diagnosis. So  
14 no, I don't think the standard of care dictates  
15 that you have to do a specific reflex to elicit  
16 signs and symptoms to confirm or exclude a  
17 diagnosis.  
18 Q Well, yeah, I thought you told me earlier, and I  
19 may be wrong about this, that if you suspect or  
20 that if you're ruling out meningitis, that you  
21 have to do an examination of the neck?  
22 A Yes.  
23 Q All right. An examination of the neck would  
24 include passively putting the chin to the chest?  
25 A Correct.

- 1 Q And then you said that that was a de facto way  
2 to do a Brudzinksi?
- 3 A It can sometimes, that's correct. But it's not  
4 always a positive finding. Not every patient  
5 with meningitis has a Brudzinski.
- 6 Q Well, a way to check for Brudzinski is to  
7 passively put the chin to the chest, correct?
- 8 A That's correct.
- 9 Q All right. So that has to be done by the  
10 emergency room physician?
- 11 A That's correct.
- 12 Q And if he's suspicious of meningitis and he's  
13 trying to rule it out, doesn't the standard of  
14 care require him to chart either way whether  
15 that's positive or negative?
- 16 A I don't know that the standard of care dictates  
17 what is to be charted about a patient's  
18 examination. I don't know that Dr. Jones or Dr.  
19 Banaga didn't see or witness a Kernig's or  
20 Brudzinski because they didn't document it.
- 21 Q Right. So we don't know --
- 22 A It doesn't mean they didn't do it.
- 23 Q Well, we don't know one way or the other whether  
24 they did it or not.
- 25 A Right. We know simply that they put their head

1 through the range of motion. Passive range of  
2 motion.

3 Q Well, we don't know that from looking at the  
4 chart, do we?

5 A We know that from their depositions.

6 Q All right. But we don't know that from looking  
7 at the chart?

8 A No. I think because the documentation says the  
9 neck is supple. And that means that they put  
10 the patient through passive range of motion.

11 Q Did **Mr.** Jeffers have meningitis when he was in  
12 the emergency room?

13 A He did not have clinical evidence for meningitis  
14 in the emergency department or by Dr. Banaga's  
15 examination.

16 Q No. But in your opinion, based on the review of  
17 the records sitting here today, do you have an  
18 opinion one way or the other whether or not he  
19 had meningitis in the emergency department?

20 A I don't believe he had meningitis in the  
21 emergency department, that's correct. Or that's  
22 my answer. I don't believe that he had  
23 meningitis in the emergency department or at the  
24 time Dr. Banaga saw him.

25 Q When did he get it?

1       A       Probably sometime in the early morning of the  
2               next -- or the same calendar day prior to going  
3               to CT scan. Because I believe his clinical  
4               condition deteriorated when he was in CT scan.  
5       Q       I mean, can you give me a time frame when you  
6               think he had gotten meningitis?  
7       A       I think that would be very difficult. I would  
8               probably defer that to some infectious disease  
9               specialist, but I will tell you that meningitis  
10              is not like a light switch, on/off. It's  
11              progression of an illness. So he could have had  
12              meningeal seeding starting at eight or nine or  
13              ten in the morning but was not clinically  
14              evident until one or two that afternoon when he  
15              had clinical deterioration.  
16      Q       If he had a stiff neck at the time that Dr.  
17               Banaga saw him, would that change your opinion  
18               as to whether or not he had meningitis at that  
19               time?  
20      A       Not necessarily. I think patients that have  
21               headaches and have otitis can have stiff necks  
22               from the muscles that are sore from holding  
23               their head still. So I don't think necessarily  
24               that a stiff neck, in and of itself, is specific  
25               for meningitis. It would make you worry about

1           it more and make you move on to other things to  
2           elicit that diagnosis.

3       Q     So the fact that if I ask you to assume that he  
4           had a stiff neck at, say, 12:10 on the 4th --  
5           May 4th -- then that wouldn't change your  
6           opinion at all as to -- it wouldn't change your  
7           opinion that he didn't have meningitis at that  
8           time? You still believe that he did?

9       A     I could not tell you, based on the patient  
10          having a stiff neck, whether he did or he did  
11          not have meningitis without examination the  
12          patient. So I can't tell you -- if you tell me  
13          that the guy has a stiff neck, I can tell you  
14          that that means he conclusively has meningitis.  
15          Nurse Knopf in her nursing notes says the  
16          patient had a sore neck. So, yeah, people said  
17          that the patient had a sore neck. That is not  
18          the diagnosis of meningitis.

19      Q     All I want to know is if it changes your opinion  
20           or not?

21      A     I can't -- still cannot give you an opinion as  
22           to when meningitis started. I think that was  
23           the question.

24      Q     All right. No, the specific question -- you  
25           gave the opinion that he didn't have it in the

- 1 emergency department or when Dr. Banaga saw him.
- 2 A It was not clinically evident at those times,  
3 that's right.
- 4 Q Okay. So if I ask you to assume that he had a  
5 stiff neck at the time Dr. Banaga saw him, does  
6 that change your opinion as to whether or not he  
7 had meningitis at the time she saw him?
- 8 A You want me to assume that --
- 9 Q That he had a stiff neck.
- 10 A -- in light of the fact that we know he had a  
11 supple neck by two separate physicians'  
12 examination?
- 13 Q Yes.
- 14 A So hypothetically, not in this case in general,  
15 but a patient with a stiff neck --
- 16 Q Right. Hypothetically. No, this patient. I  
17 just want you to assume that this patient had a  
18 stiff neck at the time Dr. Banaga saw him.
- 19 A And we're talking in a hypothetical sense? This  
20 patient hypothetically had a stiff neck?
- 21 Q Yes.
- 22 A That would be suggestive of meningitis and then  
23 other tests should have been done to elucidate  
24 that diagnosis, that's right.
- 25 Q If he had a stiff neck then, what other tests



1           should have been done?

2       A     Well, in an adult patient with a stiff neck you  
3           look for local sources of infection, which he  
4           had. He had bilateral otitis. We have a CT  
5           scan that says he has internal otitis, so his  
6           inner ear was even infected.

7       Q     We didn't have the CT scan until the following  
8           afternoon.

9       A     Right. So you would have to -- we have one  
10          diagnosis of an infection, meaning an otitis  
11          media, bilaterally. That can cause patients to  
12          have a stiff neck. The lymph nodes get swollen,  
13          the patient holds his head rigid, the muscles  
14          get stiff. So there's a lot of reasons why a  
15          patient could have a stiff neck.

16      Q     Well, a minute ago you told me if he did have a  
17          stiff neck --

18      A     Yes.

19      Q     -- that there are other tests that needed to be  
20          done.

21      A     Yes. Okay. So in an adult patient you would  
22          necessarily need to have a CT scan of his head  
23          prior to doing any other diagnostic testing like  
24          lumbar puncture. So CT scan would have been in  
25          order had the patient had a stiff neck.

1     Q     And in what time frame would you need to have  
2           done the CT scan?

3     A     As soon as possible.

4     Q     On a stat basis?

5     A     Sure, I would probably order it as a stat CT  
6           scan.

7     Q     Could you give antibiotics to cover the  
8           possibility of meningitis while you're waiting  
9           for the CT scan to be --

10    A     You should give meningitis -- you should give  
11           antibiotics while you're waiting for a CT scan  
12           if you suspect the patient has -- clinically has  
13           meningitis.

14    Q     Well, if you assume that the patient had a stiff  
15           neck at the time Dr. Banaga saw him, is that  
16           what should have been done in this case?

17                   MR. MARKWORTH:  Objection.

18    A     In a stiff neck in the absence of other physical  
19           findings.  That's why I kept asking you in a  
20           hypothetical case a patient with a stiff neck or  
21           this case with a patient with a stiff neck.  In  
22           the hypothetical case a patient --

23    Q     No, this case with a stiff neck.

24    A     We know he didn't have a stiff neck.  And he  
25           also has a source of infection.

1     Q     Well, wait a minute.  We don't know that he  
2            didn't have a stiff neck.

3     A     Two independent physicians documented that he  
4            had a supple neck within two hours of each  
5            other.

6     Q     Well, you know, I don't want to argue with you.  
7            I mean --

8     A     I don't either.

9     Q     Okay.  But the nurse was the only one that  
10           there's any evidence in the chart did an  
11           examination of the neck, noted that he was  
12           unable to put his chin to his chest.

13    A     That was active range of motion.  That's very  
14           different.  That's not passive range of motion.  
15           The nurse said, "Put your chin to your chest."  
16           And when the patient did that, his neck was  
17           sore.  I'm not surprised.  He had bilateral  
18           otitis media and a headache for days, so I'm not  
19           surprised that the guy had pain when he flexed  
20           his neck.  Very, very different than the  
21           physical findings of a supple neck.

22    Q     Well, you know, you didn't read her deposition,  
23           correct?

24    A     I read her assessment.

25    Q     Fine.  All right.  And you're giving me your

1           interpretation of her assessment.

2       A     No. That's what it says.

3       Q     No, it doesn't. It says "unable to put chin to

4           chest," not that he had pain in his chest when

5           he did it. He said he couldn't do it.

6       A     Yeah, he couldn't do it, that's right. So

7           that's active range of motion, not passive range

8           of motion.

9       Q     If he has a stiff neck, what difference does it

10          make if --

11      A     A world of difference. Because when you raise

12          your head off the bed, you use your own muscles.

13          That's voluntary as opposed to a passive range

14          of motion when the physician takes the patient's

15          head and moves it through range of motion.

16      Q     But he couldn't --

17      A     That takes away the muscles around the neck.

18      Q     But he couldn't do it.

19      A     I agree. I'm not disagreeing with you. I think

20          that's a very good finding on her part. But it

21          has nothing to do with meningitis.

22      Q     All right. So that finding is totally

23          irrelevant in terms of whether he had meningitis

24          or not?

25      A     It's totally relevant in the context of a

1 patient with bilateral suppurative otitis media  
2 and a headache for days. It's totally  
3 consistent with that. I'm not saying it's  
4 inconsistent with that.

5 Q I want you to assume that her finding meant that  
6 he had a stiff neck.

7 MR. MARKWORTH: Objection.

8 A I can't assume that because it's not the same as  
9 a physician's examination of a neck to exclude  
10 meningitis.

11 Q All right. If Mr. Jeffers had a stiff neck at  
12 the time Dr. Banaga saw him, should she have  
13 ordered a CT scan stat and given antibiotics to  
14 cover the possibility of meningitis pending the  
15 outcome of the CT scan?

16 A An isolated finding of a stiff neck would not  
17 necessarily trigger that cascade of events. I  
18 have to know more about this hypothetical stiff  
19 neck.

20 Q Well, when you say isolate a stiff neck, I mean,  
21 in Mr. Jeffers. I mean, he had other symptoms  
22 that were indicative of meningitis, didn't he?

23 A No, he had a multitude of nonspecific,  
24 insensitive symptoms of any patient with a  
25 headache and bilateral otitis media.

1       Q       I see. So a headache is not a sign or symptom  
2               of meningitis?

3       A       As well as sinusitis, cellulitis of the face,  
4               tooth abscess. You know, a laundry list of  
5               things. Otitis media, that can give you  
6               headache, fever and --

7       Q       But he didn't have any of these things you  
8               mentioned, did he? He didn't have a toothache?

9       A       Well, that's why -- we keep going from the  
10              hypothetical to this patient, that's why I'm  
11              getting confused.

12      Q       Are you?

13      A       Yeah. A hypothetical patient with a stiff neck,  
14              you have to exclude the multitude of things that  
15              would be from the top of their head to their  
16              clavicle that would cause them to have a stiff  
17              neck.

18      Q       So you're confused right now?

19      A       Only because we keep flipping from the  
20              hypothetical to this patient, that's all.

21      Q       No, I'm not. I mean, I'm asking you in this  
22              patient if you assume he had a stiff neck.

23      A       Right. Let's assume he had a stiff neck. He  
24              has evidence for bilateral otitis media. We  
25              have an explanation for why this patient had

- 1 pain with voluntarily flexing his neck.
- 2 Q Is an unrelenting headache that's not relieved
- 3 with morphine, is that a sign of meningitis?
- 4 A No.
- 5 Q How about nausea and vomiting?
- 6 A No.
- 7 Q Photosensitivity?
- 8 A Nope. These in and of themselves are not making
- 9 the diagnosis of meningitis. They can be with a
- 10 patient with a simple headache. Have you ever
- 11 had a hangover or a migraine, you don't like the
- 12 lights. I mean the lights bother people with
- 13 headaches.
- 14 Q Well, I usually don't take morphine for it
- 15 though.
- 16 A No, I don't either, but everybody's pain is
- 17 different.
- 18 Q And the inability to put your chin to your
- 19 chest, to you that's not indicative of
- 20 meningitis either?
- 21 A The patient's inability to voluntarily lift his
- 22 head off the bed and touch his chest, to me does
- 23 not make the diagnosis of meningitis, that's
- 24 correct.
- 25 Q I didn't ask you if it made the diagnosis, I

- 1           asked you if it was indicative of meningitis.
- 2       A     No, it is not indicative of meningitis.
- 3       Q     It is not a symptom that somebody with
- 4           meningitis would have?
- 5       A     It is a symptom that people with meningitis can
- 6           have, yes.
- 7       Q     Would somebody who had an unrelenting headache
- 8           that wasn't relieved with the use of narcotics,
- 9           nausea, vomiting, photosensitivity and an
- 10          inability to put their chin to their chest,
- 11          would they require a lumbar puncture, or would
- 12          that be a test that a physician acting
- 13          reasonably prudent would do?
- 14       A     A hypothetical patient now we're talking about?
- 15       Q     Yes.
- 16       A     Some hypothetical patient who presents to the
- 17          emergency department -- go ahead -- or to the
- 18          house physician with the following --
- 19       Q     Unrelenting headache, unrelieved with narcotics,
- 20          nausea, vomiting, photosensitivity and an
- 21          inability to put their chin to their chest.
- 22       A     Would that automatically warrant a lumbar
- 23          puncture?
- 24       Q     No. No. Would a physician acting reasonably
- 25          and prudently do a lumbar puncture?



- 1     A     Not as the first thing you would do, no.
- 2     Q     But you would do it?
- 3     A     After I've excluded all the other things that
- 4           could cause that symptomatology, that's right.
- 5     Q     What would you do to exclude all the other --
- 6     A     Examine the patient.
- 7     Q     Suppose you examine the patient and these were
- 8           your findings?
- 9     A     And you found that he had bilateral suppurative
- 10          otitis media, then we have a diagnosis for why
- 11          the patient has headache, nausea, the other
- 12          things you told me.    Photosensitivity.
- 13    Q     So if you examined the patient and you found
- 14          that he had bilateral otitis media, that would
- 15          be the end of it?
- 16    A     No.
- 17    Q     You wouldn't do a lumbar puncture?
- 18    A     I would not have done a lumbar puncture on this
- 19          patient at the presentation to the emergency
- 20          department or to the house physician based on
- 21          what I saw.
- 22    Q     No, I'm talking about -- we're still talking
- 23          about the hypothetical patient that presented
- 24          with these symptoms that I gave you.
- 25    A     If I found that the patient had bilateral otitis

1 media, I would not have performed a lumbar  
2 puncture on that patient. I would have started  
3 antibiotic therapy. If the patient was unable  
4 to keep down his medicines by mouth because he  
5 was so nauseated, I would admit him to the  
6 hospital and have him ongoing evaluation and  
7 ongoing therapy as an inpatient. It's one of  
8 the indications for keeping patients in the  
9 hospital; they can't take their medicines out of  
10 the hospital. Which is what Mr. Jeffers had a  
11 problem doing.

12 Q Is that why he was admitted to the hospital?

13 A He was admitted to the hospital because he had  
14 persistent vomiting, he was not keeping his  
15 medicines down, and he had an elevated white  
16 count. And the emergency room physician thought  
17 that there may be something else going on and  
18 the patient needed inpatient observation. It's  
19 a very reasonable approach to this patient.

20 Q And I assume, if this hypothetical patient that  
21 I gave you before that had these symptoms also  
22 had a leucocytosis, you still wouldn't have done  
23 the lumbar puncture?

24 A Leucocytosis is nonspecific. The patient had --  
25 we know the patient --

1 Q So you wouldn't have done it?

2 A Based on a leucocytosis, no.

3 Q And all the other symptoms?

4 A No. Not based on this patient. 'This patient.

5 Not a hypothetical patient, this patient. High  
6 white count, all the things you told me, I would  
7 not have done a lumbar puncture as the emergency  
8 physician or as the house physician who saw the  
9 patient within a couple of hours, that's  
10 correct.

11 Q All right. You would have done the exact same  
12 thing that Dr. Banaga did in this case?

13 A Her responsibility was to examine the patient,  
14 do a history, physical examination, and verify  
15 that the orders that were written were  
16 appropriate for the patient. So that's what she  
17 did, and that was reasonable.

18 Q So you would have done the exact same thing?

19 A Absolutely.

20 Q And then you would have gone to bed and never  
21 seen the patient again?

22 MR. MARKWORTH: Object to the form. Go  
23 ahead.

24 A I would have saw the patient again if I was  
25 asked to see the patient again. If someone

1           asked me to see the patient again, I would have  
2           went and seen the patient again. I mean, in the  
3           emergency department I rely on the nurses coming  
4           to me and saying, "Doctor, I think this patient  
5           needs to be re-examined, there's a change in  
6           their clinical condition and you need to come  
7           back and see this patient." Now, we don't have  
8           the benefit of six-, eight-, ten hours in the  
9           emergency department, luckily, but --

10        Q     What if the patient vomited a couple hours  
11               later; would you have gone to see him?

12        A     He had been vomiting for days. That's one of  
13               the reasons he was staying in the hospital; he  
14               had been vomiting for three or four days.

15        Q     So you wouldn't have gone to see him?

16        A     Just with episode of vomiting -- I would have to  
17               know what else is going on with the patient.  
18               Has anything changed in the patient's clinical  
19               condition; does he **still** have a fever; is the  
20               mental status the same; anything else that  
21               worries you? "No, Doctor, everything's fine --  
22               Then give him something for his vomiting, call  
23               me if there's no change."

24        Q     Is that what Dr. Banaga did in this case?

25        A     I don't know what exactly, conversation wise,

1           transpired.   Hut she administered something for  
2           his vomiting.   And that was the end of it.   He  
3           slept the rest of the night.

4       Q       Should she have gone to see him?

5       A       For one 500cc episode of vomiting in a patient  
6           that's been vomiting for days and no other  
7           change in the patient; no, I don't think she  
8           needed to go see him.

9       Q       Was he critically ill at one o'clock?

10      A       No, I would not describe it as critically ill.  
11           As a matter of fact, his fever went away by  
12           three in the morning.   He didn't even have a  
13           fever any longer.

14      Q       So he was getting better?

15      A       No, I didn't say he was getting better, he just  
16           didn't have a fever, so it is not someone that I  
17           would think is critically ill.

18      Q       What antibiotic would you have given him?

19      A       I think the antibiotic ordered by Dr. Binder is  
20           appropriate for what he thought the patient may  
21           have had, which was osteomyelitis or --

22      Q       Let's go back to the hypothetical. patient that I  
23           gave you before that you said you would have  
24           prescribed an antibiotic for.   This patient that  
25           had unrelenting headache unrelieved by

1           narcotics, nausea, vomiting, photosensitivity  
2           and an inability to put his chin to his chest  
3           and a leucocytosis, would you prescribe an  
4           antibiotic for that patient?

5       A     If I found that he had bilateral otitis media,  
6           yes, I would definitely have prescribed an  
7           antibiotic.

8       Q     And what antibiotic would you prescribe?

9       A     Dr. Jones had the benefit of having an ear, nose  
10          and throat doctor on call and he deferred to his  
11          judgment on that. And I would not argue with  
12          that doctor.

13      Q     So you wouldn't argue with the use of Cipro in  
14          this case?

15      A     Cipro is a reasonable drug for external otitis  
16          and for osteomyelitis, which is what they  
17          thought this patient may have had since he had  
18          two to three weeks of otitis media.

19      Q     You're saying Cipro is an appropriate drug for  
20          otitis media?

21      A     No. No. No. It covers many of the same  
22          organisms; nostras influenza and strept  
23          pneumoniae, but it is used for otitis externa.  
24          The external part. And it's also used for  
25          osteomyelitis, which was the admitting

1 diagnosis, ruled out osteomyelitis.

2 Q What about for otitis media? I mean, your whole  
3 thing is you're assuming that he had an otitis  
4 media.

5 A Yeah, I don't know that it's an inappropriate  
6 drug for otitis media. The defense expert,  
7 otolaryngologist, said it's probably not a bad  
8 choice and watching the patient's clinical  
9 course.

10 Q What did Dr. Binder say?

11 A Dr. Binder say about the Cipro?

12 Q Yes.

13 A He ordered the Cipro.

14 Q Right. What did he say in his deposition about  
15 it? Did he feel it was an appropriate choice?

16 A I felt he thought it was an appropriate choice  
17 based on what he was told by the emergency  
18 physician. I could check.

19 Q For otitis media you're talking about?

20 A Yeah.

21 Q So it's your understanding that that was an  
22 appropriate medication to give for otitis media  
23 to Mr. Jeffers?

24 A I think that Dr. Jones and Dr. Binder had a  
25 discussion about the drug and they -- Dr. Binder

1 chose otitis because he thought that it was more  
2 of an external otitis than an internal otitis.

3 Q But Dr. Banaga, who is the physician that you  
4 were retained to evaluate, felt that he had an  
5 otitis media.

6 A She thought that he had otitis media, that's  
7 correct. She also saw blood in his ear canals,  
8 which can be externa as well.

9 Q So should she have discussed this drug with Dr.  
10 Binder?

11 A I think that the house physician is not trained  
12 in otolaryngology and if the otolaryngologist  
13 wants to use Cipro to treat his patients, I  
14 think she would defer to him. He is an expert  
15 in ear, nose and throat diseases. And if you  
16 look on the admitting record, it says, "Rule out  
17 osteomyelitis," and it's a great drug for  
18 treating osteomyelitis.

19 Q But she didn't find any evidence of  
20 osteomyelitis on exam?

21 A You could not on physical exam. It would be  
22 difficult, unless it was so far advanced that  
23 the skin had turned color, for example.  
24 Different things. But this patient had weeks of  
25 otitis infections and they were worried that he



1           had a contiguous bone infection.

2       Q     Well, Dr. Binder hadn't examined the patient by  
3           the time Dr. Banaga had, correct?

4       A     That's correct.

5       Q     She was the only one who had done the physical  
6           exam of the patient?

7       A     That's correct.

8       Q     So wouldn't she have had a better idea what was  
9           going on with the patient than Dr. Binder?

10      A     I would hope that Dr. Binder took responsibility  
11           for the patient that was admitted to his service  
12           and elicited the information he needed from the  
13           emergency doctor to administer the appropriate  
14           therapy. And I would assume, as the house  
15           physician, that you would hope that that took  
16           place as well.

17      Q     Well, do you treat patients over the phone that  
18           you've never examined?

19      A     Do I; no? Not in my practice I don't.

20      Q     Do you think that's good medical practice?

21      A     I treat patients over the phone -- over the  
22           radio with paramedics who take care of patients  
23           in the street. Over the phone. Over the radio.  
24           It's sort of an analogy I guess. Do I think  
25           it's a good practice? I think it's probably a

1 standard practice in most community settings.

2 Q Do you think it's good practice in this setting?

3 A I think it's standard practice. Whether or not  
4 it's good practice, I really don't have an  
5 opinion. I worked in that environment where the  
6 house physician covers a patient for a private  
7 doctor. It's pretty much standard practice in  
8 community hospitals.

9 Q Doctor, don't change the question, please. The  
10 question is do you think it's good medical  
11 practice to treat a patient, that you've never  
12 seen or examined, over the phone?

13 A Had he not been seen by an emergency physician,  
14 I would have thought it would be very bad to do.  
15 There's probably several malpractice cases by  
16 patients being treated over the phone by doctors  
17 without being seen. But the patient was seen by  
18 an emergency physician who then relayed the  
19 information to Dr. Binder, which was then the  
20 impetus for his orders. So I think that's  
21 standard practice. It's good practice. That's  
22 what goes on around the country. That's exactly  
23 how medicine is practiced in the community.

24 Q And you don't think -- all right. It's not a  
25 standard practice for a doctor to do an

1 examination of a patient prior to ordering any  
2 medication?

3 A That was Dr. Banaga's rule; to re-evaluate the  
4 patient when the patient got to the floor.

5 Q But she didn't do anything about the medication?

6 A No. She thought it was appropriate based on her  
7 findings and what Dr. Binder and Dr. Jones  
8 thought.

9 Q Wait, a minute. Dr. Binder ordered Cipro  
10 because he felt there was a possibility of  
11 osteomyelitis?

12 A That's correct.

13 Q And Dr. Banaga didn't find any signs of  
14 

---

osteomyelitis on her physical exam, correct?

15 A There would not be any physical findings of  
16 osteomyelitis in someone that had an infection  
17 for two weeks. It would not be clinically  
18 apparent, particularly in the bones of the  
19 skull.

20 Q Well, she did a physical examination --

21 A Yes.

22 Q -- and your testimony was complete and thorough  
23 and met the standard of care, correct?

24 A Yes.

25 Q Based on that examination, her diagnosis was

1           otitis media, correct?

2       A     Yes.

3       Q     And she didn't say anything about there being a  
4           **possibility or secondary diagnosis of external**  
5           otitis, correct?

6       A     She did not write that as a diagnosis, but she  
7           mentioned in it in her physical examination,  
8           that he had blood throughout his canal. In his  
9           **ear canal.**

10      Q     But she didn't attribute that to being an  
11           external otitis. I mean she didn't make that  
12           diagnosis --

13      A     She didn't make that diagnosis in her history  
14           and physical, that's correct.

15      Q     So if she saw that there was at least a conflict  
16           between her diagnosis and Dr. Binder's  
17           diagnosis, shouldn't she have at least discussed  
18           that with Dr. Binder?

19      A     I think that Dr. Banaga is obligated to examine  
20           the patient and be sure that there's nothing  
21           **that she finds** that's inconsistent with what the  
22           emergency doctor told Dr. Binder. And if there  
23           was some inconsistency or some variance, then  
24           she is obligated to call him and tell him that  
25           there's something that she sees. The admitting

1 diagnosis is acute bilateral otitis with rule  
2 out osteomyelitis. That' what she found.

3 Q But the drug Cipro was ordered because of the  
4 possibility of the osteomyelitis?

5 A I'm assuming that's what he thought. He thought  
6 the patient may have extended his otitis media  
7 to an otitis externa. And based on that, the  
8 possibility that there was a concurrent, yet  
9 undetected, bone infection.

10 Q If you have a patient that you treat in the  
11 emergency room with otitis media, what drug do  
12 you prescribe?

13 A Cipro is much too expensive for my patients. I  
14 

---

use very basic generic, cheap drugs.  
15 Inexpensive medicines. So I wouldn't even know  
16 how to dispense or write for Cipro. It's too  
17 expensive for patients.

18 Q That's the only reason you wouldn't prescribe it  
19 in an otitis --

20 A That would be my reason for not prescribing it  
21 in otitis; is that it costs too much for  
22 patients.

23 Q But other than the cost, you think it's equally  
24 effective for otitis?

25 A No, I -- it covers many of the same organisms

1           that cause otitis. But it's traditionally used  
2           for lower respiratory tract infections as well  
3           as osteomyelitis, urinary tract infections, skin  
4           infections. A lot of different things. But  
5           it's not a first line agent for an acute  
6           bilateral otitis media. In my practice.

7       Q     That has nothing to do with the cost of it, that  
8           has to do with the efficacy of it, correct?

9       A     That's right.

10      Q     So if Dr. Banaga does an examination and finds  
11           that he has otitis media and makes no findings  
12           of osteomyelitis or external otitis, and she  
13           sees that the drug that he's being given is not  
14           the first line for Cipro -- I mean, not the  
15           first line for otitis media, then why wouldn't  
16           she call Dr. Binder and discuss that with him?

17      A     Well, because I think the admitting diagnosis  
18           was to rule out osteomyelitis, and this is an  
19           appropriate drug to cover someone that may have  
20           osteomyelitis. So I don't think there's  
21           inconsistency there. The patient had otitis  
22           media, he had evidence for otitis externa as  
23           well, and he's being admitted to rule out  
24           osteomyelitis. And the otolaryngology expert  
25           thought that Cipro was not an unreasonable drug

1 to start. The patient was being admitted to the  
2 hospital for observation.

3 Q Well, if he had externa otitis, she would have  
4 seen that, right?

5 A She did see that. She didn't write it as her  
6 diagnosis. But she said the patient has waxy  
7 blood stuck in his ear. I think she said on the  
8 right side. So she clearly **did** see that, she  
9 just didn't put that down on her differential --

10 Q Would that be consistent with otitis media?

11 A Otitis externa is the outer ear. The canal of  
12 the ear.

13 Q So you're saying that she misdiagnosed otitis  
14 externa? She should have made --

15 A She found the physical findings, but she did not  
16 put that as her admitting diagnosis. But the  
17 patient clearly had otitis media, inner ear, as  
18 well. Middle ear as well.

19 Q So it's your testimony today, under oath, that  
20 this patient had an otitis externa?

21 A No. My testimony is that his exam is not  
22 inconsistent with early otitis externa. On the  
23 right side.

24 Q Well, did he have it?

25 A No, he did not have it.

1 Q And Dr. Banaga didn't think he had it either,  
2 did she?

3 A She found findings of the external canal.

4 Q If she thought that he had it, she would put it  
5 down as her diagnosis, wouldn't she?

6 A She should have put it down as part of her  
7 diagnosis for admission. That was what the  
8 admitting orders were written for. So you're  
9 right. It was written on the admitting order,  
10 she did not complete that on her handwritten  
11 note or dictated note.

12 Q Now I'm confused, Doctor. You're saying he  
13 didn't have an external otitis, correct?

14 A He did not find -- he did not have an external  
15 otitis when Dr. Binder saw the patient the next  
16 day, that's correct.

17 Q We're talking about Dr. Banaga. When Dr. Banaga  
18 saw him.

19 A Dr. Banaga saw him --

20 Q Did he have an external otitis or otitis  
21 externa?

22 A No, obviously he did not have if he didn't have  
23 it at eight o'clock the next morning when Dr.  
24 Binder saw him. All I'm saying is that on her  
25 physical examination she says there's bloody,



- 1 waxy dried blood in the ear canal. So that is  
2 evidence for or suggestive of otitis externa,  
3 the outer ear. But he did not have it when  
4 Binder saw him, the ear, nose and throat  
5 specialist, that's correct. But what I'm saying  
6 is her findings are not inconsistent with what  
7 was written for orders for this patient.
- 8 Q But she didn't think he had otitis externa. I  
9 mean, she ruled that out.
- 10 A I don't know that she ruled it out, but she did  
11 not put that down as an admitting diagnosis.
- 12 Q All right. She's the one that did the  
13 examination of him --
- 14 A That's correct.
- 15 Q -- at one o'clock. But she didn't put that down  
16 as a diagnosis?
- 17 A That's correct.
- 18 Q But you think that she didn't need to call Dr.  
19 Binder because of this finding of the wax in the  
20 ear?
- 21 A No, I would not have called Dr. Binder to tell  
22 him the patient had bloody wax in his ear  
23 because he was admitted with that diagnosis.
- 24 Q The question that we originally started out on  
25 was what would -- why shouldn't Dr. Banaga call

1 Dr. Binder and tell him that she did an  
2 examination of the patient, that he has otitis  
3 media, and that he doesn't have otitis externa,  
4 according to her examination and findings, given  
5 the fact that the drug prescribed was not the  
6 first line for otitis media?

7 A I would think that the patient was admitted with  
8 a diagnosis of rule **out** malignant external  
9 otitis. He had evidence of pathology in his ear  
10 canal. And Binder is an ear, nose and throat  
11 specialist which she deferred the choice of  
12 antibiotics to. So that would be my deductive  
13 reasoning as to why she didn't call him and say,  
14 "Gee, by the way, he has otitis media as well as  
15 possibly otitis externa and possibly  
16 osteomyelitis."

17 Q Where do you see any evidence she thought he  
18 possibly had otitis externa?

19 A That was the admitting diagnosis.

20 Q No. Dr. Banaga, in her note or in her  
21 deposition, do you see anywhere that she said  
22 that?

23 A In her handwritten note and in her dictated note  
24 she finds bloody, waxy material stuck in the  
25 canal **of** that patient on the right side.

1       Q     I understand, but she never said anything about  
2             possible or secondary diagnosis of external  
3             otitis?

4       A     No, she did not. I agreed with you.

5       Q     So you're basically reading into her examination  
6             where she found bloody ear wax that she saw,  
7             that was something that's not reflected in the  
8             chart?

9       A     No. You asked me if I would have called Dr.  
10            Binder based on the findings, and I'm saying I  
11            can only deduce that she felt that her findings  
12            were consistent with what she felt this patient  
13            was being admitted for, which was external  
14            otitis, rule out osteomyelitis. And the choice  
15            of antibiotic for that is appropriate; Cipro.

16                   MR. MELLINO: Okay. We can take a  
17                   break now, Doctor.

18                               (A recess was taken.)

19       BY MR. MELLINO:

20       Q     Doctor, should a house physician be aware of  
21             what's in the nursing notes or nurses'  
22             assessment before she does a history and  
23             physical on a patient?

24       A     I don't know that necessarily before, because  
25             the house physician should make an independent

1           assessment of the patient and not be jaded by  
2           someone else's assessment and opinion. But at  
3           some point in time the house physician, or any  
4           physician, should familiarize themselves with  
5           the nurses' reports.

6       Q     Sometime either before or after she does the  
7           physical examination?

8       A     Yeah, sometime during the patient's evaluation.

9       Q     That's what the standard of care requires?

10      A     I don't know that the standard of care dictates  
11           that you have to review nurses' notes. I think  
12           it's common practice and standard practice.

13      Q     Well, what does the standard **of** care mean to  
14           you?

15      A     That you would treat -- that the same -- given  
16           the same clinical presentation, signs and  
17           symptoms, the same -- a reasonably prudent  
18           physician would be the same in Cleveland as they  
19           would in Albany as they would in Pittsburgh. So  
20           data gathering is data gathering and you should  
21           gather as much data as you can about the  
22           patient.

23      Q     Sure. That's what a reasonable, prudent  
24           physician does?

25      A     Right.

- 1 Q And is an inability to put your chin to your  
2 chest a symptom that somebody with meningitis  
3 might have?
- 4 A Is it a symptom that someone with meningitis  
5 might have; sure. That's a possibility.
- 6 Q Should that raise the suspicion of meningitis in  
7 a physician's mind if they have a patient who's  
8 presenting with an unrelenting headache  
9 unrelieved with narcotics, nausea, vomiting and  
10 photosensitivity?
- 11 A I think Dr. Banaga excluded neck stiffness by  
12 demonstrating that his neck was supple.
- 13 Q We're going to get to that, but I haven't asked  
14 you that yet. If you want to answer the  
15 question that I asked, I'd appreciate it.
- 16 A Would the presence of neck stiffness on  
17 voluntary movement make one suspicious of  
18 meningitis; was that your question?
- 19 Q The inability of a patient to put his chin to  
20 his chest.
- 21 A In the absence of any other findings?
- 22 Q No, in a patient such as Mr. Jeffers.
- 23 MR. MARKWORTH: You changed it.
- 24 A We keep flipping back and forth from  
25 hypothetical to this patient. And I'd be happy

1           to answer the question either way.

2       Q     In Mr. Jeffers.

3       A     In this patient?

4       Q     Yes. I mean, why is that a hypothetical to you?

5           He did have an inability to put his chin to his

6           chest according to Jenny Knopf.

7       A     Yes, he did.

8       Q     Okay. So what's so hypothetical about that?

9       A     You use hypothetical or suspicion of. **So** you

10          said in this patient his inability to flex his

11          neck voluntarily.

12       Q     That doesn't say anything about voluntarily in

13          the chart, does it?

14       A     **It** says the patient is unable to flex his neck.

15       Q     Yeah. Where did you get that information that

16          it was voluntary? Because you didn't review

17          Jenny Knopf's deposition, did you?

18       A     I did review it. I don't know where it is. But

19          I think he --

20       Q     Wait a minute. Wait a minute. Wait a minute,

21          Doctor.

22       A     I mean, I know all the history from her

23          assessment of the patient.

24       Q     Doctor, why did you just say that?

25       A     I think that I reviewed it, but I don't have it.

1           That's my whole point. I don't have it with me  
2           and I don't know where it is.

3       Q     Why do you think you reviewed it? It's not  
4           listed as one of the things you reviewed in your  
5           report.

6       A     It isn't, you're absolutely right.

7       Q     It's not here in your file.

8       A     I agree with you.

9       Q     So show me some evidence that you reviewed it.

10      A     I have no evidence that I reviewed it. I just  
11           said that.

12      Q     Okay. So it doesn't say anything in the chart  
13           about whether it was voluntary or not, does it?

14                   MR. MARKWORTH: Objection. Asked and  
15           answered. He explained that before.

16      A     The nurse's note says "The patient is unable to  
17           flex his neck."

18      Q     All right.

19      A     It does not say voluntarily or passively, that's  
20           correct.

21      Q     Should that finding, given all the other  
22           symptoms that Mr. Jeffers had, cause -- should  
23           it have caused Dr. Banaga to be suspicious of  
24           meningitis?

25      A     Yes. I've answered that question. Yes, I agree

1           with you.

2       Q     And therefore, she was obligated to rule out

3           meningitis?

4       A     And she did that clinically, that's correct.

5       Q     How did she rule it out?

6       A     By demonstrating the patient's neck was supple

7           on passive range of motion and by --

8       Q     What specifically did she do?

9       A     She flexed his head forward and backward, at

10          least by her deposition.

11      Q     Okay. That's nowhere in the chart?

12      A     No. In the chart she says the neck is supple.

13      Q     Right. She doesn't say anything about how she

14          made that determination?

15      A     In her deposition she tells us how she did that

16          though. As any physician would do the same to

17          demonstrate the patient's neck is supple.

18      Q     But how do you know what any physician would do?

19      A     Given the same patient with the clinical

20          presentation --

21      Q     Sure.

22      A     -- the same clinical circumstances, the standard

23          of care would dictate that the patient -- that

24          the physician would exclude meningitis by doing

25          examination. In this particular patient the



1 clinical examination revealed that he had a  
2 supple neck and the evidence for otitis media.  
3 Q Okay. Now, a patient could have both otitis  
4 media and meningitis, correct?  
5 A That's possible, that's correct.  
6 Q And some symptoms that a patient has could be  
7 the same for otitis or meningitis, correct?  
8 A In a hypothetical patient, symptoms of  
9 meningitis and otitis can be similar, that's  
10 correct.  
11 Q Okay. Can a patient with meningitis have an  
12 unrelenting headache, nausea, vomiting,  
13 photosensitivity and an inability to put their  
14 chin to their chest?  
15 A Can a patient with otitis media have those  
16 things; yes, I forgot if you started with  
17 otitis or if you started with meningitis that  
18 time. But a patient with otitis can have those  
19 symptoms, yes.  
20 Q How about meningitis?  
21 A Absolutely, sure.  
22 Q And isn't meningitis much more dangerous than  
23 otitis?  
24 A More dangerous, yes. I think it has a higher  
25 morbidity/mortality than just otitis.

- 1       Q       So just because the patient has otitis, can a  
2               physician acting reasonably and prudently  
3               attribute those symptoms to otitis and exclude  
4               the possibility of meningitis simply because  
5               there's another source?
- 6       A       In a hypothetical patient, and in this patient,  
7               yes. I covered both. Hypothetically and in  
8               this patient you have a focus of infection with  
9               that clinical presentation and a supple neck,  
10              normal mental status, normal neurologic  
11              examination, and the patient is admitted and  
12              observed for changes.
- 13      Q       Dr. Banaga also noted that the neck was tender,  
14              correct?
- 15      A       Yes.
- 16      Q       But you don't think that that indicates, in any  
17              way, that his neck was stiff?
- 18      A       That's correct. I think he had a tender neck  
19              for a variety of reasons. One, he's had  
20              unrelenting headaches, so he holds his head  
21              stiff and rigid. Two, with otitis media for two  
22              weeks --
- 23      Q       Where did you see in the chart that he held his  
24              head stiff and rigid?
- 25      A       A patient, I guess, hypothetically, with a

- 1           headache, unrelenting, would tend to hold their  
2           head stiff and not move it about freely. So  
3           their muscles in their neck tend to get stiff.
- 4       Q     So you're talking about a hypothetical patient?
- 5       A     Hypothetical patient. Secondly, hypothetical  
6           patients with otitis media for two weeks have  
7           lymph nodes in their neck which are tender to  
8           palpate. So there's several reasons why this  
9           patient could have muscle stiffness.
- 10      Q     Is there any note by Dr. Banaga that the lymph  
11           nodes were enlarged or tender?
- 12      A     No, she did not detect large nodes in his neck.
- 13      Q     Or that they were tender?
- 14      A     She did document that his sides of his neck were  
15           tender where the lymph nodes are, that's  
16           correct.
- 17      Q     But she doesn't attribute that to lymph node  
18           tenderness, does she, anywhere in the chart?
- 19      A     I don't think she draws a conclusion as to why  
20           he has tenderness in the sides of his neck.
- 21      Q     Did Dr. Banaga -- let me ask you a different  
22           question. Did you see any evidence, start out,  
23           in the chart that Dr. Banaga tested for Kernig's  
24           or Brudzinski's sign?
- 25      A     There was no evidence for that in the history of

1 physical.

2 Q And did you see any evidence of that in her  
3 deposition?

4 A I don't recall her saying that in her  
5 deposition. I can check, but I don't recall  
6 that.

7 Q Did you see any evidence that she checked for  
8 photosensitivity?

9 A Yes. She said that she examined his eyes during  
10 her examination.

11 Q Is that in the chart or in her deposition?

12 A I think both. Yes, in her note.

13 Q What does it say about the eyes?

14 A Pupils are two millimeter and reactive.

15 Q If there's positive findings by another observer  
16 of clinical symptoms, should the physician then  
17 document, when she does the examination, whether  
18 or not she has positive or negative findings of  
19 those same symptoms?

20 A In general? In hypothetical cases, I think if  
21 you know in advance that another physician has  
22 found something positive and you want to verify  
23 that or exclude that, then you might want to  
24 document that you verified that or excluded  
25 that. Nursing documentation and nursing

1           assessment is very different from physician  
2           documentation and physician assessment.

3       Q     Why is that?

4       A     Level of training, level of understanding,  
5           expertise. These nurses, this is the first  
6           patient with meningitis that they've ever seen.  
7           Many of them had said in their depositions --  
8           "How many times have you seen a patient with  
9           meningitis -- Never."

10      Q     Maybe it was an advantage for them then. So the  
11           fact that -- all right. So if the nurse makes a  
12           positive finding, you don't feel that that  
13           requires any action on the part of the doctor  
14           then?

15      A     It requires the doctor to make an independent  
16           assessment of the patient to verify their  
17           physical findings. To document their physical  
18           findings. And in her deposition she said the  
19           patient didn't respond with photosensitivity and  
20           in her chart she did not write that the patient  
21           had photosensitivity.

22      Q     She didn't say one way or another whether he had  
23           it or didn't have it?

24      A     Right. Did not state.

25      Q     I don't believe you ever told me specific drugs

1           that you prescribe for otitis media. What are  
2           those?

3       A     Most patients with otitis media I see go home,  
4           and I would use one of the penicillin type  
5           medicines.

6       Q     Like what?

7       A     Ampicillin, Amoxicillin.

8       Q     And if those drugs were prescribed to Mr.  
9           Jeffers, would those have also treated his  
10          meningitis?

11      A     Intravenous Ampicillin is one that wouldn't be  
12          sufficient to cover all the causes of  
13          meningitis. But clearly not oral Ampicillin  
14          would not be effective to cover meningitis.

15      Q     What was the last drug you talked -- Ampicillin?

16      A     Ampicillin or Amoxicillin would be for  
17          outpatient treatment of otitis media.

18      Q     But he was treated in the hospital.

19      A     Right. And the otolaryngologist thought that  
20          Cipro was a good choice of drug for him.

21      Q     But if he had been given Ampicillin, say, would  
22          that have treated his meningitis?

23      A     Ampicillin alone would not be sufficient  
24          coverage for meningitis.

25      Q     What about Ceftriaxone?

- 1     A     Ceftriaxone is a bit more broad spectrum and it  
2           would be a very good agent for first line  
3           meningitis treatment.
- 4     Q     And could that be prescribed for otitis media?
- 5     A     It is not usually prescribed for otitis media.
- 6     Q     Is it effective against otitis media?
- 7     A     Yes.
- 8     Q     What about Ampicillin with Chloramphenicol?
- 9     A     That would be reasonable to cover both of those  
10          agents.
- 11    Q     Does it cover otitis media and meningitis?
- 12    A     Those agents would be used to treat meningitis  
13          I'm not sure that they would necessarily be used  
14          to treat otitis.
- 15    Q     Well, Ampicillin you said already would be given  
16          to treat otitis.
- 17    A     Right. Ampicillin alone. That's right.
- 18    Q     Who's responsibility was it to diagnosis the  
19          meningitis in this case?
- 20    A     Whatever clinician detected it at the time it  
21          was clinically present.
- 22    Q     And you don't feel it was clinically present  
23          until he lapsed into a coma: is that your  
24          testimony?
- 25    A     No, that's not my testimony.

1 Q When was it clinically present?

2 A His clinical condition changed when he went to

3 have his CT scan done. That's when he had,

4 according to his wife's deposition, changes in

5 his mental status, was agitated, asking for

6 pain, holding his head, holding his neck. So

7 his change -- his clinical presentation changed

8 on or about the time he went for CT scan. And I

9 don't remember the time -- when he came back

10 from CT scan, I think it was midday.

11 Q Do you think holding his head and holding his

12 neck were changes in his condition?

13 A No. The agitation, irritability, change in his

14 mental status. He had been holding his head

15 since he had been sick.

16 Q So it wasn't until that time that it was

17 clinically present?

18 A It would have been clinically apparent at that

19 time that his otitis had extended to meningitis.

20 Q So you don't feel it should have been diagnosed

21 at any time before then?

22 A Based on the nursing assessment that was going

23 on during the night, no. The patient was

24 afebrile, he was sleeping, he was easily

25 arousable, his headache was resolving, he had



- 1           one small emesis at 0300 hours. His vital signs  
2           were normal.
- 3       Q     So you feel he was getting better through the  
4           night?
- 5       A     I didn't say he was getting better, there was  
6           just not clinical evidence for meningitis during  
7           the night.
- 8       Q     Well, nobody examined him during the night, did  
9           they?
- 10      A     The nurses made repeated examination of the  
11          patient.
- 12      Q     Did they make neurological exams?
- 13      A     According to their depositions they did Glasgow  
14          coma scales, they did examinations of him.
- 15      Q     And even if he had meningitis at the time that  
16          Dr. Banaga saw him, you don't think there's any  
17          responsibility on her part to diagnosis it?
- 18      A     I think she excluded the diagnosis of meningitis  
19          when she saw him. It was not clinically  
20          apparent at the time she evaluated the patient.
- 21      Q     And that's based on what again?
- 22      A     Based on her examination of the patient and her  
23          pertinent clinical findings, which would be  
24          accountable for his signs and symptoms.
- 25      Q     Well, what are the pertinent clinical findings;

- 1           that his neck was supple?
- 2       A     That he had evidence for otitis media; that his  
3           neck was supple; his mental status was normal.
- 4       Q     Any others?
- 5       A     No, I think those were the main things. And his  
6           antecedent history obviously is very  
7           contributory, that he had weeks -- a week to two  
8           weeks of otitis, drainage from his ears, ongoing  
9           headaches, nausea and vomiting for several days.  
10          Those are all contributory factors in her  
11          evaluation of the patient.
- 12       Q     Wouldn't the fact that the longer he had it make  
13           it more likely that it would have spread into  
14           the meninges?
- 15       A     Not necessarily. He had very adequate treatment  
16           as an outpatient for the first time. But  
17           perhaps it didn't eradicate the entire infection  
18           which is why it recurred.
- 19       Q     Well, it not only recurred, it was worse, wasn't  
20           it?
- 21       A     I don't know that because I don't know how it  
22           was the first time.
- 23       Q     Did you do anything to find out how it was the  
24           first time?
- 25       A     I talked -- I read his wife's deposition who

1           said he never talked much about pain until the  
2           day of Sunday the 3rd.

3       Q     Does that indicate to you that it was getting  
4           worse?

5       A     No, it indicates to me that the patient was  
6           sick. He was vomiting. Could have been from  
7           the Augmentin, could have been from the Vicodin.  
8           He had headache from his otitis, he had fever  
9           from his otitis.

10      Q     I think we talked about this with Dr. Jones, but  
11           not with Dr. Banaga. If Dr. Banaga did not  
12           examine his neck and passively put his chin to  
13           his chest, that she would have been acting below  
14           the standard of care in this case; is that true?

15      A     If she did not examine this patient's neck given  
16           his presentation, I think it would not have been  
17           appropriate care, that's correct. Would not  
18           have been the standard of care. She should have  
19           evaluated his neck, and she did.

20      Q     And that includes passively putting his chin to  
21           his chest?

22      A     That includes determining that his neck is  
23           supple, that's right. And that's what she  
24           testified in her deposition for and that's what  
25           she documented in her note.

1 Q And does that include the testing for Kernig and  
2 Brudzinski?

3 A I think that she was reasonably satisfied, as  
4 was the emergency room physician, that this  
5 patient did not have clinical manifestations of  
6 meningitis. So she did not do a Kernig's and  
7 Brudzinski's.

8 Q Did the standard of care require her to check  
9 for Kernig's and Brudzinski?

10 A The standard of care dictated that she should  
11 exclude meningitis as an ongoing infection in  
12 the presence of a chronic otitis, which she did.

13 Q So is your answer to my question no?

14 A The answer to your question is she excluded  
15 meningitis at the time of her evaluation. I  
16 don't remember what your question was. Do you  
17 want to repeat it, I'd be happy to answer it.

18 Q Sure. Does the standard of care require her to  
19 test for Kernig's and Brudzinski's signs?

20 A I think the same thing with Dr. Jones. I don't  
21 think the standard of care dictates a specific  
22 reflex test. That's not the standard of care.  
23 The standard of care would dictate that she has  
24 to exclude meningitis at the time she's  
25 evaluating the patient.

1 Q Well, the only thing she checked for, according  
2 to her deposition testimony, is a stiff neck.  
3 A No, that's not true. She checked his neck, she  
4 checked his eyes, she did an examination, she --  
5 Q Well, we're talking about the neck findings on  
6 the examination of the neck.  
7 A Right. She did check and determine that his  
8 neck was supple.  
9 Q And you say she checked his eyes. I mean,  
10 there's nothing in there about whether or not he  
11 was photosensitive or not, correct?  
12 A There was no documentation on her part that he  
13 had photosensitivity, that's correct..  
14 Q And there's nothing in there about her checking  
15 for Kernig's or Brudzinski?  
16 A That's correct.  
17 Q There's nothing in there about whether he has --  
18 well, it does say his neck is tender, correct?  
19 A The sides of his neck are tender, that's  
20 correct. Not over his vertebral bodies which is  
21 where your spinal cord is. The muscles of his  
22 neck are tender.  
23 Q It doesn't say anything in there about his  
24 ability or inability to put his chin to his  
25 chest?

1     A     Yes.  It says his neck was supple.

2     Q     All right.  Other than her finding that the neck  
3           is supple, what other evidence is there that she  
4           ruled out meningitis?

5     A     Normal mental status and an obvious acute  
6           infection.

7     Q     How do you rule out meningitis in a patient that  
8           has both otitis and meningitis?

9     A     You know, again, it's not the light switch  
10          phenomena, you turn it on, you turn it off.  The  
11          patient was admitted to the hospital, he was  
12          observed, continued to be monitored by the  
13          nurses.  If his clinical condition changed, then  
14          that would warrant a further evaluation, ongoing  
15          monitoring the patient.  This is wonderful, they  
16          had the benefit of having the patient in the  
17          hospital to monitor him.  In emergency practice  
18          I send these people home with otitis.  **If** they  
19          get meningitis they have to come back.  So this  
20          is a patient that's in the hospital being cared  
21          for by the nurses and the doctors in the  
22          hospital.

23    Q     And if Mr. Jeffers had an inability to put his  
24          chin to his chest at the time Dr. Banaga  
25          examined him, do you still feel that she met the

1 standard of care?

2 A If on her examination she determined that his  
3 neck was not supple, then she should have called  
4 Binder and discussed her findings with him. If  
5 there was a change in the examination from the  
6 emergency department to her clinical evaluation  
7 on the floor, which is several hours, then she  
8 is obligated to report a variance or a  
9 discrepancy to Dr. Binder, the attending  
10 physician.

11 Q So if -- I'm not sure if you answered my  
12 question or not.

13 A I'm not sure either.

14 Q Well, let me ask it again. If Mr. Jeffers was  
15 unable to put his chin to his chest --

16 A On her examination you're saying?

17 Q On her examination, right. Do you still feel  
18 that she met the standards of care?

19 A No. I answered that. If her examination  
20 revealed that his neck was not supple, then she  
21 would have been obligated to call Dr. Binder and  
22 say, "I found something different than the  
23 emergency doctor found two hours ago. Let's  
24 rethink this case." But from the emergency  
25 department evaluation to her evaluation,

1 identical physical exam, more extensive history,  
2 same conclusion.

3 Q And in between the emergency room physician and  
4 her exam there was an examination done by Nurse  
5 Knopf who made that finding, correct?

6 MR. MARKWORTH: Made what finding?

7 A What finding?

8 MR. MARKWORTH: What finding are you  
9 talking about?

10 MR. MELLINO: The finding that I've  
11 been talking about for the last ten minutes; the  
12 inability to put the chin to the chest.

13 MR. MARKWORTH: His response was about  
14 the passive movement.

15 MR. MELLINO: Yeah, I heard his  
16 response. Don't try to testify, please.

17 MR. MARKWORTH: Well, you're changing  
18 the question.

19 MR. MELLINO: No, I'm not changing the  
20 question.

21 MR. MARKWORTH: You're continually  
22 changing it.

23 MR. MELLINO: Oh, yeah. Maybe your  
24 confused because the doctor's changing the  
25 questions, not me.



1 MR. MARKWORTH: Not at all.

2 THE WITNESS: The nurse's note says  
3 that the patient was unable to put his chin to  
4 his chest.

5 BY MR. MELLINO:

6 Q That's right.

7 A That's right.

8 Q And that's different from your belief as to what  
9 the emergency room physician found and what Dr.  
10 Banaga found, correct?

11 A But I've already testified that they're not  
12 inconsistent. I've already agreed with you that  
13 it's possible the patient was unable to  
14 voluntarily lift his head off the bed. I've  
15 already told you that. But that's different  
16 than a patient who has a neck that's supple.  
17 I've already agreed with you on that before, I  
18 think. I could be wrong.

19 Q So he wouldn't have pain in his neck if you  
20 passively lifted it as opposed to actively?

21 A I think because when you move someone's head for  
22 them -- they are supine and you move their head  
23 for them, you remove their muscle work. You  
24 remove their moving and flexing their muscles,  
25 so you isolate only the spinal cord and the

1           spinal column by doing that. When the patient  
2           moves their head and neck voluntarily, they have  
3           to flex their muscles to do that. And he had  
4           pain in his neck that -- he complained about  
5           that before, he complained about that to Nurse  
6           Knopf. In her note she says, "Patient complains  
7           of pain in his neck." So it doesn't surprise me  
8           that with voluntarily moving his neck, he had  
9           pain and was reluctant to do that. And I agreed  
10          with you. But that's very different than the  
11          physical findings of a supple neck on a passive  
12          range of motion when the patient doesn't  
13          participate in moving his neck.

14                   MR. MELLINO: I don't have any other  
15                   questions for you, Doctor.

16                   MR. MARKWORTH: Steve?

17                   EXAMINATION BY MR. HUPP:

18       Q       Doctor, it's your opinion that Dr. Jones met the  
19               standard of care in this case?

20       A       Yes.

21       Q       Was there any indication for Dr. Jones to have  
22               done a lumbar puncture in the emergency room on  
23               Mr. Jeffers?

24       A       No.

25       Q       Is it my understanding that you feel that you're

1 not qualified to comment on Dr. Binder's care  
2 because he's an otolaryngologist and you're not  
3 an otolaryngologist?

4 A That's correct.

5 Q So you don't have any opinions about Dr.  
6 Binder's care?

7 A I would not offer any opinions about the care he  
8 delivered.

9 MR. HUPP: Thank you. I have no  
10 further questions.

11 MR. SWITZER: I don't have any  
12 questions.

13

14

15

16 I N D E X P A G E

17	EXAMINATION BY:	Page
18	Mr. Mellino	3
19	Mr. Hupp	82

20

21

22 EXAMINATION BY:

23

24

25

STATE OF NEW YORK            )

SS.:

COUNTY OF                    )

I VINCE VERDILE M.D., HAVE READ the foregoing  
record of my testimony taken at the time and place  
noted hereof, and I do hereby acknowledge it to be a  
true and correct transcript of the same.

Sworn to before me this \_\_\_\_ day of \_\_\_\_\_

County.

My commission expires

Notary Public, State of New York

resident in \_\_\_\_\_ County.

Stephen N. Fiato, C.S.R.  
(518) 462-0766

## C E R T I F I C A T I O N

I, COLLEEN B, SMITH, a Shorthand Reporter and Notary Public for the State of New York, do hereby certify that the above and foregoing is a true, correct and complete transcript of the proceedings as mentioned in the heading hereof, to the best of my knowledge and belief.



---

COLLEEN B. SMITH

Stephen N. Fiato, C.S.R.  
(518) 462-0766