

1                   IN THE COURT OF COMMON PLEAS

2                   CUYAHOGA COUNTY, OHIO

3           RHODA SCHARFENBERG, Etc.,

4                   Plaintiff,

5                   - vs -

Doc. 446  
JUDGE COYNE  
CASE NO. 338351

6           ST. LUKE'S MEDICAL CENTER,  
7           et al.,

8                   Defendants.

9                   - - - -

10           Deposition of RICHARD W. WATTS, M.D., taken  
11           as if upon cross-examination before Dawn M.  
12           Fade, a Registered Merit Reporter and Notary  
13           Public within and for the State of Ohio, at the  
14           offices of Reminger & Reminger, 7th Floor 113  
15           St. Clair Building, Cleveland, Ohio, at 2:15  
16           p.m. on Friday, May 1, 1998, pursuant to notice  
17           and/or stipulations of counsel, on behalf of the  
18           Plaintiff in this cause.

19                   - - - -

20  
21                   MEHLER & HAGESTROM  
22                   Court Reporters  
23                   1750 Midland Building  
24                   Cleveland, Ohio 44115  
25                   216.621.4984  
                    FAX 621.0050  
                    800.822.0650

APPEARANCES:

Claudia R. Eklund, Esq.  
Lowe, Eklund & Wakefield  
610 Skylight Office Tower  
1660 West Second Street  
Cleveland, Ohio 44113  
(216) 781-2600,

On behalf of the Plaintiff;

Leslie J. Spisak, Esq.  
Reminger & Reminger  
7th Floor 113 St. Clair Building  
Cleveland, Ohio 44114  
(216) 687-1311,

On behalf of the Defendant  
David M. Christensen, M.D.;

George M. Moscarino, Esq.  
Moscarino & Treu, LLP  
812 Huron Road, Suite 490  
Cleveland, Ohio 44115  
(216) 583-1000,

On behalf of the Defendant  
St. Luke's Medical Center.

1                   RICHARD W. WATTS, M.D., of lawful age,  
2                   called by the Plaintiff for the purpose of  
3                   cross-examination, as provided by the Rules of  
4                   Civil Procedure, being by me first duly sworn,  
5                   as hereinafter certified, deposed and said as  
6                   follows:

7                   CROSS-EXAMINATION OF RICHARD W. WATTS, M.D.

8                   BY MS. EKLUND:

9   Q.   Dr. Watts, I'm going to ask you some questions.  
10        I know you have been deposed before so you're  
11        familiar with the procedure, correct?

12   A.   Correct.

13   Q.   Then we won't go through all of the ground  
14        rules. I assume you know them.

15   A.   I do.

16   a.   Would you state your name for the record,  
17        please.

18   A.   Richard Ward Watts, M.D.

19   Q.   What is your business address?

20   A.   3885 Rocky River Drive, Cleveland, Ohio 44111.

21   Q.   And how long have you been at that location?

22   A.   At that location since 1960.

23   Q.   Are you in partnership with any other  
24        physicians?

25   A.   Yes, we have a corporation of six

1 cardiologists.

2 Q. Okay. You would be under the West Side  
3 Cardiology Associates, Inc.?

4 A. Yes.

5 Q. Are you an incorporator?

6 A. Yes.

7 Q. What is your residence address?

8 A. 12 Ashley, A-s-h-l-e-y, Court, Rocky River  
9 44116.

10 Q. Doctor, I have been provided with a curriculum  
11 vitae, which is about three pages long. I will  
12 just ask you to take a look at that and tell me  
13 if that's up-to-date?

14 A. Oh, that's out of date. I have a 1998 one.  
15 That's 1995.

16 MR. SPISAK: Here, I can make some  
17 copies.

18 Q. Doctor, would that 1998 vitae include  
19 publications that you have authored?

20 A. It does.

21 MS. EKLUND: Do you want to make  
22 copies?

23 MR. SPISAK: Sure.

24 Q. Doctor, your medical specialty is cardiology?

25 A. It is.

1 Q You are a board certified epidemiologist?

2 A I am.

3 Q How long have you been a board certified?

4 A. Since 1959.

5 Q Is there any certification in that area of  
6 specialty that you took or are required to  
7 take?

8 A No. Neither providing it nor do they require  
9 it.

10 Q And you presently have privileges at Fairview  
11 General Hospital?

12 A I do.

13 Q At Lutheran Hospital?

14 A Yes.

15 Q And St. Mary's?

16 A Yes.

17 Q And do you primarily practice at Fairview  
18 Hospital?

19 A I do.

20 Q Is that where you admit most of your patients?

21 A Yes.

22 Q And I recall from your CV that you head the. I  
23 think it's called the Kemper Cardiac Care Unit?

24 A That's correct

25 Q And did you actually establish that unit?

I did.

When was that?

3 A. 1964.

4 Q. Have you been a director of that unit since  
5 1964?

6 A. Yes.

7 Q. Are you still presently the director of that  
a unit?

9 A. I am.

10 Q. By director of that unit, that means you  
11 supervise that department?

12 A. Well, maybe I should explain. I would view  
13 myself as the administrative director. In other  
14 words, I don't, I'm not supervising the medical  
15 care being rendered, I'm supervising the  
16 administrative functions, supervising the  
17 standing orders and adjudicating any problems  
18 that arise in nursing and other staff people and  
19 interfacing with the administration of the  
20 hospital.

21 Q. Okay.

22 A. So the patients there are under the care of  
23 their own cardiologist.

24 Q. How many days a week do you see patients at your  
25 office?

- 1 A. Five.
- 2 Q. How much or how many hours per week do you make
- 3 rounds at a hospital?
- 4 A. Probably somewhere between 20 and 25.
- 5 Q. You do not perform surgery, correct?
- 6 A. Correct.
- 7 Q. You treat cardiovascular diseases?
- 8 A. Yes.
- 9 Q. And you also have a practice in internal
- 10 medicine?
- 11 A. Yes.
- 12 Q. And that's a smaller percentage of your, than
- 13 your cardiology practice?
- 14 A. Yes. These are patients whose primary problem
- 15 is cardiological but have associated internal
- 16 medicine problems and I feel I can be helpful to
- 17 them rather than sending patients to three or
- 18 four different specialists.
- 19 Q. What is your date of birth, doctor?
- 20 A. August 8th, '21.
- 21 Q. And where were you born?
- 22 A. Lakewood, Ohio.
- 23 Q. Have you in the last year reduced the number of
- 24 hours or number of patients that you see per
- 25 week?

1 A No.

2 Q And you're a full time practicing physician?

3 A I am.

4 Q Do you have any other businesses wentu~~re~~ in  
5 conjunction with the cardiology associates?

6 A Not in conjunction with the~~m~~ I'm the managing  
7 partner of our medical building

8 Q Is that the only other business interest you  
9 have?

10 A Yes. I think that's safe to say

11 Q You list on your CV that you are assistant  
12 clinical professor of medicine at Case Western  
13 Reserve University

14 A Yes.

15 Q What is requirement of you in terms of that  
16 appointment?

17 A I am engaged in the teaching of the 4th year  
18 medical students who elect our cardiology option  
19 to come to Fairview Hospital for one month in  
20 the cardiac unit

21 I also, for many years, have been teaching  
22 4th year medical students internal medicine and  
23 cardiology, internal medicine residents and  
24 cardiology fellows at MetroHealth Medical  
25 Center

1 I haven't been as busy in that in the last  
2 two years since there has been a change of  
3 leadership within the cardiology program at  
4 Metro, but before that I was over there three  
5 months a year for half a day at a time.

6 Q. Do you do any teaching in emergency room  
7 medicine?

8 A. No.

9 Q. Do you do any practice in the emergency room  
10 setting?

11 A. The only practice would be to see a patient of  
12 mine who comes to the emergency department or,  
13 for instance, today I'm on call for patients who  
14 come with chest pain who do not have a physician  
15 who's on our staff. I would see a patient under  
16 that circumstance. But that would be the limit  
17 of my emergency room based activities.

18 Q. Was there a time in your practice where you had  
19 actual emergency room training or experience?

20 A. No.

21 Q. Do you do any training of the emergency room  
22 personnel at Fairview Hospital?

23 A. No.

24 Q. Any at Lutheran or Metro?

25 A. No.

1 Q Have you ever?

2 A No.

3 Q There is a residency program for emergency  
4 medicine, right?

5 A Not at Fairview. There is in the country, yes,  
6 but not at Fairview.

7 Q. Okay. You have never had emergency room  
8 residents rotate through an program that you  
9 were involved in teaching, correct?

10 A Correct.

11 Q In your position as director of the Keck  
12 coronary unit at Fairview, do you establish an  
13 protocol for the evaluation of chest pain in the  
14 emergency room setting?

15 A No

16 Q Do you establish written protocol for the  
17 evaluation of chest pain in the coronary care  
18 unit?

19 A. Yes

20 Q. Are you are patients referred to the coronary  
21 care unit if they're in the hospital?

22 A Well, mainly through the emergency department  
23 We just review the statistics for the last  
24 three months of 1977 and something like 85

25 percent of our patients in the coronary unit come

1 from the emergency department.

2 Q. Would that be a direct admission from the ER  
3 department directly into the CCU unit?

4 A. Yes.

5 Q. Are you familiar with the protocol for the  
6 emergency room department to make a referral of  
7 a chest pain patient to the CCU unit at  
8 Fairview?

9 A. Yes. I think I know it in its general terms.  
10 As I say, I didn't write the protocol. I think  
11 it was evolved mainly from the emergency  
12 department physicians, but I was involved with  
13 some discussions we had with them.

14 Q. Can you tell me what those guidelines would be?

15 A. Well, the guideline as I recall it in  
16 generalities would be that a person who winds up  
17 with a diagnosis of unstable angina -- obviously  
18 this is different from the person who winds up  
19 with the diagnosis of myocardial infarction,  
20 which a different protocol is called upon, but a  
21 person who winds up with a diagnosis of unstable  
22 angina would be admitted to a monitored area,  
23 not necessarily the cardiac unit, and would  
24 receive the treatment that would be appropriate  
25 for an unstable angina patient and the

1       laboratory testing that would also be  
2       appropriate for that patient.

3   Q.   Can you tell me what unstable angina is?

4   A.   This is a condition in which chest discomfort is  
5       generated by impaired circulation to a portion  
6       of the heart muscle but not to the severity that  
7       we can detect any objective evidence for actual  
8       myocardial infarction. But, nevertheless, the  
9       basis for the symptom complex is that of an  
10      impairment in the coronary circulation.

11   Q.   And the symptom with which the patient presents  
12       is one of chest pain?

13   A.   Usually, yes.

14   Q.   Would you agree that angina is the most  
15       important symptom of coronary artery disease?  
16       Well, for purposes of this discussion I could  
17       agree with it. I might think sudden death would  
18       be a more important symptom.

19       I suppose. Short of sudden death.

20               Are you familiar with the standards for  
21       evaluation of chest pain in the emergency room  
22       setting?

23       I think I'm familiar with it because I spent a  
24       lot of time going down to see patients there.  
25       Do you consider yourself an expert in the area

1 of the standard of care for an emergency room  
2 physician in evaluating chest pain?

3 A. No.

4 Q. Doctor, have you written any articles  
5 specifically on, I guess, the evaluation of  
6 chest pain and treatment? Maybe I should just  
7 use the first part because the last may be too  
8 broad and I will just withdraw that question.

9 Do any of the 15 articles which appear with  
10 your CV deal with the evaluation of chest pain?

11 A. No, not specifically.

12 Q. Doctor, do you agree that coronary artery  
13 disease has undergone significant changes in the  
14 last ten years?

15 A. Yes, indeed.

16 Q. Okay. Very dramatic changes?

17 A. Absolutely.

18 Q. And these changes have resulted in saving the  
19 lives of many people?

20 A. Yes, indeed.

21 Q. And part of this change is the result of  
22 thrombolytic therapy?

23 A. Yes.

24 Q. Bypass surgery?

25 A. Yes.

- 1 Q. Angioplasty?
- 2 A. Right.
- 3 Q. More sophisticated testing procedures, things  
4 like that?
- 5 A. Yes.
- 6 Q. Doctor, you have been reviewing medical/legal  
7 cases for some period of time, correct?
- 8 A. Correct.
- 9 Q. At least the last 20 years?
- 10 A. Just about 20 years, yes.
- 11 Q. Is it fair to say that most of the cases you  
12 review are on behalf of physicians or hospitals?
- 13 A. It is.
- 14 Q. Can you tell me how many cases you have agreed  
15 to review on behalf of a patient?
- 16 A. How many? I don't know. It's more now than it  
17 used to be. I would think that this year it  
18 would be nearly half from the plaintiff's  
19 standpoint.
- 20 Q. In previous years what has it been?
- 21 A. Well, for many years it was all defense and in  
22 more recent-years we had more cases referred to  
23 me by plaintiffs' attorneys partly because my  
24 daughter is in the medical illustration business  
25 and does a lot of work for plaintiffs'

1 attorneys.

2 Q. What is your daughter's name?

3 A. Shelley Coy.

4 Q. And she has her own business?

5 A. Yes.

6 Q. What is the name of her business?

7 A. Med Art and Legal Graphics

8 Q. Is it here in Cleveland?

9 A. Yes.

10 Q. So you work together sometimes?

11 A. Oh, yes.

12 Q. Have you ever testified in a case that an  
13 emergency room doctor improperly discharged a  
14 patient with chest pain?

15 A. Improperly discharged?

16 Q. Yes.

17 A. In other words, I would be testifying from the  
18 plaintiff's side?

19 Q. Correct.

20 A. No.

21 Q. Have you ever reviewed a case on behalf of the  
22 defendant where the issue was whether or not the  
23 doctor improperly discharged the patient from  
24 the emergency room with chest pain?

25 A. Yes.

1 Q. Did you ever find an instance where there was a  
2 failure to comply with the standard of care?

3 A. I'm not sure of the question now. That I, my  
4 opinion, therefore, would be on the plaintiff's  
5 side in that question?

6 Q. Well, I guess does it matter which side of the  
7 case you're being asked to review a matter for?

8 A. Well, it doesn't matter except that if I were to  
9 say yes to that then, if my opinion -- maybe I'm  
10 making too much out of the question.

11 Let me phrase the question, now that I  
12 think about it, in a way that I would understand  
13 it, then you can correct me. Is the question  
14 have I ever reviewed a case in which the patient  
15 was improperly discharged from the emergency  
16 department when they presented with a symptom of  
17 chest pain or something that would indicate that  
18 it was due to coronary disease?

19 2. Yes.

20 4. Yes, I have. And it comes, obviously comes into  
21 two components, one would be the source of the  
22 referral, whether it would be a defense or a  
23 plaintiff case. I have turned down cases from  
24 the defense side where I felt that the patient  
25 had not been correctly served in being

1 discharged from the emergency department and I  
2 have advised the defense counsel that I was  
3 unable to serve as an expert on that case.

4 I have also reviewed cases for plaintiffs'  
5 attorneys in which I felt that even though the  
6 patient was not admitted to the hospital, at  
7 least in retrospect it would have been more  
8 appropriate to admit to the hospital, that,  
9 nevertheless, I didn't feel that the case had  
10 enough merit for the plaintiff's attorney to  
11 pursue it.

12 Q. So you have turned down cases on both sides of  
13 the table?

14 A. Yes. Yes.

15 Q. Have you ever testified as an expert in either,  
16 either in deposition or actually in a courtroom,  
17 on the subject of discharge from the emergency  
18 room with chest pain?

19 A. Oh, yes.

20 Q. Can you tell me how many times?

21 A. Quite a few. That's one of the more common  
22 kinds of cases that I review for attorneys.

23 Q. No, I mean to distinguish between reviewing and  
24 actually testifying as we sit here in deposition  
25 before trial. Not every case I assume comes to

3  
1 deposition or courtroom testimony?

2 A. Right. Right.

3 Q. So I'm trying to get down to a smaller number,  
4 if we can.

5 A. Uh-huh. So these are -- maybe you should  
6 restate the question so I'm sure we're both in  
7 agreement about what I'm answering.

8 Q. Okay. I'm interested in knowing how many times  
9 approximately you have testified at deposition  
10 or in trial in a case involving emergency room  
11 discharge of a patient with chest pain  
12 regardless of which side of the table you were  
13 on?

14 A. Uh-huh. It must be several times a year for the  
15 last seven or eight years anyway.

16 Q. Can you tell me when the last time was that you  
17 testified in that type of a case?

18 A. Could have been the case you and I had out in  
19 Western Ohio. That was, what, six months ago or  
20 something like that.

21 Q. And what was the name of the case?

22 MR. SPISAK: I'm drawing a blank  
23 on it. I have a vague recollection of it.

24 A. Yes, I can tell you the story but I can't  
25 remember the name of the case. It was a common

1 name.

2 Q. Do you keep a list somewhere of cases in which  
3 you have been involved?

4 A. I do. At home.

5 Q. I would ask that you provide that to Mr. Spisak  
6 and we won't have to go through all that.

7 MR. SPISAK: What, the whole  
8 list?

9 MS. EKLUND: The whole list.

10 MR. SPISAK: I'm going to object  
11 to that, but it's noted, your request is  
12 noted,

13 MS. EKLUND: Okay. Well, what  
14 would you provide? I mean, I need to know  
15 so I don't ask the questions if it's going  
16 to be given to me in the list and if you're  
17 objecting --

18 MR. SPISAK: Well, I don't know  
19 that you have a right to a list of whatever  
20 the question was, the cases in their  
21 entirety, and that's all I'm saying at this  
22 point.- I don't know what I wouldn't think  
23 *you* would be entitled to. It depends on  
24 what you ask for, I guess.

25 MS. EKLUND: All right. I'm going

1           to want to identify cases that he has  
2           testified in as an expert in emergency room  
3           cases.

4                   MR. SPISAK:    Okay.

5   A.   Well, the list is all the cases I reviewed, so  
6       there wouldn't be any way of designating that  
7       this is a case that bears upon your question  
8       that you have just asked.  It lists all of  
9       them.  I don't want to raise false hopes,  
10      because it's all in my handwriting, some people  
11      find it difficult to read, even I find it  
12      difficult to read at times, so it would be a,  
13      really sort of a mumbo-jumbo of information, so  
14      I'm not sure how helpful it would be to you.

15   Q   Okay.  I will still ask you to produce that.

16                   MR. SPISAK:    Okay.  I'll just note  
17                   my objection.

18                   MS. EKLUND:    Okay.

19                   MR. SPISAK:    You produce it to me  
20                   and we will go from there.

21   Q.   Doctor, in Cuyahoga County can you tell me when  
22       was the last time you testified in an emergency  
23       room case?

24   A.   This is in court?

25   Q.   For a deposition.

1 A. Oh. Well, the deposition would be the one that  
2 Mr. Spisak and I had in common.

3 Q. Other than that case?

4 A. Offhand, I can't think of any. Maybe I could  
5 make a statement here that is going to assume  
6 what your next question was going to be or what,  
7 your next question could have been. The last  
8 two trials I testified in were not in Cuyahoga  
9 County. The last trial I testified in was in  
10 Mahoning County, but that wouldn't bear on this  
11 question, but the next to the last case I  
12 testified in court was in Lorain County and that  
13 was exactly this question of a patient  
14 presenting to the emergency department and being  
15 discharged and then dying several days later.

16 Q. Do you recall the name of that case or either  
17 party, plaintiff or defendant?

18 A. Well, it would, the attorney was Beverly Harris  
19 and the defendant physician was Morganstern and  
20 I don't recall the name of the plaintiff.

21 Q. Did you testify on behalf of the defendant in  
22 that case?

23 A. Yeah, I did.

24 Q. How many cases per year do you review?

25 A. Last year it was 27, so far this year it's 12 or

1 something like that. Somewhere around that  
2 number.

3 Q. Do you average 27 per year?

4 A. No. Last year was the biggest year I have ever  
5 had.

6 Q. 27 was the biggest year?

7 A. Yes.

8 Q. What's more typical for your average?

9 A. Before that it was running around 12 to 18.

10 Q. That would be for the last 20 years?

11 A. Pretty much. Well, in the first half of the 20  
12 years it wasn't that many, maybe half a dozen or  
13 so.

14 Q. You have testified at Mr. Spisak's request  
15 before?

16 A. Yes.

17 Q. You have worked with other members of his firm  
18 before?

19 A. I have.

20 Q. Do you know who you have worked for here?

21 A. Marc Groedel, Steve Walters, John Malone.  
22 What's Reed's first name?

23 MR. SPISAK: Christine.

24 A. Christine Reed, I have a case with her now. And  
25 I got a phone call several days ago from a

1        Reminger & Reminger attorney whose name slips my  
2        mind because I have never talked to that person  
3        before.

4    Q.    John Scott?

5    A.    Oh, John Scott. John Scott and I have had a  
6        number of cases.

7    Q.    You have also worked with some of the Jacobson,  
8        Maynard attorneys?

9    A.    Yes, a few times, but not as many as Reminger &  
10       Reminger.

11   Q.    Do you do most of your consulting work with  
12       Reminger & Reminger?

13   A.    Yes.

14   Q.    What percentage of your income do you attribute  
15       to your consulting with them?

16   A.    Less than ten percent, maybe around five or six  
17       percent.

18   Q.    Who carries your professional liability  
19       insurance?

20   A.    CNA.

21   Q.    Do you recall how you were contacted in  
22       Mr. Scharfenberg's case?

23   A.    Mr. Spisak called me up and told me the story on  
24       the phone and asked me if I would take a look at  
25       it and I said I would.

1 Q. Do you recall what he told you on the phone?

2 A. Pretty much the bare bones of what we all know  
3 to be the case, the patient presented with chest  
4 discomfort over a 12 hour period, had  
5 nonspecific electrocardiographic abnormalities,  
6 was evaluated and was then discharged home and ,  
7 about 30 some hours later went to see his doctor  
8 at the Curtis Clinic but while waiting in the  
9 waiting room he collapsed and could not be  
10 resuscitated.

11 Q. Did Mr. Spisak send you records?

12 A. Yes.

13 Q. What did he send you? Is that your file?

14 A. That's my file.

15 Q. Can I see it?

16 MR. SPISAK: Let me see what you  
17 have there and make sure.

18 Q. Doctor, your file would reflect and your report  
19 indicates that you reviewed the St. Luke's  
20 emergency room Solon records, the Curtis Clinic  
21 records, and the Meridia Hillcrest Hospital  
22 records, correct?

23 A. Yes.

24 Q. Have you been provided with depositions?

25 A. No.

1 Q What report which is dated March 18th, 1994, did  
2 You write that report?

3 A I did

4 Q Did you prepare any drafts of that report?

5 A No

6 Q Is this the only report that you prepared?

7 A Well, the other one you have there is another  
8 report on the same case

9 Q I understand But March 15th, you obviously --

10 A Oh no. I didn't write that more than once  
11 What's the original letter.

12 Q I'm just curious, it seems like page 2 is both  
13 on a separate sheet and on the back of the first  
14 sheet?

15 A I usually saw it on the back of the first  
16 sheet I must have run off an extra copy and  
17 put it on that I think it says exactly the  
18 same thing.

19 Q Did you have any discussions with Mr. Swisak  
20 before you prepared this report about your  
21 opinions?

22 A Of course.

23 Q What did you discuss?

24 A Well, after I reviewed the case, as I do with  
25 every attorney, I call the attorney up and then

1 we have a conference on the merits of the case  
2 and my opinions and then I write the report.

3 Q. Doctor, what do you charge for your  
4 medical/legal work?

5 A. \$300 per hour to review.

6 Q. Do you charge separately for a report?

7 A. No.

8 Q. What do you charge for a deposition?

9 A. \$400 per hour.

10 Q. What do you charge for trial testimony?

11 A. \$500 per hour.

12 Q. Is there a minimum for trial testimony?

13 A. No, I never established a minimum.

14 Q. Might be a good idea.

15 A. Thank you for that advice.

16 Q. Do you know any of the parties or physicians  
17 involved in the Scharfenberg case?

18 A. No.

19 Q. Do you know any of the doctors at St. Luke's  
20 emergency room?

21 A. I used to know a physician who rotated through  
22 the cardiac-unit a long time ago at Fairview by  
23 the name of Ali Ahoddod who worked out there at  
24 one time. I haven't seen nor heard of him in a  
25 long time now, but he was the only one I have

1       ever known who worked there.

2   Q.   Did you know any of the physicians in the  
3       Emergency Associates, Inc. -- I've probably got  
4       the words wrong -- it's the emergency group that  
5       staffs St. Luke's ER facility in Solon?

6   A.   No.

7   Q.   The only person who approached you about  
8       reviewing this case was Mr. Spisak?

9   A.   It was.

10  Q.   Did you have any discussions with any of the  
11       physicians involved in Mr. Scharfenberg's case?

12  A.   No.

13  Q.   Did you consult any literature in reaching your  
14       opinions in the Scharfenberg case?

15  A.   The only literature that I consulted was in my  
16       second letter which bore on the question of life  
17       expectancy.

18  Q.   And what did you consult?

19  A.   It's given in the letter, but it's a letter from  
20       Circulation Volume 25 Page 1000. I forwarded it  
21       so many times I remember those numbers. Anyway,  
22       it has to do with the life expectancy of a  
23       patient with coronary artery disease based on  
24       the Cass study.

25                   MR. SPISAK: Doctor, do you need to

1 get that?

2 THE WITNESS: Yes.

3 MR. SPISAK: Go off the record

4 - - - -

5 (Off the record.)

6 - - - -

7 Q. Okay?

8 A. Yes.

9 Q. Doctor, have you ever been sued in a medical  
10 malpractice case?

11 A. Yes.

12 Q. How many times?

13 A. Five.

14 Q. Are any of those cases pending?

15 A. Yes. One.

16 Q. In Cuyahoga County, I assume?

17 A. Yes.

18 Q. And what is the nature of the case that's  
19 pending?

20 A. The patient was a patient of my associate who  
21 saw the patient and recognized that he might  
22 have a deep vein thrombosis in his leg, referred  
23 the patient for venous duplex scan, which was  
24 done the following day, which was my associate's  
25 day off, so I was notified that the venous

1 duplex scan did indeed show a deep vein  
2 thrombosis, so I admitted the patient to the  
3 hospital and started my favorite way of treating  
4 deep vein thrombosis, which is with  
5 streptokinase, and we followed the protocol that  
6 was in the PDR at that time. This is five years  
7 or so ago.

8 I knew the patient from a previous  
9 encounter. He had severe chronic obstructive  
10 pulmonary disease and severe coronary artery  
11 disease, so I certainly felt we needed to be  
12 very aggressive to treat this deep vein  
13 thrombosis, because if he had a pulmonary  
14 embolus it would almost certainly be fatal as  
15 opposed to a person who did not have the chronic  
16 lung or heart disease

17 In any event, about five days later, he had  
18 received streptokinase and then heparin, the  
19 laboratory values were always within the  
20 therapeutic range, however, he suffered a  
21 cerebral hemorrhage and eventually died.

22 The allegation of the family is that the  
23 patient had fallen out of bed at some time  
24 during the hospital course and that the hospital  
25 had covered that up. If that be true, they

1 covered it up very effectively because none of  
2 us ever knew a thing about that.

3 In any event, I was not named in the  
4 initial suit since my only contact was within  
5 that one day. My associate, of course, took  
6 over on the second hospital day. The suit was ,  
7 withdrawn without prejudice and then refiled.  
8 When the suit was refiled, which was last  
9 November, I was included in the list of  
10 defendants.

11 Q. What about the other four cases, those have all  
12 resolved?

13 A. Yes.

14 Q. Can you tell me what those were about?

15 A. The first one was 35 years ago when I was doing  
16 right heart catheterizations, and this was a 20  
17 year old mentally retarded boy with congenital  
18 heart disease, who incidentally -- I may be  
19 giving you more detail than you care to know, so  
20 I won't be offended if you say you want to move  
21 on to the next one.

22 During-the, in those years we used a  
23 pressure injector to inject dye to flood the  
24 right side of the heart to visualize the  
25 internal structures and in those years the

1 company that made the catheters that we used  
2 shipped the catheter with a wire inside the  
3 catheter with an L shaped end so that the L  
4 shaped end stuck out of the catheter, that's  
5 where you pulled it out of the catheter and then  
6 you used it. However, that was broken off and  
7 so we could not see the wire, the wire was  
8 completely within the catheter, so we, when we  
9 used this high pressure injector it was like an  
10 arrow being shot into the heart. We did not  
11 recognize that at the time, nor did the x-ray  
12 department recognize it on subsequent chest  
13 x-rays when the patient continued to  
14 deteriorate.

15 At that time I headed up the cardiology  
16 fellowship training program. One cardiac fellow  
17 had taken a look at one of the films, he said to  
18 the radiologist, what is this wire. The  
19 radiologist said, you people put wires all over  
20 the place. We don't know. We just figured it  
21 was one of your electrodes or something. And he  
22 said no, we don't have any wires on this man.  
23 And that was when we found out he had a wire  
24 inside the chest. By that time he had  
25 deteriorated and died.

1 Q. I really don't mean to be rude, but I understand  
2 the gist of it.

3 A. That's quite all right. Okay. Case number two.

4 Q. Just tell me what the claim was, heart attack or  
5 stroke or whatever then --

6 A. Okay.

7 Q. Wrongful death.

8 A. Okay. I have got them in order now. Case  
9 number two was a patient of mine who came in  
10 with a severe stroke, developed an  
11 osteomyelitis, I'm sorry, developed a septic  
12 joint, left hip joint, which is extremely  
13 painful. I gave him a nonsteroidal  
14 antiinflammatory compound. He developed a  
15 bleeding peptic ulcer which had to be treated by  
16 an emergency gastric resection. He's still  
17 alive today and still aphasiac, which is how he  
18 came into the hospital. That was settled out of  
19 court obviously.

20 Case number three was the mother of a  
21 physician friend of mine who was severely  
22 demented in a nursing home for a number of  
23 years, in her late 80s, developed a severe  
24 infection, the nursing home personnel finally  
25 prevailed on the doctor's son to take her to the

1 hospital so he favored me with being his  
2 mother's physician. She had a respiratory  
3 arrest several days after admission, from which  
4 she was resuscitated and she spent the next 13  
5 months in the hospital and he alleged something  
6 about, I forgot now, something about the care  
7 she received in the hospital, not the medical  
8 care. And anyway the, he also was a JD as well  
9 as an M.D. His first appearance in court he was  
10 told he should have an attorney. He came back  
11 to court with an attorney, whom he eventually  
12 fired, hired another attorney, came back to  
13 court, our attorneys filed for summary judgment  
14 which was granted.

15 Q. Last case?

16 MR. MOSCARINO: Off the record.

17 - - - -

18 (Thereupon, a discussion was had off  
19 the record.)

20 - - - -

21 A. What was number four? Oh, I shouldn't have  
22 forgotten that one. That was a man who was my  
23 barber, as a matter of fact, and he was admitted  
24 by another physician to the hospital because --  
25 that's where I got osteomyelitis -- he had

1        severe diabetes, he had an osteomyelitis of his  
2        femur, which the orthopedist was very concerned  
3        would ultimately invade the hip joint, which  
4        would mean amputation. He also was in fairly  
5        severe heart failure. I saw him the night of  
6        the day he was to go to surgery, which was then  
7        canceled at the last minute by the hospital  
8        personnel when they recognized that he was too  
9        unstable for the surgery, which was to drain the  
10       osteomyelitis in the femur, So I spent a week  
11       getting his heart tuned up. With the induction  
12       of the anesthesia a week later he went into  
13       cardiac arrest and could not be resuscitated.  
14       Fortunately, I convinced his wife, now widow, to  
15       have an autopsy. The autopsy showed that he was  
16       not in congestive failure, that his heart  
17       condition was as good as it could get, the case  
18       went to trial and the jury found in my favor.

19    Q.    What year was that case?

20    A.    19, early '80s. '81, '82, somewhere around that  
21       time.

22    Q.    In any of these cases were you represented by  
23       anyone at Reminger & Reminger?

24    A.    No.

25    Q.    Doctor, when was the last time that you



1 Q. What does it mean to be a fellow in that group?

2 A. It means you have your boards in cardiology.

3 Q. So you have to be board certified?

4 A. Yes.

5 Q. And also the American Heart Association, you're  
6 a fellow of the Council on Clinical Cardiology?'

7 A. Right.

8 Q. Does that mean that you're board certified also  
9 for that group's purpose?

10 A. No. That, I don't think board certification is  
11 a requirement there. It's a designation,  
12 self-designation that you want to be a fellow of  
13 that particular council. There are a number of  
14 councils, cardiovascular, pediatric, so on.

15 Q. What does it mean to be a fellow?

16 A. It puts you on the mailing list for the  
17 publications that are developed by that  
18 particular council and the programs that that  
19 particular council puts on.

20 Q. You have also taught the advanced cardiac life  
21 support course?

22 A. I have.

23 Q. Do you still teach that?

24 A. Yes.

25 Q. When did you last teach it?

1 I think it was in March. We teach, the hospital  
2 teaches it every month. I teach as often as I  
3 can, because I think it's important to see  
4 people besides nurses.

5 - - - -

6 (Telephone interruption.)

7 - - - -

8 Q. Okay. Doctor, I think I was asking you about  
9 the last time you taught the advanced cardiac  
10 life support course.

11 A. Yes.

12 Q. And when was that?

13 A. 3/3, March 3rd of this year.

14 Q. And where did you teach?

15 A. It's sponsored by Fairview General Hospital, we  
16 teach it at the Wellness Center.

17 Q. And to whom do you teach that?

18 A. Usually the major portion of the student body,  
19 which runs about 25, are the nurses, but we also  
20 have surgical residents and medical residents  
21 and a few of the attending staff, mainly  
22 internal medicine, and I also teach it to the  
23 incoming residents both in family practice and  
24 internal medicine every June.

25 Q. And that course includes a section on the

1 guidelines for the management of patients with  
2 possible myocardial infarct, correct?

3 A. It does.

4 Q. You have a whole section on that?

5 A. Yes.

6 Q. Do you teach those guidelines to the students in  
7 your class?

8 A. Yes.

9 Q. And these people are, you teach them and then do  
10 you certify them by testing their knowledge?

11 A. We do.

12 Q. And it's a pass fail basis?

13 A. It is.

14 Q. Would those guidelines also include recognition  
15 of coronary artery disease or unstable angina in  
16 the emergency room setting?

17 A. Well, the, I guess the answer is yes, but let me  
18 say a little more about advanced cardiac life  
19 support. It's really a community based project  
20 and not a hospital based project. In other  
21 words, it was developed predominantly for the  
22 paramedics; so the main features are recognition  
23 of cardiac symptoms before an actual cardiac  
24 catastrophe and being able to respond to them in  
25 terms of medication. So it's a little bit

1 different focus than what the emergency  
2 department would have.

3 Q. Do you accept those guidelines as the standard  
4 of care in evaluating chest pain?

5 A. Well, I think they're very useful, yes. I'm  
6 sure we all know that people vary enough so that  
7 any guideline is a guideline, it's not an iron  
8 clad mandate. That's one of the problems of  
9 medicine or maybe one of its good features, that  
10 there's no two people who are exactly alike so  
11 any guidelines can only be considered a  
12 guideline and not an iron clad approach.

13 Q. And standard of care is also in general, has a  
14 general application?

15 A. Yes.

16 Q. And the American College of Cardiology in  
17 November of 1996 published guidelines for the  
18 management of patients with acute myocardial  
19 infarct, correct?

20 A. They did indeed.

21 Q. And those guidelines are incorporated in the  
22 ACLS course?

23 A. Yes. Not all of them. That document you're  
24 referring to, though, is a hundred three pages  
25 in length and obviously we're not trying to

1 teach the entire content of that in a one-day  
2 course, no matter how bright the students are.  
3 So, as I said before, the ACLS is really a  
4 mainly out of hospital kind of information.

5 Q. And the guidelines of the American College of  
6 Cardiology, are they used in your hospital?

7 A. Oh, yes.

8 Q. And does the emergency room at your hospital  
9 consult with you in terms of the guidelines for  
10 recognition and treatment of chest pain?

11 A. No, not on a formal basis. Some years ago we  
12 had some meetings in which this was discussed  
13 before the American College of Cardiology  
14 publication that you referred to.

15 Q. Now, Mr. Scharfenberg, from your review of his  
16 records, had a number of factors which made him  
17 at high risk for myocardial disease, correct?

18 A. Yes.

19 Q. Can you list for me some of those factors?

20 A. Well, he had diabetes, he had hypertension. Let  
21 me look at my notes to make sure I cover all the  
22 things. He was 59, he had chest discomfort. I  
23 think those are the main features.

24 Q. What was his weight?

25 A. I don't have a note to that. I'm not sure that

1 I -- I'm not sure that the information I have  
2 has that on it.

3 Q. Whether or not he is obese would be a risk  
4 factor in --

5 A. Well, not as much as you might think. It  
6 actually works through hypertension, diabetes,  
7 and high cholesterol and things like that, so  
8 it's been the opinion of some people that  
9 obesity in and of itself without any of those  
10 other factors is not really a risk factor.

11 Q. If Mr. Scharfenberg was obese in addition to  
12 having diabetes and hypertension and being 59  
13 years of age, he is at high risk for coronary  
14 artery disease, is that correct?

15 A. I think that's fair enough to say. I don't have  
16 a weight. The only thing I have is the St.  
17 Luke's Medical Center record and I don't see  
18 that they have height or weight and that's  
19 probably not done on an emergency room basis.

20 Q. Listed or not listed?

21 A. I did not see it.

22 Q. Okay. I'm sorry. Is it usually listed or not  
23 listed?

24 A. No, it's usually not listed.

25 Q. Doctor, what is ischemic chest pain?

1 A. Ischemic chest pain is -- actually we should  
2 probably, my patients correct me if I say pain,  
3 they say no, it's a pressure. It's a pressure  
4 that's in the middle of the chest.

5 Q. Can it be a symptom of unstable angina?

6 A. Yes, indeed.

7 Q. Is it identical with unstable angina?

8 A. Well, that same kind of pain, of course, can  
9 come from other causes, such as esophageal  
10 spasm, for instance.

11 Q. Would you agree that indigestion type chest pain  
12 described as dull and which has been present for  
13 13 hours would be classified as unstable angina?

14 A. Well, it could be, but obviously it's not  
15 guaranteed to be of cardiac origin.

16 Q. But it certainly could be?

17 A. It's not inconsistent with it I think would be a  
18 better way to say it.

19 Q. And those symptoms in a patient who has high  
20 risk factors for coronary artery disease there  
21 is even a higher correlation, would you agree?

22 A. Yes, I think that's fair enough.

23 Q. Do you agree that the job of the emergency room  
24 physician is to rule out myocardial infarct or  
25 unstable angina when a patient presents with

1 chest pain?

2 A. I do.

3 Q. Do you agree that if a patient comes to the  
4 emergency room with chest pain and is improperly  
5 discharged there is a high likelihood of death?

6 A. No. I wouldn't -- I think I might -- my problem  
7 with your question is high. I would say --  
8 well, what would I say. There is a possibility  
9 of death. I'm not sure about high likelihood.  
10 Fortunately many people who have actually gone  
11 that route have not died even though it turned  
12 out they were having a myocardial infarct.

13 Q. Is it true that the vast majority of people who  
14 have a myocardial infarct but arrive at a  
15 hospital alive can be saved?

16 A. Yes.

17 MR. SPISAK: I'm sorry, please  
18 read that back to me.

19 - - - -

20 (Thereupon, the requested portion of  
21 the record was read by the Notary.)

22 - - - -

23 MR. SPISAK: Thank you.

24 A. Yes. The mortality rate for acute myocardial  
25 infarction for people in the hospital is

somewhere around eleven to ten percent

Q Meaning?

A 90 --

Q 90 to 93 percent survival?

A Right.

Q And the treatment mortality for a person having a myocardial infarct, if taken to a hospital

setting, would be bypass surgery -- what do you do when they're actually having an infarct?

A Well, ideally what we do is to recognize it promptly and if the patient has arrived within six hours of the onset of the discomfort, then they receive an agent to dissolve the blood clot that is obstructing the coronary circulation and that clot dissolves and that helps to save some of the heart muscle that otherwise would be damaged to one extent or another and then the patient has an unsuccessful course from that point on. That's what we hope to achieve.

Q With prompt and early treatment you can avoid completely a myocardial infarct or at least reduce the damage that one might cause?

A Yes.

Q Do you agree that the earlier the treatment, the better the result?

1 Absolutely.

2 Do you agree that chest pain that lasts for  
3 hours versus chest pain that lasts for days is  
4 more typical of a myocardial infarct?

5 I guess in general that's true. There is enough  
6 exception to that that I can think of that I ,  
7 would say it's not uniformly true.

8 Okay. Do you agree that patients who have ST or  
9 T-wave abnormalities on EKG with associated  
10 chest pain are at high risk for coronary artery  
11 disease?

12 Yeah, they're at higher risk than the person who  
13 has chest pain and ST segments that are normal.  
14 Would you agree that a patient with chest pain  
15 who has abnormalities with the ST segment  
16 require a repeat EKG?

17 It would be helpful.

18 Is it required?

19 I think the best way to put it, it would be  
20 prudent. As I said before, there's so many  
21 varieties, I think saying required would be  
22 perhaps too-strong a word. I think it has to do  
23 with the entire picture that the patient has.

24 But would a reasonable physician do a repeat EKG  
25 under these circumstances?

1 A. It certainly would be helpful.

2 Q. Okay. I need to distinguish what is reasonable  
3 and what a reasonable physician would do under  
4 those circumstances.

5 If the patient presents with chest pain and  
6 the first EKG shows abnormalities of the ST  
7 segment, would a reasonable physician do a  
8 repeat EKG?

9 A. Yes.

10 Q. And ST abnormalities can either be elevations or  
11 depressions?

12 A. Correct.

13 Q. And is an abnormality in the ST segment  
14 diagnostic of myocardial infarct?

15 A. Well, if the ST segment is elevated,  
16 particularly if it's elevated more than one  
17 millimeter and in more than, and in two  
18 contiguous leads, that would be suggestive for a  
19 myocardial infarction.

20 Q. Suggestive versus diagnostic, are you making the  
21 distinction?

22 A. I am, because acute myocardial infarction is the  
23 most common cause for ST segment elevation but  
24 it's not the only cause.

25 Q. What are other causes of abnormalities in the ST

1 segment?

2 A. Elevation?

3 Q. Elevation.

4 A. Pericarditis.

5 Q. That's infection?

6 A. Yes, it's an inflammatory condition of the  
7 pericardium which can mimic myocardial  
8 infarction not only with the electrocardiogram  
9 but also the presence of chest pain.

10 Q. What other symptoms would you have with  
11 pericarditis?

12 A. Well, they're very similar to what you said,  
13 chest pain and electrocardiographic  
14 abnormalities would make it very difficult to  
15 separate one from the other.

16 Q. What about white blood count, would you show  
17 signs of infection there?

18 A. It depends on what the cause. If it's a viral  
19 pericarditis the white blood count may not be  
20 elevated.

21 Q. What is the usual presentation, if there is one?

22 A. Hard to say. Fortunately for everybody it's not  
23 very common. You're asking about the white  
24 count?

25 Q. Yes.

1 A. Oh, I would guess maybe 50 percent have a normal  
2 white count, 50 percent have an elevated white  
3 count.

4 Q. What does an ST segment depression on EKG with  
5 associated chest pain mean to you as a  
6 cardiologist?

7 A. Well, that may be a manifestation of ischemia.

8 Q. And ischemia again is a shortage of blood supply  
9 to the heart?

10 A. Yes.

11 Q. Indicative of coronary artery disease?

12 A. Usually.

13 Q. Is it indicative of an impending myocardial  
14 infarct?

15 A. It may be.

16 Q. Cardiac enzyme testing, that is routinely done  
17 in cases where there is a suspicion of  
18 myocardial infarct, correct?

19 A. Correct.

20 Q. And cardiac enzymes are not positive until some  
21 time has passed from the actual damage to the  
22 heart muscle, is that correct?

23 A. Yes. But the elevation may be very acute if  
24 it's a total obstruction of the coronary  
25 artery.

1 Q. But the fact is that the test wouldn't be  
2 positive until the damage had occurred?

3 A. Yes.

4 Q. So an enzyme test wouldn't tell you that there  
5 is an impending myocardial infarct?

6 A. Unfortunately that's true.

7 Q. How long after damage does a cardiac enzyme  
8 usually show a positive result?

9 A. Usually in the first hour or two depending on  
10 the magnitude of the injury.

11 Q. And how long do they stay elevated?

12 A. Well, it depends on which enzyme, but the most  
13 common enzyme is CK-MB, which is specific for  
14 cardiac muscle, and in the course of acute  
15 myocardial infarction which is not altered by  
16 giving an agent to dissolve the blood clot, that  
17 will peak in about 18 to 24 hours and be down to  
18 normal in 36 to 48 hours.

19 2. What is meant by the term serial enzyme  
20 testing? And specifically what do you mean by  
21 serial?

22 A. Well, you repeat the test over the course of  
23 time. Routinely we get the test every eight  
24 hours for the first 24 hours.

25 Q. What about serial EKGs?

1 A. Same thing.

2 Q. How frequently are EKGs run?

3 A. We usually do them every eight hours or, of  
4 course, if there's a change in the patient's  
5 condition we do it more frequently than that,  
6 but the usual routine is every eight hours for ,  
7 the first 24 hours.

8 Q. Okay. Is that what is done in the Kemper  
9 coronary care unit when you're monitoring a  
10 patient for a suspicion of myocardial infarct or  
11 impending myocardial infarct?

12 A. It is.

13 Q. Is that standard of care?

14 A. Yes.

15 Q. Is that standard of care to rule out myocardial  
16 infarct or impending myocardial infarct?

17 A. Well, it's what we do for unstable angina, yes.

18 Q. Do you agree that it would be beneath the  
19 standard of care for an emergency room physician  
20 to discharge a patient if he has not ruled out  
21 unstable angina as a cause of chest pain?

22 A. Yes, I would agree with that.

23 Q. In the Kemper coronary care unit what objective  
24 test results do you look for to determine  
25 whether or not a person is having unstable

1        angina or myocardial infarct?

2    A.    Well, pretty much what we have just talked  
3        about. The symptom, of course, would be  
4        variable, it would be most important and changes  
5        on the electrocardiogram and the changes on the  
6        cardiac enzymes and particularly the serial  
7        changes showing progression of ischemia.

8    Q.    And by symptoms, you mean whether the patient  
9        has relief of chest pain or not?

10   A.    Yes.

11   Q.    Is it true that you cannot rule out unstable  
12        angina or myocardial infarct with a single EKG?

13   A.    The fact that you have a normal  
14        electrocardiogram when the patient is still  
15        having pain is a very strong indication that the  
16        heart is not the source of the trouble, that  
17        would be true in the vast majority of patients,  
18        but not exactly a hundred percent, of course.

19   2.    Okay. What is the percentage of patients who  
20        present to the emergency room with chest pain  
21        who are having a myocardial infarct who have a  
22        positive finding on EKG?

23   A.    I'm not sure of the focus of your question.

24        You're -- let me state it as I understand it.

25        You're talking about the patients who do indeed

1 have myocardial infarct who present to the  
 2 emergency department with chest pain and the  
 3 question, therefore, with that group of  
 4 patients, the question, therefore, is those who  
 5 have an abnormal electrocardiogram or diagnostic  
 6 electrocardiogram?

7 Q Diagnostic

8 A Diagnostic electrocardiogram. Well, it's a  
 9 guess, but I would think somewhere around 80  
 10 percent.

11 Q Okay.

12 A If we're talking now about the first  
 13 electrocardiogram

14 Q Correct The first which is why physicians do  
 15 repeat EKGs, correct?

16 A Yes.

17 Q And is it fair to say that you can't rule out  
 18 myocardial infarct or unstable angina with a  
 19 single CK-MB test?

20 A. It is, well, I think it's sort of hard to say  
 21 yes to that question because again you have to  
 22 take into context the entire picture. As we all  
 23 know, Mr. Schaffenberg has had discomfort for  
 24 about 12 hours before he appeared in the  
 25 emergency department, so it would be more

1       substantial in a person who has had the pain for  
2       12 hours who still has a normal CK that this is  
3       not due to his heart, there would be more reason  
4       to think that than if his symptoms are less than  
5       one hour duration and you have a normal CK.

6   Q.   In general in your department at the Kemper  
7       coronary care unit do you rule out myocardial  
8       infarct based on a single CK-MB test?

9   A.   No. But we have an advantage, the patient is  
10       already in the hospital.

11   Q.   Do you do stress testing at the coronary care  
12       unit?

13   A.   In the hospital we do, yes.

14   Q.   And that's to rule out coronary artery disease  
15       or myocardial infarct?

16   A.   Yes.

17   Q.   Do you agree that the standard of care requires  
18       an emergency room physician who is unable to  
19       rule out unstable angina or myocardial infarct  
20       to transfer the patient for monitoring?

21   A.   Could you read that to me again.

22                   - - - -

23                   (Thereupon, the requested portion of  
24                   the record was read by the Notary.)

25                   - - - -

2 I agree with that. By transfer you mean admit  
3 the patient to the hospital, wherever the  
4 hospital is?

5 Q. Right. I mean, hospitals have telemetry units  
6 now where you simply monitor the patient.

7 A. Yes.

8 Q. And that's different from an actual admission to  
9 a hospital, isn't it?

10 A. Well, we don't. I'm not sure anybody in  
11 Cleveland does. We would have to admit the  
12 patient to the hospital.

13 Q. Okay. That's what the Kemper coronary care unit  
14 is, it's actually a hospital admission?

15 A. Oh, yes. Absolutely. Actually, most of our  
16 unstable angina patients never go there because  
17 that's really for sicker patients than those  
18 whom we are ruling in or ruling out a cardiac  
19 diagnosis.

20 Q. Where do the patients go when you are ruling in  
21 or ruling out?

22 A. Well, they're on telemetry and the patient I  
23 admitted last night didn't go to the cardiac  
24 unit, she went to the floor to be monitored and  
25 we did the stress test this morning.

Q. What symptoms did the patient have last night?

1 A. She had atypical chest pain which was left  
2 anterior and rather brief, but the cause for  
3 concern was that she had described it as a  
4 feeling of something closing and there wasn't  
5 anything that seemed to cause this to occur, it  
6 would occur in the frequency of every two to  
7 seven minutes, and she also had a background of  
8 extreme hypertension and untreated  
9 hypercholesterol elevation in a patient 77 years  
10 old.

11 Q. And what tests did you order for her in the  
12 telemetry unit?

13 A. Those are the things we talked about. Every  
14 eight hours electrocardiogram, every eight hours  
15 cardiac enzymes, all of the other usual blood  
16 tests, echocardiogram, and this morning they did  
17 a stress test.

18 Q. Has she been admitted to the Kemper coronary  
19 unit?

20 A. She was admitted to the telemetry unit, not to  
21 the Kemper unit.

22 Q. What is meant by nonspecific findings on EKG?

23 A. Well, I'm afraid I can't elaborate very much.  
24 The electrocardiogram has a rather broad range  
25 of appearances which we know to be normal and

1       also the electrocardiogram has a number of  
2       specific diagnostic abnormalities, myocardial  
3       infarction being one of them, for instance,  
4       pericarditis being another, ventricular  
5       hypertrophy being a third, then there are a lot  
6       of electrocardiograms which are not within the  
7       normal limits and yet not specifically abnormal,  
8       so the terminology, not abnormal in a diagnostic  
9       fashion, so the terminology, therefore, would be  
10      nonspecifically abnormal electrocardiogram.

11   Q.   So they don't indicate positively --

12   A.   They don't give us the diagnosis, they just say  
13       this is not a normal electrocardiogram. We  
14       don't know why it's abnormal, but it's not  
15       normal.

16   Q.   Does a nonspecific finding on EKG in a patient  
17       with chest pain require a reasonable physician  
18       to do a repeat EKG or further testing?

19   A.   Again, it has to do with the clinical  
20       presentation. I can't say that if he didn't  
21       repeat it and there was no real reason to  
22       suspect anything with the heart or there was no  
23       other finding to indicate there was something  
24       wrong with the heart that not repeating the  
25       electrocardiogram would be falling below the

1 standard of care.

2 Q. Do you agree that a chest wall tenderness is  
3 present in some patients with ischemia or  
4 myocardial infarct?

5 A. Well, unfortunately it is. I'm not sure, I  
6 don't think it's part of the disease. It may be  
7 that people have more tender chest walls than  
8 they were aware of until somebody pushed on  
9 their chest. The fact that there is chest wall  
10 tenderness seems to be a cause of people making  
11 mistakes in emergency departments, but I don't  
12 think it's because the chest wall tenderness is  
13 due to what's going on in the heart.

14 Q. Doctor, if you would look for a minute at  
15 Mr. Scharfenberg's EKG in the emergency room at  
16 St. Luke's.

17 A. I have got two of them.

18 Q. Okay. In your report you indicate that there is  
19 an elevation of the ST segment in V1.

20 A. I did.

21 Q. How much of an elevation is that there?

22 A. It looks like about one millimeter. I can't  
23 make it any more than that.

24 Q. Because you have a bad copy or you just -- is  
25 your copy too poor?

1 A. No, it's all right.

2 Q. All right.

3 A. I'd say it's about a millimeter. A millimeter  
4 is a pretty small thing.

5 Q. One millimeter, though, is significant in  
6 reading and determining an EKG, isn't it?

7 A. It begins to be, yes.

8 Q. There is also, according to your report, a  
9 depression in V6?

10 A. Yes.

11 Q. And how much of a depression is there in V6?

12 A. One millimeter.

13 Q. And a QRS of 12 milliseconds?

14 A. Yes.

15 Q. I have no idea what that means. Could you tell  
16 me?

17 A. Well, the normal QRS is less than .10 so --

18 Q. Let me stop you. What is a QRS?

19 A. Oh, that's, that's the ventricular  
20 depolarization. That's these things. The  
21 biggest deflections that you see are QRS  
22 complexes.

23 Q. Going up and down?

24 A. Up and down.

25 Q. What is that, is that the timing?

1 A. That's the time that the muscle is stimulated  
2 electrically to contract and then the balance of  
3 the electrocardiogram is when the contraction  
4 has occurred and then the cell membranes are  
5 repolarized so the next electrical current will  
6 again stimulate a response to the muscle and it'  
7 can retract.

8 Q. Okay. Those abnormalities that you note in your  
9 report are consistent with unstable angina,  
10 correct?

11 A. Well, the widening of the QRS is not.

12 Q. Okay.

13 A. That's probably more consistent with the fact  
14 that he was 59 years old, had hypertension, so  
15 on.

16 The only thing that could come close to  
17 being consistent with unstable angina is  
18 actually V6 in which the ST segment is depressed  
19 one millimeter. The other 11 leads of the  
20 electrocardiogram do not show anything that  
21 would indicate that this was unstable angina  
22 including the elevation in V1.

23 2. You don't make anything of the elevation in V1?

24 A. No. Because it's not duplicated by V2, which is  
25 the adjacent lead, so I would feel that that is

1 a nonspecific abnormality and not diagnostic of  
2 even unstable angina.

3 Q. But it could be consistent with unstable  
4 angina?

5 A. No. We tend to see more ST segment depression  
6 with that.

7 Q. Okay. Would you look at V5 and V6?

8 A. I am.

9 Q. Do you see a depression in the ST segment there?

10 A. Well, in V5 there is a depression at the  
11 beginning of the ST segment but then it slopes  
12 up to the baseline, so at its worse it's one  
13 millimeter depressed but quickly comes up to the  
14 baseline.

15 V6 is more significant because the ST  
16 segment depression persists throughout the ST  
17 segment.

18 Those are contiguous leads, aren't they?

19 Q.

A. Yes.

20 Q. In V3 tell me if you see an elevation in the ST  
21 segment there?

22 A. I do. I would also point out that this is very  
23 common in normal people and it's called a J  
24 point elevation. It's an overshoot from the S  
25 wave of the previous complex. The other thing

1       that I would say --

2   Q.   Before you say that, I wanted to ask you a  
3       question about V3.

4   A.   Okay.

5   Q.   And that is although it is found in other  
6       circumstances, is it also found in people having  
7       unstable angina or a myocardial infarct?

8   A.   Not unstable angina, but myocardial infarct.  
9       But again, we would be looking for two  
10      contiguous leads, which we don't have.

11   Q.   Do you agree that the most probable cause of  
12      death of Mr. Scharfenberg was coronary artery  
13      disease with acute myocardial infarction?

14   A.   Well, the first half, I can agree with it. I  
15      think it was ischemic heart disease. I'm not  
16      sure that he ever had a myocardial infarct. It  
17      could very well have been an arrhythmic death.

18   Q.   What is the most probable cause of death?

19   A.   Ventricular fibrillation.

20   Q.   According to the EKG that was taken at the  
21      emergency room at St. Luke's Hospital on 2/2/97,  
22      had Mr. Scharfenberg had a myocardial infarct at  
23      that time?

24   A.   No.

25   Q.   Does that EKG show any injury to the heart?

1 A. No.

2 Q. What caused, if you know, the ventricular  
3 fibrillation that you believe caused his death?

4 A. Well, I think he had ischemic heart disease, but  
5 since we don't have an autopsy anything that I  
6 say is obviously precluded conjecture. It could  
7 very well be that if you had had an autopsy that  
8 no myocardial infarct would have been found

9 Q. Do you think that's probable given his history  
10 of diabetes, hypertension, obesity, and history  
11 of chest pains?

12 A. I think it's -- I can't answer your question  
13 specifically. I think it's not at all unlikely.  
14 Because when people do die suddenly and do have  
15 autopsies, quite often we don't see any  
16 myocardial infarct that the pathologist can  
17 point to. We see dissemination, severe coronary  
18 disease. But they will not live long enough to  
19 have a myocardial infarct

20 Q. Do you believe is Mr. Scharfman's heart been  
21 hospitalized and monitored with repeat EKGs that  
22 there would have been, in all probability, some  
23 changes shown on EKG?

24 A. Hard to say.

25 Q. What percentage of patients would show a change

1 on EKG when they're monitored prior to suffering  
2 a myocardial infarct?

3 A. Well, your question is supposing that he did  
4 have a myocardial infarct.

5 Q. Correct.

6 A. Probably a high percent.

7 Q. 80 percent?

8 A. That's as good a number as any.

9 Q. What percentage of patients would show changes  
10 in the CK-MB enzymes prior to a, well, at the  
11 time of a myocardial infarct?

12 A. Within an hour afterward it would be a very high  
13 percentage, but afterwards you might have  
14 trouble making a diagnosis.

15 Q. Is it somewhere in the area of 90 percent?

16 A. That's a good number.

17 Q. In a patient who with monitoring shows changes  
18 in their EKG, what treatment would be offered to  
19 that patient?

20 A. Well, if we were sure that this is within the  
21 first six hours of the event of myocardial  
22 necrosis, then obviously a thrombolytic agent  
23 would be ideal. If it's beyond six hours,  
24 especially if it's beyond 12 hours, then  
25 thrombolysis is probably of very low value, it

1        may even be more dangerous than beneficial.  
2        Heparin, aspirin, beta blockers, ACE inhibitors,  
3        intravenous or other forms of nitroglycerin  
4        would be the usual modalities.

5    Q.    And those agents would serve to avoid or reduce?

6    A.    Yes.    Avoid extension, reduce complications.    ,

7    Q.    What's the rate of effectiveness of these  
8        medications?

9    A.    I think in terms of mortality we're probably  
10        looking at, instead of seven to ten percent  
11        mortality, looking more like 20 percent.    But  
12        again, that obviously is categorized by the age  
13        of the patient, the other diseases which they  
14        have and so forth.    In any event, if we haven't  
15        had the golden opportunity of giving the agent  
15        to dissolve the blood clot, then we  
17        automatically have an increase in mortality.

18   Q.    80 percent of the patients survive?

19   A.    Yes, that's a good guess.

20   Q.    What about bypass surgery, when is that  
21        offered?

22   A.    Well, usually not in the setting of acute  
23        myocardial infarction.    The only justification  
24        there would be if the patient continued to have  
25        recurring episodes of ischemia in spite of all

1       the medical efforts being offered, then cardiac  
2       catheterization would be appropriate and in the  
3       setting of high grade multiple coronary artery  
4       stenosis, then coronary artery bypass surgery  
5       might be indicated.

6   Q.   In the second report that you wrote, doctor, you  
7       said you assumed that Mr. Scharfenberg had  
8       ischemic heart disease with three vessel  
9       coronary disease.

10  A.   Based upon age, diabetes and hypertension.

11  Q.   Are those the risk factors that normally  
12       accompany his age and medical condition?

13  A.   Well, they often do.   In the absence of autopsy  
14       data, I have to make some assumptions.   Actually  
15       assuming three vessel compared to two vessel or  
16       one vessel doesn't really change the numbers  
17       very much.

18  Q.   Okay.

19  A.   But in view of age, diabetes and hypertension it  
20       seemed to me more likely that he had three  
21       vessel disease.

22  Q.   What is your basis for saying the left ventricle  
23       was unimpaired?

24  A.   Well, he didn't seem to have any symptoms of  
25       heart failure.

1 Q. What would those be?

2 A. Shortness of breath, easy fatigue, swelling of  
3 the ankles.

4 Q. Do you agree that costochondritis does not cause  
5 EKG abnormalities?

6 A. I do.

7 Q. Do you agree that an emergency room physician  
8 should be able to read and interpret an EKG?

9 A. It's very helpful. Nowadays with the computer  
10 assisted interpretation I think it takes some of  
11 the burden off the shoulders to be fully  
12 competent in electrocardiographic interpretation  
13 because the computer is helping them out.

14 2. You teach in your course, ACLS course, EKG  
15 interpretation?

16 A. Mainly in terms of rhythm, because, again, this  
17 is basically an out of hospital paramedic kind  
18 of course and the need to recognize the abnormal  
19 heart rhythms and to know the medications for  
20 them, but we don't spend a lot of time teaching  
21 the diagnosis of acute myocardial infarction  
22 because their job is to stabilize and transport  
23 the patient.

24 3. Do you expect an emergency room physician to be  
25 familiar with the ACLS guidelines for evaluation

1 of chest pain?

2 A Yes.

3 Q Do you expect an emergency room physician to be  
4 familiar with protocol for evaluation and  
5 treatment of patients with chest pain?

6 A Yes.

7 Q Do you expect an emergency room physician to  
8 call in a consultation if they were unable to  
9 rule out unstable angina or myocardial infarct?

10 A Yes.

11 Q How is it that you believe Dr. Christensen met  
12 the standard of care in discharging

13 Mr. Scharfenberg?

14 A. I think he met the standard of care because he  
15 did all of the appropriate things to make the  
16 diagnosis or rule out the diagnosis of unstable  
17 angina, based upon considerable laboratory  
18 testing and electrocardiographic as well as  
19 physical and history information, came to the  
20 conclusion that the patient did not have  
21 unstable angina.

22 Q What tests did Dr. Christensen administer that  
23 ruled out unstable angina?

24 A Two electrocardiograms separated by three hours  
25 as well as the history and physical and all of

1 the normal laboratory data except for one  
2 abnormality.

3 Q. Can you point me to the two electrocardiograms  
4 that are separated by three hours?

5 A. The time was given on both of the  
6 electrocardiograms and unless I'm not reading it  
7 correctly, this electrocardiogram, it's really  
8 hard to see on the top, but it looks like this  
9 one right here, down here I can see better 2,  
10 February '97, 0005, which sounds like it's 5  
11 minutes after midnight.

12 Q. It actually says 3:05. They both say 3:05.

13 A. This says 3:05 very clearly.

14 Q. This is a little lighter copy. You can read  
15 mine. There was in this case, doctor, a single  
16 electrocardiogram.

17 A. Oh. There was. Okay. Mine looks like 0005.

18 Q. Is your opinion that the standard of care was  
19 met based on the fact that myocardial infarct  
20 was ruled out by two EKGs taken that were  
21 separated by three hours?

22 A. Actually, no. I think when I reviewed this I  
23 think I might have seen the correct time, when I  
24 looked at it again today it looked like 12:05,  
25 0005.

1 Q. So do you want to withdraw your statement  
2 previously?

3 A. Well, it was not separated by three hours, but,  
4 nevertheless, I still felt that he had done the  
5 appropriate things in ruling out myocardial  
6 infarction and of course we both know that the  
7 patient had had chest pain for 12 hours before  
8 he came to the emergency department.

9 Q. Okay. I guess my question to you was what tests  
10 did Dr. Christensen administer that ruled out  
11 unstable angina or myocardial infarct and your  
12 answer was, I'm sorry, your answer first was  
13 that he had administered two EKGs separated by  
14 three hours.

15 A. Which is incorrect.

16 Q. Which is incorrect. Now I'm reasking the  
17 question. What test was it that he ruled out?

18 A. Well, certainly myocardial infarction was  
19 completely ruled out by all of the negative  
20 laboratory data.

21 Q. Would a CK-MB necessarily be elevated if the  
22 myocardial infarct occurred half an hour before  
23 the test was run?

No.

25 So a single CK-MB would not rule out myocardial

1           infarct, would it?

2       A.   It wouldn't. But, again, you're taking it out  
3       of the context, of course, but we have to  
4       consider this case as Mr. Scharfenberg  
5       presented, with chest pain of more than 12 hour  
6       duration and an electrocardiogram which was  
7       certainly not indicative of unstable angina in  
8       my opinion so, and also during this period of  
9       time there was a reduction, a mild reduction in  
10      the amount of pain that he had, which was not  
11      very severe anyway.

12      Q.   He was discharged with continuing pain,  
13      correct?

14      A.   Well, I think that, I think he had some pain,  
15      especially when he coughed. He described it as  
16      level five when he coughed, but otherwise his  
17      pain had decreased to three. So he had pain  
18      when he coughed, yes.

19      Q.   Do you know from the chart whether or not he  
20      continued to have chest pain whether or not he  
21      was coughing when he was discharged?

22      A.   No.

23      Q.   I guess I want to reask the question I asked you  
24      twice before and that is what t<sup>a</sup>.st3 did  
25      Dr. Christensen administer that ru4ed out

1 myocardial infarct or unstable angina?

2 A. I think my answer is the same as before. All  
3 the laboratory tests failed to substantiate  
4 either diagnosis.

5 Q. Did it rule it out?

6 A. It certainly ruled out myocardial infarction ,  
7 with a normal white blood count and a normal  
8 CK. The electrocardiogram shows nonspecific  
9 abnormalities in a man who has had chest pain  
10 for 12 hours and I think in the setting, of  
11 course we're focused on this particular patient,  
12 in the setting of a man who has an increase in  
13 pain when he coughs and has had a respiratory  
14 infection for which he's being treated, it would  
15 seem to me that in that setting with that  
16 electrocardiogram and those laboratory data that  
17 the diagnosis has been ruled out  
18 satisfactorily.

19 Q. Doctor, what makes you say that this man had a  
20 respiratory infection?

21 A. I think that was his testimony.

22 Q. There's some mention of the flu.

23 A. He said, quote, just getting over the flu and he  
24 was taking Tessalon capsules for his cough and  
25 Cipro for bacterial causation of respiratory

1 infection.

2 Q. Does it say respiratory infection?

3 A. Well, what does the flu mean to most people?

4 Q. I think it means many things.

5 A. You're right.

6 Q. Doesn't it?

7 A. You're right.

8 Q. Could be stomach?

9 A. If he's taking a cough medicine it probably  
10 means a respiratory infection, doesn't it?

11 Q. I don't think we know, do we?

12 A. Well, I would guess that it does.

13 Q. But you're guessing, aren't you?

14 A. Yes, I certainly am, but I'm doing it with a  
15 very educated, experienced background.

16 MR. SPISAK: I don't think he's  
17 going to take cough medicine for a stomach  
18 flu, is he, doctor?

19 A. I don't think so. In the history it says  
20 patient had flu, just now getting over it. Has  
21 had cough, taking Tessalon pills. Sounds like a  
22 respiratory infection.

23 Q. Okay. He had no findings on physical  
24 examination consistent with respiratory  
25 infection, correct?

1 A. He was coughing.

2 Q. Other than that? His physical examination was  
3 normal according to Dr. Christensen?

4 A. Except for the tenderness, yes. He didn't have  
5 any findings.

6 Q. And his white blood count was not elevated?

7 A. Correct.

8 Q. His cholesterol level was elevated, was it not?

9 A. I wrote down 169. Did I write down the wrong  
10 number.

11 Q. Well, you're correct.

12 A. I'm impressed by that. That's pretty low.

13 2. In the cardiac enzyme testing the LD level was  
14 reported high at 1253?

15 2. Uh-huh.

16 Q. What does that refer to?

17 A. Well, I don't find the LDH a very helpful  
18 enzyme. It's elevated in a great many things.  
19 For instance, anything involving the liver would  
20 cause it to be elevated. It can be elevated in  
21 myocardial infarction. It comes up later than  
22 the CK-MB and goes down later, but my experience  
23 with LDH enzymes is that quite often it's the  
24 only abnormality and I just can't explain why  
25 it's abnormal. It was the only abnormality

1        here, so I would tend to put that one aside as  
2        being one of those unexplained elevations that I  
3        can't use as a help in evaluating the patient.

4    Q.    Doctor, if a patient has had 12 hours of chest  
5        pain which is unstable angina, am I correct that  
6        he wouldn't have elevated enzymes unless he in  
7        fact suffered a myocardial infarct?

8    A.    Correct.

9    Q.    So 12 hours of chest pain does not necessarily  
10        mean a patient has suffered a myocardial  
11        infarct?

12   A.    Correct.

13   Q.    It could be coming, it just hasn't arrived yet?

14   A.    Right.

15   Q.    So you wouldn't necessarily see an elevated  
16        cardiac enzyme after 12 hours of chest pain?

17   A.    Correct.

18   Q.    Let me just have a quick look. I'm just about  
19        finished. I am finished. Thank you.

20   A.    Okay.

21                    MR. MOSCARINO:    I don't have any  
22                    questions at this time. Thanks.

23                    MR. SPISAK:    If it's ordered, let  
24                    me have a copy and I'll have the doctor  
25                    take a look at it and then he will waive

signature subject to corrections.

THE WITNESS: Okay.

RICHARD W. WATTS, M.D.

C E R T I F I C A T E

The State of Ohio, ) SS:  
County of Cuyahoga.)

I, Dawn M. Fade, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named RICHARD W. WATTS, M.D., was by me, before the giving of his deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this \_\_\_\_ day of \_\_\_\_\_, A.D. 19 \_\_\_\_

\_\_\_\_\_  
Dawn M. Fade, Notary Public, State of Ohio  
1750 Midland Building, Cleveland, Ohio 44115  
My commission expires October 27, 2002