

1 IN THE COURT OF COMMON PLEAS

2 TRUMBULL COUNTY, OHIO

3
4 THOMAS W. MONROE, Administrator :
5 Of the Estate of Deborah Monroe, :
6 Deceased,

7 Plaintiff,

8 CASE NO. 00-CV-2380

9 JOHN MAXFIELD, M.D., et al.,

10 Defendants.

11 - - -

12 Video Deposition of SUSAN
13 WEINBERG, M.D., taken by the defendants as
14 upon cross-examination, pursuant to the
15 Ohio Rules of Civil Procedure and pursuant
16 to agreement by counsel as to the time and
17 place and stipulations hereinafter set
18 forth, at the offices of Litigation
19 Support Services, 817 Main Street, Suite
20 400, Cincinnati, Ohio, at 2:15 p.m. on
21 Saturday the 8th day of February, 2003,
22 before Margaret M. Lynch, Registered
23 Professional Reporter, a Notary Public
24 within and for the State of Ohio.

25 LITIGATION SUPPORT SERVICES

CINCINNATI (513) 241-5605 * DAYTON (937) 224-1990

COPY

1 APPEARANCES :

2
3 On Behalf of the plaintiff,
4 DONNA TAYLOR-KOLIS
5 Friedman, Domiano & Smith
6 6th Floor Standard Building
7 1370 Ontario Suite 600
8 Cleveland, Ohio 44113
9

10
11 On behalf of the defendant,
12 MICHAEL OCKERMAN
13 Hanna, Campbell & Powell
14 3737 Embassy Parkway
15 Akron, Ohio 44334
16
17
18
19
20
21
22
23
24
25

S T I P U L A T I O N S

IT IS stipulated by counsel for the respective parties that the deposition of SUSAN WEINBERG, M.D., may be taken at this time by the defendant as upon cross-examination and pursuant to the Federal Rules of Civil Procedure, all other legal formalities being waived by agreement; that the deposition may be taken in stenotype by the Notary Public-Court Reporter and transcribed by her out of the presence of said parties; that the transcribed deposition was submitted to the deponent for examination and signature and that signature may be affixed out of the presence of the Notary Public-Court Reporter.

- - -

1

I N D E X

2

BY MR. OCKERMAN

PAGE

3

Cross

5 - 37

4

5

Recross

44 - 46

6

7

8

BY MS. TAYLOR-KOLIS

PAGE

9

Cross

37 - 44

10

11

Recross

46 - 47

12

13

Defendant's Exhibits A-0 were marked

14

previously to the deposition by Mr.

15

Ockerman.

16

17

(Exhibits marked to the deposition were

18

retained by Mr. Ockerman, Counsel for

19

Defendant.)

20

21

22

23

24

25

LITIGATION SUPPORT SERVICES

CINCINNATI (513) 241-5605 * DAYTON (937) 224-1990

1 (Video deposition began at 2:27 p.m.
2 On Saturday, February 8, 2003.)
3

4 SUSAN WEINBERG, M. D.,
5 being duly sworn, was examined and
6 testified as follows:

7 EXAMINATION

8 BY MR. OCKERMAN:

9 Q. Good afternoon. Would you please
10 introduce yourself to the jury?

11 A. I'm Dr. Susan Weinberg.

12 Q. Dr. Weinberg, what is your
13 occupation?

14 A. I'm a diagnostic radiologist.

15 Q. How long have you been a diagnostic
16 radiologist?

17 A. For twenty-two years.

18 Q. Doctor, could you briefly explain
19 to the jury what a general diagnostic
20 radiologist does?

21 A. A general diagnostic radiologist is
22 responsible for interpreting the following
23 studies: We do barium studies; plane
24 x-rays. We interpret and monitor
25 ultrasounds, CAT scans, magnetic resonance

1 imaging scans, and perform many routine
2 interventional studies.

3 Q. Doctor, could you tell the jury the
4 type of practice that you have?

5 A. We have a general diagnostic
6 practice, probably the busiest in the
7 Tri-State Cincinnati area. We do 200,000
8 exams. We limit ourselves primarily to
9 adult radiology, and we offer all imaging
10 modalities.

11 Q. And where is it that you practice
12 your field of radiology?

13 A. My primary practice is at Bethesda
14 North Hospital. We also run an imaging
15 center immediately adjacent to the
16 hospital called Medi Center North
17 Diagnostics. That imaging center has three
18 MRI scanners, and also provides ultrasound
19 and mammography. All other modalities are
20 performed at the hospital.

21 Q. Doctor, in your typical day, what
22 type of different exams would you be
23 presented with to interpret?

24 A. I interpret all general diagnostic
25 exams. In the course of a day, I probably

LITIGATION SUPPORT SERVICES

CINCINNATI (513) 241-5605 * DAYTON (937) 224-1990

1 interpret general diagnostic radiologic
2 exams about seventy, seventy-five percent
3 of the time. And by general diagnostic
4 exams I mean general plane films, barium
5 studies, ultrasound, CAT scans, and
6 MRI's. I probably spend another
7 twenty-five percent of the time limited to
8 specialized MRI and mammographic
9 examinations.

10 Q. And, Doctor, when I was asking you
11 questions you were referring to "we," who
12 is "we"?

13 A. My group, Northeast Radiology.

14 Q. And how many radiologists are in
15 your group?

16 A. We have eleven.

17 Q. Are you a full-time radiologist?

18 A. I am.

19 Q. Are you licensed to practice
20 radiology in any states within the United
21 States of America?

22 A. Yes.

23 Q. What states?

24 A. In the state of Ohio as well as
25 California.

1 Q. Doctor, what percentage of time do
2 you spend in the active clinical practice
3 of radiology?

4 A. One hundred percent.

5 Q. Doctor, at some point in time I
6 asked you to review radiology films on a
7 Deborah Monroe; do you recall when that
8 was?

9 A. That was in November of 2001.

10 Q. And, Doctor, can you briefly tell
11 the jury how it is 'that I presented these
12 x-rays to you?

13 A. You called me on the phone. I
14 believe it was several weeks before we
15 came up with that date that would work for
16 both of us. And you came to the office.
17 And, basically, you told me you wanted me
18 to look at a number of different cases.
19 You came to the office. You brought lots
20 of chest x-rays for me to look at,
21 probably five or six different chest
22 x-rays on different patients, and you
23 asked me to interpret each without any
24 clinical history. Hers was included
25 within that five or six cases. I blindly

1 interpreted them all without the clinical
2 history, and I also believe on that date
3 you showed me two or three other cases.

4 Q. And, Doctor, when I asked you to
5 interpret the cases, did you know which
6 case I was coming for you to look at?

7 A. I did not.

8 Q. Did I then ask you then to take
9 another look at -- a closer look at any
10 specific case?

11 A. You **did** not.

12 Q. Did I ask you to look at -- then go
i 3 back and look at Deborah Monroe's case,
14 the chest x-rays specifically?

15 A. You did not ask me to look at it
16 again. You asked me to create a report on
17 it.

18 Q. Okay. Did I also then give you the
19 reports that were generated by the
20 radiologist, Dr. Crawford, in this matter?

21 A. You did.

22 Q. You issued a report in this case?

23 A. To you, I did.

24 Q. And do you know approximately when

1 met in November of 2001?

2 A. I would say, I do not have a date
3 on that particular letter, which is
4 unfortunate, but I'm certain that I
5 dictated it when I still had a
6 recollection of what I said, so I would
7 say it was probably within thirty days
8 after we -- our meeting.

9 Q. And, Doctor, do you feel qualified
10 to give opinions in regard to the
11 radiology films presented in this case?

12 A. I do.

13 Q. And why is that? Why **do** you feel
14 qualified?

15 A. I feel as though I'm an expert in
16 my field. I practice diagnostic
17 radiology, and I have done it for a very
18 long time, and I feel I'm very competent
19 in that regard.

20 Q. And, Doctor, did you after
21 reviewing the chest x-rays and the report
22 generated by the radiologist in this
23 matter, did you arrive at an opinion based
24 upon reasonable medical probability based
25 upon your training and experience whether

1 the radiologist who interpreted these
2 films, interpreted the chest x-ray films
3 of Deborah Monroe on July 16th, 1999 met
4 the appropriate standard of care, did you
5 arrive at an opinion?

6 A. I did arrive at that opinion.

7 Q. What is that opinion?

8 A. That he fell below the standard.

9 Q. And why are you staying that?

10 A. Because I believe that there were
11 additional findings on the film that were
12 not mentioned in the report.

13 Q. Doctor, I would like to take a few
14 minutes and look at the chest x-rays
15 specifically in this case.

16 Doctor, I'm going to hand you what
17 we have previously marked as Defendant's
18 Exhibit A and B. Can you put those up on
19 the view box behind you?

20 A. (Witness complied.) I have them
21 up.

22 Q. And, Doctor, could you explain to
23 the jury what your findings are on that
24 x-ray, those x-rays?

25 A. Negative as well as positive?

1 Q. Yes.

2 A. I think that most radiologists,
3 myself included, have a specific search
4 pattern when we look at x-rays. I,
5 personally, have the following search
6 pattern: I begin by looking at the heart
7 and determining whether or not the cardiac
8 pericardiac silhouette is normal and in
9 this case it is.

10 Q. Let me stop you there. When you're
11 saying cardiac pericardiac silhouette, in
12 layman's terms what are you talking about?

13 A. I'm talking about the shadow on the
14 x-ray that represents the heart as well as
15 the pericardium. Unfortunately on the
16 x-ray, you can have changes in the heart
17 and/or the pericardium and you just have
18 an enlargement of the cardiac pericardiac
19 silhouette. You don't -- you're unable to
20 specifically say whether or not the
21 enlargement is coming from the heart or
22 process within the heart per say or within
23 the lining of the heart. So, we tend to
24 generalize when we say cardiac pericardiac
25 silhouette because we can't tell exactly

LITIGATION SUPPORT SERVICES

CINCINNATI (513) 241-5605 * DAYTON (937) 224-1990

1 where our process might be coming from,
2 which of those two structures, that would
3 result in an enlargement of that shadow.

4 Q. And when we talk about the
5 pericardium, what are we speaking about?

6 A. The pericardium is the lining, the
7 outside surface lining of the heart.

8 Q. All right. That was your first
9 step. What is your next step as a
10 radiologist in interpreting chest x-rays?

11 A. Since the heart is in the middle
12 part of the chest, I continue evaluating
13 the mid structures of the chest and those
14 are the mediastinal structures within the
15 chest. Now, when I look at the
16 mediastinum I try to be specific as to
17 where the abnormalities are within the
18 mediastinum. If in fact there are changes
19 in the upper mediastinum and widening of
20 the upper mediastinum, I try to say
21 whether or not that area is widened or
22 abnormal. In this case, the upper
23 mediastinum is normal.

24 Then I direct my attention to the
25 mid to low mediastinum. In this

1 particular case, the structures that
2 course in that area include the aorta. **So**
3 I'm looking at the aortic shadow, and this
4 particular patient the ascending aorta or
5 the upper part of -- or the ascending
6 aorta or the first part of aortic shadow I
7 don't see any definite abnormalities.
8 That looks normal to me.

9 Q. And would that part **of** the aorta be
10 called?

11 A. The ascending aorta. That looks
12 normal to me in this particular patient.

13 But once I direct my attention to
14 the first part of the descending aorta,
15 that shadow is too prominent to me for a
16 patient who is thirty years of age.

17 Q. And how do you know how old -- by
18 looking at this x-ray, how do you know how
19 old the patient is?

20 A. Well, it's standard practice to put
21 the patient's birth date on the films and
22 I always look at that. Similarly, we
23 always have a date of birth for patients
24 on her requisitions and I always look at
25 that because it's part of the

1 radiologist's responsibility to have a
2 fund of knowledge for normal versus
3 abnormal and different age ranges.

4 Q. Go ahead. You were completing your
5 review.

6 A. In this particular patient, when I
7 looked at the films.that I was given to
8 look at, I always **look** at the birth date
9 so I knew the patient was thirty. And so,
10 in my mind I had to refer to my internal
11 frame of reference; what's normal or
12 abnormal in a thirty year old?

13 Q. And I believe Deborah Monroe is
14 thirty-two at this time?

15 A. Thirty-two. In this particular
16 case, the first part of the descending
17 thoracic aorta is too prominent for a
18 thirty-two year old patient. And when you
19 showed me the cases initially I think I
20 pointed out to you that this proximal or
21 first part of the descending thoracic
22 aorta was too prominent for a patient of
23 that age range. And you can see that as
24 you progress from the proximal descending
25 thoracic aorta to the distal or the lower

LITIGATION SUPPORT SERVICES

CINCINNATI (513) 241-5605 * DAYTON (937) 224-1990

1 part of the descending thoracic aorta that
2 there is a change in the contour; that the
3 distal descending thoracic aorta is much
4 more tightly pulled into the mediastinum
5 as opposed to the upper aspect of the
6 descending thoracic aorta.

7 Q. Go ahead with your -- how you would
8 continue on in your overall review of the
9 chest x-rays.

10 A. Next I turn my attention to the
11 lungs. Actually, before I do that, I look
12 at the rest of the mediastinal structures
13 and I certainly take a good long look at
14 the hilar region and the lower
15 mediastinum. In this case, those
16 structures are all normal. What's
17 particularly nice about having a frontal
18 as well as a lateral view of the chest as
19 we have the opportunity to assess the
20 structures in two different planes.

21 Then I turn my attention to the
22 lungs. The lungs in this particular case
23 are normal on both the frontal as well as
24 the lateral projections of the chest.

1 chest you're going to look at the
2 hemidiaphragms to see if those are in fact
3 normal in position on the frontal as well
4 as the lateral view of the chest and in
5 this case they are. We need to direct our
6 attention then as I do in my search
7 pattern to the pleural surfaces that
8 surround the lungs 'to make sure that there
9 are no abnormalities, masses, areas of
10 abnormal thickening or fluid collections
11 in those particular structures. We look
12 at the frontal as well as the lateral view
13 of the chest. And in this particular
14 case, all of those structures are normal
15 as well. We then, or I do at least, in
16 this -- in my search pattern, direct my
17 attention to the bony structures. I look
18 at the ribs. I look at the clavicle,
19 sternum, the scapula as well as the
20 vertebral bodies and I take advantage of
21 the two views that we have available to us
22 and assess the bony structures.

23 Finally, I look at the soft tissues
24 surrounding the chest and determine

1 in those areas. That's my particular
2 search pattern, my way of approaching
3 chest x-rays.

4 Q. And based upon your review of this
5 chest x-ray, what was your understanding
6 as to the clinical indications of it being
7 taken?

8 A. I didn't -- when I was first
9 presented with this chest film, I wasn't
10 given any history.

11 Q. Okay. You subsequently learned of
12 history?

13 A. Yes.

14 Q. And I'm handing you what's been
15 marked as Defendant's Exhibit D. What is
16 the clinical information given to the
17 radiologist at that time?

18 A. Chest pain.

19 Q. And, Doctor, based upon your
20 interpretation or your review of this
21 chest x-ray, tell the jury what your
22 interpretation of the chest x-ray would be
23 and how you would relate that back to the
24 radiologist -- or, I'm sorry, back to the
25 emergency room physician?

1 A. My interpretation of this
2 particular chest film is that the proximal
3 and mid descending thoracic aorta are too
4 prominent for a thirty-two year old
5 patient. Now, that's a nonspecific
6 finding. But I would say, I would
7 certainly record that in my interpretation
8 be it wet or permanent, and I would also
9 give a differential diagnosis for the
10 changes. Most commonly you're going to
11 see a prominent aorta in this age range
12 from a process of hypertension, but
13 certainly other differential
14 considerations would include an aneurism
15 and/or a dissection in the appropriate
16 clinical context.

17 Q. And, Doctor, just briefly read as
18 you would to the jury what your
19 interpretation would say?

20 A. My interpretation would say: Mild
21 to moderate prominence of the proximal and
22 mid descending thoracic aorta.
23 Differential considerations include:
24 Underlying hypertension. Other

25 differential considerations should be

LITIGATION SUPPORT SERVICES

1 excluded depending on clinical
2 circumstances, aneurism, or dissection.

3 Q. Would you comment upon any other --
4 anything else in your report to the ER
5 physician?

6 A. Well, I always comment in a hard
7 copy on all the other structures in the
8 chest whether or not they are normal or
9 abnormal, but there is nothing else
10 abnormal in this chest film.

11 Q. And what do you see on the lateral
12 film?

13 A. The lateral film is normal.

14 Q. Okay. Can you on the lateral film
15 show the jury where the aorta would be?

16 A. The aorta is not well seen on the
17 lateral film of this particular patient,
18 but I can show you the basic region. This
19 is the area of the ascending aorta right
20 here (indicating.) This would be the area
21 of the arch or transverse portion or
22 horizontal portion of the aorta. This is
23 probably where some of the major vessels
24 come off, although they're not well
25 defined on this particular study, and this

1 is the proximal descending going to the
2 mid descending thoracic aorta.

3 Q. Now, Doctor, I handed you
4 Defendant's Exhibit D. Can you identify
5 for the jury what Defendant's Exhibit D
6 is?

7 A. It is a reading on a PA and lateral
8 chest performed on 7-16-99.

9 Q. And that is the interpretation
10 which you disagree with; is that correct?

11 A. It is.

12 Q. Okay. Doctor, the next exhibit
13 underneath that is what?

14 A. This is a wet reading on a chest
15 film performed at 4:20 p.m., 7-16-99, on
16 Deborah Monroe.

17 Q. And do "C" and "D", do they go
18 together or can you explain why we have
19 two separate interpretations of the same
20 film?

21 A. I can't give you an exact time that
22 "D" was interpreted. But "C" is a wet
23 reading for a chest x-ray performed at
24 4:30 p.m.. It's a preliminary
25 interpretation.

1 Q. And what is the purpose of a wet
2 reading?

3 A. To give direction to the emergency
4 room physician or to give them a
5 preliminary reading so that they can make
6 -- interrelate the interpretation into
7 their care *of* the patient.

8 Q. And do you disagree or agree with
9 the interpretation on the wet reading
10 marked as Defendant's Exhibit "C"?

11 A. I disagree.

12 Q. Now, Doctor, based upon your
13 experience, based upon your training as a
14 radiologist, can you tell the jury the
15 percentage of time that a chest -- that
16 you would expect a chest x-ray in a
17 patient to be abnormal if they have a
18 dissection?

19 A. I would say probably a good
20 percentage is seventy, seventy-five
21 percent *of* the time.

22 Q. Other x-rays were taken at that
23 time, Doctor, and those are marked as
24 Defendant's Exhibits --

25 A. May I take these down?

1 Q. Yes.

2 Just for a completeness sake,
3 Doctor, other x-rays are marked and if you
4 go to the ones clear to your right?

5 A. These are marked "E" and "F."

6 Q. Okay. And then what are those
7 x-rays marked "E" and "F"?

8 A. This is a KUB or a plane film of
9 the abdomen performed 7-16-99.

10 Q. Doctor, I also in the middle, right
11 in front of you have x-rays marked "G"
12 through "L." Can you tell the jury what
13 those are?

14 A. Can I take these down?

15 Q. Yes, ma'am.

16 A. This is a CAT scan performed
17 7-16-99. I'm not going to put these up
18 because they're not in the appropriate
19 order. If you would like me to, I would
20 be happy to.

21 Q. And, Doctor, what were the CAT scan
22 -- what was the CAT scan taken of?

23 A. It's a CT scan of the abdomen,
24 pelvis without IV or oral contrast
25 material.

1 Q. And, Doctor, with the KUB and the
2 CAT scan together, what organs or
3 structures can be seen by the radiologist?

4 A. We see the liver, spleen, pancreas,
5 adrenal glands, kidneys, the areas of the
6 ureters, bladder, vertebral bodies,
7 musculature about those vertebral bodies,
8 and the aorta.

9 Q. And in the KUB and the CAT scan,
10 did you find any abnormalities that could
11 be reported in that area?

12 A. I did not.

13 Q. Doctor, handing you what's been
14 marked as Defendant's Exhibit M and N, can
15 you tell the jury what that is?

16 A. "M" is a wet reading on a CT
17 helical kidney performed 7-16-99. And "N"
18 is a formal hard co'py interpretation of
19 that same CT of the abdomen and pelvis
20 without contrast performed 7-16-99.

21 Q. And, Doctor, do you agree or
22 disagree with those interpretations by the
23 radiologist in this case?

24 A. I agree. I'm sorry. They also --
25 the 7-16-99 wet reading is for the CT

LITIGATION SUPPORT SERVICES

CINCINNATI (513) 241-5605 * DAYTON (937) 224-1990

1 helical kidney and the KUB.

2 Q. Now, Doctor, can you explain to the
3 jury how it is that a patient goes through
4 the process of having a chest x-ray done
5 if it comes from the emergency -- if the
6 chest -- if the patient is from the
7 emergency room, in your experience?

8 A. I think I need you to be more
9 specific. Do you want me to give you the
10 process of what happens once the patient
11 comes into the registration process and an
12 order is placed for a chest x-ray? How we
13 handle it from that point?

14 Q. Yes.

15 A. What happens is is that the patient
16 comes into the emergency room, an order
17 for a specific exam is determined by the
18 nurse and/or the physician, placed in our
19 system, and specifically a requisition is
20 generated with the history and the
21 specific exam that is requested. The
22 examination is then performed. We have a
23 special room where we do all of our
24 emergency room patients. The examination
25 is performed in that room, and brought

1 immediately to the radiologist for a wet
2 interpretation. We have a special film --
3 a special sheet of paper to write that
4 interpretation down on. Coming along with
5 the film, the form 'for the wet reading is
6 also the requisition with the history and
7 the examination that was ordered.

8 Q. And, Doctor, would you expect the
9 -- would you expect the emergency room
10 physician to be able to rely upon your
11 interpretations?

12 A. I do.

13 Q. And, Doctor, in this case you
14 indicate that there is a prominency of the
15 mid and distal thoracic aorta?

16 A. Actually I said proximal.

17 Q. I'm sorry.

18 A. And mid descending thoracic aorta.

19 Q. You found an abnormality in the mid
20 and --

21 A. Proximal.

22 Q. Proximal.

23 A. The upper and the mid descending
24 thoracic aorta, that's correct.

25 Q. Would you expect an emergency room

1 physician to be able to appreciate that
2 finding?

3 A. I do not. I would not.

4 Q. Why?

5 A. Because emergency room physicians
6 do not read lots and lots of plane films
7 in normal patients and specific age
8 ranges, so I do not believe that they have
9 the fund of knowledge to be able to
10 comment on relatively subtle abnormalities
11 such as the range of normal for the
12 dimensions of descending thoracic aorta in
13 different age decades.

14 Q. In your practice, how many times in
15 a day may you read or interpret a chest
16 x-ray?

17 A. We do 200,000 examinations a year.
18 General radiography is the line share of
19 our work. Probably seventy percent of our
20 cases are plane x-rays, and chest x-rays
21 are the most common plane x-rays performed
22 in our practice.

23 Q. Do you have any numbers that you
24 could give to the jury in a typical day
25 how many chest x-rays you may read?

1 A. I don't have those numbers at hand,
2 but I could generate those for you if you
3 would like them.

4 Q. Any estimation?

5 A. I don't want to give you incorrect
6 information. I can just tell you that it
7 makes up the majority of plane film
8 radiography, and most of our practice,
9 about seventy percent of our practice is
10 general radiography.

11 Q. Doctor, you indicated that you
12 would have as a board certified -- or as a
13 radiologist you would have included a
14 differential diagnosis?

15 A. I would.

16 Q. Do you believe that that is the
17 standard of care for a radiologist if he
18 sees an abnormality on a chest x-ray?

19 A. I believe it is when there is a
20 differential diagnosis available.

21 Q. Doctor, in this case -- and if we
22 could put the chest x-rays back up?

23 A. (Witness complied.)

24 Q. In this case, let me hand you
25 what's been marked as Defendant's Exhibit

LITIGATION SUPPORT SERVICES

CINCINNATI (513) 241-5605 * DAYTON (937) 224-1990

1 0 or O. I'm sorry. Defendant's Exhibit
2 O, can you tell the jury what that is?

3 A. This is a report of autopsy. I'm
4 looking for the date, July 18th, 1999.

5 Q. And, Doctor, can you **go** to the
6 section that would review Ms. Monroe's
7 cardiovascular system? I believe it's on
8 page four.

9 A. I have it.

10 Q. And, Doctor, can you go down to
11 where they're looking at the aorta and
12 read that to the jury, please?

13 A. It's the second paragraph on the
14 page and it reads as follows: "The aorta
15 and its main branches. An irregular
16 shaped rupture is present three
17 centimeters below the arch of the thoracic
18 aorta. The rupture dissects the aorta for
19 a distance of seven centimeters distally.
20 The intima **of** the aorta reveals mild
21 arteriosclerosis. The rupture was the
22 source of the right hemothorax."

23 Q. And, Doctor, looking at the chest
24 x-rays, can you generally give the jury
25 some idea where three centimeters below

1 the arch of the thoracic aorta would be?

2 A. Referring back to the frontal view
3 of the chest, the arch of the aorta is the
4 horizontal or transverse portion of the
5 aorta. My red marker is at the upper
6 aspect of the arch of the aorta. Three
7 centimeters distal or inferior to the arch
8 of the aorta is as follows: This part of
9 my finger is about an inch. An inch is
10 2.54 centimeters. If you put my finger at
11 the top of the arch of the aorta, that
12 rupture would have begun right about this
13 level here (indicating), and continued
14 seven centimeters inferiorly according to
15 the pathology report. And I will progress
16 seven centimeters inferior to this point
17 which would be about -- that's about two
18 and a half, five, right about to here
19 (indicating.)

20 Q. Okay, Doctor, thank you.

21 Doctor, can you tell us a little bit
22 about yourself? Where were you born and
23 raised?

24 A. I was born in Cleveland, Ohio. I
25 was raised in Cleveland, Ohio.

1 Q. Where did you attend college at?

2 A. I went to Ohio University for my
3 undergraduate. Case Western Reserve for
4 medical school.

5 Q. And what year did you graduate from
6 medical school?

7 A. 1976.

8 Q. Did you then go on for specialized
9 training?

10 A. I did. I did an internship in
11 medicine, internal medicine at Hennepin
12 County Medical Center in Minneapolis. And
13 I then went on to do a diagnostic
14 radiology residency. at the University of
15 Cincinnati. At that time, the hospital
16 was called Cincinnati General Hospital. I
17 did serve as chief resident in that
18 program.

19 Q. What year did you finish your
20 residency?

21 A. 1980.

22 Q. And have you been steadily employed
23 in the field of diagnostic radiology since
24 1980?

25 A. Yes, I have been.

1 Q. Doctor, are you board certified?

2 A. I am.

3 Q. And what is board certification?

4 A. Board certification diagnostic
5 radiology requires that you take initially
6 a written board examination with emphasis
7 on physics and a lot of general diagnostic
8 questions. That's taken -- or at that
9 particular point in time when I took my
10 test was taken after the first two years
11 of training. And then after your third
12 year of training we had to undergo oral
13 board examination which was an entire day
14 of testing in eight different disciplines
15 with oral examination.

16 Q. And did you pass that on your first
17 attempt?

18 A. I did.

19 Q. Do all radiologist become board
20 certified?

21 A. No.

22 Q. Doctor, do you have any teaching
23 positions?

24 A. I do.

25 Q. And where are they?

1 A. I teach at the Hospital, because we
2 are a teaching hospital. We teach OBGYN,
3 general surgical, and family practice
4 residents. In addition, I do teaching at
5 the University. I did a lot more before,
6 now I just generally do review sessions
7 prior to boards at the University of
8 Cincinnati.

9 Q. When you say that you teach OBGYN,
10 family practice and internal medicine
11 residents, when does that occur?

12 A. That occurs in the course of our
13 day. We generally have -- we always have
14 a family practice resident assigned to our
15 department. And we have general surgery,
16 OBGYN residents that come down and review
17 films with us all the time.

18 Q. Do you hold any position in regard
19 to the University of Cincinnati Medical
20 School?

21 A. I'm a clinical assistant professor
22 at the University. I used to teach at the
23 University. I used to be on staff.

24 Q. And, Doctor, do you hold any
25 leadership roles at your hospital that you

1 work at?

2 A. I do.

3 Q. And what are they?

4 A. I am on the executive committee,
5 and I'm also on the board of our
6 foundation.

7 Q. Have you in the past had any
8 leadership roles in the Cincinnati area
9 related to medicine'?

10 A. I have in the past been president
11 of the Greater Cincinnati Radiologic
12 Society. And I have in the past been
13 president of the Academy of Medicine.

14 Q. Doctor, have you done prior reviews
15 for myself?

16 A. I have.

17 Q. And, Doctor, in those prior reviews
18 have you found that radiologists have
19 fallen below the standard of care?

20 A. Yes, there have been some cases.

21 Q. And have I asked you to review
22 cases in which you felt the radiologist
23 met the standard of care?

24 A. Yes, there has been.

1 for your time here today?

2 A. I am.

3 Q. And can you -- We're approximately
4 two and a half weeks before trial, can you
5 tell us why it is that you cannot appear
6 live?

7 A. I cannot appear live because I **do**
8 not have the flexibility to appear live
9 for depositions. I am part of an
10 extremely busy practice. Unfortunately,
11 court cases don't always go as
12 anticipated, and we certainly understand
13 that and appreciate that, but my practice
14 doesn't understand or appreciate that.
15 So, I don't have the flexibility to
16 accommodate the schedule of a case that's
17 not progressing **as** 'initially intended.
18 **So**, if I ever do look at films I always
19 make it perfectly clear that I am not
20 necessarily willing to participate in any
21 depositions or trials because my practice
22 does not really lend itself **to** that.

23 Q. And, Doctor, are you qualified to
24 give opinions in regard to emergency room
25 medicine?

1 A. I am not.

2 Q. And why do you say that?

3 A. I'm not an emergency department
4 physician.

5 Q. Are you qualified to give opinions
6 in regard to had a diagnosis been made on
7 Ms. Monroe what the treatment modalities
8 would have occurred?

9 A. Absolutely not.

10 Q. And are you qualified to give
11 opinions in regard to had Ms. Monroe had
12 treatment whether that treatment would
13 have been successful or what her prognosis
14 would be?

15 A. It would not be possible for me to
16 render an opinion about that. I'm not
17 trained in those areas.

18 Q. And how long have you restricted
19 your practice to the field of radiology?

20 A. Twenty-two years.

21 Q. Okay.

22 MR. OCKERMAN: Doctor, that's
23 all the questions I have. Thank you.

24 MS. TAYLOR-ROLIS: Can we take a
25 two minute break and I'll be right back?

1 MR. OCKERMAN: Yes.

2 (Off-the-record.)

3 (Back on the video record at
4 3:06 p.m., February 8, 2003.)

5 EXAMINATION

6 BY MS. TAYLOR-KOLIS:

7 Q. Good afternoon, Doctor.

8 A. Good afternoon.

9 Q. Once again for identification
10 purposes since the jury can't see me, my
11 name is Donna Kolis and I represent the
12 estate of Deborah Monroe. I have a couple
13 of questions to ask you on
14 cross-examination, and I anticipate being
15 brief.

16 Doctor, as I was listening to you I
17 was recording the information hopefully
18 accurately as to what your testimony was.
19 We are both in agreement that the findings
20 that you find are nonspecific findings; is
21 that correct?

22 A. That is correct.

23 Q. Okay. Now, when somebody says it's
24 a nonspecific finding sometimes with
25 radiologist I hear the term subtle. Would

1 you say that it is a subtle nonspecific
2 finding?

3 A. I think that these findings are not
4 that subtle.

5 Q. You did describe however today in
6 your direct testimony that these changes
7 that you find were mild to moderate; is
8 that correct?

9 A. But I said was mild to moderate
10 prominence of the aorta. There's
11 difference between prominence and degree
12 of prominence and whether or not a finding
13 is subtle.

14 Q. Okay. And one of the things that
15 could have accounted for that appearance
16 as you interpreted is hypertension; is
17 that correct?

18 A. That is correct.

19 Q. Doctor, could you advise the jury
20 what radiologist read all of the films
21 that you discussed today?

22 A. Let me just check very quickly.

23 Q. Sure.

24 A. They were interpreted by a Dr.

25 William Crawford.

1 Q. So, that --

2 A. I don't have the reading, the
3 formal reading on the KUB. That's the CT
4 and the chest x-ray.

5 Q. You have the wet reading for the CT
6 and the KUB indicating they were negative,
7 correct?

8 A. Correct.

9 Q. All right. And you would agree
10 with Dr. Crawford's interpretation of
11 those diagnostic tests, correct?

12 A. I do. I do.

13 Q. Doctor, have you reported Dr.
14 Crawford to the Ohio State Medical Board
15 for this alleged deviation?

16 MR. OCKERMAN: Objection.

17 A. I will -- Why would I report him
18 for an alleged deviation?

19 Q. I ask the questions and you get to
20 answer them. And my question is: Have
21 you reported this alleged deviation to any
22 authorities?

23 A. No, because it would not be
24 appropriate.

1 advise him that you feel that he
2 misinterpreted a film on 7-16-99?

3 A. It would not be appropriate for me
4 to do that.

5 Q. Have you let anyone, other than
6 myself and Mr. Ockerman, know that you
7 believe that there's a radiologist who
8 misread a film?

9 A. It would not be appropriate for me
10 to do that.

11 Q. Doctor, as you indicated in your
12 direct examination you've reviewed limited
13 material, correct?

14 A. Correct.

15 Q. You do not know the clinical
16 circumstances surrounding **Ms.** Monroe's
17 hospitalization; is that correct?

18 A. That is correct.

19 Q. Do you know anything other than the
20 fact that she had chest pain?

21 A. **To** be honest, I want to be very
22 honest with you, the only information that
23 I have on this patient and the only
24 materials that I reviewed are the
25 interpretations of the x-rays and the

1 autopsy report.

2 Q. Okay. Doctor, you are not a
3 cardiothoracic and vascular surgeon, are
4 you?

5 A. I am not.

6 Q. As such, you do not and would not
7 know at this point in time what the
8 clinical diagnosis process is regarding a
9 dissection; is that a fair statement?

10 A. That is fair.

11 Q. Doctor, would you be aware of the
12 fact that cardiothoracic and vascular
13 surgeons frequently, if not always, look
14 at their own plane films?

15 A. Am I aware of the fact that they do
16 or they do not?

17 Q. Yes.

18 A. I can't speak to that.

19 Q. Okay. In this instance, Doctor,
20 you have no information which you could
21 share with the jury that would indicate
22 how the outcome of this case would have
23 been different had the interpretation that
24 you claim been given to Dr. Maxfield; is
25 that a fair statement?

1 A. I do not have that information.
2 She --

3 Q. You have never read Doctor -- I'm
4 sorry for interrupting you.

5 You have not read Dr. Maxfield's --

6 THE WITNESS: She's fading in
7 and out for me.

8 MR. OCKERMAN: Let's go off the
9 record for a minute.

10 (Off-the-record.)

11 (Back on the video record at
12 3:12 p.m..)

13 Q. (BY MS. TAYLOR-KOLIS) Dr.
14 Weinberg, are you aware that another
15 emergency room physician named Dr. Shaw
16 transferred Deborah to St. Joseph's with
17 instruction that he believed that she
18 needed a CT of her aorta?

19 A. I don't have the details of that.
20 I have not reviewed that particular
21 record.

22 Q. So, you were unaware of that, of
23 course.

24 Let me ask this question: Based
25 upon the autopsy which you've seen, do you

1 believe to a reasonable degree of medical
2 probability that had a CT scan of the
3 aorta been performed on July 16th, that it
4 would have revealed a dissection?

5 A. I think that's a true statement. I
6 believe that's true..

7 Q. Thank you, Doctor. Doctor, I
8 really, truly only have a couple of more
9 questions. Just let me go through this
10 material.

11 Relative to your participation in
12 this case today, is it a fair statement
13 that you initially asked me to write a
14 check payable to your daughter, Deborah?

15 A. I did.

16 Q. And is it a true statement that
17 your daughter, Deborah, performed no
18 services in interpreting the radiology
19 films in this case?

20 A. That is correct.

21 Q. Is it also a fair statement that
22 this is not the first occasion that you
23 have had to work for Mr. Ockerman?

24 A. Worked for him on one other
25 occasion.

1 Q. Okay. And in this particular
2 instance as you related to me a little bit
3 earlier today, at the time that you
4 reviewed Deborah Monroe's case Mr.
5 Ockerman brought several cases to you for
6 review; is that a fair statement?

7 A. That is correct.

8 BY MS. TAYLOR-KOLIS: Doctor, I
9 don't have any further questions for you.

10 THE WITNESS: Thank you.

11 FURTHER EXAMINATION

12 BY MR. OCKERMAN:

13 Q. Just in follow up, Doctor. In
14 regard to the reimbursement going to your
15 daughter, can you explain to the jury why
16 you requested that?

17 A. Any time I have reviewed cases for
18 -- and first I need to preface this. I
19 get a fair number of calls to review
20 cases, and there are very few people that
21 I will review cases for anymore. And I
22 think that Mr. Ockerman will tell you as
23 well, there's one other individual I will
24 review cases for from time to time who
25 happens to be a personal friend as well.

1 I don't charge anybody to review cases.
2 I've never charged anybody to review
3 cases. I believe if somebody wants to
4 bring me a number of cases to look at and
5 for me to render an opinion as to how I
6 would interpret a case, if I have time to
7 do that I don't really have a problem with
8 that. Any time I have given expert
9 witness, and I do it frankly under duress
10 most often, I have up until the last year
11 donated all of those moneys to the
12 Bethesda Foundation. I have no desire to
13 enhance my income with expert witness
14 dollars. I have no need for additional
15 income. I asked Attorney Ockerman to have
16 that check made out for my daughter
17 because I just don't need extra income,
18 and this is not something that I relish
19 doing.

20 Q. And, Doctor, you indicated that you
21 have reviewed other cases for another
22 attorney who is a good friend. I am not a
23 good friend?

24 A. No.

25 Q. We have --

1 A. And the othe'r person is not an
2 attorney.

3 MR. OCKERMAN: And, Doctor, I
4 have no further questions.

5 THE WITNESS: Thank you.

6 FURTHER EXAMINATION

7 BY MS. TAYLOR-KOLIS:

8 Q. Doctor, just to clarify what you
9 said with all due respect.

10 The letter that came gave your
11 daughter's name and your daughter's social
12 security number, which for privacy sake
13 I'm not going to read her social into the
14 record.

15 A. Thank you.

16 Q. Had I written that check and issued
17 a W-2 it would be reported as her income;
18 is that correct?

19 A. You chose not to do that, so I
20 don't believe it's an issue. I mean, all
21 you needed to do was point out that you
22 didn't want to do that. That's fine with
23 me.

24 MS. TAYLOR-KOLIS: Doctor, I
25 have no further questions of you.

1 THE WITNESS: Thank you.

2 MR. OCKERMAN: Thank you.

3 THE VIDEOGRAPHER: Doctor, you
4 have the right to review this video tape
5 to verify its accuracy.

6 THE WITNESS: Oh, I would like
7 to do that.

8 (Off-the-record.)

9 MR. OCKERMAN: We are going back
10 onto the written record. The purpose of
11 going back on the written record is to
12 correct what the Doctor said about
13 reviewing the video transcript. She just
14 wants to review the written transcript.
15 Ms. Taylor had hung up by the time we
16 realized that mistake. Thank you.

17 (Deposition concluded at 3:12
18 p.m.)

19
20
21
22
23
24
25

C E R T I F I C A T E

STATE OF OHIO

: SS

COUNTY OF WARREN

I, Margaret M. Lynch, the undersigned, a duly qualified notary public within and for the State of Ohio, do hereby certify that SUSAN WEINBERG, M.D., was by me first duly sworn to depose the truth and nothing but the truth; foregoing is the deposition given at said time and place by said witness; deposition was taken pursuant to stipulations hereinbefore set forth; deposition was taken by me in stenotype and transcribed by me by means of computer; deposition was submitted to the witness for examination and signature; I am neither a relative of any of the parties or any of their counsel; I am not, nor is the court reporting firm with which I am affiliated, under a contract as defined in Civil Rule 28 (D) and have no financial interest in the result of this action.

In WITNESS WHEREOF, I have
LITIGATION SUPPORT SERVICES

1 hereunto set my hand and official seal of
2 office at Cincinnati, Ohio, this ----- day
3 of -----, 2003.

4 My commission expires, Margaret M. Lynch,
5 October 29, 2007, Notary Public - State of
6 Ohio.

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25