

THE STATE OF OHIO : SS:  
COUNTY OF CUYAHOGA. .

Doc. 451

IN THE COURT OF COMMON PLEAS

TINA HAYBURN, administratrix,  
plaintiffs,

vs.

: ~~Case No: 224348~~

DEACONESS HOSPITAL, et al.,  
defendants.

Deposition of EDWARD L. WESTBROOK, M.D., a  
witness herein, called by the plaintiffs for the  
purpose of cross-examination pursuant to the Ohio  
Rules of Civil Procedure, taken before  
Frank P. Versagi, RPR, CLVS, a Notary Public within  
and for the State of Ohio, at University Hospitals  
of Cleveland, Cleveland, Ohio, on Monday, the 18th  
day of January, 1993, commencing at 2:08 p.m.  
pursuant to notice.

## FLOWERS & VERSAGI

COURT REPORTERS

Computerized Transcription

Computerized Litigation Support

THE 113 SAINT CLAIR BUILDING - SUITE 505  
CLEVELAND, OHIO 44114-1273

(216) 771-8018

1-800-837-DEPO



1     APPEARANCES:

2  
3             ON BEHALF OF THE PLAINTIFFS:

4  
5                     Charles Kampinski, Esq.

6                     Donna Kolis, Esq.

7                     Charles Kampinski, Co., L.P.A.

8                     1530 Standard Building

9                     Cleveland, Ohio 44113

10                    (216) 781-4110

11                    - - - - -

12  
13             ON BEHALF OF THE DEFENDANT GLEB MOYSAENKO, M.D.

14  
15                     Marc William Groedel, Esq.

16                     Reminger & Reminger

17                     The 113 Saint Clair Building

18                     Cleveland, Ohio 44114-1273

19                    (216) 687-1311

20  
21                    - - - - -

I N D E XWITNESS:EDWARD L. WESTBROOK, M.D.PAGE

Cross-examination by Mr. Kampinski

4

- - - - -

NO EXHIBITS MARKED

- - - - -

OBJECTION INDEX

BY MR. GROEDEL: PAGE/LINE PAGE/LINE PAGE/LINE

30/6 31/10 32/13

32/22 54/1 65/22

71/12 94/5 100/16

101/21 108/11 108/16

122/3

-----

1                   EDWARD L. WESTBROOK, M.D.

2       of lawful age, a witness herein, called by the  
3       plaintiffs for the purpose of cross-examination  
4       pursuant to the Ohio Rules of Civil Procedure,  
5       being first duly sworn, as hereinafter certified,  
6       was examined, and testified as follows:

7                   - - - - -

8                   CROSS-EXAMINATION

9       BY MR. KAMPINSKI:

10      Q.       Would you state your name, please?

11      A.       Edward Lloyd Westbrook, M.D.

12      Q.       Doctor, I'm going to ask you a number of  
13       questions. If you don't understand any of them  
14       tell me, I'll be happy to rephrase any questions  
15       you don't understand.

16                   When you respond to my questions,  
17       please do so verbally. He is going to take down  
18       everything we say. He can't take down a nod of the  
19       head.

20      A.       Yes.

21      **a.**       What did you do from 1975 to 1978?

22      A.       I was at the Cleveland Clinic until '77. I  
23       went into practice in '77 at Saint Luke's,  
24       subsequently in '78 at Marymount.

25      Q.       **I've just been handed your CV. On it it's**

1 got clinical visiting neurologist from '73 to '75,  
2 Cleveland Metro?

3 A. That was a part-time appointment, Really  
4 what I was really doing here, let's see, you really  
5 have to look down here.

6 Q. I see,

7 A. Okay. I was on the staff there, full-time  
8 staff from '72 to '77.

9 Q. At the Clinic?

10 A. Yes. Private practice from '77 to 1990 here,  
11 and Marymount from '78 to '90.

12 Q. When you say "here" you mean Saint Luke's?

13 A. Yes, here and Marymount, too, from '78 to  
14 1990. I'm still on the consultant staff there only  
15 because I am a member of the Board of Trustees at  
16 that hospital.

17 Then I came here. This is -- is  
18 incorrect. This is 1990. Well now, it's not  
19 incorrect. I'm sorry. That was my -- no, I  
20 think -- well, it was changed from -- it was  
21 neurology and medicine from about 1978 to '80, then  
22 I believe it got dropped. This may be an error on  
23 here, but at any rate.

24 Q. Which?

25 A. Here. On the staff here as a assistant

1 clinical professor of neurology since about 1978,  
2 probably, and I am full time now here since 1990.

3 Q. Which is in error?

4 A. This date here.

5 Q. The date?

6 A. Yes. The first day here is probably in  
7 error.

8 Q. When you were at Marymount -- you say you're  
9 still on the Board?

10 A. Consultant, and I am on the Board of Trustees  
11 of the hospital.

12 Q. Did you have any involvement with  
13 Dr. Moysaenko or his father --

14 A. No.

15 Q. -- while you were at Marymount?

16 A. No.

17 Q. How about Dr. Juguilon?

18 A. I know Dr. Juguilon.

19 Q. How do you know him?

20 A. He was at Marymount.

21 Q. Still there?

22 A. I don't know that. I am not sure. I don't  
23 think he's very active there.

24 Q. Did you have any discussion with Dr. Juguilon  
25 about this case?

1 A. No.

2 Q. How is it you got involved in this case?

3 A. Through asking -- being asked by Mr. Groedel  
4 to review the case.

5 Q. Had you done any reviewing for Mr. Groedel  
6 previously?

7 A. Not for him before.

8 Q. Any members of his firm?

9 A. I have for his firm.

10 Q. How many would you say?

11 A. I'd say a total three or four a year, and I  
12 would say probably three-quarters of them are for  
13 that firm.

14 Q. Two or three a year?

15 A. Yes.

16 Q. Who would you typically review them for?

17 A. Gary Goldwasser is usually the point person  
18 that approaches me.

19 Q. Have you yourself been involved in any  
20 litigation?

21 A. You mean directly directed against me?

22 Q. Yes, sir.

23 A. I have been named in suits that have been  
24 dropped, yes.

25 Q. None that's gone to trial?

1 A. None that have ever gone to trial. I don't  
2 believe we ever went to deposition in any of these  
3 cases.

4 Q. Any of them been resolved by way of  
5 settlement?

6 A. No.

7 Q. Have you testified as an expert in any case?

8 A. Yes.

9 Q. How many would you say?

10 A. Actually -- well, are you talking about  
11 reviewing jackets, that's one thing. If you're  
12 talking about going to court, I would say four or  
13 five, something like that.

14 Q. Over how many years?

15 A. Well, the first time I was ever formally in  
16 court was when the Gilliam Barry cases came in in  
17 the early '80s. I went and defended the federal  
18 government over that for many years.

19 Probably after that many times,  
20 rather infrequently. In other words --

21 Q. From the early '80s to now --

22 A. The number of times I've actually been in the  
23 courtroom, are you asking about this kind of  
24 deposition?

25 Q. We'll break it down.



1                   Let's start out with courtroom.

2       A.       Courtroom I'd say probably four or five times  
3       maximum.

4       Q.       Over what period of time, since the '80s?

5       A.       Yes, early '80s.

6       Q.       Has all that been here in town?

7       A.       Yes.

8       Q.       When is the last time you would have  
9       testified?

10      A.       Probably within six or eight months.

11      Q.       What case was that?

12      A.       It was a Reminger & Reminger case about post  
13      concussion seizures, I don't remember the  
14      defendant. I think it was -- or the plaintiff --  
15      was Ridenour, if I am not mistaking.

16      Q.       Who was the plaintiff's attorney?

17      A.       That, I don't remember.

18      Q.       Whose courtroom was it, do you know?

19      A.       I can see the judge, but I don't remember his  
20      name. Sorry.

21      Q.       How about before that?

22      A.       I really can't tell you the last time I have  
23      been in that situation. I don't remember.

24      Q.       How about testimony by way of deposition  
25      either video or written, how many times would you

1 say you have done that?

2 A. Well, as I say, I've probably reviewed maybe  
3 four cases a year, and probably it comes to some  
4 sort of a written report two out of those four.  
5 They may not want what I want to know -- what I  
6 have to say, then they don't ask for reports or  
7 whatever. One out of those two times, maybe one  
8 gets into something further.

9 I certainly haven't been in the  
10 courtroom very often, nor have come to depositions  
11 particularly frequently.

12 Q. You are saying approximately once a year?

13 A. Probably less than that overall, yes. I'm by  
14 no means a professional witness.

15 Q. How many times would you say percentagewise  
16 you've been retained on behalf of the defendant?

17 A. Probably the majority.

18 Q. When you say "majority," 99 percent?

19 A. No. 80/20, something like that would  
20 probably be fair. Something like that, 75/25.

21 Q. Would the Reminger office be the primary  
22 office?

23 A. The primary ones. I have a long time  
24 personal friendship with some of the members of the  
25 firm, that's how I got -- they started out as my

1 friends and they were lawyers, they were involved  
2 in malpractice work and -- and as I became more  
3 established in the community, they would -- would  
4 begin to approach me about what do I think. That's  
5 gone on from there.

6 They are not the only firm I do. I  
7 tend to disclaim a lot of it.

8 Q. Who else do you do it for?

9 A. You know, right offhand I can't tell you.  
10 I've done a case in the last year for somebody  
11 else. I don't remember what it was.

12 Q. Jacobson, Maynard firm?

13 A. I think it might be, I am not sure, but  
14 it's -- again, I'm called a lot. I turn them  
15 down.

16 I take cases based upon interest,  
17 what I think should be defended properly, and so it  
18 limits a lot of it.

19 Q. What did you review in this case, Doctor?

20 A. What did I review?

21 Q. Yes, sir.

22 A. Everything that -- do you have the letter  
23 I've written to Mr. Groedel?

24 Q. I do.

25 A. I have reviewed all that, and only other

1        thing that I reviewed is the deposition of  
2        Dr. Dunham or Durham.

3        Q.        Since the time of your letter?

4        A.        Yes.

5        Q.        I'm still trying to absorb what is in  
6        your CV.

7        A.        I can summarize if for you, if you wish.

8        Q.        Go ahead.

9        A.        You can see that the college and medical  
10       school is straightforward. I did a year of medical  
11       internship, a year of medical residency at  
12       Presbyterian Saint Luke's in Chicago, in between  
13       which were two years with the public health  
14       service, doing jackets, Food and Drug admission,  
15       then I came here to.

16       Q.        What does that mean, "Doing jackets"?

17       A.        INA'S and MDA'S, when a drug company submits  
18       a new drug for consideration of safety and efficacy  
19       it goes through an investigational period, and  
20       that's an INA, investigational new drug; and if it  
21       looks like there's a potential market for it, they  
22       will do marketing, safety, and efficacy  
23       considerations, which are much more thorough, much  
24       more prolonged. There are many jackets and many,  
25       many investigators.

1                   We did that kind of work for  
2                   two years.

3           Q.       Then you went back?

4           A.       Back to Chicago.   Then I came here to  
5           Cleveland Metropolitan to be with Morris Victor in  
6           neurology, and trained at Cleveland Metropolitan  
7           between '69 and '72.

8                   Then I was on the Cleveland Clinic  
9           staff for five years from 1972 to 1977, then I left  
10          to go to private practice in '77; went initially to  
11          Saint Luke's, right to Marymount, and the next  
12          year off to --

13          Q.       Why did you leave the Clinic?

14          A.       I left because I wanted -- I didn't like the  
15          size of the place, some of the things that were  
16          happening I -- and the best thing for me was to  
17          leave.

18          Q.       Why did you leave Saint Luke's?

19          A.       I -- because I wanted to come here.   The  
20          world has changed since 1977.

21                   In other words, I left the Clinic  
22          on my own, when I went to leave the Clinic --

23          Q.       Why did you leave Marymount?

24          A.       Well, I didn't like Marymount,   I was in  
25          private practice and felt that things are changing

1 dramatically.

2 I feel neurologists are probably  
3 going to be working for hospitals in the future. I  
4 was offered a full-time position here and felt this  
5 was a much more significant thing for me over the  
6 long haul to come here at this considerable  
7 reduction in my income.

8 Q. Do you have a private practice?

9 A. I see lots and lots of patients here on these  
10 floors in the next examining room over here  
11 five days a week. I see them every day.

12 Q. Do you have a --

13 A. It's a University Hospital practice.  
14 Actually the Neurology -- University Neurology  
15 Association, Inc. owns the patients and practices.

16 Point of fact, I am a daily  
17 practitioner in neurology.

18 Q. What is University Neurology Associates --

19 A. It's --

20 Q. -- Inc.?

21 A. -- all the neurologists in this department,  
22 anything that they bill for is direct to the  
23 corporation.

24 Q. Are you a shareholder of the corporation?

25 A. No, there is no money involved. It's a

1 salary thing, but money that I create is put  
2 through University Neurologists, distributed back  
3 to the University. The University actually pays  
4 me.

5 Q. Is the University then the only shareholder  
6 of the corporation?

7 A. They are not even a shareholder, I don't  
8 believe. I don't know how that works.

9 Q. But you get paid through the university?

10 A. I get paid by the University on salary.

11 Q. When you say "University," University  
12 Hospitals?

13 A. That's right. Actually the check does come  
14 through the University.

15 Q. Case Western?

16 A. There's another -- there is another  
17 additional contract there somewhere.

18 Q. Your paycheck actually is by Case Western?

19 A. That's right.

20 Q. And they have some contract with University  
21 Hospitals?

22 A. Yes, they do. I don't know how that works.  
23 We are given a salary, we're allowed to use the  
24 perks from the University.

25 Q. When you see patients then here at University

1 Hospital, is it by referral from other physicians?

2 A. Yes.

3 Q. If you are referred patients, do you continue  
4 to follow them?

5 A. Yes.

6 Q. Either as inpatient or outpatient?

7 A. That's right. Unlike the Clinic, which was  
8 one of my concerns, we saw the patients in the  
9 office, somebody else would take care of the  
10 patient in the hospital. I might be or may not be  
11 the person who took care of the patient over the  
12 hospitalization. I had too big an ego to deal with  
13 those kind of things.

14 Q. How much of your time would you say weekly,  
15 monthly, annually, however you want to give it to  
16 me, is spent in the clinical setting as opposed to  
17 the university setting?

18 A. Well, formally more than half in the sense of  
19 actually scheduled time, but I am sure you're well  
20 aware there's tremendous amount of paperwork  
21 involved in the management of patient practice.

22 Point of fact, lot longer than  
23 that. It depends on what you consider 40-hour  
24 week, or a 60-hour week, or an 80-hour week. It's  
25 a very large percentage.



1 I also rotate on the neurology  
2 services here, that's additional time in seeing  
3 patients on a daily basis, usually it's two months  
4 a year in the ward service, a month of  
5 consultation, so there's another three months where  
6 it's almost full time.

7 Q. What are your academic responsibilities?

8 A. I am head of the section of general clinical  
9 neurology, which is a -- mainly the people who see  
10 patients in this practice that we're talking  
11 about.

12 Q. Do you teach?

13 A. Yes, I teach. Mostly most of the -- my  
14 teaching is making rounds of residents and  
15 students, some of it's lecture, not in and out an  
16 awful lot at this point.

17 Q. Do you have any offices within the hospital?

18 A. Well, I see patients regularly in this first  
19 room on the right down the hall here. I've my  
20 own --

21 Q. That's not what I mean. That was poorly  
22 phrased.

23 Are you an officer or director of  
24 the hospital?

25 A. No. I am statistics chairman of general

1 clinical neurology and a titular head of vascular  
2 disease. I have committee appointments, that kind  
3 of thing.

4 Q. What kind of committees?

5 A. I am on the computer committee to re-review  
6 our system here with an idea of replacing the  
7 software and hardware, I am involved in the  
8 continuing medical education committee, I am  
9 involved in the intensive care units planning for  
10 the new hospital as it relates to neurology and  
11 neurosurgery, and that situation also tends to get  
12 involved in bird-dogging problems between  
13 neurology, neurosurgery, and other services that  
14 may occur, intensive care unit that we all share.

15 Q. How would you define the practice of  
16 neurology?

17 A. Well, I don't quite know whether I  
18 understand. I assume that you mean --

19 Q. What do you you?

20 A. I see patients with stroke, with headaches,  
21 with seizures, with Parkinson's, with multiple  
22 sclerosis, degenerative genetic or degenerative  
23 disorders of the nervous system, patients with  
24 dementia, patients with spinal cord diseases, and  
25 diseases of nerves and muscles.

1 Q. How has your practice changed since the onset  
2 of the use of CAT scans and MIR's?

3 A. Well, I think everybody's practice changed  
4 drastically in the sense we now know a lot more  
5 about what we were looking at that than we did  
6 previously.

7 Saw a patient this morning, lady  
8 had a small hemorrhage -- elderly lady came in and  
9 we would have never known it was there in the past,  
10 it was too small to be displayed in the cerebellar  
11 sphere. We wouldn't have seen the area of  
12 abnormality if some technician just did a brain  
13 scan in the past, now CAT scans have changed the  
14 practice quite a bit.

15 Q. In other words, before the use of CAT scans  
16 and MIR's, I mean you had to use almost exclusively  
17 clinical signs and symptoms?

18 A. That's right.

19 Q. Now you have those tools that assist you in  
20 the diagnosis, I take it, of various diseases that  
21 you deal with?

22 A. That's right.

23 Q. That would be true, I take it, also of  
24 Doppler scans?

25 A.

1       that it tells indirectly what is going on, for  
2       instance, with a carotid.

3       Q.       Right.

4       A.       I don't feel comfortable -- I can only  
5       vaguely take the data that you can give me and  
6       interpret it, but it's a test that let's somebody  
7       else interpret, then I take the interruption and  
8       deal with it.

9       Q.       In other words, you yourself don't do the  
10      test?

11     A.       No.

12     Q.       You don't do any of the tests you just  
13     mentioned, you have other technicians do it and you  
14     deal with the result of it?

15     A.       Right. I feel much more comfortable with CAT  
16     scans, having grown up with them since the '70s  
17     when we had the first scanner at the Cleveland  
18     Clinic, maybe 1974.

19     Q.       In other words, you use that much more than  
20     the Doppler?

21     A.       I think in the last ten years for carotid  
22     Dopplers have become much more helpful than they  
23     had been and much more reliable, and indeed we can  
24     get good information out of them in terms at least  
25     to give us direction, which way to go with the

1 patient; depends, but then again, on who is doing  
2 them.

3 Q. Who does do them?

4 A. There's two labs, there's a radiology lab,  
5 and there's a vascular lab here.

6 Q. Any of --

7 A. I tend to use almost exclusively the vascular  
8 lab. I started out there, I know the person there,  
9 and I know what I can expect to get from people, I  
10 think when you're talking about carotid Dopplers  
11 and what the reliability of the test you are  
12 doing.

13 Q. What does a Doppler tell you about the  
14 carotid arteries or what can it tell?

15 A. It tells you whether you got flow and tells  
16 you whether there -- it can tell you whether you  
17 got degree of stenosis and it sometimes gives you a  
18 picture of the real situation beyond the flow  
19 characteristics of a diseased arteries that you can  
20 see, actual ulceration, that kind of thing.

21 Q. Can you see thrombus formation?

22 A. Sometimes you can. Sometimes you can see a  
23 thrombus migrating in a -- I had one case like  
24 that, I recognized those findings, to be confirmed  
25 by more specific tests.

1 Q. Coronary angiography?

2 A. No. Carotid angiography.

3 Q. Who could do these?

4 A. Usually the radiologist does it,  
5 neuroradiologist, or radiologist do it.

6 Q. In other words, if you saw on the Doppler  
7 something that was problematic to you, the next  
8 step would be carotid angiography?

9 A. Not necessarily, unless you have -- thought  
10 the patient -- a patient has to be able to handle  
11 the next step, meaning --

12 Q. Medically?

13 A. Medically. The risk of doing carotid  
14 angiography is not negligible. Then the question  
15 is what are you going to do with the carotid  
16 angiogram what -- once you get it, are you going to  
17 act differently because you got it, or can I act  
18 differently.

19 Q. So it's a risk/benefit analysis at that  
20 point?

21 A. Yes.

22 Q. Depending upon your findings on the Doppler?

23 A. Yes, and the patient's clinical state.

24 Q. What do you think the Doppler would have  
25 shown if it would have been done on Mrs. Skyr1?

1 A. It might have shown that there was either a  
2 serious narrowing of the carotid artery or might  
3 have shown that there's even a suggestion of  
4 occlusion, which -- well, doesn't tell you that  
5 there is occlusion, you have to confirm that also.

6 Q. Did you get anything other than Dr. Durham's  
7 deposition since writing your report? In other  
8 words, did you get --

9 A. I had his letter originally.

10 Q. But I mean anything else? Did you receive  
11 any further autopsy information?

12 A. I am sorry. I did. I have the autopsy  
13 report.

14 Q. When did you get that?

15 A. Fairly recently.

16 THE WITNESS: Do you remember  
17 when you sent it to me?

18 MR. GROEDEL: I think it's in  
19 your file.

20 A. Three weeks.

21 Q. Any time you need to look at any of this, go  
22 ahead.

23 A. Dated January 7, the letter from Mr. Groedel.

24 Q. '93?

25 A. Yes.

1 Q. Did you have that before you wrote your  
2 report?

3 A. I don't think I did.

4 MR. GROEDEL: Take a look at  
5 what you had in your original records.

6 A. Let me see what I had here.

7 No, I don't believe so.

8 Q. Well, Doctor, referring to page 3 --

9 A. Excuse me. You can correct me here.

10 MR. GROEDEL: It's the  
11 Cleveland Clinic record.

12 A. I certainly saw the first couple pages.  
13 That, I saw then.

14 Q. Referring to page 3 of your report, you do  
15 indicate in the middle of the second paragraph --

16 A. I see it.

17 Q. -- sentence says "Data forthcoming from an  
18 echocardiogram, could have awaited transfer and  
19 there was no significant suspicion of fever and  
20 endocarditis, a condition not mentioned in the  
21 autopsy report?

22 A. But I think I saw an abbreviation, I don't  
23 remember seeing all the -- that I have from the --  
24 what I've seen recently.

25 Q. When do you think that Mrs. Skyr1 had a



1 completed stroke?

2 A. That's hard to say, but she entered the  
3 hospital on the 13th, and if I -- reading the  
4 record completely, we don't know whether she  
5 stroked that morning before church; but we  
6 certainly know when the family got back after  
7 church, whenever that was, that she had a stroke,  
8 and I assume that's probably midday.

9 Q. So you think she had one on the 13th?

10 A. She may have had a stroke before that. I  
11 can't tell you.

12 Q. But did she have one at least on the 13th?

13 A. Yes.

14 Q. How would you define the stroke that we're  
15 referring to now?

16 A. That's a problem in the sense of definition.

17 Q. That's why I am asking.

18 A. If people have used 24 hours as being time  
19 for a TIA, transient ischemic attack. In point of  
20 fact, in people who have had significant deficit or  
21 even mild deficit in the course of TIA, this then  
22 clears at less than 24 hours, a large percent of  
23 those patients will reference -- actually be shown  
24 to have a stroke underlying.

25 Q. So you are saying that the deficit itself

1 doesn't necessarily tell you if there was or isn't  
2 a stroke?

3 A. That's right. So you have to go -- you  
4 really have to go beyond two hours to be sure of  
5 the situation.

6 Q. Well then, if what you're telling me is the  
7 definition, if you're --

8 A. That's right.

9 Q. -- if you're telling me that you have to wait  
10 at least 24 hours until you are certain if there  
11 was a stroke, then how could you know on the 13th  
12 if there was; by the definition you gave me, she  
13 wouldn't have one until the 14th?

14 A. That's true. But you don't know when you got  
15 the significant deficit. You're concerned that the  
16 patient may have a significant stroke and in point  
17 of fact there is history going back over time here,  
18 that she gave us on admission to the hospital at  
19 Deaconess, and also it's passed onto the Cleveland  
20 Clinic, that she was experiencing deficit prior to  
21 that.

22 She definitely has a stroke by the  
23 time you have the 14th, even if you don't accept --  
24 if you take the beginning of the clock running at  
25 midday on the 13th, she has a stroke on the 14th,

1 if you want to look at it that way.

2 Q. By definition?

3 A. By definition. If you believe that  
4 definition, that 24 hour time period of TIA is  
5 being shorten --

6 Q. I am asking what your definition is. If  
7 yours is something different, then tell me.

8 A. No. That's still used, but it's being  
9 questioned in the literature how long a TIA runs.

10 The usual TIA is five to  
11 ten minutes with complete clearing, that's the  
12 usual TIA; but in somebody who's got a deficit  
13 running six hours, you don't know yet until you've  
14 gotten -- whether the patient has a stroke or not,  
15 if you want to use the definition.

16 In point of fact, what I am looking  
17 to, we think that patients who have had serious  
18 deficit lasting more than 10 or 20 minutes are --  
19 probably have some damage; and in addition to that,  
20 you are beginning to see, look more carefully with  
21 better scans to see better patients who do have  
22 damage subsequently.

23 In other words, let's take somebody  
24 who comes in, has a ischemic event, it lasts  
25 six hours then totally clears, three or four days

1     you have a significant change after seeing some  
2     damage on **CAT** scan.

3     Q.     What if you don't see such damage, would you  
4     then define it as a **TIA**?

5     A.     I suppose you still would in this situation.

6     Q.     You would define it as a **TIA**?

7     A.     **I** think **so**, but with the reservation that **I**  
8     have given you.

9     Q.     You are saying there is no.

10                     Real hard and fast rule then?

11     A.     **No**, but you have to draw some kind of line as  
12     to acceptable and what's irreversible.

13     Q.     You mentioned a couple times earlier that  
14     when you have a significant deficit, then you would  
15     be concerned in having the significant stroke,  
16     those are your words, I tried to --

17     A.     **I** don't know whether I'm getting the point  
18     across to you.

19                     The point is, if you have a deficit  
20     that they had some -- were questioning whether  
21     there was a field cut or hemiparesis or she has a  
22     face with right-sided weakness lasting more than  
23     six hours, I would be concerned that she has a  
24     stroke. Would I be convinced, I don't know. I  
25     wasn't there.

1                   By the 14th you are convinced if it  
2 hasn't cleared.

3       Q.       One of things you read was Lucy Skyr1's  
4 deposition, I believe; is that correct --  
5 Lucy Strzalka?

6       A.       Yes.   The daughter, I believe.

7       Q.       As a matter of fact, you referred to it in  
8 your report.

9       A.       Yes.

10      Q.       I assume then that you saw her testimony  
11 wherein she indicated that Dr. Moysaenko wasn't at  
12 all that interested in transferring her mother  
13 because of his belief that there was nothing  
14 significant going on with her; do you recall that  
15 testimony?

16      A.       I have to look at that particular sentence.  
17 Can you direct me to that?

18      Q.       I can't.   I am doing this from memory.

19      A.       I am too.

20      Q.       Do you recall that or not?

21      A.       I don't remember that she said that, I'd have  
22 to look again.

23      Q.       If she did say that --

24      A.       Now, you think --

25                   MR. GROEDEL:                   Let him **ask** the

1 question.

2 Q. If she did say that, if that's what  
3 Dr. Moysaenko told her, what would that indicate to  
4 you about his belief as to whether or not there had  
5 been a stroke that occurred?

6 MR. GROEDEL: Objection. Go  
7 ahead.

8 A. I don't think you can answer that from her  
9 point of view because of what exists in the record.

10 Q. I am asking you to assume that in fact -- you  
11 extracted portions of her testimony for purposes of  
12 setting forth --

13 A. Understanding better what is going on.

14 Q. I am always a little troubled by the  
15 extraction of portions and the failure to extract  
16 other portions.

17 I am asking you now to assume other  
18 portions of her testimony are accurate, in that  
19 Dr. Moysaenko indicated to her a reason that he  
20 wasn't going to transfer --

21 A. Let's look at the records, then I don't have  
22 to assume that we're --

23 Q. I just told you that I can't point out a page  
24 to you right now. I am asking you to assume it,  
25 that the --

1 A. I am not sure what you're asking.

2 MR. GROEDEL: Try to let him  
3 finish, Doctor.

4 A. I don't have a feeling that the doctor or  
5 family or anybody else thinks that this was a  
6 benign condition.

7 Q. The doctor did think it was benign enough not  
8 to transfer her, that it was nothing serious,  
9 therefore she didn't require transfer?

10 MR. GROEDEL: Objection.

11 Q. What does that tell you?

12 A. I don't know whether I can say that he  
13 thought that.

14 Q. I am not asking you to say. You weren't  
15 there. All we can do is go back and look at what  
16 people have testified to, and I am asking you to  
17 assume that.

18 A. You have got to show me before I --

19 **a.** I am going to ask --

20 A. I am not going to assume --

21 MR. GROEDEL: You can assume  
22 for the purpose of his question.

23 A. Start over again. We're not --

24 Q. Assuming that Dr. Moysaenko told the  
25 daughters of **Miss** Skyr1 that he **didn't** believe her

1 condition was serious enough to warrant transfer to  
2 the Cleveland Clinic, and that's the reason that he  
3 had not undertaken steps to ensure that such a  
4 transfer occurred, assuming those facts to be true,  
5 if they're not true, obviously your answer wouldn't  
6 be applicable, you've done this often enough to  
7 know what assumed facts do and don't do; assuming  
8 those facts to be true, what does that tell you  
9 about

10 Dr. Moysaenko's belief with respect to the severity  
11 of her deficit and/or whether or not she had  
12 sustained a stroke?

13 MR. GROEDEL: Objection. Go  
14 ahead.

15 A. Well, as I already implied from what I said,  
16 I don't think he thought that; but if you thought  
17 that, what does it say about him?

18 Q. Sure.

19 A. What are you asking?

20 Q. What does it say about his understanding of  
21 her condition?

22 MR. GROEDEL: Objection. Go  
23 ahead.

24 A. He knew that she was having a stroke. He was  
25 worried about her blood pressure.



1 Q. Did you understand my --

2 A. No, I am --

3 Q. -- question?

4 A. -- not sure I do.

5 You're trying to depict him as not  
6 being concerned about this patient's care.

7 Q. That's exactly what he indicated to the  
8 daughters.

9 No, I don't want you to  
10 mischaracterize what I said.

11 What you said was that he told them  
12 that she was not having any major problems  
13 requiring her transfer to the Cleveland Clinic?

14 A. All right. What does that say about -- let's  
15 assume he felt that he can handle the case here in  
16 Deaconess Hospital. I think that's a reasonable  
17 thing to say. He may also have been saying to the  
18 family that this thing hopefully will be all right.

19 Q. The fact that her conditions were waxing and  
20 waning prior to her admission on January 13th, what  
21 does that tell you, Doctor, with respect to the  
22 symptomatology and the etiology of what occurred to  
23 her on the 13th?

24 A. That would concern me, that she was not  
25 stable.

1 Q. What does it say about whether or not she had  
2 suffered a stroke prior to her coming in on  
3 the 13th?

4 A. Well, it doesn't tell you that she suffered a  
5 stroke that we can determine necessarily, although  
6 we're not sure of that because you can have deficit  
7 which doesn't appear on scans. We know her scan --  
8 and I have never seen it -- I believe is said to be  
9 normal when it was first done.

10 Q. What does that tell you?

11 A. At that point you don't have any changes on  
12 the scan, that does not mean she doesn't -- hadn't  
13 had a stroke.

14 Q. Certainly means that she didn't sustain any  
15 brain damage --

16 A. No, it does not mean --

17 Q. Let me finish.

18 I understand what you are saying.  
19 It certainly means that she hasn't suffered any  
20 brain damage that can be viewed on a CAT scan?

21 A. That's true.

22 Q. That's why I wanted you to let me finish.

23 A. Sorry.

24 Q. Isn't that a rather classical description of  
25 a stroke in progress or an evolving stroke, that is

1       symptomatology that waxes and wanes?

2       A.       Yes.

3       Q.       That was certainly described in the record  
4       upon admission, you don't have any quarrel with  
5       that description?

6       A.       No, I don't, except as I point out in my  
7       letter, I have some concern about the validity of  
8       history in light of the fact that the daughter that  
9       lives with her doesn't pick it up. I find that  
10      bizzare, If it's really --

11      **a.**      You say she lives with her. The mother lived  
12      upstairs.

13      A.       But she saw her every day. The daughter  
14      doesn't remember being told, her -- didn't  
15      acknowledge being told anything about stroke like  
16      symptoms.

17      Q.       Stroke like symptoms can be headaches?

18      A.       Yes, but you talk about arm and leg problems,  
19      as I remember.

20      Q.       Whether or not the daughter has a good memory  
21      of those things, whether the mother told the  
22      daughter, certainly the mother told the physicians  
23      upon admission?

24      A.       Maybe, but you're only asking --

25      Q.       Fine. Many older people probably don't

1 relate symptoms to their daughters depending upon  
2 their situation, if they don't want to worry them.

3 A. I am surprised that if she was having a  
4 stroke, she didn't say something about it.

5 Q. Are you saying the mother should have  
6 diagnosed herself?

7 A. Yes, many times patients will tell us what  
8 they think.

9 Q. She did tell the physician when she went on  
10 the 13th?

11 A. That's right when it was listed, history, she  
12 told them.

13 Q. Do you disagree she told them?

14 A. There is difference and --

15 Q. But nonetheless she told them?

16 A. Okay.

17 Q. You don't have any quarrel, do you, with the  
18 fact that she did tell them, that was an accurate  
19 history?

20 A. That's right.

21 Q. Would you characterize her condition on  
22 the 13th as mild, moderate, or severe?

23 A. I would have been concerned and been  
24 concerned about her being severe.

25 Q. In your opinion was she severe?

1 A. She had a serious elevation of blood  
2 pressure, she had focal neurological deficit, so I  
3 would have been concerned?

4 Q. How was the weakness on her right-side  
5 characterized by Dr. Moysaenko in the admitting  
6 physician?

7 A. When he sees her I think -- I think we  
8 decided he saw her -- this was the note of  
9 the 14th, correct.

10 Q. Yes.

11 A. He talks about mild weakness on the right  
12 side, difficulty with coordination.

13 Q. So it was mild according to him?

14 A. That's right.

15 Q. You considered that severe?

16 A. Well, no. The potential condition I thought  
17 you were asking about.

18 Q. I asked about her symptomatology, if mild,  
19 moderate, or severe?

20 A. But I was --

21 Q. Which was it?

22 A. I will tell you, if you wait.

23 Q. Okay.

24 A. He talks in the progress notes here about her  
25 being aphasic on the same day.

1 Q. What is aphasia?

2 A. Difficulties with speech.

3 Q. How much difficult was she having?

4 A. He also talked about problems with visual  
5 field in spite of the fact that he doesn't have a  
6 good test of visual field in his original note.

7 Q. My question is how much difficulty was she  
8 having?

9 A. I can't tell you. The notes that -- doesn't  
10 really say.

11 Q. Was she communicating with the nurse, with  
12 her family?

13 A. She was but in certain types of aphasia  
14 people can without necessarily being a severe  
15 aphasia.

16 Q. So it wasn't severe then?

17 A. I don't know how severe she is.

18 Q. You read the depositions of the daughters,  
19 you read the nurses' notes, you read the record, in  
20 your opinion what was it?

21 A. Probably not terribly severe at that time.

22 Q. Was it mild?

23 A. Yes, I would think so.

24 Q. You say visual fields he was not able to  
25 test?

1 A. Apparently. I don't know why he doesn't test  
2 them, but he doesn't. He says that in his own  
3 admitting notes.

4 Then he talks about getting I  
5 believe it's Dr. Zachary to see her about testing  
6 her visual fields.

7 Q. So are you saying --

8 A. So he is concerned about visual fields being  
9 abnormal.

10 Q. Well, can we characterize that then as mild,  
11 moderate, or severe --

12 A. I don't think --

13 Q. -- condition, or can't we characterize it at  
14 all?

15 A. I don't think we know. Go ahead.

16 Q. So there was mild weakness on the right side,  
17 there was mild aphasia?

18 A. Yes.

19 Q. Would it then be fair to say that her  
20 deficits were mild?

21 A. Yes, they were mild, but the significance of  
22 the deficit with the hypertension would concern me  
23 somewhat and taking care --

24 Q. Well, the hypertension on admission was  
25 elevated, the blood pressure was elevated?

1 A. Certainly was.

2 Q. They gave her some medication?

3 A. Yes, they did.

4 Q. What happened to the blood pressure?

5 A. Blood pressure bounces around the first  
6 two days but not seriously. It goes up as high as  
7 **180** over 110 on the 14th, I believe.

8 Q. Did it decrease to 133 over 66?

9 A. I did -- I don't know where you are seeing  
10 that. It did decrease.

11 Q. Right at Dr. Moysaenko's note.

12 A. That's the next morning.

13 Q. Okay.

14 A. Then it goes back up on the 14th. The nurse  
15 note somewhere for the -- the 14th, it requires  
16 medication being given at that time.

17 Q. It went up to 150 over **88**?

18 A. No. It went up higher than that. It went up  
19 to -- let's see if I can find it for you.

20 Well, that's the 15th. On the 14th  
21 I thought I remember I saw it earlier today, blood  
22 pressure elevations.

23 Here on the 14th, 3:00 p.m. a nurse  
24 note, **180** over 110.

25 Q. What was it before?



1       A.       I don't know that I can tell you here.  Let's  
2       see if we can get another one.

3                       The last one, nurses' note recorded  
4       looks like -- I can't read it very well.  I don't  
5       even know whether or not that's blood pressure, but  
6       the point I am making, that there are significant  
7       elevations still.  There is another one down here  
8       on the 15th, **11:30** a.m., **170** over **108**.  In patients  
9       who are getting antihypertension medication and  
10      diuretics and also receiving additional medications  
11      along the way to keep the pressure under  
12      control --

13      Q.       Did they admit her to intensive care unit for  
14      her blood pressure?

15      A.       No, they didn't.

16      Q.       Why not?

17      A.       I don't believe they did.

18      Q.       Why not?

19      A.       I don't know why they didn't.

20      Q.       Should they have?

21      A.       Maybe.

22      Q.       In your opinion should they have?

23      A.       He didn't seem to have much trouble with the  
24      blood pressure after the first couple of days.  1  
25      mean, if they were able to watch on the floor

1       carefully, this patient didn't have such sustained  
2       hypertension that they necessarily had to have her  
3       in the intensive care unit.

4       Q.       **As** a matter of fact, on the 14th that's when  
5       you were referring to with different times it was  
6       150 over 84 --

7       A.       Yes, and --

8       Q.       Let me finish, please.

9                       134 over 90, so there were times  
10       during the day that it seemed as they thought they  
11       had gotten it under some control; isn't that true?

12       A.       Yes, but it's not sustained control, long  
13       term sustained control. As I said, three different  
14       drugs were listed for her chronic hypertension.

15       Q.       Why did Dr. Moysaenko originally recommend  
16       doing a Doppler, do you know?

17       A.       Oh, I assume that he wanted to know what the  
18       condition of the carotid artery is.

19       Q.       Be a good thing to know?

20       A.       Sometimes it's a knee-jerk reaction, some  
21       people --

22       Q.       It would be a good thing to know in this  
23       patient?

24       A.       Yes, it would.

25       Q.       In light of her signs and symptoms, certainly

1       they were suggestive of carotid stenosis or  
2       occlusion?

3       A.       They certainly could have been, yes.

4       Q.       I take it you have no quarrel with his having  
5       ordered a CAT scan?

6       A,       No.

7       Q.       Or the Doppler, for that matter?

8       A.       Right.

9       Q.       Both of those would be appropriate tests in  
10       someone presenting such as Mrs. Skyrle?

11       A.       Right.

12       Q.       Should a Doppler have been done, Doctor?

13       A.       Yes, it would have been nice to have it done.  
14       I think I have pointed out why it was apparently  
15       delayed.

16                       I gathered this was a weekend; am I  
17       correct?

18       Q.       She was admitted on a Sunday.

19       A.       Admitted on a Sunday?

20       Q.       Yes.

21       A.       It would have been nice to have known what it  
22       was. I am not sure that we could have acted on it.

23       Q.       That's a different question.

24       A.       Yes.

25       Q.       Let's deal with one at a time.

1                   In your opinion should a Doppler  
2     have been done?

3     A.     Yes.

4     Q.     And the reason again was because of her  
5     symptomatology?

6     A.     Yes.

7     Q.     I take it that your basic thrust is that  
8     you're not sure it would have mattered even had it  
9     been done --

10    A.     Yes.

11    Q.     -- would that be fair statement?

12    A.     Right.

13    Q.     And to some extent that is based upon the  
14    findings at autopsy?

15    A.     No, it's based on her clinical condition.

16    Q.     Of course because it wasn't done, we don't  
17    have the benefit of knowing what it would have  
18    shown?

19    A.     You can assume that it would have shown the  
20    worse possible scenario.

21    Q.     What are you assuming that it would have  
22    shown in terms of reaching your conclusion that it  
23    might not have mattered?

24    A,     It wouldn't make any effect on would -- what  
25    I was going to do in the patient who has a dominant

1 hemispheric lesion, who is chronically  
2 hypertensive, who if -- whom you are still having  
3 serious significant problems -- not serious in the  
4 sense of admitting her to the unit -- you still  
5 having enough problems that required added  
6 medication in the first days of hospitalization.

7 Q. Once again, the hypertension?

8 A. Yes.

9 My contention -- we're looking  
10 backwards here -- is that I don't feel had I been  
11 taking care of her that I would have felt safe in  
12 anticoagulating a patient like this under the  
13 circumstances of blood pressure difficulties.

14 In point of fact, if indeed you saw  
15 high grade stenosis in a situation where you have  
16 what you think is a fixed lesion, I would not have  
17 felt comfortable anticoagulating that patient.  
18 That was a decision that they made at the time.

19 Q. Well, all right. You have had an opportunity  
20 to read Dr. Durham's deposition?

21 A. Yes.

22 Q. He addresses that contention with respect to  
23 using Heparin or not using it, based on the pages  
24 66 and 67 of his deposition.

25 A. Okay.

1 Q. By the way, when did you review that?

2 A. Sometime early January, sent to me on the 8th  
3 of January.

4 Q. Sometime within the last week then?

5 A. Yes.

6 Q. 66 and 67, Doctor, actually it starts  
7 around 66.

8 A. Okay.

9 Q. Do you disagree with what Dr. Durham is  
10 saying about her hypertension and about what effect  
11 it may have had on her treatment.

12 I will give you a minute to look at  
13 it?

14 A. Not specifically, the premise now that he's  
15 coming from is different than mine.

16 Q. We'll go slow.

17 Mr. Groedel asked him if  
18 hypertension with her history would have  
19 contraindicated the use of Heparin, he said no --

20 A. Well --

21 Q. -- unless she was malignantly hypertensive,  
22 which I take it she wasn't?

23 A. No, I don't think either.

24 Q. Let's just take it one step at a time. I'm  
25 going to give you a chance to tell me the

1 differences and the distinctions. I want to hear  
2 them.

3 A. Okay.

4 Q. And he goes to know to say that -- I am now  
5 on page 67 -- that the doctor seems to have done a  
6 nice job in controlling the hypertension because  
7 she was basically stable even in a nonintensive  
8 care unit setting; do you disagree with that?

9 A. No, not particularly.

10 Q. Then further on down he indicates that any  
11 diastolic under 95 or systolic under 180 would  
12 constitute an acceptable risk for heparinization;  
13 do you agree with that?

14 A. Well, that's a tough situation.

15 If you are talking about  
16 heparinizing somebody now for short term, the  
17 answer is no; but the problem you got in this case  
18 is you're talking about heparinizing this lady,  
19 carrying her on Coumadin to the point of six weeks  
20 out, if you -- you felt you can operate, that's a  
21 different problem.

22 Q. Well, let's go slow.

23 You are saying if you are going to  
24 operate on her, you would want to follow --

25 A. In **other words** --

1 Q. -- her physically after surgery?

2 A. No, before surgery.

3 My contention is that this patient  
4 had a fixed deficit in the dominant hemisphere.  
5 Now it doesn't -- as you tried to characterize --  
6 doesn't seem like it's much of a deficit, but any  
7 deficit is significant in the hemisphere where it  
8 involves -- the dominant hemisphere -- involves the  
9 kind of factors we're talking about: we got  
10 speech, we got vision, we got weakness.

11 Q. Why do you say it's fixed?

12 A. It is fixed because it doesn't go away by  
13 the 14th.

14 Q. In other words, going back to the definition  
15 of a completed stroke?

16 A. That's right. In other words, let's assume  
17 we don't know on the 13th but on the 14th it -- in  
18 my opinion we're quite clear that we're out more  
19 than 24 hours -- I'm happy to call it stroke,  
20 give it stroke beyond what the scan -- I know the  
21 scan doesn't show anything, that doesn't help me  
22 any; but it may develop something three days --  
23 we're now three days from that time. By the 14th I  
24 no longer feel that she can be operated acutely.

25 In other words, she's been many,



1 many hours with a deficit. We know she's been --  
2 when did she arrive in the emergency room, I think  
3 it was 3:00 or something like that --

4 Q. Yes?

5 A. -- on the 13th, so that by the time you get  
6 to that time you already have a stroke, and be it  
7 large or small, you have stroke.

8 Let's assume for purposes of  
9 discussion we have a high grade carotid stenosis,  
10 if you were talking what should have been done in  
11 terms of operating or being interventional, that's  
12 exactly a patient that I will not see operated  
13 right away because of the concerns about bleeding  
14 into the area of infarcted or ischemic tissue,  
15 particularly when it comes behind a highly stenotic  
16 area.

17 And the other problem you got here,  
18 if you don't operate right now at the time when  
19 this thing is developing and you reach this point  
20 of stroke, you don't operate, what you have is a  
21 stroke, then you can't operate in my opinion for  
22 six weeks because of this concern, so that you are  
23 faced with caring for a chronically hypertensive  
24 lady on three drugs, plus additional drugs through  
25 that six-week period on anticoagulation and

1 diuretics and it's not fun. It's very significant  
2 risk.

3 Q. I'm trying -- I think you are saying two  
4 different things, and let me make sure that I  
5 understand.

6 A. Okay.

7 Q. You're saying on one hand because she has a  
8 fixed deficit, in other words, by definition a  
9 stroke?

10 A. Stroke.

11 Q. That you can't operate on her for the fear of  
12 a bleed occurring --

13 A. That's right.

14 Q. -- intra-operative?

15 A. No.

16 Q. Or postoperative?

17 A. Postoperatively, sometimes; and it can occur  
18 both places, by the way.

19 Q. Sure. Let me ask you this --

20 A. That's right.

21 Q. -- in someone who has a completed stroke --

22 A. That is correct.

23 Q. -- another risk I take it is throwing a clot  
24 while you're doing the surgery?

25 A. **That's right'.**

1 Q. And that's a risk with any carotid  
2 endarterectomy?

3 A. Right.

4 Q. I assume it's a risk in any endarterectomy in  
5 somebody who is hypertensive?

6 A. Worse.

7 Q. Okay. But I mean it's a risk that exists  
8 even in somebody who hasn't had a completed stroke?

9 A. Right.

10 Q. But you are saying the risk increases  
11 sufficiently so that you don't do surgery on  
12 someone since you say they had a stroke?

13 A. Yes.

14 Q. You have to wait then for their neurological  
15 symptoms to what, plateau over a period of time of  
16 six weeks?

17 A. Yes.

18 Let me back up a -- a little bit.

19 Q. Let me just follow-up on that point.

20 A. Okay.

21 Q. A completed stroke occurred in her  
22 definitionally within two hours because of the  
23 continuance of symptomatology?

24 A. That's right.

25 Q. Even though the symptomatology we agree was

1 mild?

2 A. That's right.

3 Q. Was that due to a total occlusion, in your  
4 opinion?

5 A. I don't know that. I don't think we can tell  
6 that.

7 Q. Could it be due to partial occlusion?

8 A. Yes.

9 Q. If in fact it's a partial occlusion, at  
10 whatever, for whatever reason, the stenosis,  
11 whether it is clot; partially blocking the artery,  
12 it's not a total occlusion even though you have  
13 some symptomatology as a result of a partial  
14 blockage of blood supply to the brain and oxygen to  
15 the brain, then don't you have to operate to  
16 prevent it from totaling occluding if it's not  
17 totally occluded?

18 A. No. No, because we have been using  
19 definition of stroke, you can't operate on a fixed  
20 stroke.

21 In point of fact, to operate on  
22 anything that's progressed this far, even at our  
23 hospital, is considered still highly experimental.

24 Q. Experimental?

25 A. Well, in the sense we're still trying to find

1 out whether you can get away with it or not. Let  
2 me back up and review this.

3 In the past this was done, Dugan  
4 did it at Mass General Hospital in the past. I can  
5 remember cases where vascular surgeons won't touch  
6 a case three hours out, and here people won't touch  
7 a patient two or three hours out. We don't do it  
8 because of difficulties in all these areas that we  
9 have been talking about.

10 My difference of opinion with  
11 Dr. Durham is that the fact that I -- I don't think  
12 that's standard of care to operate early. It is  
13 still -- we're still trying to figure out those  
14 parameters.

15 Q. Who is the head of surgery here, is it  
16 Dr. Rubin?

17 A. Dr. Rubin I think -- I believe has left.

18 Q. Has he?

19 When he was here, is it your  
20 testimony he wouldn't have done this?

21 A. He -- no, he may have. Certainly may have.

22 Q. Of course he would have.

23 A. He would have.

24 Q. Do you know who sent me to Dr. Durham?

25 A. But --

1 MR. GROEDEL: Objection.

2 A. He may have, but let me tell you, in point of  
3 fact, I know several vascular surgeons who don't do  
4 it.

5 Q. For you to say that people around here  
6 wouldn't do it, you are wrong about that.

7 A. I'm sorry about it. One of the -- our  
8 surgeons wouldn't do it, as we have talked about  
9 it, and I have not been able to get other good  
10 vascular surgeons to do it. Now --

11 Q. Why have you tried to get --

12 A. Yes.

13 Q. -- why have you tried to do that?

14 A. Out of interest, can we do something for this  
15 poor patient going sour in front of us. We all  
16 feel terrible, and I had a case the other day --

17 Q. What you're saying, if you have a situation  
18 like this, surgery is in fact something that has to  
19 be done --

20 A. No.

21 Q. -- emergently --

22 A. No.

23 Q. -- to try to prevent precisely what happened  
24 to Mrs. Skylr from happening?

25 A. No. It's -- we're not working on plumbing

1 here. We're working on brains and arteries leading  
2 to brains.

3 Q. You don't do surgery?

4 A. No, I don't, but I've worked in the area a  
5 long time. We know that the risk of operating --  
6 operating early is extremely high, very, very high,  
7 and in neurology we do not do this.

8 There is a tendency to look now,  
9 again at early operation. I don't think in any  
10 way, shape, or form this should be considered  
11 standard of care. Early operation, it is -- isn't  
12 standard of care. It's getting out beyond the  
13 reasonable and expected. I think it's risky. I  
14 still don't do it and most neurological colleagues  
15 do not do it.

16 Q. Should a neurologist have been consulted --

17 A. I would --

18 Q. -- regarding -- let me finish -- regarding  
19 Mrs. Skyril's care prior to the time that  
20 Dr. Juguilon actually saw her?

21 A. I would thought -- I would have thought it  
22 would have been desirable.

23 Q. And/or a vascular surgeon?

24 A. Depends on your bent. Yes, perhaps.

25 Q. Either one would have been appropriate?

1 A. Yes, it would have been appropriate.

2 Q. Would you agree that Dr. Moysaenko  
3 appropriately controlled her blood pressure?

4 A. I think within reason, yes. There were  
5 periods when there were elevations, but we see  
6 those on our own service in the best of situations,  
7 trying to control patients in intensive care unit  
8 you might have a little bit of tighter control. I  
9 can't say she suffered particularly from  
10 hypertension.

11 Q. So this was not severe uncontrolled  
12 hypotension?

13 A. Yes, it was. The concerns that I have over  
14 the long haul --

15 Q. While she was in the hospital?

16 A. He couldn't have kept her in the --

17 Q. While she was in the hospital, when I say  
18 severe uncontrolled hypertension?

19 A. Not initially on admission. On the day of  
20 the 14th, you were having trouble controlling, but  
21 by -- by and large of itself, the rest of it is  
22 reasonably controlled.

23 Q. Could a carotid arteriogram been done on her?

24 A. With increased risk, yes, very much so.

25 Q. It wouldn't have been contraindicated, you



1 might have to factor in --

2 A. You have -- you have to tell the family,  
3 look, we're going to do the test which is dangerous  
4 Lo start with, and it makes it much worse; and I  
5 think that you got to understand, are you going to  
6 do something with a test, if you got it.

7 So my feeling, because of the  
8 clinical situation I outlined to you, I wouldn't  
9 have exposed her to a carotid arteriogram at the  
10 present time. I would have tried to manage it  
11 medically.

12 Q. Was it contraindicated because of the  
13 hypertension?

14 A. I think relatively so, it could have been  
15 done, but relative contraindicated, would have been  
16 difficult to do safely.

17 Q. How much of a risk is there in the absence of  
18 hypertension?

19 A. Somewhere between a half and one percent.

20 Q. This is a risk of what, stroke, maybe?

21 A, Yes.

22 Q. In the presence of hypertension how much of a  
23 risk is it?

24 A. It's hard to figure the --

25 Q. Two percent?

1 A. It's probably two or three percent. Two or  
2 three percent, something like this I would say.

3 The other thing to say is --

4 Q. Let me just follow-up on that.

5 A. -- two or three percent is not  
6 contraindicated in hypertension, this lady also has  
7 a stroke. Okay?

8 Q. Okay?

9 A. That increases the risk.

10 Q. So what four, five, percent?

11 A. Wouldn't -- don't know the answer to that.  
12 There is a controversy. Some people don't believe  
13 that it -- it's a problem. I have personally seen  
14 it myself. I know people are doing early  
15 angiograms, looking at the TPA and thrombolysis and  
16 trying to decide if the patient is a candidate for  
17 thrombolysis.

18 So far there have been very small  
19 studies and some people do fairly well, but when  
20 you have to do hundreds of patients, then you  
21 really see where you are, and I personally even  
22 have seen stroke patients get much worse after  
23 angiograms, had one last Fall.

24 Q. Nobody's suggesting that there is no risk.

25 I guess what I was trying --

1 A. Lots, a lot of risk.

2 Q. -- what I was trying to do is quantify it to  
3 some degree.

4 You're talking four or five  
5 percent --

6 A. Probably.

7 Q. -- so we're not talking about it being  
8 contraindicated, rather we're talking about  
9 increased risk?

10 A. Yes.

11 Q. In your opinion was the left internal carotid  
12 occluded on the 13th?

13 A. I don't know that I can tell you.

14 Q. There's just no way to tell?

15 A. There's no way to tell. In fact, even if  
16 your carotid ultrasound had told you that, you  
17 wouldn't know that because --

18 Q. For sure?

19 A. Because of limitations of test, exactly. You  
20 have to do an angiogram. You may be 99 percent,  
21 that's different than 100 percent.

22 Q. The stroke that she sustained on the 16th I  
23 believe prior to her transfer --

24 MR. GROEDEL: 15th.

25 MR. KAMPINSKI: Evening of

1 the 15th, that's right.

2 Q. Do you have an opinion as to whether or not  
3 that was a primary carotid event?

4 A. I don't think I can tell you that.

5 Q. Why not?

6 A. I just don't know that we have any indication  
7 that that was the case.

8 Q. What about now that you got the completed  
9 autopsy, you don't think you can tell whether that  
10 happened.

11 A. We're told we have had an ante mortum clot,  
12 that's all I can tell. Exactly when it occurred, I  
13 don't know.

14 Did it occur before she died, yes,  
15 that's all we know. I don't know that I can --

16 Q. It says the left internal carotid is  
17 completed occluded by ante mortum thrombus and  
18 atherosclerosis.

19 A. Right.

20 Q. Does that tell you it was a carotid event?

21 A. That what happened with her drop in blood  
22 pressure and chest pain at that time?

23 Q. Yes.

24 A. No, it doesn't. She developed chest pain  
25 apparently and it was assumed -- I would assume

1 from what they did that he thought it was probably  
2 coronary.

3 Q. What he thought it was at that point --

4 A. We now have the percentages of occlusion of  
5 carotid, it can occur -- it can change slightly  
6 without any pain or any other changes at all.

7 Q. Doctor --

8 A. Wait. Let me back you up.

9 Q. -- there were no changes in --

10 A. Just angiogram, the patient in a hospital who  
11 has no knowledge of when her carotid was occluded.  
12 She's 54 years old now, doesn't --

13 Q. Did we have an autopsy --

14 A. I know,

15 Q. -- reflecting occluded --

16 A. Doesn't tell you when it happened.

17 MR. GROEDEL: Let him finish  
18 the question.

19 Q. That's what we have?

20 A. Yes.

21 Q. Is that the most likely cause of her brain  
22 damage and ultimate death?

23 A. Yes. Definitely.

24 Q. So it was not a cardiac event that was the  
25 most likely cause **of** her brain damage and death,

1 right?

2 A. I don't think so. I mean, I don't know  
3 that. What happened -- I don't know what  
4 happened. At the time she talks about chest pain  
5 and drops her pressure. Did she close off the  
6 carotid then, I don't know that.

7 Q. Is that most likely?

8 A. She may have, but did she also have chest  
9 pain and that -- I don't know. I can't tell you.

10 Q. What is the most likely in light of the  
11 autopsy findings?

12 A. But I have told you already I don't know  
13 whether she occluded her carotid.

14 She occluded her carotid sometime  
15 around that time, if that's what you want to know.

16 Q. Yes. What is there about an autopsy finding  
17 on the heart that assists us in determining whether  
18 or not this was a heart problem or carotid problem?

19 A. We are assisted by the fact you don't have  
20 any coronary disease described, but that doesn't  
21 tell you she doesn't have coronary spasm or some  
22 other cause of --

23 Q. You hear hoof beats, what do you look for?

24 A. That's what I thought in terms of clinically,  
25 he treated as if she had heart disease, not

1 knowing --

2 Q. That's terrific. But we now have hoof  
3 beats.

4 A. You are asking me to clinically --

5 Q. No. I am --

6 A. I look at the patients clinically. It's --

7 Q. Doctor, I am asking you to take into account  
8 everything you now know, including the autopsy  
9 finding. We can now look backwards.

10 You can have a high degree of  
11 assurance as to what it was that was her problem,  
12 don't you?

13 A. We know what her problem was. I am telling  
14 you I don't know when it occurred.

15 Q. Doctor, the --

16 A. I don't know.

17 Q. -- isn't that the most --

18 A. You're asking me if the chest pain was a sign  
19 of carotid occlusion.

20 Q. As result of the carotid occlusion?

21 A. No. No, I don't think so. I can say that.

22 Q. What was it due to then?

23 A. I don't know. It may be due to coronary  
24 spasm, it could be any number of possibilities, but  
25 I don't have an answer to that.

1 Q. Did you review Dr. Sala's report?

2 A. Who?

3 Q. Sala.

4 MR. GROEDEL: No.

5 A. No.

6 MR. GROEDEL: I didn't send  
7 it to him.

8 Q. How about Dr. Gardner?

9 A. No.

10 Q. Do you know him?

11 A. Yes, very well.

12 Q. Have you worked with him before?

13 A. Yes.

14 Q. Have you seen his report?

15 A. No, I haven't.

16 Q. Do you believe, Doctor, that a complete  
17 occlusion had occurred many months prior to her  
18 admission on the 13th?

19 A. I can't really tell you that because the way  
20 the clot or the way the thrombus is described. I  
21 assume they're talking about real thrombus, in  
22 which case it sounds like it occurred sometime  
23 before death; but it occurred recently in proximity  
24 to the patient getting into trouble with this here.

25 Q. Which would be contrary to **it** having existed



1 many months prior?

2 A. Yes, because the pathology looks different in  
3 that situation.

4 Q. So I take it there is no evidence then that  
5 there was a myocardial dysfunction in Mrs. Skyrl?

6 A. There is no pathological evidence, okay.

7 Q. Did you review any literature for purposes of  
8 preparing your report?

9 A. No.

10 Q. How about since that time?

11 A. No.

12 Let me say this, I am on the ward  
13 right now. I see probably one or two new strokes a  
14 day, we're always talking about this area. This is  
15 an area I am very comfortable with because a -- of  
16 my association with the Cleveland Clinic going back  
17 to lectures about strokes and the management  
18 lectures in hospitals about it.

19 Q. By the way, even a cursory review of the  
20 record would reflect that the symptomatology of  
21 Mrs. Skyrl was waxing and waning, wouldn't it?

22 MR. GROEDEL: Objection. At  
23 what time?

24 MR. KAMPINSKI: Prior to --

25 MR. GROEDEL: Did you recall

1       that?

2                               MR. KAMPINSKI:               Prior to her  
3       admission.

4       A.       If you believe the history, yeah.

5       Q.       It's there in the record.

6       A.       I am willing to accept that patient somehow  
7       imparted to Dr. Moysaenko the added idea this thing  
8       was coming and going before admission, although I  
9       am really concerned when I find that a daughter who  
10      lives in the same house doesn't recognize that it's  
11      happening. That I find bizarre, but that's  
12      all right.

13                           Yes. I would be willing to say yes  
14      to what you said with -- though with  
15      considerations.

16      Q.       A duplex scan is fairly -- it's not invasive?

17      A.       No, it is not.

18      Q.       Pretty easy to do?

19      A.       In good hands.

20      **a\***      Yes. Well, I am assuming that it's --

21      A.       You can't have a substitute technician doing  
22      it for extra money.

23                           It's easy to do otherwise.

24      Q.       As a matter of fact, of the potential tests  
25      that Dr. Moysaenko had ordered, I take it it would

1 be the easiest one of all to do, easier than a CAT  
2 scan?

3 A. Yes, maybe, but I don't know. We're  
4 splitting hairs.

5 Q. Easier than a Holter?

6 A. Holter you could wear 24 hours.

7 Q. Sure.

8 A. Echocardiogram's about the same type of test.  
9 EKG is a lot easier. Okay.

10 It's not a difficult test, if  
11 that's what you're asking, in proper hands.

12 Q. To your knowledge could it be done at  
13 Deaconess?

14 A. I assume it can be done at Deaconess if you  
15 order them. I don't see any arrangements to send  
16 the patient out to do carotid ultrasound in here  
17 or --

18 Q. Can they do MRI's there?

19 A. I don't know whether they can or not. I  
20 would assume they probably can. I don't remember.

21 Q. Do you have any criticism at all of the  
22 hospital personnel?

23 A. No.

24 Q. Any of the Cleveland Clinic personnel?

25 A. No.

1 Q. From a timing standpoint there is nothing  
2 that would have precluded doing a scan on Sunday  
3 had one wanted to do it and bring in the personnel?

4 A. No.

5 Q. Or Monday morning, for that matter?

6 A. No.

7 Q. Or Monday afternoon, for that matter?

8 A. Right.

9 Q. Do you believe that her -- I apologize if I  
10 asked this already, I just want to make sure in my  
11 own mind I understand -- do you believe that her  
12 stroke that occurred on the evening of the 15th was  
13 probably caused by the carotid thrombus as opposed  
14 to any potential episodes of hypotension related to  
15 myocardial dysfunction, which is more --

16 A. I think so. I think that what she did was  
17 occluded, finished off her carotid. If she had a  
18 high grade stenosis, that's why she finished the  
19 situation.

20 Let's assume that she enters the  
21 hospital on the 13th, it's 97, 98 percent, she  
22 finishes the carotid occlusion, if that's what was  
23 going on at that time.

24 Q. On the 15th?

25 A. Yes.

1 Q. Or if it was a thrombus, which is indicated  
2 on autopsy, ultimately closing that carotid, that's  
3 still a carotid event?

4 A. That's what I mean by carotid occlusion.

5 Q. In other words, a thrombus is what, a plaque?

6 A. Well, no. A thrombus is fibrin and platelets  
7 and all the coagulation factors that come together  
8 to make a clot.

9 Oftentimes it comes in the  
10 situation of atherosclerotic plaques, which is a  
11 plaque down in the endothelium and irritation of  
12 the wall, the deposition of calcium, and severe  
13 atherosclerosis allows plaque to build up and clot,  
14 then that narrows things more, and you know, clot  
15 then propagates and it closes the thing off.

16 Q. You say propagates, moves?

17 A. Not necessarily. It may just extend up an  
18 artery. It may move. You don't know whether -- I  
19 mean, you can't tell at this point.

20 I assume that it's totally occluded  
21 on the basis of autopsy, but in point of fact, we  
22 have seen situations where carotids were not  
23 completely closed off and the patient still has the  
24 same kind of damage. We're talking about clots  
25 broke off and fills a middle cerebellar artery and

1 causes a patient's demise. There are various  
2 different ways it can happen.

3 Q. In any event, it would have been the carotid,  
4 the thrombus in the carotid that caused her massive  
5 stroke?

6 A. In somewhat or other.

7 Q. Yes. We're talking about that's what caused  
8 her stroke.

9 A. I think what you're getting at is --

10 Q. Go ahead.

11 A. You may be getting at did the hypotension  
12 cause the ultimate demise.

13 Q. Right.

14 A. I can't tell you, exactly tell you. I don't  
15 know that. I think she gets -- gets a transient  
16 period of time after she gets her pressure back  
17 up. She goes on and gets into serious trouble, so  
18 I think the end event is the carotid; and I don't  
19 know what happened really to cause her blood  
20 pressure to drop. It may have been something  
21 happening with her, it may have been the addition  
22 of the Nitroglycerin.

23 Q. You can't tell me if --

24 A. I don't think under the circumstances to have  
25 given Nitroglycerin, I can't go back and fault the

1 doctor in the absence of coronary vessel disease.

2 Q. I am trying to get at what you believe it was  
3 that caused the stroke, was it cardiac related --

4 A. No.

5 Q. -- related to thrombus?

6 A. It's related to whatever pathology based on  
7 the carotid with the types of changes that can  
8 occur.

9 Q. If carotid endarterectomy had been done, you  
10 don't believe that this would have prevented the  
11 ultimate stroke that occurred on the 15th --

12 MR. GROEDEL: Objection.

13 Q. -- or do you?

14 MR. GROEDEL: Go ahead.

15 A. Could you conceivable get away with it?

16 Q. No, that's not what I said.

17 A. What are you asking, I am not quite sure.

18 Q. The purpose of doing carotid endarterectomy  
19 is so someone like Mrs. Skyrl, whether you believe  
20 it to be experimental or not --

21 A. I don't think that's a proper word.

22 Q. You used it.

23 A. It's not a good word.

24 Q. I don't think it's a proper word either.

25 A. It's something **we don't do, but --**

1 Q. When you say "we," you don't do it anyhow,  
2 you are not a surgeon?

3 A. No, but I am -- the point is we don't send  
4 people to carotid surgery -- most people don't send  
5 people to carotid surgery in situations where a  
6 patient had a significant deficit lasting several  
7 hours. I think that's -- that you have to  
8 understand, although this area that you are  
9 alluding to of operating acutely has been looked at  
10 in the past, it's been looked at in retrospect with  
11 a jaundice eye because of so many problems that did  
12 occur.

13 Q. By neurologists --

14 A. It's not looked at as the -- it's not  
15 standard of care.

16 Q. By neurologists, by surgeons, who are --

17 A. I know vascular surgeons won't touch it on  
18 cases. I've tried, okay.

19 Q. Perhaps because they don't have the ability  
20 to do it.

21 A. No. No.

22 Q. Let's get back to had the carotid  
23 endarterectomy been done, is it your belief that it  
24 would not have prevented the subsequent stroke that  
25 occurred on the 15th?



1 A. Well, I don't know if we were -- if I  
2 completely understand you.

3 If you do a carotid endarterectomy  
4 to try to prevent a stroke -- and I think you have  
5 to weigh the risk/benefit ratio -- my feeling is  
6 that the risk of doing it was higher than the  
7 possibility of getting in and out successfully.  
8 There I wouldn't --

9 Q. The risk I think we discussed was between  
10 four to five percent?

11 A. You are talking angiographic.

12 Q. What is the risk of carotid endarterectomy?

13 A. It depends on your surgeon.

14 Q. If Dr. Durham said four to five percent, do  
15 you agree or disagree?

16 A. Four to five, six percent somewhere.

17 Q. In that area?

18 A. That's in a good patient.

19 Q. That was in Mrs. Skyr1?

20 A. I am not sure I would accept that because in  
21 symptomatic patients without stroke, such as the  
22 North American Symptomatic Carotid -- Carotid  
23 Endarterectomy Trial, you had to have I think what,  
24 six percent to beat the medical numbers of disease  
25 left alone in high grade stenosis, they did --

1        didn't operate on stroke, okay.

2                    In other words -- in other words,  
3        anybody who had a significant deficit at six hours  
4        would not have been taken on, or probably two hours  
5        would not have been done on. In a study there  
6        you're talking about six percent.

7                    If you're talking about operating  
8        on somebody who is already got a stroke, who is  
9        in -- got hypertension and bad carotids to start  
10       with, I don't know that we know the risk in -- it's  
11       going to be much higher than that.

12       Q.        Ten percent, maybe?

13       A.        I don't know the answer to -- I mean, it's  
14       not acceptable to me.

15       Q.        He's a surgeon, he said that percentage is  
16       four to five percent.

17       A.        But surgeons tend to be optimistic, and  
18       rightly so, but I don't know that we know those  
19       answers specifically very well in a situation like  
20       this. It's never been, that I know of,  
21       specifically looked at.

22                    We know from past history when  
23       people operated very early, that the disaster rate  
24       was unacceptably high.

25       **a.**        Is that when surgically the techniques

1 weren't as good as they have now?

2 A. I think we're in a period in the past where  
3 they were good enough to say the techniques were  
4 similar. Granted, there are -- there has been  
5 changes.

6 The whole question of if you do  
7 shunts at the time of surgery, do you use EG  
8 monitoring, all that. Given those things, I think  
9 that even a period where those things were  
10 available and knowledgeably used, that's been a  
11 long time now, that we still don't operate on these  
12 things.

13 Q. So your point is you shouldn't operate, but  
14 my only question once again though --

15 A. No.

16 Q. -- is had the operation occurred --

17 A. Acutely you mean?

18 Q. Yes. On the 13th or 14th, at any prior to  
19 the actual stroke that occurred on the evening of  
20 the 15th, that occurred with whatever risk factor  
21 that you are talking about, four or five or ten or  
22 you don't know the number, the number we have from  
23 Dr. Durham is four or five, but regardless of what  
24 a risk factor is, all right, would there then be  
25 a 95 percent chance that she would not have had the

1 stroke --

2 A. No.

3 Q. -- or 90 percent --

4 A. No.

5 Q. -- chance depending on what risk factor --

6 A. No. I would be careful. Again, let's  
7 clarify his number.

8 We had the -- to reach six percent  
9 as carotid subjects, to even come within acceptable  
10 range in terms of operating on patients without  
11 stroke. Here we have a stroke. We got a  
12 significant deficit,

13 Q. Wait a minute.

14 What do you mean, I thought you --  
15 we had agreed, I don't want you to change your  
16 terminology on me, that she had a mild deficit?

17 A. A mild, it's very significant in terms of the  
18 dominant hemisphere. If you want to say large, you  
19 have to speak, in order to be able to do her work.  
20 A lady has to work and anybody's who's sitting on  
21 an unstable situation, who is aphasic, is looking  
22 at a significant problem.

23 Q. She was speaking, sir.

24 A. I understand that. She's aphasic in the  
25 notes that Dr. Moysaenko writes.

1 Q. Can we get back to my question?

2 A. We can.

3 Q. What is your answer?

4 A. In terms of the surgery?

5 Q. Yes.

6 A. I think the risks are unacceptably high.

7 They're much more than six percent.

8 Q. I've gotten past that.

9 A. What are you --

10 Q. Had a carotid endarterectomy been done, would  
11 she in your opinion have suffered stroke that she  
12 did suffer on the 15th?

13 A. You are out beyond what you can answer.

14 Yes, I told --

15 Q. I'm asking you to assume that some doctor --

16 A. Let me tell you --

17 Q. -- would have performed --

18 MR'. GROEDEL: If you can't  
19 answer --

20 A. I will answer you this: There are cases  
21 where you are sneak ng through a small space in a  
22 hole and can make it out luckily, and make it.  
23 That is the way you have to make this decision.  
24 This is not a given, that surgery would have helped  
25 necessarily at this point.

1 Q. Is that because of tests that weren't done so  
2 we can't tell?

3 A. No. I told you why.

4 Q. Because the risks were too high?

5 A. That's right.

6 Now, does that mean in 100 patients  
7 that you are not going to get a few through that,  
8 you can possibly sneak through, that's possible;  
9 but it is not in a situation where we can say this  
10 patient's going to make it, that one isn't, without  
11 a big stroke. We don't know how those particular  
12 patients will do.

13 Let me also say that people are  
14 looking at this area again of operating early. We  
15 have looked at the area of operating in aneurysms,  
16 have been successfully operating early there, but  
17 we still are nowhere near that definition in the  
18 area of surgery on carotid disease with a fixed  
19 deficit of certain lengths of time, and you're  
20 talking about a dominant hemisphere chronically  
21 hypertensive lady.

22 Q. What is intercavitary clot?

23 A. Meaning something in the heart that would  
24 have been an embolic source of stroke to the  
25 carotid or to the head.

1 Q. She didn't have that?

2 A. No, she did not. It appears she doesn't have  
3 that.

4 Q. Would you agree that most nonsurgeons elect a  
5 nonsurgical approach?

6 A. No, I don't. I'm sorry. I am just as  
7 aggressive when it comes to the right patient.  
8 Have been known to be so.

9 Q. Has this hospital been involved in the North  
10 American Symptomatic Carotid Endarterectomy Trial?

11 A. I have not been involved, but been involved  
12 in -- in the finishing of that study.

13 *a.* That was by a neurologist Hugh Barnett?

14 A. It's not Hugh. It's Henry.

15 Q. Henry?

16 A. Yes. And the problem, as you may or may not  
17 know, we don't know below 70 percent who should be  
18 operated and who shouldn't. We're having trouble  
19 finishing the study.

20 Q. Because of the ethical considerations?

21 A. Yes. Doctors are nervous about submitting  
22 patients to a trial. We know probably below  
23 50 percent, can leave them alone; we know about the  
24 20 percent in between.

25 Q. You're talking about the degree of stenosis?

1 A. Yes. People are reluctant to submit  
2 patients. I am trying to finish the study so we  
3 can find out where they had -- we've been  
4 operating, how far down should we go.

5 Q. Did it make any difference to you in terms of  
6 your opinions or findings in your report when you  
7 got the complete autopsy or is that something you  
8 anticipated?

9 A. I think it finished the question that I  
10 raised about, you know, the business about heart  
11 lesions.

12 Q. That took care of that?

13 A. Yes, but it doesn't make any difference to me  
14 where the carotid is here in terms of open or  
15 closed. The patient add a stroke from the bad  
16 carotid, I assume. I agree with Dr. Durham, my  
17 thought would have been carotid here, too.

18 So doesn't really matter what  
19 percentage it is. To me I think it's high grade.  
20 We knew that and we knew it was significant enough  
21 to cause this disease.

22 Q. Would it matter if the extent of stenosis was  
23 less than the clot itself? In other words --

24 A. No.

25 Q. Do you know --



1 A. Yeah, I think I know what you mean. In terms  
2 of Dr. Durham's testimony about percentage and so  
3 on?

4 Q. Yes.

5 A. Sure. I agree you can't tell at autopsy what  
6 your percentage is anymore -- unless the thing is  
7 rigid and firm, even then you can't tell because  
8 it's been fixed -- no, it doesn't really matter.

9 The fact that it's got very  
10 significant disease, has a significant clot in it  
11 is -- that's really the important thing to know.

12 Q. I guess the reason I am asking, at least in  
13 terms of trying to think as to what it was on  
14 the 15th versus the 13th, would it have mattered,  
15 let's say, if a Doppler would have been done, and  
16 the carotid angiogram been done, angiography been  
17 done, if you follow what I am saying?

18 A. I think so.

19 Q. We know because it was totally occluded?

20 A. Yes. Would it have mattered then?

21 Q. Let's assume for purposes of the discussion  
22 that the surgeon was going to operate, I don't know  
23 whether he would have opened 100 percent, that  
24 depends on the surgeon, but --

25 A. We don't open 100 percent occluded carotids

1 at any time, even in patients who are asymptomatic,  
2 we do not; this lady or anyone on day one, open  
3 them and get into trouble.

4 Q. Okay.

5 A. If this patient were a much more -- what was  
6 the word -- a good candidate, in other words,  
7 totally had blood pressure under control and total  
8 complete resolution of symptoms, went through that  
9 window of opportunity like I have talked about in  
10 my letter there, where the patient's symptoms  
11 totally resolved, negative CAT scan, no deficit at  
12 all, would you then have had to know, you bet you  
13 had to know. That's a case where you would have  
14 operated.

15 Q. But I am saying that you had to know, doesn't  
16 having the information I guess available now  
17 with --

18 A. You would have had to know then what  
19 percentage was the stenosis and what can I do about  
20 it.

21 Q. Let's assume we're talking about the present?

22 A. Then you need angiogram to be a little sure.

23 Q. What kind of scenario would we have been --  
24 what kind of emergency or operable scenario would  
25 you need to see?

1       A.       Let's say this lady came in with a history  
2       that she had a totally cleared blood pressure,  
3       wasn't much of a problem, running 180 over 100 or  
4       180 over 90, and you feel that gee, maybe this is a  
5       carotid stenosis of significance; you do a Doppler  
6       because it's the fastest thing you can get, you  
7       decide we're in high grade stenosis, we're in  
8       trouble.

9       Q.       "High grade" meaning?

10      A.       Meaning anything above 80 percent you would  
11      have been concerned.

12      Q.       Okay.

13      A.       You would have angiogramed her then, but  
14      given the fact the deficit never cleared, it's my  
15      contention, and I think the majority of  
16      contentions, that it is not appropriate at that  
17      point --

18      Q.       You're getting back to that. I heard you  
19      first, second, and third time.

20      A.       Your surgeon, I don't have much disagreement  
21      with your surgeon, but I do have a -- have lots of  
22      disagreement somewhat operating on a fixed deficit  
23      after a prolonged time. That would --

24      Q.       A fixed deficit of prolonged time? What is  
25      prolonged?

1 A. You are out more than a couple hours.

2 Q. So in other words, after a couple hours you  
3 consider to be a long time?

4 A. If you're out that long, you can't do it.

5 Q. Getting back to, though, what I was trying  
6 to --

7 A. I'm sorry if I didn't answer.

8 Q. It's okay. Maybe you did answer it.

9 Can you say that anything  
10 over 80 percent, whether it be stenosis or stenosis  
11 plus clot, occlusion, anything over 80 percent that  
12 you would visualize on angiography would then be a  
13 surgical emergency if you were in a window?

14 A. That's right. In other words --

15 Q. -- at that time, whatever it --

16 A. You got a history from a lady that she was  
17 waxing and waning, she comes into the emergency  
18 with reasonable blood pressure and everything goes  
19 away, you got 80 plus percent, I go right then  
20 before it happens again.

21 Q. I hear you.

22 By the way, would it matter to what  
23 extent she was able to communicate? You said  
24 before that she was speaking.

25 A. Does matter. You're talking about an

1 ischemic dominant hemisphere, if you got a lesion,  
2 ischemia in the dominant hemisphere, the patient  
3 could be ruined, really.

4 Q. So if she's speaking, if she can verbalize,  
5 if she can talk to the doctor, the family, the  
6 nurses, that would be a better indication for you  
7 as a physician from a neurological standpoint as to  
8 potential surgical intervention?

9 A. Yes. I think we're on the same frequency  
10 here. If I thought the patient had minimal  
11 deficit, were you -- I remember when Eisenhower had  
12 a stroke, he had trouble finding words.

13 Q. I am old enough.

14 A. He didn't have massive hemiparesis and he  
15 didn't have a visual problem. He would --

16 Q. Didn't affect his golf game.

17 A. Right. I would accept a little bit, I would  
18 accept a minor diagnosis; but once you start with  
19 high blood pressure and blurriness and the family  
20 practitioner who doesn't really appreciate how to  
21 do fields, apparently doesn't do them himself, he's  
22 got a problem without visual, that works all along  
23 with a stroke. I am not sure, but I think it  
24 does.

25	He's got clumsiness and weakness
----	----------------------------------

1 later the next day. He described 3 over 5, which  
2 is pretty darn weak, and aphasia, that's too much.

3 Q. So in other words, one of the problems that  
4 maybe we're having is his ability to define her  
5 deficit? In other words, if I asked you to assume  
6 that she was verbalizing with him, with the family,  
7 with the nurse and with almost no deficit  
8 whatsoever, would that affect your opinion?

9 MR. GROEDEL: Deficit with  
10 regard to the speech or --

11 MR. KAMPINSKI: Yes.

12 A. If we had no demonstrable deficit, you know,  
13 and I -- all of us are dealing with not knowing  
14 what it really is, but when you start talking about  
15 what is coming out of the left hemisphere, if  
16 somebody who is not a neurologist tells me the  
17 patient sounds aphasic, we got right-sided  
18 weakness, visual stuff, that's enough for me to  
19 tell you that you can't get aggressive.

20 Q. So your opinion then is based upon his  
21 clinical description?

22 A. I have to base it on that.

23 Q. They have to base to it on clinical  
24 symptomatology is what you are saying?

25 A. In other words, to the nurses' notes or

1 anyone else's notes of surgery that she totally  
2 cleared, these are the things you look to see.

3 Sometimes you see that a particular  
4 patient looks to be okay by the intensive care  
5 nurse, that would be helpful. We don't have that  
6 here.

7 Q. When she came in had her vision improved?

8 A. I don't know that I can tell you that because  
9 I had that sinking feeling I didn't know exactly  
10 what was happening when it was described as being a  
11 problem. Although I think he describes her  
12 somewhere is that she has trouble with -- let me  
13 see if I can find that here.

14 Q. This is Dr. Moysaenko's admitting history and  
15 physical dictated on January 13?

16 A. Not dictated in there.

17 Q. You got that.

18 A. Let me see. She indicated she felt there was  
19 something in her left eye nasally, which was  
20 impairing her vision.

21 Now, couple possibilities: one is  
22 that she has a problem with her hemisphere, in that  
23 she's really describing a visual field cut; other  
24 possibility that she -- again, to get her  
25 circulation to her eye from an occluded carotid, I

1 don't know that we're ever going to know that. He  
2 doesn't do his fields, which is unfortunate.

3 Q. You got his note of the 13th in front of you  
4 there, Doctor?

5 A. History and physical, yes.

6 Q. Let me just go through that just a little  
7 bit.

8 I mean, it's got she's alert and  
9 oriented times 3, okay; what does that mean?

10 A. Where are you now?

11 Q. Right at the beginning, physical  
12 examination.

13 A. It means she was aware, knows where she is,  
14 knows time, place, and person.

15 Q. So she has to be able to verbalize that to  
16 him for him to put that down?

17 A. Yes. Then he goes on to say extremely slow  
18 and deliberate speech with errors in word  
19 selection. That suggests to me a patient has  
20 aphasia, but I don't know that without specific  
21 testing.

22 Q. He goes on --

23 A. Not only -- it's not only -- I just don't  
24 know what kind of aphasia it is or how dense it  
25 is.



1 Q. Sure.

2 A. Some aphasia will cause an increase of normal  
3 manipulation of speech rates and another aphasia  
4 will often cause a decrease in speech rate and  
5 trouble with word finding alone, which is not  
6 necessarily indicative of location, so you --

7 Q. But you don't know --

8 A. Not enough on exam.

9 Q. Pupils equal and reactive to light and  
10 accommodation, they are mid point and --

11 A. What are you --

12 Q. -- I see no hemorrhage, no exudate no  
13 papilledema, what does that tell you with respect  
14 to her eyes, anything?

15 A. Well, it doesn't tell me anything about her  
16 vision. It tells me the pupils are round and  
17 reactive, that whatever is going on has not  
18 affected her pupils. He didn't see anything in the  
19 fundi.

20 In terms of hemorrhages or exudate,  
21 the papilledema is swelling from increased  
22 pressure, but we don't have either of --

23 Q. But those are good things in terms of what he  
24 reports?

25 A. Yes.

1 Q. Carotid up, stroke, plus 2 bilaterally; what  
2 does mean?

3 A. I don't know what means to him. I guess it  
4 means he wants to know -- I think they're equal in  
5 pulsation, but that is of doubtful benefit no  
6 matter who does it.

7 You can have a completely occluded  
8 carotid up above, just beyond a certain angle and  
9 the pulse will feel the same on both sides, so that  
10 doesn't help you.

11 Q. Under neurological, she's alert, oriented  
12 times 3, with cranial nerves 2 through 12 intact;  
13 does that tell you anything?

14 A. Well, within the limits of testing, no.  
15 Visual fields are not tested.

16 Does she have a subtle facial  
17 weakness going along with that, I don't know that.

18 Q. We can only deal with what is here?

19 A. That's right. You can, and --

20 Q. Based on what is here --

21 A. That's all I can tell.

22 Q. -- what does this tell you, her motor  
23 strengths was questionable, slightly diminished on  
24 right as compared to the left, however, this is  
25 subjective; doesn't sound like he's describing any

1 type of deficit; does it, Doctor?

2 A. Apparently this -- I agree it's not terribly  
3 severe in terms of the degree of motor weakness,  
4 okay, the constellation, the picture put together  
5 with knowing the potential down the line of what  
6 could happen to a patient with a potential serious  
7 problem.

8 Q. I am trying to deal with the clinical  
9 symptomatology here, which is what I'm presuming  
10 you're basing your opinion on here, and looking at  
11 the clinical symptomatology it doesn't appear to be  
12 that significant, does it?

13 A. It's pretty significant.

14 If you go back from that note  
15 alone?

16 Q. Yes.

17 A. I would rephrase the question. When you get  
18 back in the note of, let's see --

19 Q. This is here, a dictated note based on his  
20 history and physical at her admission, isn't it?

21 A. Yes, but other -- other reasons I felt that  
22 there was -- you go onto the 14th at  
23 seven o'clock --

24 Q. Just a minute. Let's deal with the 13th for  
25 a minute.

1       A.       He didn't actually -- he sees her on  
2       the 13th. I don't believe he --

3       Q.       Well then, how can he dictate a history and  
4       physical?

5       A.       I think the hospital did that.

6       Q.       Whoever saw her on the 13th, that's what he  
7       based -- you know, you have to deal with residents  
8       all the time. I guess a lot of times this will  
9       happen, you sign stuff and it's really dictated by  
10      somebody else?

11      A.       And timing. We don't do it for the legal  
12      system, we do it for the situation.

13                   His admitting notes here and  
14      written notes is on the 14th, and this thing says  
15      it was dictated on the 13th.

16      Q.       That's what it says?

17      A.       Transcribed on -- one is dated the 13th, but  
18      I don't have any other evidence that he saw the  
19      patient before the 14th, but I don't know. I may  
20      be wrong about that.

21      Q.       Dealing with that dictated note that we just  
22      looked at --

23      A.       Would I be aggressive with her?

24      Q.       Yes.

25      A.       No.

1 Q. Still not?

2 A. No, because of the aphasia, and the aphasia  
3 and weakness and the whole picture.

4 Q. So it doesn't matter that his description was  
5 that -- I mean, it's not even clear that she has  
6 weakness from this description, it says  
7 questionable?

8 A. Yes, but somewhere --

9 Q. Well, let's deal with this for a second. I  
10 can appreciate that you --

11 A. My point --

12 Q. You may want to go --

13 A. -- my point in my letter to Mr. Groedel is  
14 that she never completely resolves.

15 That much speech deficit is --

16 Q. How much speech deficit?

17 A. We don't know. Gets back to what you said,  
18 wouldn't it be nice if a neurologist had seen the  
19 patient. We really knew that's a problem all over  
20 the country.

21 Q. What if I told -- what if I asked you to  
22 assume that she was having, you know, total  
23 conversations with her family, with the nurse, with  
24 the doctor; I mean, she was cognizant of what they  
25 were saying, she was able to respond, she

1 participated in the decision as to whether or not  
2 to go to the Clinic, she was cognizant of what was  
3 going on, she was able to communicate, would that  
4 matter to you?

5 **MR. GROEDEL:** Objection. Go  
6 ahead.

7 **A.** No.

8 **Q.** No?

9 **A.** No.

10 **Q.** Okay.

11 **A.** My point is she still has a deficit, a  
12 significant deficit in the sense of the potential  
13 for this thing extending. It's never clear, as we  
14 have discussed here, it's never cleared, that's  
15 critical. She always has weakness, she always has  
16 aphasia.

17 I hate to tell you how many times I  
18 am called to see patients in all kind of hospitals,  
19 it's please see this patient, she's just a little  
20 off there, or a little off; then you're looking at  
21 them, they are severally affected when you consider  
22 the constellation of what you find as a experienced  
23 neurological examiner. Okay. Big difference.

24 **Q.** I take it then your difference and really  
25 maybe the only difference with Dr. Durham is his

1 opinion that because the deficits were so minimal,  
2 that she was in fact an appropriate candidate for  
3 surgery, that that's what you disagree with; you  
4 are not -- to you it doesn't matter whether they  
5 were severe or minor, the fact that they were there  
6 at all?

7 A. In time.

8 Q. He agrees with you, if you read it --

9 A. In time.

10 Q. That definitionally if they're there for  
11 hours, that's a stroke; he agrees with you?

12 A. But I also point out to you, I feel strongly  
13 you can't operate beyond an hour or two.

14 Q. That's fine.

15 A. I can't find surgeons that used to do it  
16 anymore.

17 Q. When he says that the symptomatology was  
18 mild --

19 A. I'll turn it around. Here is vascular  
20 surgeons, and I have had trouble with other  
21 vascular surgeons, just a little stroke. A stroke,  
22 is a stroke, is a stroke, okay, whether it's in the  
23 dominant hemisphere. It not more serious if it's a  
24 little stroke in the non-dominant hemisphere, but  
25 it becomes real serious if it becomes a major

1 completed stroke as did --

2 Q. Mrs. Skyrl?

3 A. -- this. We as doctors must not create this  
4 disastrous or -- well, as doctors there shouldn't  
5 be intervention where we shouldn't be.

6 Q. You should prevent it, if you can?

7 A. My contention --

8 Q. Your disagreement with Dr. Durham is that you  
9 don't believe a surgeon should be entering into  
10 this at this time without the --

11 A. That it's still not accepted, regular  
12 accepted care. This is way beyond expectation. We  
13 would have --

14 Q. In your opinion?

15 A. No, I think it's --

16 Q. I'm talking -- only talking to you.

17 A. Exactly. It's the opinion of lots of people  
18 and --

19 Q. We don't have lots of people here.

20 A. This does not get done in this hospital  
21 either by neurologists, does not get done by one of  
22 the vascular surgeons.

23 Q. Gets done by the other one?

24 A. We don't -- we don't agree with him. We've  
25 had some real problems.



1 Q. I see.

2 In terms of the transfer to the  
3 Clinic, should Dr. Moysaenko have been more  
4 aggressive in ensuring she got transferred once  
5 the family requested that?

6 A. You don't know. You know what I wrote in my  
7 letter, and I know how hard it is sometimes to find  
8 a bed.

9 First of all, you find the Fellow,  
10 and the patient may or may not be an urgent problem  
11 in the eyes of the person who is on the expecting  
12 end, who may or may not --

13 Q. How about the sending end?

14 A. Okay. That's possible, too. But for reasons  
15 that I suggest, didn't make any difference in the  
16 sense that it was completed and you no longer could  
17 operate if he had -- had been calling, whether he  
18 realized or not, it didn't make any difference or  
19 not.

20 Q. Okay.

21 A. So he calls, he finds a Fellow who thinks,  
22 was it Letterman in this case, okay and whatever it  
23 was, it was Letterman, I guess, and they get around  
24 and you're talking about the patient, the doctor  
25 **calls you back, you say gee, you got a bed, I'll be**

1 right over, maybe we don't have a bed, we'll take  
2 the patient tomorrow. These are things that  
3 happened in the course of transfer to big  
4 institutions.

5 Now, if somebody's got a risk of  
6 stroke, if there's not any room in the manger, you  
7 make room; but if the thing is felt to be a  
8 completed stroke, or the thing is felt to have been  
9 a stroke which is relatively stable, you can't  
10 operate at this point. I don't think you move them  
11 out just to move a patient.

12 I suspect there were problems on  
13 both ends, from what I can gather in reading what  
14 we have. I think he made an effort to get ahold of  
15 the surgeon, he did make an effort to get ahold of  
16 the patient, the patient -- or the physician rather  
17 at the Clinic, and you know, whether he  
18 communicated what exactly what he was doing to the  
19 family, I don't know that, but he says this in  
20 depositions and other places, and I can understand  
21 the difficulties. I been there myself.

22 I happen to know the inner workings  
23 at Cleveland Clinic. I know exactly who you could  
24 call. I don't have any problem. You want to get a  
25 patient to **the** Clinic tonight, I can do it if it

1       were appropriate; but I can understand that  
2       somebody who doesn't deal with the Clinic every day  
3       may not know the system, may not be able to affect  
4       a transfer quickly. So in that respect --

5       Q.       Let me ask it differently then: If you  
6       can't, you as a physician, can't get a patient  
7       transferred promptly, should you then undertake the  
8       tests that they indicated ought to be done in the  
9       interim, the person's still a patient?

10      A.       I understand. I also pointed out in that  
11      letter that the two tests that we have in  
12      contention that are remaining are the carotid  
13      ultrasound --

14      Q.       And the echo?

15      A.       Yes. And probably would the patient have  
16      been changed there, they would have been done at  
17      some point at the Clinic anyway, therefore you're  
18      not going to act on them differently at this  
19      point. The person -- I would have probably  
20      cancelled them myself.

21                       Now, the reason being that it all  
22      goes on somebody's bills, so whether it be Medicare  
23      or Clinic bills, the Deaconess bill, or whatever,  
24      depending on how the DRG works, how long the length  
25      of stay, I don't know completely. The point is,

1     you have a window of change, what are you going to  
2     do then.

3     **a.**     That's --

4     A.     So I would have not have done it probably.

5     Q.     You keep getting back to the proximate  
6     cause. I know you're saying it wouldn't have  
7     mattered what time it was --

8     A.     Right.

9     Q.     -- or nothing mattered, so once she had the  
10    stroke on the 13th she was dead?

11    A.     I can't criticize -- also I might have done  
12    it, but if it were all set up, ran through, I may  
13    have not have done it, so you --

14    Q.     So Mrs. Skyr1 should have just stayed at home  
15    as opposed to going to the hospital for any help?

16                   MR. GROEDEL:           Objection.

17    A.     No.

18    Q.     All you are --

19    A.     I don't think that's true at all. I talk  
20    about a window of opportunity. You were reviewing  
21    the first hours of hospitalization, she received  
22    medical care in the form of antihypertension that  
23    were -- she was watched. She didn't get into  
24    progressive stroke.

25    Q.     She stroked and died.

1 A. They were trying to prevent progressive  
2 trouble.

3 Q. What did they do to prevent her from having  
4 massive stroke and dying, what treatment?

5 A. I told you what they --

6 Q. Tell me how --

7 A. -- controlled her blood pressure.

8 Q. -- they helped prevent --

9 A. I think I did. You don't over control it.

10 Q. The stroke?

11 A. Yes. To say that she didn't receive any  
12 benefit from going to Deaconess Hospital I think is  
13 fallacious. I'm sorry.

14 *A.* Can you tell if a clot is fresh from a  
15 carotid angiography?

16 A. Sometimes you can. If you got a tail sitting  
17 out in the stream of a certain type you might be  
18 able to tell, yes.

19 Q. Would that be an indication for emergency  
20 surgery?

21 MR. GROEDEL: Objection. Go  
22 ahead.

23 A. Well, we have already testified here we  
24 thought it was --

25 Q. Fresh?

1 A. -- beyond the time when he could have  
2 operated.

3 Q. Based on clinical symptomatology, I am  
4 not --

5 A. That's right.

6 Q. -- talking radiological testing.

7 A. Yes, but I can't -- you don't treat x-rays,  
8 you treat patients.

9 Q. X-rays help you to treat them?

10 A. You do, but you got to interpret **it** within  
11 the realm of the whole picture. Sou just can't  
12 pick out one thing and go on after **it** because  
13 you're going to get in trouble if you do.

14 Q. But my question --

15 A. What I would have --

16 Q. Yes.

17 A. What would I have done in this situation.

18 I can conceivably might have really  
19 crunched her blood pressure down to be sure, and  
20 heparinized her so we can get to her. I don't know  
21 **if** I would have gotten away with **it**. It's very  
22 risky in the face that just four days ago here I  
23 decided not to do **it** because I felt the size of the  
24 stroke was too great a risk in its own sense, let  
25 alone the hypertensive condition which exists in

1     this lady for many reasons that you discussed  
2     already today. I don't know really how much  
3     deficit this lady has.

4     *a.*     Prior to her final stroke?

5     A.     Yes. We really don't know. We have --

6     Q.     Because of the descriptions?

7     A.     Right. We have an examiner who is not a full  
8     well, who doesn't really -- is not able to -- I  
9     know there's deficit and I know it's in the  
10    dominant hemisphere, I know it's not insignificant  
11    in the sense that it's just nothing, it's  
12    important, potentially very important in terms of  
13    what we do, as we have testified here --

14    Q.     It's significant because it brought her to  
15    the hospital?

16    A.     Yes, it is.

17    Q.     I guess what you are telling me is, to the  
18    extent that it lasted or diminished, that's just  
19    not something a physician looking at a test can  
20    tell with any degree of assurance?

21    A.     I do not think that it ever went away. I  
22    think you know that from reading the record, even  
23    the next day, the 14th and 15th, she still has  
24    deficit. Never disappeared.

25    Q.     Totally clears?

1 A. That's right. Very important concept.

2 Q. Going back to my question.

3 If it was fresh clot that was  
4 observed on carotid angiography, would that be an  
5 emergent situation?

6 MR. GROEDEL: You mean if the  
7 patient is clinically indicated for this type of  
8 procedure?

9 Q. Emergency is emergency, that means you got to  
10 go right then and there, otherwise the patient is  
11 going to die probably?

12 A. Let me tell you what --

13 Q. You want you answer my question. Add  
14 whatever you want to it. Let's deal with  
15 Mrs. Skyl.

16 MR. GROEDEL: You didn't make  
17 your question clear, that's why I asked.

18 A. I'll try to. Very hard question.

19 In any situation -- and I can  
20 answer, I have had that experience once -- and I  
21 don't remember exactly the patient's intentions  
22 about surgery, she had a large stroke, we took the  
23 whole family aside and the patient wasn't able to  
24 really participate in this decision, and decided  
25 we'd take the risk of anticoagulating the patient



1 in that situation, realizing full well we could  
2 have had a horrible disaster.

3 Those are difficult decisions.  
4 Those are really tough.

5 Q. The reason you did that was because you had  
6 fresh clots on angiography?

7 A. Yes.

8 Q. So I take it the answer then is yes, that  
9 does create --

10 A. It's does create an emergency situation if  
11 you see it. It's not a blanket answer. I can't  
12 answer that for you.

13 Q. Probably an emergency if you see that?

14 A. Right. But you are talking --

15 Q. Right?

16 A. You're talking to me, a neurologist, and I'm  
17 very comfortable with vascular disease, as opposed  
18 to here we're dealing with here, we got a patient  
19 in a hospital that is not a major center, that is a  
20 good hospital; big hospitals deal with stroke all  
21 the time, but it's not a huge hospital like this or  
22 the Clinic where stroke is a specialty and who --  
23 where people take care of strokes and  
24 understand the problem of how aggressive can you  
25 expect people to get, that's the problem. You

1 cannot --

2 Q. It's a simple question, really a very simple  
3 question. I didn't want to get into a  
4 philosophical question of --

5 A. It isn't philosophical. It comes up all the  
6 time.

7 Q. If you see a fresh clot --

8 A. What do you do about it?

9 Q. Yes, is it an emergency?

10 A. In this situation?

11 Q. Yes.

12 A. It might have been, been considered as such.  
13 I can't tell you that. It's very difficult to  
14 decide what to do with those things, because a big  
15 hemispheric lesion, to anticoagulate a big  
16 hemispheric lesion, you're agonizing over this.

17 Q. Hemispheric lesion, now you're talking about  
18 damage in the brain?

19 A. Yes. You were talking about hemispheric --

20 Q. Doctor, the CAT scan reflected no damage to  
21 the brain.

22 A. That's too early to show it.

23 Q. Well, that may well be, but you know what,  
24 there is -- you didn't want to deal with the  
25 hypothetical earlier, and for you to throw out

1 facts that may not have been in existence --

2 A. You're asking me hypothetical questions.

3 Q. But now you're throwing -- the fact is that  
4 we have a normal CAT scan, that is the fact?

5 A. That doesn't help you. You don't know  
6 whether --

7 Q. Is that a fact?

8 A. You have a normal CAT, that doesn't tell you  
9 about the patient's state.

10 Q. It tells me that there is no demonstrable  
11 lesion in a hemisphere.

12 A. No, it doesn't.

13 Q. It doesn't?

14 A. No, it does not.

15 Q. Would you point --

16 A. You need to know --

17 Q. Would you point out on CAT scan any  
18 demonstrable --

19 A. I don't need the CAT, that does not --

20 Q. That's how it was --

21 A. I don't need to know if it was normal or not.

22 Q. You are saying there can be delay?

23 A. There is a delay. Usually is a delay,  
24 Okay.

25 Q. Are you suggesting that she had a massive

1       lesion on the 13th when she arrived?

2       A.       Probably not, from what we know.

3       Q.       I see.

4       A\*       But I don't know that either. We get --

5       Q.       We don't know any of this because the tests  
6       weren't done.

7       A.       No. No. We think -- I don't think we can  
8       say just because we -- I don't know what the  
9       aphasia was or if she had visual field cuts, if --

10      Q.       She may not have had any of these things.

11                       MR. GROEDEL:               Objection.

12      A.       I don't think -- I have to go with at least  
13      this.

14      Q.       Why?

15      A.       What do you mean?

16                       MR. GROEDEL:               Objection.

17      A.       If we're not going to take anything as fixed  
18      here, I mean, he's described aphasia, and he  
19      described weakness, and he described visual defects  
20      with field cuts and --

21      Q.       He describes her as being lucid.

22      A.       That's fine, I have had patients like that,  
23      that -- patients that chatter a mile a minute,  
24      they're still aphasic, you wouldn't operate on  
25      them, depends on the type of aphasia.

1 Q. Okay?

2 A. Okay.

3 Q. I think you answered it, just want to make  
4 sure.

5 This was the statement from  
6 Dr. Durham, just tell me if you agree or  
7 disagree, "If one saw flesh clot within the  
8 arteries, particularly if it was not completely  
9 blocking the arteries, that would constitute an  
10 emergent indication for surgery"; would you agree  
11 with that?

12 MR. GROEDEL: For any  
13 patient?

14 MR. KAMPINSKI: Yes.

15 A. Well, for the reason that I said -- I mean, I  
16 can't -- we talked about that. I mean, I can't  
17 tell you because I don't know exactly the patient,  
18 but I understand his point of view. As a surgeon I  
19 have similar concerns. I would have to agonize  
20 over whether I thought I can get away with it.

21 I'm telling you, you're walking  
22 right along the edge of Niagra Falls. You -- you  
23 don't know whether you'll worsen or --

24 Q. Is that a surgical decision --

25 A. No.

1 Q. -- to make it better --

2 A. No.

3 Q. -- or neurological or is both?

4 A. It's a combination of people. It is again  
5 whoever -- how well you relate it to the  
6 neurologist, the surgeons, what descriptions you  
7 make.

8 There are times when I can  
9 envision -- I have been asked, as a matter of fact,  
10 I have acute lesion an hour and a half, two hours  
11 old, three hours old, asked by a surgeon do you  
12 want to operate on it. Those are things that we'll  
13 discuss, but not beyond two or three hours.

14 Q. Okay.

15 A. Okay. Tough, tough problems. Not easy.  
16 There is no specific answer to things like that.  
17 You'd like to think there is. We're not doing  
18 plumbing, unfortunately. I wish we were.

19 Q. Well, that's not a decision then that  
20 realistically ought to be made by a family  
21 practitioner, I mean, it ought to be made by --

22 A. I will give you that, yes.

23 Q. Okay?

24 A. Yes. In point of fact, what happens in the  
25 United States of America, in small hospitals,

1       having been in lots of them, is what we see --

2       Q.       Is that they are made by family practitioners  
3       as opposed to neurologists or vascular surgeons?

4       A.       Absolutely. We even see that in this  
5       hospital where people take care of strokes and wish  
6       they had called us earlier, wish they had done  
7       something different. In point of fact, a standard  
8       of care is that.

9       Q.       That a family practitioner often does it?

10      A.       Does makes decision. Stroke is not evidenced  
11      everywhere you go, that -- you just can't, you  
12      know -- it depends a lot on personnel as to how  
13      early he calls a neurologist and the whole thing.

14      Q.       I think you already agreed he should have  
15      called one in this case?

16      A.       I would -- would have certainly been happier  
17      if he had. I certainly have to admit that to you.

18      Q.       Other than what we have already discussed, is  
19      there anything else you disagree with Dr. Durham  
20      with? I think I've touched --

21      A.       No.

22      Q.       -- just about everything.

23      A.       Not really. No major disagreements.

24                       We discussed on the telephone  
25      briefly after I read that deposition, and I said

1     gee, Dr. Durham sounds like a nice guy, I agree  
2     with most of the -- what he says; but the critical  
3     factor we discussed here this afternoon is where I  
4     disagree. I think that's a very, very important  
5     major disagreement.

6     Q.     Okay.

7     A.     And I think that it has to be looked at  
8     within the context of time, fixed deficit, the  
9     condition of the patient and where she was, those  
10    are considerations. I think it's unfair to fault  
11    somebody in retrospect for not doing something in  
12    those situations, given the type of problems we're  
13    dealing with here.

14    Q.     Wait a minute.

15                    You're saying you have a problem  
16    with Dr. Durham faulting Dr. Moysaenko for not  
17    doing the duplex, you are faulting him for that?

18    A.     No. No.

19    Q.     And for not calling neurology, you would  
20    fault for that?

21    A.     I understand that, but --

22    Q.     We're talking --

23    A.     Here is something totally differently, but  
24    these things happen.

25    Q.     The fact that they happen didn't make them



1 right?

2 A. I know, but nationally, across the country,  
3 unfortunately we are not called earlier enough,  
4 surgeons are not called earlier.

5 Q. That doesn't make it right, Doctor.

6 A. I think it's not right, but that's it's the  
7 way it is. I think that from having gone around  
8 and having ridden circuit in hospitals, I know what  
9 happens, standard of care in a hospital of a  
10 certain situation.

11 My contention though is --

12 Q. I understand.

13 A. -- this patient had a fixed deficit,  
14 therefore you couldn't have done anything about it.

15 Q. I heard you now about seven or eight times.

16 A. I don't see that expressed in these, what has  
17 been passed to me in terms of the documents, that  
18 that was properly understood by other people.  
19 That's where Durham and I disagree.

20 Q. You are saying that the people who were  
21 treating her didn't understand that?

22 A. I don't know whether they did or not, but  
23 it's never mentioned.

24 Q. Of course not, that's not something that they  
25 put down?

1 A. Okay. But even Durham doesn't get the point  
2 across. Fixed deficit, it's a different  
3 situation. I don't get that from reading his  
4 testimony. He alludes to it at page 66, I believe,  
5 or 70.

6 Q. I'm almost done.

7 The point he makes is that after  
8 she had her major stroke on the 15th --

9 A. We all agree with that. You can't do  
10 anything.

11 Q. Yes.

12 A. Yes.

13 MR. KAMPINSKI: Let's take a  
14 minute.

15 -----

16 (Recess had.)

17 -----

18 BY MR. KAMPINSKI:

19 Q. Doctor, could you define aphasia for me?

20 A. Acquired difficulty in speech or in  
21 understanding or expressing a thought.

22 Q. That, plus the right-sided weakness is what  
23 you are pointing to as having existed throughout  
24 that would have contraindicated surgery?

25 A. And whatever visual defect. I don't know

1       what means yet. We don't know.

2       Q.       There is no --

3       A.       We don't know what she had, number one, if  
4       she had one; or number two, that it was sustained.  
5       That the --

6       Q.       I think we can say based on the --

7       A.       I don't know that he ever -- if he tested, he  
8       talks about it in two days running, I believe.

9       Q.       But when he talks about it on the 14th, he's  
10      referring back to the admission?

11      A.       Maybe he is. The point is, I don't think he  
12      brings up the point again. It's those things that  
13      keep me away from the patient, yes.

14      Q.       Let's just --

15      A.       Doesn't matter if you got aphasia, we agree  
16      on that, and the weakness.

17      Q.       So if she has this continued difficulty in  
18      speech without it improving, that to you indicates  
19      a contraindication to doing surgery?

20      A.       Yes.

21      Q.       That, plus the right-sided weakness?

22      A.       That's right.

23      Q.       Now, if those got better, for example, that  
24      would then describe the patient that you were  
25      talking about earlier, where you got that window of

1 opportunity?

2 A. What I had hoped, though, the patient would  
3 get almost 100 percent better, to have really  
4 nothing.

5 Q. If she comes in with a mild deficit to begin  
6 with, you want it to disappear entirely?

7 A. Absolutely. Within the limits of what we  
8 talked about earlier, but I don't think she ever  
9 gets better, that's the problem.

10 Q. Well, if she did, would your opinion be  
11 different?

12 A. Yes.

13 Q. Would you go to the discharge summary of  
14 Dr. Moysaenko, dated January 16.

15 He didn't dictate it, by the way,  
16 until February 14th, a month later, so he had lots  
17 of time to think about it, look back at the  
18 records.

19 A. Where is it here?

20 Q. It's typed, three-page report.

21 THE WITNESS: Do you know  
22 where it is in your records?

23 MR. GROEDEL: It's at the  
24 beginning here.

25 Q. This is under narrative, after administration

1 of Procardia and controlled blood pressure.

2 By the way, that was immediately?

3 A. Yes.

4 Q. Had developed improvement in her right-sided  
5 weakness and speech; do you remember seeing that?

6 A. Yes.

7 Q. Does that affect you?

8 A. No, because you still see on the 14th that  
9 she's still got deficit.

10 Q. On the 14th?

11 A. Yes. In other words, if it's gone, I don't  
12 know. Actually I don't know. There's not enough  
13 written into in the charts to know how much  
14 improvement there was, if there was any.

15 I didn't have the feeling it was  
16 any better on the 14th.

17 Q. Didn't you? What is the --

18 A. Except for one little thing. I said I think  
19 somewhere he alludes to she may be --

20 Q. What is receptive aphasia?

21 A. Mean? I don't understand.

22 Q. Is that different than --

23 A. Expressive aphasia?

24 Q. Yes.

25 A. Yes.

1 Q. Could you have one without the other?

2 A. Yes.

3 Q. So if one got better, that really wouldn't  
4 necessarily tell you that it was gone?

5 A. That's right.

6 Q. But would it matter in terms of your opinion?

7 A. Not overall, but she never completely gets  
8 rid of the whole thing, and I know that.

9 Q. When you say the "Whole thing," you mean the  
10 receptive?

11 A. In other words, I think we look here on  
12 the 14th, ran here in the progress notes on  
13 the 15th.

14 MR. GROEDEL: 15th on the  
15 progress.

16 A. We're still significantly impaired.

17 Q. Doctor, when you say "we" --

18 A. You have discussed the symptoms and I was  
19 saying --

20 Q. Wait a minute.

21 Did you review the nurses' notes --

22 A. Yes.

23 Q. -- on the 13th at 6:00 p.m., no receptive  
24 aphasia noted?

25 A. Where is this on the 13th?

1 Q. At 6:00 p.m. No receptive aphasia noted.

2 A. Okay.

3 Q. Does that make any difference to you?

4 A. I don't know that this person can be relied  
5 on to check that, okay.

6 Q. Well, she wasn't put into an intensive care  
7 setting, was she?

8 A. No, she wasn't.

9 Can I clarify something further for  
10 you?

11 Q. No. I understand what you're saying.

12 A. Okay.

13 We have patients where even some  
14 residents don't understand first that there's  
15 aphasia. You have to test.

16 Q. Well then, why in the world, Doctor, would  
17 you sit here and tell me, especially in light of  
18 this record as a whole, where we already agreed  
19 that Dr. Moysaenko probably was not the appropriate  
20 person to be dealing with her condition, would you  
21 rely on him if you're telling me on the one hand  
22 that he didn't do things --

23 A. You are asking the obvious logical question  
24 about what I just said.

25 I hope he knows a little bit more

1       than ancillary personnel about aphasia and stroke.

2       Q.       Well, I don't know whether that's true or  
3       not.

4       A.       I can't answer that. I'm sure he does know  
5       something more about it.

6       Q.       Once again, I assume that when you read the  
7       testimony of the daughters, that you saw that she  
8       was in fact responding well?

9       A.       But again, I go back to the same thing we  
10      discussed when you talked about history and  
11      physical, she's alert, oriented, does talk, but she  
12      has deficit, okay.

13     Q.       Okay.

14     A.       You let me also clarify -- if it happened  
15      today, my husband understands well, I think he does  
16      understand some things, he doesn't understand  
17      everything, he certainly doesn't -- isn't able to  
18      speak, he's still aphasia, significant reply to --

19     Q.       Let me just take a look at everything you  
20      reviewed and I will get out of your hair here.

21     A.       This, that's the volume from Deaconess and  
22      the Clinic.

23     Q.       Did you make any notes?

24     A.       I don't write in --

25     Q.       In **the chart**?



1 A. No, I don't write in these documents. That's  
2 a reproduction of that page.

3 Q. You got one that you couldn't read real well?

4 A. Right.

5 Q. I see.

6 I assume you agree that once you  
7 reach the Clinic there's really little anyone could  
8 do?

9 A. Absolutely.

10 Q. What else do you have there, Doctor?

11 A. That's a letter to Mr. Groedel.

12 Q. Was there a draft of it, by the way?

13 A. No, there was -- I don't have it here, but  
14 there was a draft originally, that's been  
15 destroyed.

16 Q. Why?

17 A. Why not?

18 I don't keep anything.

19 Q. What was changed?

20 A. I don't even know. It was just --

21 Q. Did you send it out?

22 A. Did I send him a previous draft, no, I did  
23 not.

24 Q. Did you call him on the phone and read it to  
25 him?

1 A. Yes.

2 Q. Why was it changed then?

3 MR. GROEDEL: Objection.

4 A. It wasn't changed.

5 Q. You just told me that it was.

6 A. No. No. Wait a minute.

7 You're assuming something. If --  
8 you asked me -- I dictated it triple spaced and I  
9 make corrections and I send it back to the typist,  
10 I have that, that letter was not changed after  
11 talking to him.

12 Q. Where is the initial draft?

13 A. It's destroyed. May still be in the  
14 computer. It's been changed to that.

15 Q. You don't have the original draft that you  
16 made?

17 A. No.

18 Q. Let me see if I got this right.

19 You reviewed the records, you  
20 prepared a report?

21 A. We talk -- no. I talked to him first.

22 Q. As to what should be in the report?

23 A. No. No. I have -- I have a firm opinion.  
24 As a matter of fact, if I don't agree with a case,  
25 I think it's not defensible, I won't even write the

1 report. Okay.

2 So no, those are my words.

3 Q. I'm sure they are.

4 My question though is: How do I  
5 know what your words were before these words?

6 A. They're the same.

7 Q. You already told me you changed them,  
8 Doctor.

9 A. I did not. Look, let's be honest.

10 Q. That's exactly what I want to know.

11 A. Exactly. I did not change this document  
12 after talking to him.

13 In other words, I reviewed the  
14 records, we talked about the case, I wrote --  
15 already I referred to the document on the phone.  
16 It was the same as we sent it off. I didn't change  
17 it. We did not change it.

18 Q. Okay.

19 A. Okay?

20 Q. Sure.

21 A. In point of fact, I have another case with  
22 their office where I turned them down in terms of  
23 defending of the doctor. I didn't think I could do  
24 it. I couldn't write -- or defend the doctor,  
25 okay.

1 Q. Was this your initial billing up through the  
2 point in time where you wrote your letter?

3 A. Yes. Probably.

4 Q. So \$250 an hour, three and a half hours for  
5 reviewing the record?

6 A. And writing, discussions, and whatever.

7 Q. Writing the report?

8 A. Yeah.

9 Q. The date of your statement is October 27 --

10 A. Yes.

11 Q. -- 1992?

12 A. I don't know. Let's see here. Where is this  
13 letter?

14 Yes.

15 Q. Correct?

16 A. Yes, that's what it says.

17 Q. The date of your report is October 28, 1992?

18 A. I don't know why that is. I can't tell you.

19 Q. The reason it is, is because it's a day after  
20 your statement.

21 A. Yes, I understand that. I don't know  
22 where -- why that was done that way. I really  
23 don't know.

24 Let me see the letter. Does that  
25 refer to that? For some reason I must have taken

1 out a piece here.

2 MR. GROEDEL: I don't know.

3 A. I don't either.

4 Q. You got two copies of Dr. Juguilon's  
5 deposition, one depo of Tina Hayburn, Lucy  
6 Strzalka, Dr. Moysaenko, Dr. Durham; those are all  
7 the depositions you have reviewed in this case?

8 A. Right.

9 MR. KAMPINSKI: Okay. That's  
10 all I have. Thank you. Send me the bill for your  
11 time.

12

13

14

15

- - - - -

16

17 (Deposition concluded; signature not waived.)

18

19

- - - - -

20

21

22

23

24

25

1

ERRATA SHEET

2

PAGE

LINE

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

I have read the foregoing

22

transcript and the same is true and accurate.

23

24

-----

25

EDWARD L. WESTBROOK, M.D.

1 The State of Ohio, .


2 County of Cuyahoga.

CERTIFICATE:

3 I, Frank P. Versagi, RPR, CLVS, Notary Public  
4 within and for the State of Ohio, do hereby certify  
5 that the within named witness, EDWARD L. WESTBROOK,  
6 M.D. was by me first duly sworn to testify the  
7 truth in the cause aforesaid; that the testimony  
8 then given was reduced by me to stenotypy in the  
9 presence of said witness, subsequently transcribed  
10 onto a computer under my direction, and that the  
11 foregoing is a true and correct transcript of the  
12 testimony so given as aforesaid.

13 I do further certify that this deposition was  
14 taken at the time and place as specified in the  
15 foregoing caption, and that I am not a relative,  
16 counsel or attorney of either party, or otherwise  
17 interested in the outcome of this action.

18 IN WITNESS WHEREOF, I have hereunto  
19 set my hand and affixed my seal of office at  
20 Cleveland, Ohio, this 25th day of January, 1993.

21   
22 -----

23 Frank P. Versagi, RPR, CLVS,

24 Notary Public/State of Ohio.

25 Commission expiration: 2-25-93

August 1992

CURRICULUM VITAE

WESTBROOK, Edward Lloyd, M.D.

Date of Birth: August 8, 1939

Office Address: Department of Neurology  
University Hospitals of Cleveland  
2074 Abington Road  
Cleveland, OH 44106  
(216) 844-3191

Home Address: 2520 Wellington Road  
Cleveland Heights, OH 44118  
(216) 932-0378

Wife: Suzanne Mahnke Westbrook

Children: Charles Edward, 29 years  
William Lloyd, 27 years  
Leslie Suzanne, 25 years

EDUCATION:

1957-1961 Harvard College, B.A.

1961-1965 Cornell University Medical College, M.D.

1965-1966 Intern, Straight Medicine  
Presbyterian-St. Luke's Hospital  
Chicago, Illinois 60612

1966-1968 Senior Assistant Surgeon to Surgeon  
U. S. Public Health Service  
Assigned to the Food and Drug Administration  
Neuropsychiatric Section

1968-1969 Resident, Medicine  
Presbyterian-St. Luke's Hospital  
Chicago, Illinois 60612

1969-1970 Resident, Neurology  
Cleveland Metropolitan General Hospital  
Cleveland, Ohio 44109

1970-1971 Fellow, Neuropathology  
Cleveland, Metropolitan General Hospital  
Cleveland, OH 44109

1971-1972 Resident-in-Charge, Neurology  
Cleveland Metropolitan General Hospital  
Cleveland, Ohio 44109



WESTBROOK, Edward Lloyd

August 1992

ACADEMIC APPOINTMENTS:

1968-1969	Teaching Assistant, Medicine University of Illinois School of Medicine Chicago, Illinois 60612 (Presbyterian-St. Luke's Hospital)
1969-1970	Teaching Assistant, Neurology Case Western Reserve University School of Medicine Cleveland, Ohio 44106
1970-1971	Teaching Fellow and Demonstrator in Pathology Neuropathology Case Western Reserve University School of Medicine Cleveland, Ohio 44106
1973-1975	Clinical Visiting Neurologist Neurology Department Cleveland Metropolitan General Hospital Cleveland, Ohio 44109
1978-1990	Assistant Clinical Professor of Neurology Assistant Clinical Professor of Medicine Case Western Reserve University School of Medicine 2119 Abington Road Cleveland, Ohio 44106
1985-Present	Adjunct Staff Department of Neurology Cleveland Clinic Foundation 9500 Euclid Avenue Cleveland, Ohio 44106
1990-Present	Associate Professor of Neurology Case Western Reserve University Cleveland, Ohio 44106

HOSPITAL APPOINTMENTS:

1972-1977	Cleveland Clinic Foundation 9500 Euclid Avenue Cleveland, Ohio 44106
	Department of Neurology Full Staff

WESTBROOK, Edward Lloyd

August 1992

1977-1990

St. Luke's Hospital  
11311 Shaker Boulevard  
Cleveland, Ohio 44104

Department of Medicine (Neurology)  
Active Staff  
-----

Director, Division of Neurology  
04/29/85 to 1990

1978-1990

Marymount Hospital  
12300 McCracken Road  
Garfield Heights, Ohio 44125

Department of Medicine ~~and~~ (Neurology Division)

08/16/78 Provisional Staff  
01/01/80 Associate Staff  
01/01/81 Active Staff  
-----

09/03/81 to 1990: Division Director, Neurology  
.....

1987 to Present: Member, Board of Trustees  
1990 to Present: Consulting Staff

1980-Present

University Hospitals of Cleveland  
2074 Abington Road  
Cleveland, Ohio 44106

Department of Neurology  
Department of Medicine  
Active Staff

1984-1989

St. Vincent Charity Hospital and Medical Center  
2351 East 22nd Street  
Cleveland, Ohio 44115

Department of Medicine, Neurology Division

07/01/84 to 12/31/84: Courtesy Staff  
01/01/85 to 07/24/87: Active Staff  
02/01/85 to 09/09/87: Director Division of  
Neurology  
07/24/87 to 04/89: Courtesy Staff

Resigned from Staff 4/89

WESTBROOK, Edward Lloyd

August 1992

1977-1989 Hillcrest Hospital  
6780 Mayfield Road  
Mayfield Heights, Ohio 44124

Consultant Staff (Resigned 1989)

1987-1992 Cleveland Metropolitan General Hospital  
3395 Scranton Road  
Cleveland, Ohio 44109

Associate Staff- Visiting Neurologist  
Department of Neurology

MILITARY STATUS:

1966-1968 Obligation completed by active duty in  
U. S. Public Health Service

1968-1980 U. S. Public Health Service  
Senior Surgeon  
Resigned January, 1980

LICENSURE AND SPECIALTY CERTIFICATION:

1. Licensed to practice medicine - State of Ohio 032044 - July, 1969
2. Certified American Board of Psychiatry and Neurology (Neurology), October 1976

HONORS AND AWARDS:

- 1966 John B. Drake Award for excellence as a Medical Intern
- 1968 Certificate of Appreciation for Service from the Food and Drug Administration

SOCIETY MEMBERSHIPS:

1. Cleveland Academy of Medicine
2. Ohio State Medical Association
3. American Academy of Neurology
4. Northern Ohio Neurological Association
5. Association for Research in Nervous and Mental Diseases
6. Harvard Schools and Scholarship Committee of the Harvard Club of Cleveland

WESTBROOK, Edward Lloyd

August 1992

7. Multiple Sclerosis Society of Northeast Ohio  
Medical Advisory Committee, 1973-present  
Chairman, Medical Advisory Committee, and  
Member, Board of Trustees, **1974-1985**

PUBLICATIONS:

1. Ray W. Gifford, Jr. and Edward L. Westbrook, "Hypertensive Encephalopathy: Mechanism, Clinical Features and Treatment," Progress in Cardiovascular Diseases, Vol. XVII, #1, Sept/Oct **1974**, pp. **115-124**
2. Neil T. Peterson, Paul M. Duchesneau, Edward L. Westbrook and Meredith Weinstein, "Basilar Artery Ectasia Demonstrated by Computed Tomography," Journal of Radiology, Vol. **122**, March **1977**, pp. **713-715**