

1 IN THE CIRCUIT COURT OF THE 15TH  
2 JUDICIAL CIRCUIT IN AND FOR  
3 PALM BEACH COUNTY, FLORIDA  
4 General Jurisdiction division

5 MARVINE HOLLOWAY and IRENE  
6 HOLLOWAY, co-representatives  
7 of the Estate of LORENE DENISE  
8 HOLLOWAY,

9 Plaintiff,

10 vs.

Case No. 85-7426 CA (L) J

11 EARL J. ROBERTS, M.D., and  
12 GOOD SAMARITAN HOSPITAL, INC.,  
13 a Florida Corporation,

14 Defendants.

15 Washington, D.C.

16 Friday, February 3, 1989

17 Deposition of RAOUL L. WIENTZEN, M.D., a witness, called  
18 for examination by counsel for the plaintiff, pursuant to  
19 notice, at Georgetown University Hospital, 3800 Reservoir  
20 Road, Washington, D.C., before Marcia J. Tyler, a notary  
21 public, beginning at 12:00 p.m., when were present on behalf  
22 of the respective parties:  
23

FOR THE PLAINTIFF;

CALVIN F. DAVID, Esq., Thornton, David & Murray,  
P.A., 2950 S.W. 27th Avenue, Suite 100, Miami,  
Florida 33133.

FOR THE DEFENDANT ROBERTS;

ROY R. WATSON, Esq., 1555 Palm Reach Lakes  
Boulevard, NCNB Building, Suite 1600, West Palm  
Beach, Florida 33402-4308.

FOR THE DEFENDANT GOOD SAMARITAN HOSPITAL:

LARRY D. HALL, Esq., 1417 East Concord Street,  
Suite 101, Orlando, Florida 23203.

FOR THE DEFENDANT: GETZ;

JEFFREY ROTHWELL, Esq., George, Hartiz & Lundeen,  
P.A., 524 S. Andrews Avenue, 533 Justice Building,  
Fort Lauderdale, Florida 33301.

# I N D E X

## EXAMINATION BY COUNSEL FOR:

|                              | PLAINTIFF,            | DEFENDANT,             | DEFENDANT, |
|------------------------------|-----------------------|------------------------|------------|
| #ITNESS                      | <del>MR. DAVID.</del> | <del>MR. WATSON.</del> | MR. HAL.   |
| Raoul L. Wientzen, Jr., M.D. | 3                     | /                      | /          |

DEFENDANT,  
MR. ROTHWELL.

EXHIBITS  
(None)

## P R O C E E D I N G S

WHEREUPON,

RAOUL L. WIENTZEN, M.D.,

was called as a witness by counsel for the plaintiffs, and  
after having been first duly sworn, was examined and testified  
as follows:

EXAMINATION BY COUNSEL FOR THE PLAINTIFFS

BY MR. DAVID:

Q Would you, for the record, state your full name,  
please?

A Raoul L. Wientzen, Jr., M.D.

Q Okay. I was contemplating how to pronounce your  
name, Is it "Wientzen"?

A Wientzen.

Q With a heavy "E"?

A That's correct.

Q Dr. Wientzen, I have your CV that was given to me  
by one of the other attorneys. I assume it is pretty much  
up to date?

A Yeah, I think so. Yep. I think this is pretty  
much up to date. There might be one here on vaccination  
strategy, a chapter in a book -- No. It is on here, "Adverse

1 Reactions to Vaccines."

2 Q I have taken the liberty of looking at some of the  
3 things that you have written or edited that seem to deal  
4 with in any way, shape or form meningitis and I didn't find  
5 any thing that dealt specifically with meningitis in a child  
6 of two and a half years old.

7 A That's true.

8 Q So, am I **correct** in understanding that you have no  
9 actually written anything dealing with meningitis in a child  
10 of the two and a half years old range?

11 A That's true. My writing on meningitis pertains to  
12 newborns and some tangential issues about prophylaxis of  
13 meningitis cases.

14 Q All right. I also understand that your prime  
15 interest or major areas of interest are newborn bacterial  
16 disease and childhood urinary tract infections?

17 A Well, in 1977 or '75, when I wrote that article  
18 that you just quoted from, they were my main research areas,  
19 Since then it's evolved to different things,

20 Q Is this a 1970 --

21 A I believe that's '77.

22 Q '77, okay, I assume **from** talking with Mr. Hall  
23 that you have reviewed the various *charts*, depositions, the

1 various materials that many of the people that have already  
2 testified in this case have reviewed?

3 A Yes, sir.

4 Q Putting all of that material aside **for** the moment,  
5 would you generally -- **or** do you generally agree that **it is**  
6 appropriate for a physician who strongly suspects meningitis  
7 in an acutely ill child of two and a half years old to treat  
8 with antibiotics immediately if there is going to be a delay  
9 in doing the tap, **for** what ever reason -- for any reason?  
10 Do you generally agree with that statement?

11 A I generally agree with that statement, and we may  
12 have some disagreement with respect to what we mean by a  
13 delay, But I generally agree with that statement.

14 Q If there is going to be a delay of one hour or mor ,  
15 would that be a time period that you would generally agree  
16 that -- with the physician strongly suspecting meningitis in  
17 an acutely ill child of two and a half years old -- that **it**  
18 is prudent and appropriate to go ahead and administer  
19 antibiotics prior to doing the tap with that period of delay  
20 in mind?

21 A With the way tnat you asked the question, I can  
22 answer, yes, it would be prudent and certainly not outside  
23 the standard of care to administer empiric antibiotics.

1       However, I look at the delay of one hour as being not  
2       necessarily a delay which would require me to administer  
3       antibiotics.

4           Q     Take it out to two hours. You would agree, certainly,  
5       then it would be prudent and appropriate to go ahead and  
6       administer the antibiotics under the circumstances that I  
7       have set up?

8           A     I think in '88, to answer your question, the  
9       average child who comes in on an emergency undergoes a two  
10      hour delay from entry to the emergency room to the adminis-  
11      tration of the first dose of antibiotics. Any delay I would  
12      look to would be something in excess of that two hours. So,  
13      I didn't agree with the statement as posed, that a two hour  
14      delay as from the time of admission to ER to the time of the  
15      administration necessitates immediate treatment. I think we  
16      can talk about delay with the idea or the understanding that  
17      there is always built into the evaluation of a child with  
18      possible meningitis some period of time for evaluation,  
19      diagnosis and then treatment, which in the average pediatric  
20      case, not necessarily the case under discussion here, the  
21      average is about two hours.

22          Q     I understand what you are saying, Perhaps I didn'  
23      make my question as clear as I thought I had made it or hope

1 to have made it. Let me rephrase it,

2 I'm speaking of the time period from the point where  
3 the physician has already done his examination and strongly  
4 suspects meningitis in an acutely ill two and a half year  
5 old child, and he doesn't want to do a tap, because he suspects  
6 perhaps increased intracranial pressure. From that point in  
7 time, where he already strongly suspects meningitis and knows  
8 there is going to be a delay in doing the tap of one hour,  
9 would you agree with me that under those circumstances it would  
10 be prudent and appropriate for the physician to administer  
11 antibiotics?

12 MR. HOTHWELL: Object to the form.

13 A Again, prudent and appropriate, yes. Required,  
14 not necessarily.

15 BY MR. DAVID:

16 Q Now would that be any different today as we sit  
17 here in February of 1989 than it would have been, for  
18 example, in July of 1984?

19 A I think there has been a change and evolution in  
20 the thinking about the empiric administration of antibiotic,  
21 from then until now.

22 Q I would like to know what your understanding of  
23 1984 -- Let me ask you this: Do you believe that it would

1 have been prudent and appropriate -- I'm not saying necessary,  
2 I'm taking that out. I know that you have told me already  
3 that you believe it is not necessary for some reason, probably  
4 legal reasons more than anything else. But speaking just in  
5 terms of what is prudent and appropriate from a medical  
6 standpoint, do you believe that it would be prudent and  
7 appropriate in 1984 for a physician, who strongly suspects  
8 meningitis in an acute presentation in a child two and one  
9 half years old, who was looking at a delay of one to two  
10 hours in performing the tap because he wanted to get a CAT  
11 scan first -- do you think under those circumstances in 1984  
12 it would have been prudent and appropriate to administer  
13 antibiotics prior to doing the tap?

14 MR. ROTHWELL: Object to the form,

15 A Again, answering your question with respect to  
16 prudent and appropriate, yes, I think the magnitude of the  
17 harm that could be done by administering empirical antibiotics  
18 wouldn't be so great that it would be outside of the standard  
19 of care to do it, So I think there would be physicians in  
20 1984 who would have done it,

21 BY MR. DAVID:

22 Q Now, I read in the emergency medicine books --  
23 and I know this maybe just slightly outside your field,



1 although I'm sure you are called in as an infectious disease  
2 consultant in emergency cases --

3 A Yes, sir.

4 Q It is not totally foreign to you.

5 A No, sir.

6 Q I read in the emergency medicine books that the  
7 authors say with an acute presentation of meningitis -- and  
8 obviously the doctor already examined the child, since he  
9 knows -- or strongly suspects he has an acute presentation  
10 of meningitis with a delay in the tap for whatever reason --  
11 that they want antibiotics to be flowing within 20 minutes  
12 from the time the child -- the doctor suspects strongly  
13 meningitis, 30 minutes within that time and the author says  
14 no more than one hour if there is any delay in even getting  
15 the spinal fluid, If you are doing the tap and having a  
16 problem getting the fluid, for whatever reason, you want  
17 those antibiotics flowing in no more than one hour, Would  
18 you take issue with any of that in general?

19 MR. WATSON: I object to the form of that question.  
20 What you said is paraphrasing,

21 MR. DAVID: I am paraphrasing it, of course.

22 A If I understand your question correctly, you've  
23 already gotten the spinal tap and are to the point where you

1 know this child has meningitis?

2 BY MR. DAVID:

3 Q No. You haven't gotten the tap. You can't get  
4 spinal fluid. You can't get the tap, because you are  
5 perhaps concerned with the situation of increased pressure,  
6 You have a dry tap or something stopping you from doing what  
7 you want to do and that is looking at the fluid. That's the  
8 circumstances I'm setting up. Would you generally agree with  
9 those authors paraphrasings?

10 MH. WATSON: Objection,

11 That you would like it flowing 20 or 30 minutes  
12 from that point?

13 MR. DAVID: Yes, or an hour at the most,

14 THE WITNESS: I would say those doctors are  
15 quoting a Cadillac standard, which is the best standard for  
16 children in the United States of America to get antibiotics  
17 absolutely as soon as possible.

18 BY MR. DAVID:

19 Q Is that a critical thing with acute, fulminating  
20 meningitis in children, to get antibiotics as soon as  
21 possible?

22 A If you are using the term acute, fulminating  
23 meningitis as to what I know it to mean, I think you are

1 dealing, in fact, with a dismal prognosis, where more than  
2 half probably die regardless of what antibiotic is  
3 administered.

4 Q Let's say half of them are going to die, and we  
5 will discuss that figure a little later, Assuming that hal  
6 of them are going to die, you don't, obviously, know which  
7 half that is, correct?

8 A Correct.

9 Q So, isn't it true, then, the more acute, the  
10 more fulminating the meningitis that's presented, the quicke  
11 the physician needs to get the antibiotics flowing as  
12 possible?

13 A I think the answer to your question -- I would sa  
14 any time there is an acutely ill child there is a need to  
15 give antibiotics as soon as possible. In patients that hav  
16 acute, fulminating, we don't withhold medication and say,  
17 "just die," obviously, Of course, that's not the case.  
18 The reason for doing the rapid antibiotic administration  
19 isn't a proven reason wherein that early administration  
20 is going to eventuate in some people living and dying. In  
21 fact, the literature suggests that's not the case at all.  
22 We do it because nothing else can be done for the patient  
23 and it should be done routinely quickly anyway.

1 Q Let's go into that just for a moment if we can.  
2 Do you know approximately how long it takes with the  
3 appropriate dosage of an appropriate antibiotic given I.V.,  
4 to become therapeutic in the spinal fluid in a small child,  
5 a two and a half year old?

6 A Largely a function of what drug you employed.

7 Q Let's take Chloramphenicol, with the understanding  
8 that that turns out to be the appropriate drug that the  
9 bacteria Hemophilus influenza type B is sensitive to.

10 A As the case here,

11 Q Right.

12 A I think you put your finger on a major problem  
13 with this case and survivability, That is Chloramphenicol  
14 as given intraveously to a patient is not an active drug.  
15 It is Chloramphenicol Succinate and what happens to that  
16 drug when it is infused into the patient's body is that it  
17 circulates through the liver, The liver unhooks, if you  
18 will, the free Chloramphenicol from the Chloramphenicol  
19 Succinate, which happens over a period of 30 minutes to an  
20 hour and a half, but very variably over a period of 30  
21 minutes to an hour and a half concentration in the serum  
22 continues to rise and then the concentration in the spinal  
23 fluid will rise.

1           To answer your question, in pediatrics if you  
2     give the drug at 4:00 a.m. and **reaching peak blood**  
3     levels, the spinal fluid levels probably aren't present for  
4     an hour to two hours. So one could look at this case and  
5     say the stack -- or the deck was stacked against this baby  
6     in many ways. The kind of disease she had, number one, but  
7     also the fact that the organism was resistant to Ampicillin,  
8     the one drug that would have worked immediately, and stacked  
9     as to the treatment requiring an hour or two hours to go by  
10    before there would be significant antibacterial activity.

11           Q     Again, I'm paraphrasing, but I reaa -- and I don't  
12    know whether it is true or not and that's why I'm asking  
13    you, and I'm not sure that you have given me the precise  
14    answer to the precise question that I was asking, although  
15    I appreciate you giving me all the information that you  
16    have. Can you tell me how long it takes for Chloramphenicol  
17    to reach a therapeutic level in the spinal fluid of a two  
18    and a half year old girl, that is given an appropriate dose  
19    I.V.?

20           A     Yes, sir. I think in that long paragraph I said  
21    a range of one to two hours after the administration of  
22    the drug one would find therapeutic levels in the spinal  
23    fluid of Chloramphenicol.

1 Q Now, I read it was 30 minutes to an hour that the  
2 Chloramphenicol would be therapeutic -- at a therapeutic  
3 level. I'm not sure that means peak level, but therapeutic  
4 level within 30 minutes to an hour, Is that the range of  
5 your understanding or do you believe that that's wrong?

6 MH. WATSON: I object to the form of the question.

7 A Certainly, 30 ,inutes would be much too soon to be  
8 what you consider peak --

9 BY MR. DAVID:

10 Q I didn't say peak. That's why I'm wondering if  
11 we're talking about different things.

12 A I'm talking about free Chloramphenicol, the  
13 only microbiotically active part of Chloramphenicol, not  
14 Chloramphenicol Succinate. Not the old way, which would be  
15 total Chloramphenicol, but the free active antibiotic killing  
16 the bacteria. In 30 minutes, I don't think you would find  
17 therapeutic levels in a child two and a half years of age.

18 Q Thirty minutes to one hour?

19 A I would say, again, -- and I'm not trying to  
20 equivocate on this answer, It is a very thorny issue, the  
21 pharmacogenetics, myself included, because pharmacogenetics  
22 are so unpredictable. But 30 minutes -- one hour after  
23 infusion, there would be some percent of patients who would

1 have therapeutic levels, but I would say if you want to find  
2 say 60 percent of the patients have to wait 90 minutes or  
3 even two hours.

4 Q So, I guess what I'm saying is there must be some-  
5 what of a range with regard to the individual patient, and I  
6 guess there is a certain amount of a **range** with regard to --

7 A Patient to patient.

8 Q (Continuing) -- different doctors' views of it?

9 A I don't know that the different doctors really  
10 working have different views. People quote old literature  
11 and don't know the new stuff and might not understand what's  
12 gone on in the last five years of Chloramphenicol pharmaco-  
13 genetics.

14 Q Am I correct in understanding that you would not  
15 know how long it would have taken Lorene to have reached a  
16 therapeutic level as you have defined it in her spinal fluid  
17 on the morning of July 17, 1984?

18 MR. WATSON: Object to the question, Do you want  
19 him to repeat his answer?

20 A I could tell you period of time where I'm sure  
21 she had a therapeutic level, but I can't tell you the earliest  
22 minute whereby she had a therapeutic level, except in the  
23 range that we have already talked about.

1 B Y MR. DAVID:

2 Q And that range -- I'm not sure if that range is  
3 30 minutes to 90 minutes or one hour to two hours.

4 A One hour to two hours would be my best answer  
5 exactly, sir.

6 Q Let's take the one hour, realizing that it's the  
7 shortest period of time that you have suggested.

8 A Can I interject? I don't want you to pose a lot  
9 of questions and then have misunderstood my answer. It is  
10 one hour after the end of infusion. So, if infusion starts  
11 at 4:00, it goes until 4:30 and we get levels at 5:30.

12 Q Fair enough. I'm not quibbling over that at all,  
13 but I do appreciate your telling me.

14 Now I've forgotten my question. Perhaps you could  
15 read my question back?

16 (Whereupon, the previous question was read for  
17 the record.)

18 In the one hour period that the drug may become  
19 therapeutic after it is given, during that one hour period  
20 can you tell me how the bacteria -- the Hemophilus influenzae  
21 bacteria multiply during that one our before?

22 A You nian before any antibiotics what the growth  
23 rate of the bacteria would be in the spinal fluid --



1 Q (Interposing) That's not exactly what I was  
2 asking, but answer that one. That's an hour before the  
3 antibiotics are administered, Let's take that. In the hour  
4 before the antibiotics are administered, how does that  
5 Hemophilus influenzae bacteria grow in that hour, if it does

6 A It does, I think there is no question that the  
7 bacteria in the spinal fluid multiply with time. I think  
8 it's been shown that spinal fluid can be an inhibitor to the  
9 growth of many bacteria. I don't know as I sit here today  
10 that Hemophilus influenzae Type B has been specifically  
11 studied in this respect, I know of no bacteria such as this  
12 which grows as well in the spinal fluid as it does in the  
13 laboratory media, broth, let's say. In fact, they grow  
14 considerably less well in spinal fluid and this is normal  
15 spinal fluid. So, how well did the bacteria grow in the  
16 hour before any drug was given to this patient? Considerably  
17 less well then if this was bacteria growing broth or some  
18 other laboratory media, So, instead of having the doubling  
19 time of say 20 minutes, it could be twice as long or four  
20 times as long, some multiple of that,

21 Q Based upon your study and knowledge in this field,  
22 would you believe it would be reasonable that the number of  
23 bacteria might double within the hour?

1 A Yes, sir, it would be reasonable,

2 Q Might they reasonably double within 30 minutes?

3 A As I remember the data on the division in  
4 staphylococci and strep --

5 Q (Interposing) I'm referring specifically to  
6 Hemophilus influenzae Type B,

7 A I would say more likely -- in my opinion, more  
8 than a 30 minute doubling time,

9 Q So, would you say something between 30 minutes t  
10 an hour in the spinal fluid?

11 A Yes,

12 Q Let's assume just for the moment that the number  
13 of bacteria multiply -- double in the one hour prior to  
14 the administration of the antibiotics. Then I want to know  
15 in your opinion, based upon your experience and your readings -  
16 I guess more readings than anything else -- how fast or how  
17 much they would multiply in that hour during which the  
18 antibiotics had been administered, In other words, let's  
19 take a time, for example from 12:00 midnight, just using  
20 that arbitrarily, to 1:00 o'clock. You're indicating that  
21 in spinal fluid Hemophilus influenzae Type B would probably  
22 multiply double or be about twice as much at 1:00 o'clock  
23 as it would at 12:00. Let's say the antibiotics are

1 administered at 1:00 or shortly after 1:00 o'clock. Up  
2 until 2:00 o'clock, how would they grow at that point?

3 A I think there are two variables. One would be the  
4 increase in concentration of antibiotics with time in the  
5 spinal fluid to get a therapeutic level and the other, a  
6 difficult one to wrestle with, is the effect of ongoing  
7 progressive inflammatory reaction in the spinal fluid in  
8 slowing down the doubling time. I think both probably would  
9 do that. The patient's own immune response could change it  
10 from an hour doubling time earlier in the meningitis to an  
11 hour and a half, two hours, a guess, as the inflammatory  
12 reaction increases, and if you had Chloramphenicol in there  
13 it would prolong it some more. I don't know that anybody  
14 can give you a number, hour or time to hang that on.

15 Q So, no one really knows, and this is your  
16 understanding, I guess, based upon the literature that you  
17 have read. I assume you don't do these tests when trying  
18 to --

19 A (Interposing) No, sir,

20 Q It is your understanding that, number one, this  
21 would be variable from patient to patient?

22 A It is a function of the inflammatory reaction.  
23 The greater the inflammatory reaction, the slower the

1 bacteria grow.

2 Q It would be variable with regard to the quantity  
3 of the drug that you gave?

4 A Correct.

5 Q It could be variable with regard to the immune  
6 system of the particular patient?

7 A Correct.

8 Q Might it be variable with regard to the liver of  
9 the patient?

10 A Well, that, in fact, has the biggest limiting  
11 enzyme for the production of free Chloramphenicol, It also  
12 varies with respect to the MIC of the Hemophilus influenzae  
13 Type B and the -- Some require infinitesimally small amount  
14 to inhibit or kill and others require 20 times that amount,

15 Q Do we know what kind of Hemophilus influenzae Typ  
16 B that Lorene had?

17 A Only so far as its resistance to Ampicillin, but  
18 sensitivity to Chloramphenicol.

19 Q Do we know what strain it was in that sense?

20 A I think maybe you misunderstood what I said. It  
21 is a function of the amount of drug required to inhibit or  
22 to kill the organism called the MIC of the organism. That  
23 test was not done. So, we don't know whether it would be

1 one microgram per milliliter of Chloramphenicol or <sup>21</sup>.5 or  
2 two micrograms.

3 Q The thing we don't know is the MIC?

4 A Minimal inhibitory concentration; that's correct.

5 Q Could that test have been done?

6 A I can't speak to the capability of the Good  
7 Samaritan Hospital in 1984, but it could have been sent out  
8 and done, sure. It would require actually growing the  
9 organism. It takes three or four days to get this informa-  
10 tion.

11 Q Now, just to finish the thought, if it is of any  
12 value at all in the -- now we are to the second hour, 2:00  
13 o'clock, in our hypothetical, and it's now reached the  
14 therapeutic level that we have discussed earlier. From that  
15 point of reaching the therapeutic level, for the next hour  
16 what type of multiplication or growth would you anticipate,  
17 if not exactly, at least relative to the preceding hour and  
18 the preceding hour?

19 A I think, relatively, it would be clear that; the  
20 multiplication rate of the bacteria would diminish.

21 Q So, can we say that the sooner you get the drug  
22 into the child, the sooner it inhibits the growth of the  
23 bacteria and the sooner it starts to kill the actual

1 bacteria?

2 A I think there is no question about that, Whether  
3 that's beneficial to the host or sometimes harmful to the  
4 host or sometimes -- the word irregardless comes to mind,  
5 but it is not the proper word, Sometimes it doesn't matter  
6 what happens to the host, There are different issues, but  
7 as you posed the question, yes, you inhibit the organism  
8 faster the faster you give the antibiotic.

9 Q Now, you may have seen in some of the depositions --  
10 at least one of the witnesses went round and round on the  
11 difference between bacteriostatic and bacteriocidal in  
12 therapeutic concentrations. I still don't know why we  
13 wasted so much time on that, but does this Chloramphenicol  
14 drug in the dosages that are properly given for meningitis  
15 in a child of this age -- does it actually kill the bacteria?

16 A Certainly not all the bacteria, It is bacteriocidal  
17 for some, but with respect to Hemophilus influenzae Type B  
18 it is bacteriocidal, yes, sir.

19 5 That simply means that this drug kills the  
20 bacteria?

21 A Yes.

22 Q The other term -- I think it is called  
23 bacteriostatic, Does that mean it doesn't actually kill the

1 bug, but it stops it from multiplying?

2 A Yes, sir. It stops it from growing and diminishe  
3 its metabolic capabilities and the immune response will do  
4 the rest.

5 Q With Chloramphenicol -- With the Hemophilus .  
6 influenzae Type B, like we have in Lorene,,with Ampicillin  
7 and Chloramphenicol being given, is **it** true in the  
8 therapeutic levels that it would be given at that it would  
9 be both bacteriostatic and bacteriocidal in Lorene?

10 A I'm confused now. What would be --

11 Q When both drugs are given, even though this  
12 happens to be Ampicillim resistant Hemophilus influenzae  
13 Type B, when you give Chloramphenicol and Ampicillin in  
14 combination or either of those two drugs, being both  
15 inhibitory, that is bacteriostatic and, obviously, we have  
16 already established that it kills the bugs -- at least  
17 Chloramphenicol does and that's bacteriocidal?

18 A I'm a little confused about your question. We  
19 don't really ever talk about an antibiotic being both  
20 bacteriocidal and bacteriostatic for the same organism. I  
21 mean Chloramphenicol is a bacteriostatic agent for staph  
22 bacilli, It doesn't kill it, but it is a bacteriocidal agent  
23 for Hemohilus influenzae type B. It just kills it, **If** you

1 add one bacteriocidal agent like Ampicillin to another,  
2 like Chloramphenicol, one then doesn't stop acting as a  
3 bacteriocidal agent and become bacteriostatic. They both  
4 remain bacteriocidal agents. As you know, the organism is  
5 resistant to Ampicillin, so it's sort of beyond the point.

6 Q That's what I wanted to ask you. Is there a  
7 "potenuating" -- or something that because you mix these two  
8 antibiotics and they have certain proerties -- each of them  
9 I guess -- that you might get a better reaction than you  
10 would with just the Chloramphenicol?

11 A Well, there are ways of doing that, but Ampicilli  
12 isn't one of the ways. The reason the organism is resistan  
13 to Ampicillin is it produces an enzyme called betamylactase  
14 (phonetic), which chews up the bacteria. If it produces  
15 that, Ampicillin isn't going to work. This was a  
16 betamylactase producing strain. If you add cholinantic  
17 acid (phonetic) to the Ampicillin, you would do what you  
18 think you might be able to do, which is overcome the  
19 orgainisms ability to produce tnis enzyme by binding it  
20 up with cholinantic acid (phonetic), but that's --

21 Q (Interposing) What I'm trying to figure out is  
22 does it take something out of the bacteria -- does it hurt  
23 the bacteria, the fact that the spinal fluid or the bacteria



1 have to secrete something in response to the Ampicillin?  
2 Even though it is not killing it, does it sort of take  
3 some of the punch out of the bacteria, which has an effect  
4 of harming the bacteria, your know, for the good, even  
5 though the Ampicillin -- the organism is resistant to the  
6 Ampicillin?

7 A In this case, I really don't think so. There **would**  
8 be some combination of antibiotics where that has been shown  
9 for other organisms, but in my understanding I don't think  
10 it has ever been shown that Ampicillin "potentuates" the  
11 action of Chloramphenicol when the organism is Ampicillin  
12 resistant. You've probably read Bill Fedman's stuff. I  
13 know it's been alluded to that if you have an Ampicillin  
14 sensitive strain and a Chloramphenicol sensitive strain the  
15 combination is either additive or energestic, but I don't  
16 believe it's been shown that Ampicillin -- that the  
17 combination works better than the one would alone.

18 Q I want to ask this tactfully, because I don't want  
19 to suggest that you are not very knowledgeable, because,  
20 obviously, you are. Are you right up 'to -- completely up to  
21 speed, so to speak, in this area?

22 A Well, I would answer your question that I'm **up** to  
23 speed as it relates to the clinically relevant features of

1 of the treatment of meningitis.

2 Q Is this more academic or less clinically relevant,  
3 the pharmacokinetics?

4 A It is highly clinically relevant, since people  
5 have not yet been able to determine how much combination  
6 therapy benefits the host. If that were a given, we would  
7 all be practicing combination therapy. So, that's my  
8 answer.

9 Q That's good enough. I want to go on to something  
10 else, if I may, and this is very, very general and I will  
11 take your answer to be very, very general. You've read,  
12 I believe, at least some of the experts that were hired by  
13 the -- on behalf of the same defendant that has hired you,  
14 hired by the pediatricians lawyer and hired by the hospital --  
15 by the emergency room doctor's lawyer, and I know this is  
16 very general, but give me the answer very generally. Do  
17 you generally agree with the various things that they have  
18 been telling me in their depositions?

19 MR. WATSON: Object to the form of the question,

20 MR. HALL: Let me join in that objection.

21 MR. WATSON: I mean, you are taking about  
22 thousands of pages.

23 MR. DAVID: I know. It was hard for me to take

1 and even harder to read.

2 BY MR. DAVID:

3 Q I'm trying to figure out if you have anything new  
4 and original that hasn't been gone into, Quite frankly, I  
5 don't want to waste your time and my time if you're pretty  
6 much in agreement with what they have to say.

7 MR. HALL: Let me object to the question, I think  
8 it is probably impossible to answer.

9 MR. DAVID: It's difficult, I agree.

10 THE WITNESS: Obviously, when you read everybody  
11 else's depositions, there are bits and pieces where you have  
12 a difference of opinion as to the facts supportive to the  
13 statements or conclusions. But I would say, in general, my  
14 feelings are very similar to Dr. Kleen's feelings with  
15 respect to the case, My feelings are -- I would look on him  
16 as being the other major expert, since his field is similar  
17 to my field with respect to the treatment that this baby  
18 got, Dr. Delson is the deposition that you took -- the  
19 "War and Peace" deposition we will call it.

20 Q Meaning it was long?

21 A Yes, very long. I would say I agree generally and  
22 generically with most of his basic feelings about the very  
23 bad prognosis and the fact that this was not undue delay in

1 the administration of therapy and so on. I think he has  
2 some misconceptions, as many people do, about some very  
3 particular problems in infectious disease.

4 Q Your opinion is that the child more likely than  
5 not would have died even if the appropriate antibiotic  
6 therapy had been administered within an hour to two hours  
7 of the presentation into the emergency and I want to discuss  
8 that.

9 A Okay.

10 Q I want you to tell me in the greatest detail that  
11 you can from your specialty's standpoint, what her condition  
12 was that fits that opinion of yours. I want you to give it  
13 to me at 1:00 a.m. -- realizing she arrived at the emergency  
14 room -- well, it is actually 1:05 to be precise, I want you  
15 to tell me how it progressed, if it did progress, and I  
16 presume it did, at 2:00 o'clock a.m., and I want you to tell  
17 me precisely in your opinion how these symptoms, the  
18 mechanics, the physiology, whatever the proper words are --  
19 how it progressed to 3:00 a.m. Start with 1:00 o'clock a.m.,  
20 if you will.

21 A COuld I ask your permission to start at 9:00 p.m.  
22 before she came in?

23 Q Yes, please do,

1           A     I think that factors into what we mean.

2           Q     Absolutely. You want to start at 9:00 a.m. or --

3           A     Or thereabouts.

4                     I believe this patient **had** a case of acute  
5 meningitis, which is best termed acute, fulminating Hemo-  
6 philus meningities. I say that based on the parent's  
7 description of the child in the evening before bringing her  
8 to the emergency room, that as of about 9:00 o'clock , right  
9 before her bedtime, the child was not sick -- well, she was  
10 playing -- I don't want to misuse the word, because she was  
11 **ill**, but she was playful and active and ate some dinner and  
12 did all the things we expect of a child with a trivial  
13 illness would **do**, She had a slight fever and had vomited  
14 once, but was playful according to the father. Then she  
15 presents around midnight with an episode of enuresis, which  
16 was probably due to a seizure, cerebral posturing and they  
17 bring her to the emergency room, but by the mother's histor  
18 before being handed over to the emergency room she is alert --  
19 or awake enough to mumble or say "mama" or "mom" or some  
20 word such as that, Whether it was a purposeful statement  
21 and recognition of the mother or just something that the  
22 child was mumbling incoherently, I don't know that anybody  
23 knows.

1 By the time this child is examined, and the  
2 examination. was conducted by the E.R. doctor around 1:15,  
3 1:30, in that neighborhood, the child is noted to have  
4 unilaterally dilated pupils, to be posturing in a cerebrate  
5 manner, probably had some respiratory embarrassment that wa  
6 temporary based upon Nurse Duck's evaluation of some  
7 respiratory problem,

8 Q The stress?

9 A The stress at that point. I think at 1:00 to 1:3  
10 this child had gotten very, very sick and certainly from th  
11 four hours prior to that haa gone from a reasonably healthy  
12 child with normal neurologic function to a child with focal  
13 neurologic signs, flaccidity and cerebrate posturing,  
14 suggesting this disease was very, very aggressive and unkind  
15 to the patient's neurologic function,

16 Q If we could go to 2:00 o'clock, when Dr, Roberts  
17 enters the scene?

18 A At about 2:00 o'clock --

19 Q Just let me interrupt you Just for the moment.  
20 I want to ask you some more about 1:00 o'clock,

21 A Okay.

22 Q How do you view the flaccidity that's mentioned  
23 in one portion at -- I'm using 1:00 o'clock or between 1:00

1 and 1:20 -- How do you view the flaccidity on the one hand  
2 and the rigidity on the other hand. Both seem to me to be  
3 happening -- People seem to be telling us that she is both  
4 flaccid and rigid. Explain that to me, if you can.

5 A I think often times with the evolution of increas d  
6 intracranial pressure you will see waxing and waning  
7 neurological findings. One sees rigidity, the flaccidity  
8 and then rigidity again. That's one possible explanation.  
9 The other one is just that she was having a seizure or  
10 repetitive seizures.

11 Q Okay, I know there is a variety of possibilities  
12 I guess what I want to know is: To come to your analysis,  
13 to you assume that there was flaccidity and then there was  
14 rigidity and then there was flaccidity again, or do you  
15 assume that perhaps it is that the observer is not being  
16 precise or do you assume that she is actually having  
17 seizures, or do you come to any assumption based upon a  
18 reasonable degree of probability?

19 A I think the assumption I come to or the opinion  
20 I come to is that she was probably having an evolving  
21 neurologic syndrome, which had posturing and then no  
22 posturing and then posturing again over time.

23 Q Would that necessarily be a seizure or would that

1 be a response to some increased intracranial pressure?

2 A It could be either.

3 Q Would you have an opinion on that?

4 A Probably a response to increased intracranial  
5 pressure based on the absense of other extremity jerking,

6 Q I didn't see anything in the record where Dr. Getz  
7 or Dr. Roberts noted an examination of the eyes which  
8 included the venous pulsations. Had they done that -- Had  
9 there been a venous pulsation noted, would you then believe  
10 that there was probably not the increased intracranial  
11 pressure as the cause of the flaccid and then rigid and then  
12 flaccid situation?

13 A Let me answer by saying I think the record is  
14 clear that DR. Roberts attempted to visualize the fundi, as  
15 I have attempted to visualize some of the same things we are  
16 talking about now, but was unable to see, which is common in  
17 young babies,

18 Q Unable to see what?

19 A Unable to know whether there is venous pulsations  
20 or not. It is a reasonably easy thing to see in an adult  
21 with average vessels. It is not easy to see in a two month  
22 old or two year old baby with very tiny blood vessels.

23 Q Hut whether it is easy or not easy --



1           A     (Interposing) It is something that we can look  
2 for,

3           Q     It is not easy on the child either.

4           A     I agree. It is not something that we could  
5 require a physician reliably to identify in every patient.

6           Q     I'm not requiring anything, but there is no note.  
7 I don't know what he is going to say when we ask him at the  
8 trial, but neither Dr. Getz nor Dr. Roberts noted that they  
9 attempted to visualize the venous pulsations and were unable  
10 to find them or didn't find them,

11          A     Just so the record is clear, I don't know what  
12 Dr. Roberts specifically meant by this, but I did not it in  
13 my review of his report in his admission -- typed admission  
14 note, I guess, at about 3:30 or 4:00 o'clock in the morning,  
15 and if I could find it here -- "Fundiscopic examination was  
16 attempted. The fundi are not well visualized,"

17          Q     Is that the same thing as saying that he tried to  
18 visualize the venous pulsations and saw them or didn't see  
19 them?

20          A     It is the same thing as saying he tried to look  
21 for papilledema. I would assume, based upon what I would look  
22 for, he would look for hemorrhages due to child abuse,  
23 papilledema, and he might look for venous pulsations in an

1 effort to see whether there was any increased intracranial  
2 pressure. Rut he looked and couldn't see the fundi very well  
3 for whatever reason, motion on the child's part, eye motion  
4 going back and forth, That's as much as I can add to that  
5 issue.

6 Q What do you make out of the fact that when the  
7 CAT scan was done there was no evidence of any increased  
8 intracranial pressure?

9 A Well, it is the common experience with patients  
10 who have severe, fulminating meningitis with increased  
11 intracranial pressure that the initial CAT scans are normal,  
12 and the reason for that is presumed to be -- and I don't know  
13 that anybody has ever looked at it in an animal model -- but  
14 there are three kinds of cerebral edema which occur with the  
15 infection, vasogenic, cytotoxic and interstitial and all  
16 present with different variations and all are hard to find on  
17 a CAT scan, It isn't as if the brain is diffusely swollen  
18 with the water, It is increased interstitial water and  
19 increased cellular water, all which are producing the increase  
20 in brain edema.

21 W Why would one, then, delay a tap in a person with  
22 an acute presentation of -- and strong suspicion that the child  
23 may have meningitis to do a CAT scan if one would know that

1 that's a pretty typical presentation in a CAT scan? It looks  
2 normal, but may not be normal, The child may not be normal,  
3 but the CAT scan may well typically be normal, and here now  
4 you have wasted -- not that you have done it intentionally,  
5 but you have given away two hours -- actually three hours by  
6 the time it is reported after 4:00 o'clock. You have given  
7 away three hours of time only to get, as I understand you,  
8 what you might reasonably get. You may still have the  
9 increased pressure and the CAT scan shows normal and you've  
10 got nothing for your time.

11 A Well, I'm -- The answer to the question is that you  
12 don't know what the CAT scan is going to show until the CAT  
13 scan is done, and in a case like this, where trauma was a  
14 consideration, if this child had a subdural hematoma it could  
15 be a fatal stroke, If this child had impending herniation  
16 and you did a spinal tap, it could be a fatal stroke. There  
17 are things you would see that wouldn't be rare that would  
18 prevent you from going ahead and doing the spinal tap.

19 The second part of the answer to your question is,  
20 as Dr. Kleen said, not many years ago it was the tradition of  
21 many, many people trained to get the spinal fluid before you  
22 treat, because it might be hard to unravel the situation if  
23 you don't get the fluid and it might do more harm if you don't

1 get the LP and do the spinal fluid then waiting a few hours.

2 Q Let me go to the word "tradition" for a moment,  
3 I have five physicians who tell me and will tell the jury that  
4 they have never heard of a tradition in medicine, that they  
5 have never been taught it, never taught it themselves -- those  
6 that are professors -- and they have never read in a book or  
7 article of any kind whatsoever that there was any tradition at  
8 any time of withholding antibiotics in a child where there is  
9 a strong suspicion of meningitis, in an acute presentation,  
10 when there was going to be a significant delay -- and I'm using  
11 that term loosely -- for whatever reason to get a CAT scan or  
12 whatever. Are you telling me that you have practiced that  
13 tradition ever?

14 A Yes, sir --

15 MR. WATSON: (Interposing) Excuse me, I object  
16 to the form of that question, Why don't you ask questions,  
17 rather than give your speeches or we will put you under oath.

18 BY MR. DAVID:

19 Q When did you withhold antibiotics from a child  
20 that had -- that you had a strong suspicion had meningitis in  
21 an acute presentation for a period of four hours? When did  
22 you do that, what year was that and I would like to know the  
23 name of the child?

1           A     I did it, as everybody I trained with did it,  
2 probably from the years '72, when I entered residency, until  
3 the last couple of years, 1985, '86, '87, and even today it is  
4 still part of a tradition of how to approach patients with  
5 acute meningitis.

6                 Let me just -- and Mr. Watson may object to your  
7 question and you may object to my answer, but I will go through  
8 it. Ralph Fiegen from Houston, who used to be a St. Louis,  
9 and I don't know that he started the ball rolling, but there  
10 is an article or editorial in the Journal of Pediatrics by  
11 Warren Wheeler many years ago, I'd be guessing '71, '72,  
12 called "Lumbar Tapper's Dilemma," where this issue came up,  
13 what do you do with a kid that has an LP done, the kid has  
14 meningitis, but it doesn't look in the spinal fluid as if it  
15 is necessarily bacterial meningitis. The answer is there is  
16 alot of things you can do, All right? You can treat or  
17 wait for four to six hours and repeat the spinal tap and then  
18 hope that you clarify the issue, Those would be the two  
19 major ways of going, and that in a way was one of the  
20 cornerstones of this issue: Let's get the spinal fluid and  
21 make sure we know what we are dealing with, Then Fiegen comes  
22 along with a study published in the New England Journal not  
23 many years ago, relatively speaking for an older guy like me --

1 Q (Interposing) How many years ago?

2 A I don't remember. Late seventies, I believe,

3 (Continuing) -- where a group of children come in  
4 with an LP that looks early to be bacterial meningitis, and it  
5 is in the summer, which epidemiologically should be viral.

6 How do you answer that, put them in the hospital and treat them  
7 or is there another way? They say wait six to twelve hours,  
8 repeat the tap and see what happens. For many years people  
9 practiced that way, Kids with a couple hundred cells in  
10 the spinal fluid, irritable, then wait a couple of ours and  
11 do another tap.

12 Q An irritable baby?

13 A Yes, sir,

14 Q I'm not interested in arguing with you, but I'm  
15 speaking of an acutely ill child, like Lorene, where you have  
16 a strong suspicion of meningitis and knowing that the tap is  
17 going to be delayed for several hours,

18 A If you look at the Task Force 1986 addendum to the  
19 Journal of Pediatrics on meningitis, even today -- or '86,  
20 anyway, and I think today, there is this Sense of a physician  
21 having some latitude to decide whether or not to administer  
22 antibiotics to a child who is going to be transferred to a  
23 tertiary care facility, because they are sick with meningitis

1 or do you just transfer the patient before the LP is done now  
2 We can argue -- and I know you will argue very forceably at  
3 trial -- that hour hours is too long or two hours is too long  
4 but I think it is not written in stone as to what constitutes  
5 too long to wait if you are going to transfer a sick child,

6           You wanted me to point out some of the pieces of  
7 literature and speak to the tradition over the years that gives  
8 the physician the latitude to say we need the spinal fluid.  
9 In the years before antigen testing became popular or even  
10 available --

11           Q     (Interposing) When did it become available?

12           A     CIE's and antigens would be the late seventies or  
13 early eighties.

14                     (Continuing) -- not everybody believed in them or  
15 used them the way I would or other people would, But through  
16 that time, I think there was certainly a sense of "spinal  
17 fluid is gold" and we need to get it and we will do a lot  
18 before we start treatment,

19           Q     It may be we are saying tne same thing, but  
20 approaching it from a different perspective. You do agree with  
21 ne, do you not, that it would have certainly been appropriate  
22 and reasonable and prudent in 1980 for a physician who strongly  
23 suspected meningitis in an acutely ill child, who was looking

1 at a delay in getting a tap, to go ahead and administer the  
2 antibiotics immediately and then went ahead with his CAT scan  
3 tap or no tap, depending upon what the CAT scan said, We have  
4 agreed on that, have we not?

5 A Yes. I think, again, this word appropriate and  
6 reasonable doesn't necessarily mean if you don't do it that  
7 you are wrong. I wouldn't find fault with a physician who did  
8 that. I would say that's one way to satisfy the standard of  
9 care.

10 Q And with a child who -- Strike that,  
11 And if a physician -- I don't remember from your  
12 CV. How many years have you been practicing?

13 A Since '76.

14 Q You would, then, not take issue with any physician  
15 who would be of the opinion that with an acutely ill child,  
16 like Lorene, with her presentation at the emergency room, if  
17 the physicians that examined her strongly suspected that she  
18 had meningitis -- that is to say their most probable diagnosis  
19 was meningitis or working diagnosis was meningitis or their  
20 number one diagnosis was meningitis, you wouldn't disagree  
21 with any physician who would say that, in my opinion, based  
22 upon my training and my experience from the time that I first  
23 became a doctor in 1968, it would have been appropriate and



1 and reasonable and prudent to administer antibiotics prior to  
2 getting the tap, when you looked at a possibility of a delay  
3 in detting a CAT scan prior to a tap; am I correct?

4 MR. WATSON: I object to the form of the question.

5 MR. HOTHWELL: Join.

6 MR. HALL: Join in the objection.

7 MR. WATSON: He agreed with you that it was  
8 appropriate to treat in 1980, as it would be to work up a  
9 spinal fluid.

10 BY MR. DAVID:

11 Q Looking at it just purely from a common sense  
12 standpoint, if you guess wrong and decide not to give the  
13 antibiotics, you may be permitting more damage to occur to  
14 the patient; is that correct?

15 A If you are talking about a two hour or four hour  
16 interval of delay.

17 Q With any delay, you may be causing more damage.  
18 I'm not saying you are going to necessarily kill the patient  
19 or the patient is going to necessarily die, but because of the  
20 nature of the disease that we are talking about you may be  
21 causing additional damage that you would not be causing if  
22 you had opted to administer the antibiotics at time zero, as  
23 opposed to the time of one hour post, two hours post, three

1 hours post or four hours post, correct?

2 A But I think you put your finger on the tradition  
3 and the philosophy behind the tradition in your question. To  
4 answer it, I would say --

5 Q Am I correct on it?

6 A You are correct in the sense that I would agree  
7 with you that as time goes by more damage is going to occur  
8 to the central nervous system. Whether or not it would be  
9 biologically significant or not, we could argue, but more  
10 significant and more damage. The question posed in medicine,  
11 which is always posed: What is that increment of brain  
12 dysfunction vis-a-vis making the decision to start empiric  
13 antibiotics and all the problems that you then buy after that  
14 which in your patient you see right now you don't know has  
15 meningitis, and could have encephalitis or a subdural hematoma,  
16 anyone of the presentations of unusual diseases, as well as  
17 the fact that when you have started therapy with chloramphenicol  
18 you run the risk of anaphylaxis, aplastic anemia, any number  
19 of serious problems, and you may have committed a patient to  
20 a ten day course of therapy in a hospital with a drug that  
21 could cause potential harm.

22 So, the background behind the philosophy of the  
23 tradition is just what you stated. How do you weight the two

1 risks, One risk is there. Yes, there would be some damage  
2 that might be finite and might not be important in the hour  
3 or two or three that we wait, but, by the same token, there  
4 will be damage to this patient as described by hopkins in two  
5 articles in American Journal of Diseases of Children. There  
6 are many disadvantages when you are taking a child and putting  
7 them in a hospital for an extended period in order to treat  
8 with antibiotics, I think the physician has the latitude to  
9 make the decision for themselves and would not be wrong  
10 necessarily to decide to go two or three hours before treating  
11 or deciding to go right away, and in my view, as far as this  
12 field, tie goes to the runner,

13 Q Now, are you saying by your answer to that last  
14 question -- I'm not sure I remember what the question is  
15 anymore.

16 A I apologize for my lengthy answer,

17 Q That's all right, Are you saying there wasn't  
18 much damage going on to Lorene between 1:00 and 2:00 and 3:00  
19 and 4:00 and 5:00 o'clock in the morning?

20 A No, sir,

21 Q She was, in fact, getting more and more significant  
22 damage, was she not?

23 A Yes, sir,

1 Q And that's what you earlier told me, because she  
2 had a fulminating type of meningitis, right?

3 A Yes, sir.

4 Q So all of that business -- and I don't mean to  
5 make light of it, because it was a generality that you were  
6 speaking of -- but all of that business you could argue about  
7 whether it is really going to be doing any damage, whether it  
8 is finite, all those words, that's not Lorene. Lorene was  
9 sbsolutely, in your opinion, being damaged minute by minute  
10 and hour by hour before the antibiotics were administered  
11 after 5:00 in the morning; is that correct?

12 MR. WATSON: You've got a heck of a crystal ball  
13 here in 1989.

14 A I would say clearly she was damaged by the  
15 increasing intracranial pressure as time went by. The  
16 decision to adminsiter antibiotics, presuming meningitis is  
17 the cause of that, knowing it may not change that pressure  
18 and, in fact, might worsen it, or pursuing some other cause  
19 for it as rapidly as possible, again, I see that ab two issues  
20 on which a doctor could come down on either side of and not  
21 be wrong,

22 Q Certainly, I understand you would see it that way,  
23 but you agree with me, sir -- I want to be sure that we do have

1 this understanding, As I'm understanding you, in the case of  
2 Lorene, she was being damaged significantly each minute and  
3 each hour that she remained there from 1:00 o'clock in the  
4 morning until 5:00 o'clock in the morning, correct?

5 A I would agree with you.

6 Q And that was known -- It is known not only to you  
7 after the fact, but it was known based upon -- we haven't  
8 quite gotten to 2:00 o'clock yet -- but based upon her rapid  
9 progression of her symptoms by the history from 9:00 o'clock  
10 up to 1:00 and then from 1:00 up to 2:00, she is getting  
11 progressively worse, is she not? Is that what you're telling  
12 me?

13 A I think if you were to do serial sections of her  
14 brain and look under a microscope you could see increasing  
15 inflammation. I don't think I would agree that this was a  
16 clinically apparent hour by hour tremendous downslide on this  
17 patient's abilities.

18 Q It wasn't?

19 A I agree with you.

20 Q No. Are you saying it wasn't?

21 A As you look at a patient -- I know -- In  
22 retrospect knowing that she had this meningitis, I would say,  
23 yes, it probably was, but as one looked at the patient at 1:00

1 o'clock to 2:00 o'clock to 3:00 o'clock to 4:00 o'clock, I'm  
2 not sure that there was that much clinically apparent change  
3 in this patient's course that would make you jump to that  
4 conclusion.

5 Q You are not sure? Your answer was you are not  
6 sure that you would jump to that conclusion?

7 A I'm saying I wouldn't look at the patient and be  
8 able to make that conclusion,

9 Q Okay. Let me go to the CAT scan again for a  
10 noment. Now, we have this CAT scan and, as one might expect,  
11 one who is a knowledgeable physician like yourself, it is  
12 completely normal and we don't know whether the child has a  
13 contraindication for a tap or not. We don't know whether the  
14 child has increased intracranial pressure or not. Isn't that  
15 exactly the position that we were in at 1:00 o'clock in the  
16 morning?

17 A I don't see it that way, I see it as a fact that  
18 the CAT scan showed no reason why the spinal tap can't be done,  
19 and Dr. Roberts, obviously, made a phone call to a neurologist  
20 to varify that issue and was reassured that the spinal tap  
21 could be safely done,

22 Q Can a spinal tap be safely done if' there is a  
23 significant amount of increased intracranial pressure that

1 doesn't necessarily show up on the CAT scan?

2 A You are asking if a CAT scan is normal and the  
3 patient has increased intracranial pressure is it safe to do  
4 a spinal tap?

5 Q Yes,

6 A Yes, I think it is.

7 Q Why is that? Is it because they don't, in fact,  
8 have a significant amount of increased intracranial pressure.

9 A Because the amount of pressure present is not  
10 enough to shift the structures in the brain to a point where  
11 you are going to herniate your cerebellum.

12 Q So the CAT scan, then, is a diagnostic tool that  
13 can be used to determine if there is any significant amount  
14 of increased intracranial pressure that will be a contraindi-  
15 cation for a tap, correct?

16 A Generically that's true, yes.

17 Q And have you known that fact for -- ever since  
18 they have had CAT scans? Let's use ten years. Is that a  
19 commonly known fact for many years?

20 A It has been for me.

21 Q And you are a pediatrician?

22 A Yes, I am.

23 Q You would not have to call a neurosurgeon at 2:20

1 in the morning to ask him about that, would you sire, you,  
2 yourself?

3 A Myself, I probably would not call a neurosurgeon.  
4 Can I understand how a physician looking at a child with  
5 fixed pupil would, sure.

6 Q Believe me, I know that you are understanding of  
7 Dr. --

8 A Hoberts.

9 Q (Continuing) Robert and Getz and the Good  
10 Samaritan Hospital, as I know that you know I'm understanding  
11 of Lorene and her mother and father,

12 MR. WATSON: Do we pay extra for that on the depo  
13 or **do** we get that for nothing?

14 MH. DAVID: You get that for nothing.

15 BY MR. DAVID:

16 Q Would you, yourself, have to call a neurologist  
17 after you got the negative CAT scan to ask him whether it was  
18 okay to go ahead and do a spinal tap?

19 A No, sir. I think I've already answered that.

20 Q And you learned that in your pediatric training  
21 before you got out of -- at least before you finished your  
22 residency, correct?

23 A Of course, I trained through the era when CAT scans



1 were becoming available, so I had a lot of experience with  
2 them, yes,

3 Q What do you make of the fact that Dr. Hoberts,  
4 who I understand you do have some feeling for, -- what do  
5 you make of the fact that he calls a neurosurgeon to ask him  
6 if a CAT **scan** can be done to determine whether it is safe to  
7 tap and then he gets the safe tap -- He also looks into the  
8 Nelson's Text, which is a book, I guess, he either carries in  
9 his car with him or went to a library during all of this at  
10 the hospital, and then calls the neurologist at 4:00 o'clock  
11 in the morning or sometime at least before 4:30 in the morning  
12 to see, now that he has the negative tap, can he safely tap.

13 MR. WATSON: Don't you mean the "negative scan"?

14 MH. DAVID: Negative scan, yes, Pardon me.

15 BY MR. DAVID:

16 Q What's your understanding of why he calls these  
17 people? Why shouldn't he know all this? Why shouldn't a  
18 pediatrician -- Do you think a general, board certified  
19 pediatrician doesn't know all these things?

20 MR. WATSON: Object to the form of the question  
21 as compound, and it contains facts not in evidence.

22 MR. DAVID: I think he is a board certified  
23 pediatrician. As a matter of fact, he is board certified.

1 He flunked the test once and then passed it on the second  
2 time.

3 THE WITNESS: I can't tell you the number of  
4 calls I get weekly from pediatricians who know the answers to  
5 the questions they're asking, but they want someone to hold  
6 their hand and about things less life-threatening and less  
7 severe and less frightening to a doctor than Lorene Holloway's  
8 presentation. So, to call and ask is not necessarily the same  
9 as not knowing, I'm pretty sure this is the case, but, you  
10 know, boy, it is too important to fool around, so I'll call  
11 and ask the particular question to the guy who should know.

12 BY MR. DAVID:

13 Q It's uncertainty, isn't it, in your opinion?

14 MH. WATSON: How about at 3:00 o'clock in the  
15 morning with a gravely ill child that you are concerned about

16 BY MR. DAVID:

17 Q It is uncertainty, isn't it?

18 A I think every time I treat a patient there is  
19 uncertainty in some factor of my treatment with the patient,  
20 If I'm less conversant with the disease and it is outside my  
21 area of specialty, then I'm likely to call somebody up,

22 Q Let me ask another question along the same lines,  
23 but maybe a little less speculative, Would it have been, in

1 your opinion, reasonable and prudent for a physician having  
2 examined Lorene and taken the history and had the interplay  
3 with the parents and so forth to have -- and he came to the  
4 conclusion that he -- most probably that she had meningitis  
5 and most probably it was an acute presentation, the bad kind  
6 Would it have been prudent and reasonable and acceptable in  
7 1984 for that doctor to have decided that I'm going to do the  
8 tap and I'm not going to delay the tap, nor am I going to  
9 delay the antibiotics -- I'm going to tap and I'm going to give  
10 antibiotics if I find -- well, I'm going to tap and give  
11 antibiotics regardless of what I find in the spinal fluid?  
12 Would that have been, in your opinion, reasonable , prudent  
13 and appropriate also in '84?

14 MR. WATSON: Objection to the **form** of that  
15 question. It is a hypothetical question, which doesn't  
16 contain all the fact in evidence.

17 A Do I think it would be reasonable or prudent to c  
18 a spinal tap on a baby this age with these focal neurologic  
19 signs, I think that would be outside the stanara of care,

20 Q If you looked into the eye and saw the venous  
21 pulsation existing, assuming that you knew to do that, then  
22 could it have been reasonable and prudent and acceptable to g  
23 head and tap without delaying it or waiting for a CAT scan o

1 anything else?

2 A The only time I've ever seen venous pulsations in  
3 the fundus of a human being has been in adolescents and adult  
4 patients, I've never looked for venous pulsations in the  
5 fundi of children that --

6 Q (Interposing) This would be for children two and  
7 a half years old --

8 A Yes. It has never been part of my repertoire of  
9 teaching or training. I wouldn't know ~~to~~ put it into the  
10 augurhythm of what to do with the patient. ~~We~~ look for  
11 papilledema and hardly ever see it, because it is hardly ever  
12 there in increased intracranial pressure of short standing.  
13 If I knew there were papilledema and venous pulsations in a  
14 child who looked like this, I would probably not do a spinal  
15 tap.

16 Q I don't recall offhand what this papilledema is.

17 A Swelling of the optic nerve as it exits from the  
18 brain into the eye,

19 Q And that you rarely see, am I correct, in a child  
20 of this age?

21 A In meningitis that's aggressive and acute, that's  
22 correct.

23 MR. WATSON: Counsel, I would call upon you to

1 take a five minute break.

2 (Whereupon, a brief recess was taken,)

3 BY MR. DAVID:

4 Q Let me ask you this, doctor: Your opinion that  
5 there was a flaccidity and then rigidity and then flaccidity  
6 again probably being -- if I understand what you are saying --  
7 is transient increased intracranial pressure?

8 A I would say not necessarily transient. I would  
9 say an evolving picture of increased intracranial pressure  
10 when the brain can autoregulate or down regulate some of that  
11 pressure for a period of time, but then those mechanisms  
12 become overwhelmed, the radicular and capacitant vessels and  
13 so on.

14 Q What's your opinion of the fact that the left  
15 pupil appeared to be dilated and then without any intervention  
16 therapeutically, without any medicine being given, the pupil  
17 apparently undilated or became the same size as the right  
18 pupil?

19 A That's a question that I have that I can't answer.  
20 Did it undilate and become the same size or are both now  
21 dilated?

22 Q I think the fact is that it undilated.

23 A I look at that --

1 MR. WATSON: (Interposing) Do you want him to  
2 just assume that for purposes of your question?

3 MR. DAVID: Yes. I think that's what happened.

4 THE WITNESS: If I remember, it was still  
5 superficially reactive, so it was still not normal.

6 BY MR. DAVID:

7 Q Yes, absolutely, but if it is a fact that the  
8 pupil was dilated at 1:00 o'clock in the morning and then  
9 later, without any medication being given, at least, became  
10 undilated. If that's in fact what happened.

11 A I think -- Well, there might not have been truly  
12 any medication given for the pupil, but the child did receive  
13 a CAT scan and the contrast material may have acted to dry  
14 out the brain a little bit and allow for some of the eaerna to  
15 be temporarily diminished,

16 Q You noted you have read Dr. Roberts' deposition  
17 and I assume you reaa Dr. Karl's deposition and I assume some  
18 others. Did you notice where they believed that the eye may  
19 Have been postictal? As a matter of fact, I think Dr. Roberts  
20 wrote that in his note, that he thought that the eye was  
21 possibly postictal. Do you agree with that possibility also?

22 A I think it is possible, but, in my view, if it  
23 were really postictal, then as that got better the patient

1 should get better.

2 Q Would it be consistent if the eye was, in fact,  
3 postictal, meaning that it was from seizure and not from  
4 increased intracranial pressure, would the fact that it  
5 became undilated in the period of time that it did be  
6 consistent with that?

7 A With it being postictal?

8 Q Yes,

9 A Again, it could be consistent with it being  
10 postictal, although I would suspect in true postictal paralysis  
11 one would be improving in all other neurological parameters  
12 as that paralysis itself gets better.

13 Q That would be the field of neurology, I guess, --  
14 pediatric neurology?

15 A Child neurology,

16 Q Child neurology?

17 A Right.

18 Q What would be against the proposition that the  
19 child was having intermittent seizures during this period of  
20 time to account for the flaccidity and rigidity and the eye  
21 becoming dilated and then undilated? What would be against  
22 that theory?

23 A Well, it would be unusual seizures for childhood

1 in that they're not tetanic seizures, which would be the vast  
2 majority of seizures. So, it is clinically unusual, The  
3 second would be if, in fact, the child is having repetitive  
4 seizures on the background of coma, that is status epilepticus,  
5 which is a very, very bad prognosis and serious illness and  
6 has in and of itself a very serious prognosis. So, in a way,  
7 I don't know that there is a tremendous amount of need to  
8 prove one way or the other what they are, except to say it  
9 is a strange presentation, number one, in childhood and,  
10 number two, it is a bad prognosis if it is seizures,

11 Q And you don't believe it was seizures? I mean it  
12 probably wasn't seizures?

13 A Correct, especially since we do know that during  
14 the course of four or five hours that went by, from 4:00 a.m.  
15 to 9:00 or thereabouts, the child did have intermittent  
16 episodes of twitching, and so I don't know why the child would  
17 be having these normal kind of seizures intermittently, but  
18 be in the background of straining kind of seizures.

19 Q I didn't see any seizures from the time the child  
20 was brought in until what's described as five or ten second  
21 twitching immediately prior to doing the spinal tap at 4:30  
22 in the afternoon --

23 MR. WATSON: (Interposing) Morning.



1 BY MR. DAVID:

2 Q (Continuing) -- 4:30 in the morning. Did you see  
3 any seizures during that period of time?

4 A No, That's the first one I noted in the record,  
5 too.

6 Q If the child is getting progressively worse  
7 because of the meningitis, why are we not seeing seizures?

8 A I can only answer the question by saying it is  
9 probably only one out of five children who present with  
10 meningitis that do have seizures. What protects the other  
11 four from a presentation of seizures I don't think anybody  
12 knows. I sure don't know. It is a minority of kids who do  
13 have seizures,

14 Q But you do recognize that the child probably or  
15 more likely than not had a seizure, even if it may have only  
16 been transient, when he urinated in bed shortly before 12:00  
17 o'clock?

18 A That's correct,

19 Q So, if the child is getting progressively worse  
20 and is already a child that's going to seize and has seized,  
21 why isn't the child seizing from that period of 12:00 o'clock  
22 or 1:00 o'clock until 4:30 if the seizures are occurring  
23 as a result of the increased altered intracranial pressure?

1           A     Again, I don't know that anybody understands why  
2 children with meningitis seize in the average instance -- and  
3 I can pick out a few instances where I think it is well  
4 understood -- but in the average instance anyway, and I don't  
5 know why and nobody, to my knowledge, understands why many  
6 children who have one seizure depolarize their brain enough  
7 that you now see diffractory seizures from that time, but  
8 that's a fact of seizures,

9           Q     You recognize, I presume, that there is certainly  
10 the possiblity that the child did not have -- never did have  
11 up until 5:00 o'clock in the morning, 6:00 o'clock in the  
12 morning any significant increase in intracranial pressure?  
13 You recognize that as a possibility, do you not?

14          A     I usually try to think over all the possible,  
15 you know, permutations of a case and that actually never  
16 entered my mind as I reviewed the case and all the primary  
17 materials --

18          Q     (Interposing) Let me suggest something to you --  
19 Off the record,

20                 (Whereupon, a aiscussion was held off the record.

21                 BY MR. DAVID:

22          Q     You noticed that Dr. Roberts diu not believe that  
23 there was any increased intracranial pressure when he actuall

1 did the tap and extracted the fluid. You saw that, did you  
2 not?

3 A I don't know that he did a manometric pressure  
4 reading.

5 Q I don't believe he did either, but he was, I  
6 presume -- Well, you tell me. Would a pediatrician not be --  
7 a pediatrician who was worried about increased intracranial  
8 pressure enough to call a doctor, do a Cat scan, then call  
9 another doctor -- He is going to be looking and feeling and  
10 being very attuned to whether that fluid comes out with any  
11 significant amount of pressure, will he not?

12 A I would say this: In cases where the fluid does  
13 come out that way, you can make a good estimate that the  
14 patient does have increased intracranial pressure, but there  
15 is cases where you've got the needle only half way in the  
16 subarachnoid space or the patient is twisted or bent in such  
17 a way that you don't get a big effusion of fluid. We have  
18 done LP's with kids that are hydrocephalus, for instance, to  
19 drain fluid off and we have trouble draining it off like with  
20 anybody else,

21 So, to answer your question, a negative CAT scan  
22 for pressure doesn't mean there was no pressure.

23 Q A negative CAT scan for pressure means that there

1 is no pressure. We know there is no significant increased  
2 pressure, correct?

3 A No, sir, not in this case.

4 Q I thought you said the CAT scan was going to tell  
5 you that?

6 A The CAT scan tells you whether or not it is  
7 anatomically contraindicated to do a tap, herniation or  
8 subdural hematoma being present, but --

9 Q I thought you told me that the CAT scan was  
10 diagnostic for determining whether there was a significant  
11 amount of increased intracranial pressure that would prohibit  
12 the doing of a lumbar puncture?

13 A If I said that I stand by that with the explanation  
14 that the reason you do the CAT scan is to make sure that there  
15 isn't some anatomical problem which is going to obviate the  
16 need for --

17 Q (Interposing) Well, there's no problem there, I  
18 guess I'm saying is: Did we forget there was, in fact, not --  
19 at least that CAT scan and then presumably the tap -- that it  
20 in fact, turned out not to be any significant increased  
21 intracranial pressure that would have prohibited a tap earlier  
22 on? We are agreeing on that, aren't we?

23 A We are in absolute agreement, since there wasn't

1 any pressure, there was no anatomical reason for not doing to  
2 tap at the time of the 4:00 o'clock tap, and, as we talked  
3 about before, the pressure was probably higher than it was  
4 at 1:00 or midnight.

5 Q So, realizing -- I think the scan finished at  
6 about 3:40 or 3:50. If there was no significant amount of  
7 increased intracranial pressure at that point, the probabilities  
8 are that for the hours earlier there was even less pressure?

9 A I think you are misusing my terminology. The CAT  
10 scan showed that the kind of pressure this patient had and the  
11 amount of pressure this patient had was not a contraindication  
12 to doing the spinal tap. It is in my opinion in other cases  
13 and other cases of severe, fulminating meningitis that there  
14 significant increased intracranial pressure, interstitial,  
15 vasogenic, cytotoxic that will not contraindicate a spinal  
16 tap.

17 Q Is it the kind of pressure that's going to  
18 herniate your brain?

19 A It may.

20 Q Did it in this case?

21 A I don't see any evidence of it on the CAT scan.

22 Q Did it at 4:00 o'clock?

23 A I don't see any evidence on the CAT scan.

1 Q I'm saying if it had herniated the brain you  
2 would expect to see it in the CAT scan, would you not, at  
3 4:00 o'clock?

4 A You would see it sometimes in a CAT scan, yeah,

5 Q I'm asking you most **probably** or more likely than  
6 not you would have seen a herniation of any kind in the CAT  
7 scan, correct?

8 A Correct.

9 Q So, it is your opinion, if I understand you  
10 correctly, that at least by 4:00 o'clock in the morning Loren  
11 probably did not have a herniation of her brain?

12 A As best I can see in the record, that's true.

13 Q I want to go to about 6:00 o'clock in the morning  
14 I think I can perhaps be more precise, so we don't get into  
15 an inaccurate assumption.

16 At 6:20 in the morning I noted a two minute  
17 seizure and that was the first seizure that I noted in the  
18 records, other than that five to ten second one that we talked  
19 about at 4:20, shortly before the tap. Do you recall what  
20 I'm talking about?

21 A Yes, I do.

22 Q Up until 6:20, did you see any evidence that would  
23 make you conclude that there probably had been a herniation

1 by that point?

2 A No, I don't think I did.

3 Q And then at 7:15 was the next thing neurologically ,  
4 as I understand it, There was a seizure at 7:15. Up to that  
5 point, did you see any evidence that would make you believe  
6 that she probably had a brain herniation up to that point?

7 A No, sir.

8 Q Then at 8:15, it seems like one hour later, she  
9 had a 25 -- a long seizure, I think it is -- I don't know the  
10 exact number of minutes, but it is the first long seizure,  
11 Up to that point, did you see any evidence that would make  
12 you believe that she probably had a herniation of the brain  
13 at that point?

14 A No, I don't.

15 Q At 10:30, when she went into respiratory arrest --  
16 and this is shortly before she was transferred to intensive  
17 care -- up to that point -- or at that point did you see any  
18 evidence that she probably had a herniation to the brain?

19 A No, I don't,

20 Q Do you have an opinion based upon a reasonable  
21 degree of probability as to whether or not she ever herniated  
22 prior to her death?

23 A I don't have an opinion whether she did or not.

1 To me it is sort of beside the question, The question is  
2 whether this child's increased intracranial pressure was  
3 enough to kill her brain. I don't know that she herniated,  
4 I don't see that examination disclosed it. She was on a  
5 ventilator and sometimes it is hard to tell without doing an  
6 autopsy or CAT scan.

7 Q Certainly, before the ventilator, there was no  
8 evidence that she herniated?

9 A As to my review of the record, no,

10 Q And up until -- let's take 10:00 a.m., because  
11 that's the time when she was transferred to I.C.U., and just  
12 using it as a cut off' -- up to that point, do you agree with  
13 me that there was no life threatening, inappropriate A.D.H.  
14 secretion up to 10:00 o'clock in the morning of the first day?  
15 Do you agree with me, sir?

16 A Yeah, in general I do, If I could clarify my  
17 answer? I think any amount of increased intracranial pressure  
18 subsequent to .S.I.E.D.H., is going to pose a problem for the  
19 patient.

20 Q My point is up to 10:00 o'clock in the morning we  
21 don't have any information of any inappropriate A.D.H. to  
22 begin with. That comes later in the progress of the  
23 hospitalization.



1           A     I'm trying to remember when the serum sodium of  
2 128 **was** obtained.

3           Q     Please check that, if you have the record there,  
4 I happen to have it here, too. I want to be sure that we are  
5 in agreement that the inappropriate A.D.H. was something that  
6 occurred long into the condition and wasn't the cause of the  
7 problem.

8           A     Well, I have here on my notes that by 7-17 at  
9 10:00 o'clock a.m. the serum sodium was 128.

10          Q     Do you have a page reference to that?

11          A     No, I don't.

12          Q     Let me have the notes, if I may, just for a  
13 moment.

14                     (Handing to counsel.)

15          A     This is, obviously, from the laboratory uata.

16          Q     I've got it. Here's the lab. Let me give you mine,  
17 if I may. The first sodium that you referred to, I think it  
18 will give you the time --

19          A     I don't see any sodiums on this page.

20          Q     You've got to turn it,

21          A     Backvrard?

22          Q     I'm not sure,

23          A     Here it is (indicating). Okay. "7-17, 136 at

1 at 1:30 a.m." and then "128 at 10:00 o'clock, 7-17," and  
2 by 6:30 the next morning it was 130.

3 Q Can we agree, then, that there was no significant  
4 problem with inappropriate A.D.H. up until -- up until what  
5 time, would you say?

6 A I would say by 7-17 there is some evidence this  
7 child has some inappropriate A.D.H. secretion.

8 Q By what time?

9 A By 10:00 a.m., whatever time that blood was taken

10 Q But the 1:30 blood --

11 A Was normal.

12 Q There was no inappropriate A.D.H., at least the  
13 sodium doesn't indicate it?

14 A Correct,

15 Q At 10:00 o'clock, the sodium is slightly -- as I  
16 recall, slightly elevated?

17 A Low, 128.

18 Q Slightly low,

19 A At 10:00 on 7-17.

20 Q Right, and the normal would be what?

21 A 135.

22 Q So we are going slightly low, correct?

23 A Correct.

1           Q     And then, even at July 18 at 6:30, it is even le:  
2 low than it is --

3           A     Right, 130.

4           Q     So, we agree up until at least July 18 inappropri te  
5 A.D.H. secretion was not playing a major role in Lorene's  
6 condition? Do you agree with that?

7           A     I would agree that S.I.E.D.H. probably was  
8 ongoing, but probably was not markedly contributing to her  
9 ongoing neurologic problems at that time,

10          Q     Do you agree with me that there is no way to give  
11 an opinion based upon a reasonable degree of medical probabilit  
12 that this child had any genetic predisposition that caused he  
13 demise, because she wasn't checked for genetics?

14          A     You've asked a very hard immunological question.  
15 Just withdraw the question, You don't want to -- the bottom  
16 line answer would be probably yes, Probably every child who  
17 gets it has -- Let me rephrase that. Probably a large number  
18 of children with Type B systemic diseases have an inability  
19 to produce anticapsular antibodies to Hemophilus polysacchar e,  
20 which in some way is linked to genetic tendency, because we  
21 now it does occur in families. Take a child with Hemophilus  
22 meningitis and study his brother and you will find that that  
23 child has to ability to produce an abnormal condition.

1 Q I'm talking about producing death.

2 A I don't know of anything that has shown a study  
3 which shows a genetic link tying Hemophilus influenzae menin-  
4 **gitis** to immune deficiencies, which I can't testify as to her  
5 having,

6 MH. WATSON: Why do some get the fulminating type  
7 and some don't?

8 MH. DAVID: Thank you, Dr. Watson.

9 BY MR. DAVID:

10 Q Are you aware that there have been -- Let me star-  
11 at the beginning, You know that certain states contribute to  
12 the C.D.C. statistics study on Hemophilus influenzae meningitis?

13 A Prevalence.

14 Q Rate of prevalence and mortality.

15 A Yes.

16 Q I don't know whether you are familiar with the  
17 Florida experience or not, Let me tell you what it is, It  
18 is my understanding in Florida in 1984 there were 298 recorded  
19 cases of Hemophilus influenzae meningitis in children in  
20 Lorene's age group, and in that study three died, one of which  
21 was Lorene, making the mortality rate something less than 1% --  
22 let's call it 1%. Is that a good rate? That is to say is  
23 Florida doing good in -- according to those statistics and in

1 seeing to it that 99% of the children in Florida in Lorene's  
2 age group that get this type of meningitis survive?

3 MR. WATSON: I object and I've just got to  
4 interrupt. If you play golf, everybody gets some enjoyment  
5 out of a golf shot. If someone hits a lousy shot, his partner  
6 doesn't like it. If your 99%, you love it. If your 1%, your  
7 not too thrilled.

8 THE WITNESS: I would say that mortality statistics  
9 is within the range or realm of the mortality statistics that  
10 we would expect in the United States of American in '84.

11 BY MR. UAVID:

12 Q That kind of statistic is, in part, would you not  
13 agree with me, based upon the fact that physicians in Florida  
14 are apparently diagnosing and treating Hemophilus influenzae  
15 meningitis in a very timely manner? Doesn't that indicate  
16 that to you?

17 A I would say that's an indication that they are  
18 treating a disease that's treatable and diagnosing it in a  
19 timely manner, but; I would also add that the mortality  
20 statistics for the entire United States haven't changed for  
21 30 years,

22 Q So we agree that the disease that Lorene had,  
23 Hemophilus influenzae meningitis, at least in Florida where

1 she was, is a very treatable disease in 99% of the times,  
2 correct?

3 A Especially if you don't have the fulminating  
4 variety. If you have a garden variety of Hemophilus influen-  
5 zae meningitis, yes.

6 Q Let's take a minute, That's a very intriguing  
7 thing to me, Would you care to give us your opinion as to  
8 how many of those around 300 children that were reported --

9 Let me back up just a minute, You would agree  
10 with me that there is actually more cases of Hemophilus  
11 influenzae Type B meningitis than would reasonably be reported ?

12 A Yes.

13 Q You would agree with me that the deaths would  
14 generally be reported?

15 A Yes.

16 Q So, actually, when that statistic shows that ther  
17 is a 1% mortality rate, the people that would study these  
18 things would actually say it is probably less than 1%, becaus  
19 there is probably more cases of it, but probably riot many mor  
20 unreported deaths, right?

21 A I would say that's probably true, although, to be  
22 honest, to the epidemiologist, he would want to know several  
23 years experience in Florida or any number of other states

1 before making a judgment.

2 Q So, in your opinion, the statistics for meningitis,  
3 if one were to try to make some sense out of them, it would  
4 be relevant to look at '83, '84, '85 and '86 maybe to  
5 determine what the actual average rate of mortality would be?

6 A I think that would be -- Yeah.

7 Q Relevant?

8 A Yeah, relevant.

9 Q I assume that you have studied -- and tell me if  
10 you haven't -- various studies that have looked at the  
11 mortality rate of Hemophilus influenzae Type B meningitis?

12 A Yes.

13 Q What would be your understanding of what the  
14 percentage of acute cases would be -- you know, the acute  
15 presentation that you are saying that Lorene had as compared  
16 to the non-acute, the one that goes on for weeks, as I  
17 understand it, or for at least more than 24 hours, 48 hours?  
18 How does that break down, Hemophilus influenzae Type B, in  
19 children one to four years old?

20 A It is my view that the majority of the mortalities  
21 in Hemophilus influenzae Type B meningitis would, in fact, be  
22 the acute, fulminating variety of meningitis.

23 Q That's not the question.

1           A     It is just background. My judgment would be that  
2 probably two or three or four percent of Hemophilus influenza  
3 Type B meningitis is, in fact, the fulminating variety of  
4 meningitis. It accounts for essentially all the mortality.  
5 Taking not just statewide the three and a half, four percent,  
6 you rarely find anybody dying, accept with the fulminating  
7 disease and the majority with fulminating disease, in fact,  
8 die.

9           Q     If you saw a study that showed 61 children all  
10 with the acute, fulminant kind, at least according to the  
11 investigator who is trying to segregate those, and not a  
12 single one of them died, what would you make out of that?

13          A     I would say his definition of acute, fulminant  
14 disease is probably different than my definition of acute  
15 fulminant disease,

16          Q     You are defining the disease, so that if somebody  
17 dies you are saying they had the acute, fulminant kind'?

18          A     My definition, as it is in the literature I'm  
19 familiar with, is the progression from the very beginning of  
20 the disease to coma, severe neurologic embarrassment, focal  
21 neurologic signs, such as Lorene Holloway has, in a period of  
22 12 to 24 hours, which is not common in cases of Hemophilus  
23 meningitis.



1           Q     And you think that accounts for four to five  
2 percent of the cases of Hemophilus influenzae B?

3           A     I would give a range of two to four percent -- Tw  
4 to five percent of the cases in Hemophilus influenzae Type B  
5 would be by definition fulminating,

6           Q     The emergency room cases of acute as opposed to  
7 non-acute as presented to the guys that work in emergency  
8 rooms, emergency room pediatricians that see presumably many,  
9 many cases, do you know what their percentage is?

10          A     I can only answer from my perspective working in  
11 dashington. Most patients that I've seen with bad problems  
12 come to their doctor's office, unfortunately, first and the  
13 doctor then gets an ambulance and sends them to the hospital.  
14 E.R. doctors are usually not involved, at least not here, but  
15 rather their pediatric physician. So, in our case, our  
16 experience would be very limited for acute, fulminantive  
17 disease.

18          Q     You wouldn't have any reason to disagree, I guess,  
19 with an emergency room physician who specializes in treating  
20 children -- sick children who may not be able to afford to go  
21 to a doctor -- or have a private doctor to go to -- You would  
22 have no way of disagreeing if he were to be of the opinion  
23 that over the years he sees many, many, many acute, fulminant

1 cases of meningitis, like the one that you're describing as  
2 to Lorene, and the vast majority of those survive? You would  
3 have no way -- no experience to question that, would you, sir

4 A ,I would question it very seriously. If that's  
5 his experience, he should publish it. It is not in the  
6 literature. It is **not** my experience. It is not the experience  
7 of anybody that I know about or talk to or deal with in  
8 pediatric infectious diseases. I just would find it hard to  
9 believe. I think we would be using a different definition of  
10 what I consider acute fulminating.

11 Q I assure you before the end of this case you will  
12 read it. Come Monday, they are going to have all of these --  
13 these articles and I guaranty they will be in the mail to you  
14 the next day.

15 MR. WATSON: I'll be interested to see how they'r  
16 going to show up Monday. I guess he's going to print them  
17 over the weekend.

18 MR. DAVID: Yep.

19 BY MR. DAVID:

20 Q Would you agree at least as of 1984 or probably  
21 for sometime before that that there wasn't a problem -- a  
22 significant problem that would stop a physician from giving  
23 antibiotics if he strongly suspected meningitis, an acute

1 presentation, prior to a tap with regard to making the  
2 eventual diagnosis of the particular type of bacteria in  
3 helping him with future antibiotic selection, because of any  
4 number of tests that were, at least by 1984, generally  
5 accepted and generally reliable?

6 A Well, by 1984, I certainly knew that and people in  
7 my field knew that, Whether every pediatrician was convinced  
8 that C.I.E and other tests would answer this problem, I don't  
9 think was necessarily true.

10 Q I agree with that. You don't think that every  
11 pediatrician knew a lot of things. For example, did you find  
12 it odd that Dr. Getz did not even know what the Kernig's sign  
13 and Brudzinski's sign were?

14 MR. WATSON: Object to the form of the question.

15 A Yeah, I recall.

16 BY MR. DAVID:

17 Q Don't you find it odd in an emergency room, with a  
18 child presenting with acute meningitis not to even know what  
19 the sign is -- the Kernig's and Brudzinski's signs are?

20 A Yes, sir, that is odd --

21 MR. WATSON: Object to the form.

22 MR. ROTHWELL: Join.

23 MR. HALL: Join in the objection.

1 BY MH. DAVID:

2 Q So, we can agree, can we not, that every physicia  
3 is not the test of what physicians may or may not know. That s  
4 not what we are talking abot **here**, is it, sir?

5 A I don't know that I understood your question just  
6 then.

7 MR. WATSON: Object to the **form**.

8 BY MH. DAVID:

9 Q Would you agree to elicit the Brudzinski sign one  
10 should have the patient lie down supine, bend the patient's  
11 neck forward to the chest, and if the knees flex contempora-  
12 neously, the patient probably has meningitis?

13 A Yeah, that's true.

14 Q So that's a pretty good little test for an  
15 emergency room physician to know, is it not?

16 A To go back and finish up my answer on the question  
17 of the Brudzinski sign, I would expect the average emergency  
18 room physician to know the names, but if he didn't know the  
19 names and did the test that would be okay in my view.

20 Q That's fine with me too, but he didn't do the  
21 test. You know that too, don't you, sir?

22 A I didn't see any notation in the chart whether *he*  
23 did them or not.

1           Q     When the pediatrician came along, I didn't note  
2 anywhere in the chart or anywhere in his deposition where he  
3 alluded to the fact where he performed the Brudzinski test,  
4 did you?

5           A     I don't see the word. I remember reading at least  
6 once where he writes 'The neck is very supple' or 'supple.'

7           Q     Not stiff?

8           A     Which is the Brudzinski test, and I, myself, don't  
9 necessarily call it by name when I do an examination --

10          Q     (Interposing) I'm not saying you need to know the  
11 name. The pediatrician knew the name, as I recall. You have  
12 your chart out there. Why don't you point to that place in  
13 the record **that** you believe Dr. Roberts indicates that he **did**  
14 elicit the Brudzinski sign or did not elicit the Brudzinski  
15 sign?

16          A     I'm looking at the admitting history and physical  
17 dictated on 7-17, at the time of admission, I would imagine,  
18 and he says, "Neck supple."

19          Q     Does that indicate to you that the Brudzinski sign  
20 existed or did not exist?

21          A     It probably means it did not exist,  
22 I think there is one other notation here where he  
23 says, 'The neck is completely supple.'

1 MR. WATSON: Here on the progress note?

2 THE WITNESS: Yes, a handwritten note that says,  
3 "Neck completely supple." "Generalized stiffness with cerebrate  
4 type posturing. Neck completely supple."

5 BY MR. DAVID:

6 Q The neck being completely supple, to you -- is  
7 that the way you would describe the Brudzinski sign?

8 A No --

9 Q Or the lack of Brudzinski sign?

10 A I think a stiff neck and the Brudzinski sign go  
11 hand in hand. What one does when one elicits a Brudzinski  
12 sign is elicit nerve root irritation for meningitis, and that  
13 is one reflex function, that is for the hips to flex to the  
14 abdomen. I happen to know a lot about these signs, because  
15 I studied it for some other reason, I can tell you too much  
16 has been made about Kernig's and Brudzinski signs over the  
17 years, since originally it was described in patients with  
18 chronic, non-acute meningitis. To my knowledge, nobody ever  
19 really applied it to acute bacterial meningitis in children  
20 of different ages, Whether you do or not do it, or call it  
21 that or do not call it that, if the neck is supple, in my  
22 view, that's an evaluation of whether or not there is nerve  
23 root irritation in the patient, which is what you find by the

1 extension evaluation in the Brudzinski sign,

2 Q It is, in your view, just as good or almost as  
3 good to doing a test that's -- which is going to show whether  
4 the child probably has meningitis as to evaluate the supplene s  
5 of the neck? That's just as good to you as actually doing  
6 the Brudzinski test; am I correct, sir?

7 A I'm saying that in general and I'm saying in  
8 specific with respect to this case.

9 Q I want to know in general,

10 A In general.

11 Q You are of record now as being of the view that  
12 it is not necessary to try to elicit the Brudzinski sign in  
13 diagnosing a patient who is suspected of having acute,  
14 fulminating type of meningitis in an emergency room situation

15 A Let me answer in a paragraph. In those cases  
16 where the diagnosis is already so apparent that meningitis is  
17 high upon the list, if course it is not necessary.

18 Q Take this case of Lorene. Do you agree that  
19 neningitis was so high upon the list or so presumptive or  
20 lumber one consideration that it really wasn't necessary to  
21 lo the Brudzinski test, that the doctor knew' from all the  
22 other history and physical examine that she probably had  
23 neningitis from the word go?

1           A     I would say it would be so high up on my list  
2 that it would be probably unnecessary to do the Brudzinski  
3 test, because it would not change the course of events that  
4 would then go by.

6           Q     You would disagree with anyone who would give the  
6 opinion that this child didn't present with the signs and  
7 symptoms and history which would indicate that meningitis was  
8 high up on the list or the top item? You would disagree with  
9 that?

10          A     I would,

11          Q     I think you were telling me before I got waylaid--  
12 Let me ask you about this statement here, whether you would  
13 generally agree with this. "At times, a child with bacterial  
14 disease will present in extremis with respiratory insufficiency,  
15 abtondation (phoneitc) or hypotension. In these cases  
16 diagnostic tests, including cerebral spinal fluid examination,  
17 should be deferred until antibiotics are given and vital signs  
18 stabilize." Do you agree with that statement in general?

19          A     COuld you read it again for me?

20          Q     Yes, "At time, a child with bacterial disease  
21 will present in extremis with respriatory insufficiency,  
22 abtondation (phonetic) or hypotention. In these case5  
23 diagnostic tests, including cerebral spinal fluid examination,



1 should be deferred until antibiotics are given and vital signs  
2 stabilized." Do you agree with that statement in general?

3 A I would agree with that generically, but more with  
4 specifically with respect to stabilization of the vital signs.  
5 We don't do a spinal if the patient is having difficulty  
6 breathing. We try to breath for them. If they are in shock,  
7 we treat their shook. The need to administer antibiotics  
8 empirically is done because you don't know whether it is going  
9 to be five days before you can do a spinal tap, which in this  
10 case isn't necessarily a consideration.

11 Q Taking the other part of the statement, do you agree  
12 with the other part of the statement, "At times, a child with  
13 bacterial disease will present in extremis with respiratory  
14 insufficiency, abtondation (phonetic) or hypotension, In  
15 these cases diagnostic tests, including cerebral spinal fluid  
16 examination, should be deferred until antibiotics are given and  
17 vital signs stabilized."?

18 MR. WATSON: Are you reading from an article on  
19 that?

20 MR. DAVID: Yeah.

21 MR. WATSON: Would you mind telling us what that  
22 is? Is that article dealing with children in septicemic  
23 shock?

1 MR. DAVID: No. It is an article dealing with  
2 meningitis.

3 MH. WATSON: Why don't you identify that article  
4 for us, since you are so determined to get me to identify  
5 mine for your good Dr. Carroll.

6 MR. DAVID: It is a statement from "Ambulatory  
7 Pediatrics." It is called "Ambulatory Pediatrics, III,"  
8 published in 1984, Dr.'s Green and Haggerty, and if you don't  
9 know who those gentlemen are, they are in a New York hospital,  
10 Cornell Medical Center apparently, and it is a statement under  
11 the title of "Meningitis," and I'm quoting it now, "At times,  
12 a child with bacterial disease will present in extremis with  
13 respiratory insufficiency, abtondation (phonetic) or hypotension.  
14 In these cases diagnostic tests, including cerebral spinal  
15 fluid examination, should be deferred until antibiotics are  
16 given and vital signs stabilized."

17 BY MR. DAVID:

18 Q Having published yourself, you know that when  
19 something is published in '84, there's a pretty good chance  
20 it was written in '83, or at least a year before?

21 A Yeah,

22 Q So, that statement I read to you was apparently  
23 written in '83. There is nothing novel about it, is there?

1           A     I don't think so, except probably in '83 it  
2 represented a minority view more of the academic establishment  
3 and less of the practicing physician establishment.

4           Q     You mean that the doctors that are out practicing  
5 aren't as up to date as the doctors that are in your position,  
6 teaching **and** professoring?

7           A     There are some baseline common ground that we all  
8 have to be coexistent with and practice the same kind of  
9 medicine, but, as I mentioned at that time it was probably  
10 more of a minority view of the academic establishment.

11           THE COURT REPORTER: Excuse me. I've run out  
12 of paper and have to change it.

13                     (Whereupon, a brief recess was taken,)

14           BY MH. DAVID:

15           Q     Now, doctor, without arguing about your opinion  
16 versus somebody else's opinion with regard to increased --  
17 significant increased intracranial pressure, would you agree  
18 with me that in the analysis of whether or not Lorene, in  
19 fact, had a significant amount of progressive intracranial  
20 pressure, that there are at least three things that one would  
21 consider against that opinion, and they would be, one, that  
22 the eye may have been postictal as opposed to from intracranial  
23 pressure, two, that the CAT scan showed no significant amount

1 of increased intracranial pressure, and, three, and most  
2 importantly, that the doctor that did the tap, who was  
3 concerned about the possibility of increased intracranial  
4 pressure, has stated that he did not believe that there was  
5 any significant pressure when he actually did the extraction  
6 of the spinal fluid? Would you agree with me?

7 A I would agree with one of those three and disagree  
8 with the other two.

9 Q Which one would you agree with? I'll take any  
10 one I can get,

11 A I would agree that there is some credence to  
12 doing a spinal tap and seeing the fluid come out quickly,  
13 That probably happens very commonly with children who have  
14 increased intracranial pressure. I would defer to the  
15 literature or a neurologist as to how often that happens as  
16 to a child with increased intracranial pressure to tell me  
17 how much weight to put on it,

18 With respect to a CAT scan, I disagree that the  
19 Cat scan means anything with respect to increased intracranial  
20 pressure, except for focal neurologic disorders, brain tumors  
21 and herniation, and I would disagree with your third consider  
22 ation, as far as her eye, because we know the child received  
23 an osmotic agent during the CAT scan, which would have change

1 that. The evolution of this child's illness to brain stem  
2 death and mid brain death over a period of the subsequent 12  
3 hours is more evidence that this child was having increased  
4 intracranial pressure.

5 Q But I say, with all due regard, doctor, that the  
6 brain stem death of this child over the many hours was a  
7 direct result of not being treated **for** many hours. That could  
8 also be true, could it not?

9 A Again, I don't think that the four hour interval  
10 from when antibiotics could be given to when they were given  
11 would make that difference.

12 Q Let's go back to your first point. When was there  
13 first evidence of a brain stem death?

14 A I would have to --

15 Q (Interposing) I don't want to hold you to an  
16 exact time, but it is certainly -- it didn't exist before the  
17 child was put in the U.C.U. unit, did it?

18 A I don't know whether the neurosurgeon's evaluation  
19 was at 9:00 or 10:00, when Dr. Martinez came in to see her  
20 and indicated doll's eyes or --

21 Q Did you read Dr. Martinez's deposition?

22 A I did.

23 Q He testified, I believe that it was sometime on

1 the 18th or 19th that he was first able to make a determination  
2 that he thought there was brain stem death. Did you see  
3 anything definite in your analysis?

4 A No, I can't sit here and recollect anything.

5 Q Are there any other writings, other than the  
6 depositions and hospital charts?

7 A I did write on the depositions or on the covers  
8 or sometimes occasionally in the depositions.

9 Q Things that you thought were significant?

10 A Just notes to myself.

11 Q Were they then put on this piece of paper?

12 A No, sir.

13 Q Would you pull out for me Dr. Getz's and Roberts'  
14 depositions and I'll just very quickly run through that?

15 MR. WATSON: Why are you entitled to see those,  
16 unless he used those to testify?

17 BY MR. DAVID:

18 Q May I see them, sir?

19 h These primarily are lists of issues that you  
20 raised with the person who is being deposed (indicating).

21 (Whereupon, a short recess was taken.)

22 BY MR. DAVID:

23 3 Do you have any other notes, other than --

1           A     (Interposing) Again, most of the depositions hav  
2 things written on them, such as what is written on these two.

3           Q     Do you agree that meningitis, Hemophilus influenz  
4 Type B in a child of Lorene's age, if it is not treated, in  
5 more than 90 percent of cases will result in death?

6           A     I think there is no question in the pre-antibioti  
7 era that patients with this disease almost universally died  
8 and death usually coming on in ten days to two weeks, not  
9 three or four hours.

10          Q     It depends on the type of presentation they have?

11          A     Right. With the fulminative variety, right away.

12          Q     What is the logic -- and when I say "logic" I mea  
13 thinking it through -- What's the logic of --

14                 Let me withdraw that question and ask another  
15 question. Is it significant to analyse a child's blood  
16 pressure in a child that presents like Lorene presented?

17          A     Yes,

18          Q     And if the blood pressure is high, what is the  
19 significance of that, and if it is normal, what's the  
20 significance of that, and if it is low, what's the significance  
21 of that?

22          A     I think the significance of those three variables  
23 have to be put into the context of how the patient presents,

1 Q For a patient like Lorene.

2 A High blood pressure would be eviaence for  
3 increased intracranial pressure. Normal blood pressure would  
4 bemoot. It wouldn't speak to the question one way or the  
5 other, because not every child manifests Starling's response.  
6 Low blood pressure would mean the patient was in shock,  
7 probably, although there could be other reasons for that,

8 Q I assume you noticed that there wasn't a signifi-  
9 cant single recorded blood pressure until Dr. Roberts orderea  
10 it be done. I think in his 4:40 orders he orders it to be  
11 done routinely, and then I think they first do it at 6:00  
12 o'clock in the morning or something like that. Did you notice  
13 that?

14 A Yes, I did,

15 Q Do you consider that to be innappropriate hospital  
16 policy and protocol, not to record blood pressures for an  
17 emergency patient for a period of five or six hours -- a  
18 patient like Lorene?

19 A I think it would be inappropriate not to take the  
20 blood pressures. To take them and not record them violates  
21 a statute that has to do with paperwork and has to do with  
22 bookkeeping and other things and may not be ideal, but if they  
23 were taken and told to the doctor, that doesn't compromise the



1 patient's care.

2 Q But the doctor that comes on later doesn't know  
3 what's preceded if it is not recorded,

4 A Except verbally.

5 Q And if the doctor took it, but failed to write it  
6 down, he may not remember if he knew what the pressure was,  
7 because certainly he can't hold that in his mind when running  
8 around and seeing a lot of other patients in emergency.

9 A You've put your finger on why it is ideal to have  
10 it taken down and recorded.

11 Q So, there are good, medical reasons, not just  
12 legal, why it is appropriate to take the blood pressure,  
13 particularly with a child like Lorene, and record it, correct

14 A True.

15 Q Now about with regard to giving medications? In  
16 a case where you have an emergency situation, where medication  
17 has been delayed, not because you've wanted it to be delayed,  
18 but where circumstances were such that it got delayed, and  
19 then all of a sudden you say, "By gosh, I've got to get this  
20 medication in, and you verbally tell a nurse to do it --  
21 medications like Chloramphenicol and Ampicillin -- and you  
22 know you are racing against the clock, at the very least,  
23 don't you consider a half hour delay in running around trying

1 to find that medication to be inappropriate?

2 A No, I don't think a half hour is inappropriate,  
3 I know in our hospital the turn around time for stat meds  
4 from the pharmacy that aren't kept on the wards is an hour.  
5 We would like it to be faster, but that's a part of life and  
6 I would add to that, in this case, based upon my knowledge of  
7 the literature and what has been published on this issue, I  
8 think the folks at Good Samaritan Hospital actually beat the  
9 average in administering the first dose of antibiotics to this  
10 child.

11 Q Beat the average in waiting 30 to 40 minutes after  
12 it being ordered?

13 A Beat the average in that it was given four hours  
14 after she arrived in the emergency room, where as for the  
15 average patient who has this presentation of focal signs wait:S  
16 on the average of five hours before the first'dose is  
17 administered.

18 Q What article might you be referring to?

19 A In Emergency Medicine by Bryan, B-r-y-a-n, 1985.  
20 t is called "Promptness of the Administration of Antibiotics  
21 n Meningitis."

22 Q Do you have the cite?

23 A It is Emergency Medicine, ana I think it is May '85

1 Q And it was Bryon -- Bryan?

2 A B-r-y-a-n, I think. I think he or she is the  
3 first one. There are several articles on it.

4 Q How does that square with the fact that in an  
5 emergency room, where this child was from 1:00 to 4:00 in the  
6 morning, that Dr, Getz said that he could have administered  
7 those two antibiotics had someone asked him to or had he  
8 desired to within five minutes?

9 A I think emergency room physicians are capable of  
10 doing that. I think the point I'm making and the literature  
11 makes is patients who present with complicated presentations  
12 of meningitis, focal signs and coma, CAT scans are often  
13 obtained before the first dose of antibiotic is given, so  
14 that you can do the spinal tap. Again, you have people that  
15 will argue that point and I understand that. I have my view  
16 and I think the literature supports it.

17 Q Doesn't that exact point argue in favor of those  
18 people that say give the medication right away or early on  
19 in the emergency room before you start to get complicated with  
20 CAT scans and having to go to other floors and having nurses  
21 running around having to get medication? Doesn't that argue  
22 in favor of giving it promptly in the emergency room?

23 A I would say that is one piece of evidence that

1 makes the Task Force's suggestion not to wait for CAT scans,  
2 but rather to give the medicine, since we can work out the  
3 details later, to have some credence, but I think the article  
4 proves as of 1984, even in a residency base program, not just  
5 a community hospital in West Palm Beach, they were by their  
6 standard of care and by their standard of approach still  
7 waiting to get the CAT scan to do the LP to do the antibiotic  
8 If we fault Dr. Roberts for doing that, we would have to fault  
9 every place in the country, and that's the standard -- that  
10 was the standard.

11 Q That's your opinion of the standard?

12 A Yes, sir.

13 Q And you have read many other opinions of that  
14 standard?

15 A Published opinions.

16 Q And you have read this in articles and --

17 A Again, I would say there is nothing wrong with  
18 giving the antibiotics, but the way medicine is practiced, as  
19 proved by the articles, that's not the standard.

20 Q Let me ask the logical question. What would be  
21 the logic of withholding antibiotics in a case where meningitis  
22 was strongly suspected and the LP is going to be postponed  
23 for an hour to three hours pending the results of the CAT scan

1 that may not be immediately available, with a two and a half  
2 year old child with acute symptoms, such as the ones that  
3 Lorne presented with at 1:00 o'clock in the morning?

4 A The logic would be the logic we have alluded to  
5 before. That administering antibiotics could obfuscate the  
6 diagnosis of what kind of a disease this patient has and  
7 consequently it could be very difficult to identify what else  
8 might be needed if this patient should not have bacterial  
9 meningitis.

10 Q How would it obfuscate the diagnosis?

11 A By virtue of the sterilization of the spinal fluid

12 Q Would that be accomplished within one to three  
13 hours of the administration of the first dose of antibiotic?

14 A There would be a small percentage of patients who  
15 would no longer have a positive spinal fluid culture a few  
16 hours after the administration of the antibiotic.

17 Q You would agree that I think it is 95 percent of  
18 patients that were administered one dose of antibiotics and  
19 then their spinal fluid was taken one to three hours later --  
20 that the vast majority of those patients would not even have  
21 the sterilization of spinal fluid at that time, correct?

22 A I would agree with you that the majority of  
23 patients in that short of a time period would not necessarily

1 have a sterile culture.

2 Q And even if they did -- if Lorene happened to fall  
3 into that small percentage that would have sterilized ahead  
4 then there are other tests, antigen tests, C.I.E. tests, that  
5 will give you the same information that you can get from the  
6 unsterilized spinal fluid, correct?

7 A There is no question in 1989 we know all that.

8 Q And in 1984, you knew that.

9 A I don't know that the average physician practicing  
10 in the community understood how uncommon it was to sterilize  
11 spinal fluid with one dose of antibiotics one to three hours  
12 down the line or had any great suspicion that antigen or  
13 C.I.E. testing would be that helpful in unraveling the  
14 diagnosis. The third point is no physician knows whether his  
15 spinal tap, in fact, will be done one or two or three hours  
16 later, because of other things that may be going on with the  
17 patient, such as respiratory arrest after the CAT scan. Such  
18 things may make a neurologist say, "I don't think you better  
19 tap this patient."

20 Q Then why don't you go ahead and give the  
21 antibiotics?

22 A It depends on what is on the CAT scan. Sure you  
23 can go ahead and give antibiotics. It is easy for you in

1 this case to make that statement, but --

2 Q (Interposing) This is the only case I'm intereste  
3 in.

4 A Right now we would do it, although when I leave  
5 here not necessarily.

6 Q But you do follow me on this --

7 A I think I'm making a point, at least for the  
8 record, I would like to. In this case, we know that the  
9 patient could have gotten a spinal tap at 4:00 o'clock and  
10 did receive an LP and then subsequent therapy.

11 Q 4:30.

12 A 4:30. But in many cases one doesn't know whether  
13 or not you are going to be able to do that spinal tap or not  
14 or what's going to be in the CAT scan.

15 Q Don't you agree with me that even had the CAT scan  
16 showed something that the child may still have had meningiti  
17 in conjunction with herniation, in conjunction with a tumor,  
18 in conjunction with anything and that that barrier may have  
19 well been broken in conjunction with whatever was going on -  
20 that the child may still have had meningitis and would have  
21 been treated with antibiotics regardless of what the CAT scan  
22 showed probably?

23 A I would say not probably. If you're dealing with

1 subdural hematoma, epidural hematoma from trauma, certainly  
2 you wouldn't use antibiotics in that situation if you had a  
3 patient presenting like Lorene. One would raise the issue of  
4 child battery and other things and you would have a  
5 neurosurgeon come in and evacuate the clot.

6 Q When was that neurosurgeon going to come in and  
7 alleviate a subdural hematoma from Lorene?

8 A As soon as it was documented on the CAT scan.

9 Q When was that going to be?

10 A As soon as they could get it done.

11 Q Do you think that anybody seriously considered a  
12 subdural hematoma -- Do you think Dr. Roberts seriously  
13 considered a subdural hematoma?

14 A I think bleeding was a major consideration for him  
15 ordering the CAT scan.

16 Q Why didn't he request a neurosurgeon or ask the  
17 one who he talked to to come in and to be available? Why  
18 wouldn't he have him come in and standby to do emergency  
19 surgery on that child's brain if he seriously suspected a  
20 subdural hematoma?

21 A I don't know.

22 Q Wouldn't that have been the appropriate thing to  
23 do if he seriously considered it and thought that at the



1 time he ordered the CAT scan?

2 A It would certainly be something I probably would  
3 have done.

4 Q We're not fencing here, doctor. If you seriously  
5 suspect a subdural hematoma, you must get a neurosurgeon in  
6 there immediately to be prepared to alleviate that situation,  
7 correct?

8 A I think you are right in a general sense. I think  
9 part of the scenario would be how far away does the  
10 neurosurgeon live.

11 Q If it were your child -- I hate to say this, but  
12 if it was your child, would you want to rely on calling the  
13 neurosurgeon back and relying on his car working? I'm  
14 asking you. You would certainly want to get that neurosurgeon  
15 in to standby to do that surgery if that CAT scan had shown a  
16 subdural hematoma, correct?

17 MR. WATSON: I object to the form of the  
18 question, and the basis is what the standard of care requires  
19 and whether or not it was appropriate to get the CAT scan  
20 results and then call him then.

21 MR. DAVID: Well, we'll take it that way. I don't  
22 want an objection to it, but I know what the answer would be  
23 if it were my child.

1 BY MR. DAVID:

2 Q If someone seriously suspected a subdural hematoma  
3 in this case, when ordering the CAT scan at 2:20 in the  
4 morning, one would want to have the neurosurgeon alerted,  
5 the anesthesiologist alerted, the surgical team alerted to  
6 standby to do emergency surgery, correct?

7 MR. WATSON: Object to the form of the question.

8 A It could be a function of how high up on the list  
9 subdural hematoma was.

10 MR. DAVID: I said seriously considering it.

11 THE WITNESS: I think one can seriously consider  
12 things like that and not necessarily believe they are going  
13 to be there, and I'm sure that's how Dr. Roberts was probably  
14 seeing this case.

15 MR. DAVID: I'm sure he was, doctor.

16 BY MR. DAVID:

17 Q Again, we come back to, sir, that the greatest  
18 probabilities were -- and Dr. Roberts apparently knew it  
19 and Dr. Getz apparently knew it -- that the greatest  
20 probabilities were that this child had meningitis and with  
21 her age group it was going to be Hemophilus influenzae B,  
22 and by the greatest probability she was going to need  
23 Ampicillin and Chloramphenicol to be administered as soon as

1 possible until it was able to be determined what bug it was  
2 actually; is that correct, sir?

3 A MR. WATSON: Objection to the form of the question.

4 MR. ROTHWELL: So do I.

5 THE WITNESS: I think that is epidemiologically  
6 true.

7 BY MR. DAVID;

8 Q That's the probability?

9 A That's the probability, but I think the probabilities  
10 of other things are high enough to warrant a CAT scan.

11 Q But not high enough to warrant a surgeon -- a  
12 neurosurgeon standing by?

13 A It could be intraventricular subarachnoid depressed  
14 fracture, something that wouldn't necessarily be an emergency  
15 in the sense of having a neurosurgeon standing by.

16 Q If you had to give the probabilities of this being  
17 meningitis on the one hand or something else on the other hand,  
18 based upon ten minutes of examination -- the history taken  
19 in the emergency room and upon initial examination, what  
20 would you say the probabilities would be?

21 MR. ROTHWELL: Object to the form.

22 A I would say 'in the odds of five to ten to one that it  
23 was meningitis.

1 Q On another point, if you had gotten the spinal tap  
2 and it would have shown -- same patient, same probabilities,  
3 same symptoms, same ten minutes, but this time you do the  
4 tap -- you get the spinal tap and it is clear, do you give  
5 antibiotics? Do you?

4 6 A In this particular kind of a patient?

7 Q Yes.

8 A Did I do a CAT scan or not do a CAT scan?

9 Q No. You've examined the patient, you've taken the  
10 history, you've spent the ten minutes, you think there is a  
11 50 to 90 percent chance of meningitis, you do the tap, but  
12 the fluid is clear. Do you give antibiotics at that point?

13 A It is a hard question to answer. I think it would  
14 be -- I think I could go either way. I don't think there  
15 would be a requirement for me to do it, and I might do it if  
16 it is the middle of the day and the lab is open.

17 Q No. No. No. It is 1:00, 1:20 in the morning.

18 A My thought would be to bring the spinal fluid to  
19 hematology lab and microbiology lab and say, "Give me a five  
20 minute turn around or 20 minutes turn around," something very,  
21 very quickly.

22 Q Fifteen, I think that's what it generally takes.  
23 Is that what it generally takes, 15 minutes?

1 A Yeah, or 20 minutes. It takes a while there.

2 Q If you had increased white blood cells, your going  
3 to go ahead and give antibiotics without question?

4 A White cells in the spinal fluid, yeah.

5 Q Even though it is clear?

6 A If you know white cells means meningitis, sure.

7 Q Well, you know that?

8 A Sure. In a patient who is sick, sure.

9 MR. WATSON: I thought the question was if it were  
10 clear?

11 BY MR. DAVID:

12 Q You could get increased white blood cells back by  
13 the lab and still have clear fluid, can you not, sir?

14 A Sure, until you have about 40 white cells.

15 Q So, if I understand what you are saying correctly,  
16 if you tap immediately and you get cloudy fluid, with a case  
17 presenting like Lorene, you, obviously, give antibiotics, and  
18 if you tap and you get clear fluid, with a patient like Lorene,  
19 you probably -- you, in particular, probably are going to give  
20 antibiotics?

21 A If there is white cells in the spinal fluid, yeah.

22 Q You'd wait for the 15 minute turn around?

23 A Sure.

Q And if you can't tap, because you are concerned with increased intracranial pressure, you, personally, would give antibiotics?

A Yes.

Q When would you not give this girl antibiotics? We've gone through clear fluid, cloudy fluid and no fluid and you would give antibiotics. Is there a time that you don't give it?

A One presentation that you haven't given was clear fluid that had no cells.

Q Obviously she had cells.

A True, but we don't know that before we do the spinal tap.

Q Maybe we do. 'Certainly you can look at the blood and the urine and that has the same 15 minute turn around, as I understand, on it with these quick tests that were available in '84, or so I'm told, and see that there is either a bacteriogenic or septicemic situation, correct?

A There are many features to that. Number one is that many laboratories, Georgetown being one, does not offer these tests in the middle of the night. After 11:00, we don't get them here. I've asked at Good Samaritan whether or not that was the case, and I don't know whether that was

1 the case, whether, in fact, it could have been done in the  
2 middle of the night.

3 Q Did you read Dr. Bland's testimony, a physician  
4 who was at one time an expert in this case for one of the  
5 defendants? She has given testimony and said that she is  
6 very familiar with what was available in '84 at this hospital  
7 because she started practicing there in '83.

8 A I read her testimony.

9 Q Take her testimony as being true.

10 A The laboratory slip has written antigen test and  
11 comes back C.I.E., which is, if you want to call it a rapid  
12 test, a rapid test, but it takes several hours to do. So, it  
13 is not on the same order of latex glutenation test. So, if  
14 in fact, the antigen test was C.I.E., I don't think it would  
15 have increased their rapidity of coming to a decision.

16 Q How about the latex glutenation? Is that not  
17 a 15 minute turn around?

18 A It is 15 or 20. You can get many false positives  
19 in the urine. If the physician knows that, they are not apt  
20 to test for it, because it can lead to the wrong diagnosis.

21 Q But, as far as you are concerned, you would give  
22 antibiotics if you got the urine -- Is it the urine that you  
23 would get to do this test?

1 A And blood fluid.

2 Q Blood and urine. The child comes in at 11:05, you  
3 take the history and you examine the patient, you can draw the  
4 blood and draw the urine and send it to the lab, and by 12:30 --  
5 1:30 you will have in Lorene's case -- you would have had in  
6 Lorene an indication that she has got bacteria in her blood  
7 and in her urine, and she is of the age group that it is  
8 probably Hemophilus influenzae B, and you can safely proceed  
9 to give antibiotics at that point. You are now supported far  
10 this particular patient with some pretty good evidence that  
11 this child has meningitis, correct, in this particular case?

12 A No. I think there is some holes in your thesis.  
13 One is that blood has a very low yield, significantly less  
14 than 50 percent, in children who have Hemophilus meningitis,

15 Q Did you see the test done on Lorene at 1:30?

16 A That was a blood culture.

17 Q I know, but the test that had been done --

18 A (Interposing) That's --

19 Q The blood had bacteria in it at 1:30, correct?

20 A Correct.

21 Q It would have more likely than not shown up on a  
22 test that had a short turn around time, correct?

23 A The answer to that question is no. Positive blood



1 antigen is what we test for in rapid tests and there is a  
2 very, very low concentration in the blood of patients who have  
3 bacteremias as we know Lorene had, so that less than 25 percent,  
4 of patients will have positive blood antigen.

5 Q How about urine?

6 A Urine is fraught with two problems. It needs to  
7 be concentrated in order to identify those patients who have  
8 the greatest likelihood of picking up the Hemophilus meningitis  
9 disease. That takes a long time, so we are no longer talking  
10 about a 15 minute test. The second problem is these tests  
11 were fraught with false positives in '84 and the only way to  
12 do away with that was to treat the urine, which takes some  
13 time and so you no longer have a rapid test.

14 Q So aren't you, again, saying -- making the  
15 absolute case for the propriety of administering antibiotics  
16 prior to a tap, prior to getting a blood and prior to getting  
17 urine and prior to submitting the patient to all these tests,  
18 which may or may not be definitive?

19 A To answer your question, I've never said it would  
20 not have been proper to administer antibiotics to this  
21 patient, and we have gone through this many times already.  
22 The other side of the coin is that in 1984 it was not improper  
23 to obtain a CAT scan, to do a spinal tap and then administer

1 antibiotics.

2 Q That is your conclusion and I don't want to argue  
3 with you on your conclusion, doctor. ~~What~~ I do take issue  
4 with you, sir, is I don't see a significant -- a single,  
5 significant reason that would weigh against in 1984 or in 198  
6 the administration of antibiotics in an acutely presenting  
7 patient. I can't see one significant reason not to do it.  
8 You say that some doctors didn't do it then and, I grant you,  
9 I know of at least two that didn't do it, and I'm sure there  
10 are others that didn't do it. I also know that you and every  
11 other expert in this case does it.

12 A Certainly in '88.

13 Q And they did it in '84 --

14 ~VIR. WATSON: (Interposing) Excuse me. I object  
15 to that. Have you got a question or are you making a speech?

16 MR. DAVID: I'm trying to get to the question.

17 MR. WATSON: And it is argumentative.

18 BY MR. DAVID:

19 Q Maybe we've done this and I don't want to belabor  
20 it, but can you tell me what is the significant health  
21 hazard -- a significant one now -- that's going to happen to  
22 a child who probably has meningitis who is given antibiotics  
23 before you see if the tap is cloudy or not?

1 MR. WATSON: He has already answered that on  
2 two occasions.

3 MR. HALL: At least two.

4 BY MR. DAVID:

5 Q This is the last time I'll ask you. You said some  
6 doctors weren't doing this in '84 and then you gave me a lot  
7 of ideas. Would you give me now the medical significance --  
8 significant medical risk that existed in 1984 that would weigh  
9 against the benefits of administering proper antibiotics to  
10 a meningitis patient, particularly one that was presenting  
11 acutely?

12 MR. WATSON: Look, he has already answered this  
13 question and --

14 THE WITNESS: (Interposing) I think I have.

15 MR. WATSON: (Continuing) -- how many times is  
16 he going to have to do it again? I mean, just because we're  
17 in Washington, D.C. --

18 MR. DAVID: I think I've stated a pretty good  
19 question.

20 THE WITNESS: As I think I stated a couple of  
21 times before, the thinking behind withholding therapy, getting  
22 a CAT scan and doing the tap essentially boils down to a  
23 concern in patients like this that essentially one doesn't

1 really know one is dealing with meningitis with one hundred  
2 percent certainty and there are other things that can present  
3 like this, which require other therapy, such as herpes simple ,  
4 encephalitis and other problems that we have already alluded  
5 to, ;subdural hematomas and so forth, which may have a  
6 surgical problem, and, number two, the institution and  
7 administration of antibiotic therapy in a hospital for ten  
8 days, which is what you would be committing a patient to if  
9 it isn't meningitis and obfuscating the diagnosis, which can  
10 carry with it a significant amount of morbidity, and, number  
11 three, in 1984 there was a great deal of diversity of opinior  
12 with respect to how useful rapid tests would be in sorting  
13 out who had or didn't have meningitis, a great deal of  
14 confusion about that in the private sector, as well as the  
15 fact it wasn't well-known that two or three hours after a  
16 dose of this therapy you would still be able to detect the  
17 organisms in a majority of patients. So, in an effort to be  
18 a purist, know the diagnosis and in good faith administer  
19 proper therapy, the decision was made and was commonly made  
20 in '84 to withhold treatment, get the studies that were  
21 needed and get a better treatment.

22 MR. WATSON: He had another reason, too. He  
23 better know the name of a good defense attorney if the kid

1 doesn't have it and he gives her the drugs and the kid goes  
2 into anaphylactic shock and dies in front of you, and he  
3 mentioned that earlier too, the death from shock of anaphylaxis.

4 MR. DAVID: If you want to testify as to that,  
5 that's fine. They could probably get the same amount of  
6 doctors to obfuscate the issues as they did in this case.

7 MR. WATSON: Well, I don't appreciate you repeat-  
8 ing your questions over and over again.

9 MR. DAVID: I don't need your talking and testifyi g.  
10 It is not your deposition. Either make an objection or,  
11 quite frankly, shut up.

12 MR. WATSON: I'm making the objection. You're  
13 being repetitious and covering the same stuff.

14 MR. DAVID: I did ask the question more than one  
15 time and I admit it, and you did give me basically the same  
16 answer and I'm admitting that.

17 BY MR. DAVID:

18 Now, you told me, in essence, what the thinking was or  
19 the justification and I'm not asking you that. I know, and  
20 as I'm sure you have read, there are as many doctors on one  
21 side as the other that will tell you what the justification  
22 is or lack of justification is. I was trying to -- and maybe  
23 I did it inartfully -- but I was trying to ask what the

1 medical consequences -- not what the thinking was or the  
2 rationale was -- but I'm talking about real medical  
3 consequences of the risks of not giving the antibiotic therapy  
4 at the earliest opportunity versus the benefits of giving it  
5 or the risks of possible reactions and the things that can  
6 come from potent antibiotics versus the potential benefits of  
7 saving a child, who may otherwise die or become brain damaged  
8 as the time period elapses. Can you address --

9 Have I made myself more clear than I did earlier?

10 A I think you have --

11 Q (Interposing) Can you address not what was thought  
12 or was known or what was believed, but what, in fact, --  
13 because, obviously different people knew different things and  
14 unfortunately, the doctors treating Lorene, I can tell you,  
15 did not know as much as most of the experts that have been  
16 hired in this case by the defense to testify --

17 MR. WATSON; (Interposing) Why don't you ask the  
18 question instead of giving a speech? I object to that.

19 BY MR. DAVID:

20 Q What I'm trying to get to very simply is what were  
21 the medical reasons for not opting for giving the antibiotics  
22 in a case like this prior to the delayed spinal tap?

23 MR. HALL: Is that any different than what you

1 just said?

2 THE WITNESS: Not any different. It comes down to  
3 concern for missing a diagnosis, which would be changed by  
4 virtue of giving the antibiotic and then harm would come to  
5 the patient, and, number two, adverse reaction to drugs given  
6 to treat the meningitis, and, number three, the requirement  
7 of having started treatment and continuing treatment for a  
8 ten day period, which brings you into the situation of a  
9 child being in the hospital for ten days where there are  
10 multiple misadventures.

11 BY MR. DAVID:

12 Q You would agree with me, I assume, that as far as  
13 Lorene is concerned this would have only benefited her? It  
14 certainly would not have harmed her?

15 A I don't understand what "this" refers to.

16 Q Giving the antibiotics at 1:15, 1:20 in the  
17 morning, it could only have benefited her?

18 A My view on this case is that no therapy from any  
19 time she came to the emergency room would have benefited her.  
20 Whether it would have harmed her, a doctor doesn't know that  
21 before he administers the first dose of Ampicillin, or if a  
22 child survives long enough to get Chloramphenicol for a  
23 period of time whether the white cell count is normal two

1 weeks after or the red cell count is normal two weeks after,  
2 So, I don't know how anyone would know that. I don't think  
3 it would have benefited her at all and there could be adverse  
4 effects from treatment.

5 Q But you would have given it to her?

6 A I would have given it to her for a whole bunch of  
7 reasons, one of which Mr. Watson put his finger on, but it  
8 wouldn't be the most important reason.

9 Q Tell me all the reasons why you would have elected  
10 to administer antibiotics to Lorene at 1:20 in the morning on  
11 July 17, 1984?

12 A There would have been one reason to administer that  
13 antibiotic or any antibiotic to this patient at 1:30, a  
14 quarter of 2:00 after having seen the patient and done an  
15 evaluation and that would be the hope that maybe a rare  
16 patient would benefit, even in acute fulminating meningitis,  
17 with treatment at 1:00 versus 4:30, but that's a slim hope  
18 and not a hope premised on the standard of care, knowledge  
19 and training. It is premised upon the same reasons why we  
20 take care of kids with AIDS or fatal diseases. We can take  
21 care of them, but do we have a cure, no. We try to get them  
22 better and get them out of the hospital. You say, "Maybe this  
23 will help. Maybe this kid will be lucky enough," but I don't



1 think a doctor should be held responsible to a standard of  
2 care that includes luck in it and I find that as a major part  
3 of this case,

4 Q Do you agree with me that no one knew when Lorene  
5 presented at 1:00 o'clock in the morning whether she was going  
6 to die or not?

7 A I don't think anybody knew. I agree with you it  
8 wasn't warrantly known.

9 Q Why, in light of that, would you have treated with  
10 antibiotics, rather than delay it until the CAT scan and  
11 spinal tap?

12 A I gave you the reasons.

13 Q No. You told me in retrospect that you thought she  
14 would have died anyhow.

15 Do we agree, sir, that at 1:00 o'clock in the  
16 morning Dr. Getz didn't know this child was going to die?

17 A Correct.

18 Q If you were there at 1:00 o'clock in the morning or  
19 2:00 o'clock in the morning, or somebody with your knowledge,  
20 you would have treated, correct?

21 A I would have treated, yes.

22 Q With antibiotics?

23 A Yes.

1 Q Why would you have done it then?

2 A I just gave you all the reasons. I would have  
3 said to myself, seeing the patient at 1:00 o'clock in the  
4 morning, "Oh, my God. Look at this story. The child was  
5 playful and well at 1:00 o'clock, focal neurologic signs and  
6 cerebrate posturing, rigidity and so forth, and this is at  
7 1:00 a.m. This is the night prior. I would say, " Let's  
8 everybody do the best we can and hope this kid maybe is the  
9 rare patient and pulls out of it, but even if so, I would hope  
10 that there isn't major sequelae and that would be the major  
11 outcome in most who survive.

12 Q Aren't you saying, in essence, that the worse the  
13 condition is upon presentation, the more correct it is to  
14 administer antibiotics immediately? Isn't that what your  
15 saying?

16 A I don't think I would equate what I said to that.  
17 I think the worse the presentation upon admission and the  
18 more certain you are of the eventual outcome, you do things  
19 willlynilly. Patients will get treated experimentally because  
20 of purported outcomes.

21 Q You won't agree with the premise that the more  
22 acute the presentation and the suspicion of emningitis in the  
23 child, the more reason there is to administer antibiotics

1 prior to a CAT scan and the delay incident to that and a tap?

2 MR. HALL: Counsel, that is exactly the same  
3 question.

4 THE WITNESS: I think right now you have entered a  
5 realm of therapy, which, to extend your statement, has stated  
6 over the years there has been little, if any, decline in the  
7 mortality rate in children in this country with Hemophilus  
8 influenzae Type B meningitis, even though physicians are  
9 trained and primed to identify kids with meningitis do a  
10 spinal tap and treat properly already. Even with the I.C.U. •  
11 that have come along, they haven't changed anything in terms  
12 of the mortality statistics in the last 20 years. The  
13 American Academy of Pediatrics and other people have come out  
14 in trying to make a difference in these patients, looking at  
15 the subset of patients with acute, fulminating varieties, and  
16 said, "Maybe things will be better if we don't wait for the  
17 CAT scan. Let's make a recommendation and say maybe you  
18 shouldn't always wait for the CAT scan." There is a difference  
19 between that realm of theory, which we could all enter into  
20 and agree with in in part in any way, shape or form, and it  
21 is approved medically, which derives how patients are cared  
22 for.

23 Q You believe that -- assuming my statistics are

1 correct -- that there was less than a one percent mortality  
2 rate in Florida from 1984 with Hemophilus influenzae Type B,  
3 and if you believe that, doesn't that indicate that the  
4 doctors, at least in Florida, are promptly diagnosing and  
5 promptly and timely treating Hemophilus influenzae B?

6 MR. WATSON: He has answered that question as well

7 MR. HALL: He surely has. How long are you going  
8 to put up with this in this deposition?

9 BY MR. DAVID: You said it wasn't significantly  
10 less, but the literature that I believe your relying on  
11 suggests that the mortality rate maybe something less than  
12 ten percent, and in the newer literature, suggesting maybe  
13 less than five percent, and I'm telling you and asking you  
14 to assume that in Florida in 1984 it is less than one percent  
15 Doesn't that indicate to you that early diagnosis and  
16 treatment result in a very diminished -- significantly  
17 diminished over the year decrease in the mortality rate of  
18 Hemophilus influenzae?

19 A I think one percent mortality rate there is the  
20 same mortality rate people have been reporting in other cities  
21 of three, four, five percent -- statistically there is no  
22 difference between those numbers, and at the same time  
23 mortality is one thing and morbidity is another thing. I

1 don't know what fraction of patients survive with acute,  
2 fulminating disease who really don't have a brain anymore.

3 Q You don't know?

4 A I don't know. But to survive is one thing and  
5 being in intensive care with a bolt in your head for two  
6 weeks, because you are lucky **enough** to come to a tertiary  
7 care facility is one, but being able to lead a meaningful  
8 life is a different thing entirely.

9 Q Dr. Roberts and Getz did not decide to withhold  
10 antibiotics, because they were concerned with either Lorene's  
11 dying or having some permanent cephalic damage: **we** agree on  
12 that, do we not?

13 MR. HALL: Do you have many more questions?

14 MR. DAVID: NO\*

15 THE WITNESS: Yes, sir. Only it is besides the  
16 point so **far** as it speaks to your question.

17 MR. DAVID: I give up. Thank you very much. I'm  
18 happy you put up with me so long.

19 (Whereupon, the reading and signing of the  
20 deposition was waived.)

21 (Whereupon, the deposition concluded at 3:30 p.m.)  
22  
23

1 CERTIFICATE OF NOTARY PUBLIC/REPORTER

2

3 I, Marcia J. Tyler, the officer before whom the foregoing

4 deposition was taken, do hereby certify that the witness

5 whose testimony appears in the foregoing deposition was duly

6 sworn by me; that the testimony of said witness was taken by

7 me in stenotypy and thereafter reduced to typewriting under

8 my direction: that said deposition is a true record of the

9 testimony given by said witness: that I am neither counsel

10 for, related to, nor employed by any of the parties to the

11 action in which this deposition was taken; and further, that


12 I am not a relative or employee of any attorney or counsel

13 employed by the parties hereto, nor financially or otherwise

14 interested in the outcome of the action.

15

16

17 

18 Marcia Tyler, Notary Public in and

19 for the State of Virginia

20 My Commission expires

21 March 23, 1992.

22

23