

IN THE CIRCUIT COURT OF  
PRINCE GEORGE'S COUNTY, MARYLAND

- - - - -x

CHAE UNG CHO and AEGYUNG CHO,  
Individually and as Parents and  
Next Friends of SUJIN CHO,  
a Minor,

COPY

Plaintiffs,

v.

TAEK K. KIM, M.D.,

Defendant.

:  
:  
:  
:  
:  
:  
:

Civil Number:  
CAL95-9434

- - - - -x

Washington, D.C.

Friday, August 4, 1995

Deposition of:

RAOUL L. WIENTZEN, JR., M.D.

a witness of lawful age, taken on behalf of the  
Plaintiffs in the above-entitled action, before Eva M.  
Bridget, a Verbatim Reporter and Notary Public in and  
for the District of Columbia, taken at the Georgetown  
University Hospital Center, 3800 Reservoir Road, Bles  
Building, Room 6036, Washington, D.C., commencing at  
2:05 p.m., when were present on behalf of the  
respective parties:

AUG 18 1995

## APPEARANCES:

## On Behalf of the Plaintiffs:

L. PALMER FORET, ESQ.  
Foret & Thompson  
1275 K Street, N.W., Suite 1101  
Washington, D.C. 20005  
202-408-4700

## On Behalf of the Defendant:

PAMELA KINCHLOE, ESQ.  
Armstrong, Donohue & Ceppos, Chtd.  
204 Monroe Street, Suite 101  
Rockville, MD 20850  
301-251-0440

\* \* \* \* \*

## C O N T E N T S

EXAMINATION BY:	PAGE
COUNSEL FOR PLAINTIFFS	3

EXHIBITS:	DESCRIPTION	IDENTIFIED
1	Amended Notice of Deposition	3
2	Curriculum Vitae	4
3	Georgetown Univ. Hospital Bill	47

## P R O C E E D I N G S

(Whereupon, Wientzen Deposition  
Exhibit No. 1 was marked for  
identification, by Mr. Foret.)

Whereupon

RAOUL L. WIENTZEN, JR., M.D.

was called as a witness and, after having been first  
duly sworn, was examined and testified as follows:

EXAMINATION BY COUNSEL FOR PLAINTIFFS

BY MR. FORET:

Q State your name and address, please?

A Raoul L. Wientzen, Junior, M.D. The address  
is Department of Pediatrics, Georgetown Hospital,  
Washington, D.C.

Q Let me give you Deposition Exhibit 1, which  
is the notice for today's deposition. I just want to  
go through the categories listed thereon, and you tell  
me what, if anything, is responsive and if there is  
anything responsive, what it is.

Lump together categories 1, 2 and 3, and  
there are documents responsive, correct?

A Yes.

Q They are here in front of us?

1 A Right.

2 Q Anything responsive to category four?

3 A Yes.

4 Q What?

5 A These are my notes to the case, and there's  
6 also some notes on the cover *of* Ms. Cho's deposition.

7 Q Then I guess there's some underlining and  
8 maybe some notes in the materials you reviewed, too,  
9 correct?

10 A Right.

11 Q Anything responsive to 5?

12 A No.

13 Q Anything responsive to 6?

14 A No.

15 Q Do you have anything responsive to 7?

16 A No.

17 Q Do you have anything responsive to 8?

18 A No.

19 Q Do you have anything responsive to 9?

20 A No.

21 Q Is there anything responsive to 10?

22 A No.

23 (Whereupon, Wientzen Deposition

1 Exhibit No. 2 was marked for  
2 identification, by Mr. Foret.)

3 BY MR. FORET:

4 Q In terms of number 11, let's look at Exhibit  
5 2, and tell me if that's your most current curriculum  
6 vitae?

7 A No, I think I have a CV that's one more up to  
8 date than this. In fact, I'm applying for full  
9 professorship this year and I have to update my CV, so  
10 there will be even one after that by the end of the  
11 summer. But this is not too out of date.

12 Q Can you easily put your hands on the updated  
13 one you're talking about?

14 A Either I can get you a copy or my secretary  
15 can cut one out of the computer.

16 Q It appears we'll probably be taking a break  
17 in a little bit, maybe you could get one then and bring  
18 it back?

19 A Okay.

20 Q Do you keep anything responsive to 12?

21 A No.

22 Q Is there anything responsive to 13?

23 A No.

1           Q     How long have you been here at Georgetown,  
2     since '77?

3           A     Yes. My eighteenth year -- my nineteenth  
4     year.

5           Q     How does one apply for a full professorship?

6           A     You basically meet with your chairman and say  
7     this is what your intention is, will he support your  
8     application, and then there is a sort of formal  
9     application where you get letters of recommendation  
10    from students, residents, faculty members, the  
11    chairman, and submit that plus your CV to a committee,  
12    basically, on rank and tenure and they say yea or nay.

13          Q     Do you have to be at Georgetown for a  
14    particular length of time before you can apply?

15          A     No. No. People come in as full professors  
16    or any rank.

17          Q     Why are you just applying now, as opposed to  
18    five years ago or five years in the future?

19          A     My wife asks me that same question all the  
20    time. In fact, when I applied -- when I told my  
21    chairman I was going to do that, he apologized for me  
22    -- to me for not having told me he wanted me to do this  
23    earlier. Just busy with life and it's nice to have a

1 title, but it doesn't mean too much more than that.

2 Q Is your wife also a physician?

3 A No.

4 Q Do you know who Virginia Wientzen is?

5 A Virginia Wientzen?

6 Q Yes. Spelled the same way as yours.

7 A Really? W-i-e-n-t-z-e-n?

8 Q That's what I saw. I can't tell you that I  
9 know anything more than I just saw the name.

10 A I would love to meet that person because  
11 there aren't too many.

12 Q Maybe it was a misprint.

13 A In this area?

14 Q I don't even know that.

15 A I don't know who she is.

16 Q Date of birth is on your CV as November 25,  
17 1946, right?

18 A Right.

19 Q What's your Social Security Number?

20 A 101-42-9232.

21 Q Have you had any military service?

22 A No.

23 Q Have you ever been convicted of a crime?

1 That doesn't include traffic offenses.

2 A No.

3 Q Have you ever been a plaintiff in a lawsuit?

4 A No.

5 Q Have you ever been a defendant in a lawsuit?

6 A No.

7 Q Has Georgetown University even been a  
8 defendant in a lawsuit where the allegations in the  
9 lawsuit had anything to do with you?

10 A No. Well, I take that back. To do with me?  
11 I was a treating physician for a baby who was probably  
12 the first AIDS baby in Washington, D.C. Actually, I  
13 guess it's a matter **of public** record, because he was in  
14 Newsweek Magazine, Matthew Kozup, who sued Georgetown  
15 and the American Red Cross. I was his treating  
16 physician, but I was not named in the suit.

17 Q But the allegations in that lawsuit, I  
18 assume, had something to do with a blood transfusion?

19 A Exactly.

20 Q So the allegations in the lawsuit didn't have  
21 anything to do with your treatment?

22 A Correct.

23 Q There haven't been any other cases, correct?



1 A That is correct.

2 Q Have you taken the recent sub-specialty exam?

3 A Yes, I have.

4 Q Are the results back from that now?

5 A Yes, they are.

6 Q What were they?

7 A Six-fifty.

8 Q I don't know what that means.

9 A The average is 500.

10 MS. KINCHLOE: He passed.

11 BY MR. FORET:

12 Q So you passed?

13 A About ninetieth percentile. What was  
14 Bishara's, by the way, do you know?

15 Q I do not. So you now have the sub-specialty  
16 boards in pediatric infectious diseases?

17 A Correct.

18 Q That would be effective this year?

19 A The certificate is dated November '94, when  
20 we actually took the test.

21 Q So that's probably on your updated CV?

22 A Well, it will be on the one I submit for  
23 promotion. I'm not sure it's on the one that I have in

1 the computer right now.

2 Q Approximately how many depositions have you  
3 given?

4 A I've probably given an average of three or  
5 four a year over the last 10 years.

6 Q I assume that some of those have involved an  
7 allegation of failure to diagnose meningitis in a  
8 child?

9 A Correct.

10 Q More than half of them?

11 A I'd be reluctant to put a percent on them.  
12 It's a pretty -- I would say it's a sizeable percent,  
13 at least a third.

14 Q How do you approximate -- I'm not asking  
15 about depositions now, but in cases that you've  
16 reviewed, how do you approximate how many reviews are  
17 on behalf of the defendant and how many are on behalf  
18 of the plaintiff?

19 A Well, I think that has changed with time. I  
20 think right now it's probably 60 percent defendant,  
21 maybe two-thirds defendant, and the rest plaintiff. In  
22 earlier years it was more evenly split, or even more in  
23 the first years to the plaintiff.

1           Q     Do you think that same division would apply  
2     to your depositions and also to your trial testimony --

3           A     Probably.

4           Q     -- that you just explained?

5           A     Yes.

6           Q     When was the last time you were deposed  
7     before today in a case where the allegation was failure  
8     to diagnose meningitis?

9           A     I honestly can't remember. I can remember a  
10    case of group B strep. meningitis in a newborn back in  
11    probably March or thereabouts of -- of this year. It's  
12    probably the last case of meningitis I can recall being  
13    deposed in.

14          Q     Who were the lawyers in that case?

15          A     The defense lawyer was Mr. Mauro.

16          Q     Mauro?

17          A     M-a-u-r-o, yes.

18          Q     Where is he from?

19          A     West Palm Beach, I think, Florida. Fort  
20    Lauderdale. Somewhere down there.

21          Q     You don't remember anything else about that  
22    case?

23          A     I remember a lot about the case. It was a

1       fascinating case.

2           Q     Do you remember the name of the plaintiff's  
3       lawyer?

4           A     Mr. Searcy.

5           Q     Do you know how to spell his name?

6           A     S-e-a-r, either c-e-y or c-y.

7           Q     He's probably from the same area?

8           A     Yes, somewhere in Florida.

9           Q     Is that case still open, as far as you know?

10          A     No.

11          Q     It settled?

12          A     Yes.

13          Q     Mr. Mauro advised you of that?

14          A     Yes.

15          Q     Were you privy to any of the particulars of  
16       the settlement?

17          A     Yes.

18          Q     What are they?

19          A     Well, it's a very complex case.

20          Q     Well, just tell me what it settled for.

21          A     Seven million dollars. But that was down  
22       from 18 million, which was the first award.

23          Q     Not bad. The first award or first demand?

1           A     Well, no, first award. This case was tried  
2           in 1984 against an obstetrician, pediatrician and a  
3           hospital for a newborn baby who was delivered in 1984  
4           or 1983, I can't remember which, and developed early  
5           onset group B strep. sepsis and meningitis and went on  
6           to survive.

7           Q     Did you testify in the first trial?

8           A     No.

9           Q     You were not involved in the case, yet,  
10          correct?

11          A     Not involved. To make a long story short,  
12          the plaintiff entered into an agreement with the  
13          defendant pediatrician to settle his policy limits on  
14          the plaintiff, in exchange for his continued  
15          participation as a defendant in the case, but there  
16          would be no more award that could be gotten from this  
17          physician.

18                 So he entered into the court hearing and  
19          evidently when this case was finally tried or finished,  
20          they awarded \$18 million to the plaintiff and then  
21          found out about this agreement between the pediatrician  
22          and the plaintiff and they took it to a higher court  
23          who turned it over and said you have to try it again.

1 Q Is one of the names in that case Mary Carter?

2 A Mary Carr?

3 Q Carter.

4 A Carter, no. I believe it rings a bell.

5 MR. FORET: That's a Florida case.

6 MS. KINCHLOE: It is?

7 MR. FORET: Yes.

8 BY MR. FORET:

9 Q So then it got overturned and went back for  
10 trial and you became involved?

11 A Right.

12 Q It was not tried a second time, of course, as  
13 you just explained, correct?

14 A Well, it was in the process of trial. I  
15 didn't appear. It was in the process of trial.

16 Q You didn't provide trial testimony?

17 A Right.

18 Q Do any other depositions that you've given  
19 involving failure to diagnose meningitis come to mind  
20 where you can remember the names of the lawyers?

21 A Well, there was a case in Baltimore where the  
22 defendant attorney was Mr. Magee. I think it was Monty  
23 Magee. That goes back a number of years. I can't

1 remember the name of the plaintiff's attorney. I was  
2 actually a plaintiff's expert in that case.

3 Q What makes you remember Magee?

4 A I remember Magee, but the case was a child  
5 with a 106 fever who came through an ER to see a  
6 physician on the advice *of* his pediatrician after a  
7 phone call, never saw a physician and instead was taken  
8 care of by a physician's assistant. This was -- I  
9 guess why I remember it, it was the -- it was a  
10 telegraph of what managed care what might be like.

11 He never saw a doctor, saw a physician's  
12 assistant who treated him as if he were a doctor and  
13 the baby went on to develop shock and meningitis and I  
14 think the baby died. It was my opinion that a baby  
15 with a 106 fever should see a doctor.

16 Q But you don't remember the name of the  
17 plaintiff's lawyer?

18 A No.

19 Q Any other meningitis cases come to mind where  
20 you can remember the names of any of the attorneys  
21 involved?

22 A No. I'm sorry.

23 Q Approximately how many times have you

1 testified at trial?

2 A Probably eight times **or** nine times.

3 Q I assume some of those involve failure to  
4 diagnose meningitis?

5 A Yes.

6 Q Do you remember the names of the attorneys in  
7 any of those cases?

8 A Yes. There was a -- I was deposed in this  
9 case, so this would be a deposition too. This was a  
10 case in Florida. The defense attorney was Mr. Roy  
11 Watson. I think that's who I worked for. The case was  
12 a child who was seen in an ER with a fever and a stiff  
13 neck.

14 The ER doctor called the referring  
15 pediatrician who said this baby's got meningitis, I  
16 want you to come in and do a lumbar puncture. The  
17 parents didn't want to stay in that ER and wanted to go  
18 to a different ER. The child was transferred, the baby  
19 was tapped, and the baby had a stroke and died, and  
20 they sued everybody.

21 Q What part of Florida?

22 A Again, I'm not so good on the geography of  
23 Florida, but I would say somewhere around Fort



1       Lauderdale.

2           Q     Do you remember the plaintiff's lawyer?

3           A     No, I don't.

4           Q     Roy Watson, W-a-t-s-o-n, was the defense  
5 attorney?

6           A     Yes.

7           Q     Do you remember any other trial testimony  
8 cases involving meningitis where you can remember the  
9 names of the lawyers?

10          A     As I sit here, I really can't. I can't think  
11 of any.

12          Q     Included in the materials that you have in  
13 front of you are two letters from Ms. Kinchloe. So as  
14 far as you know, those are the only two received,  
15 right?

16          A     As far as I know, these are the only two I  
17 received, yes.

18          Q     All the documents that you have reviewed to  
19 render your opinions in this case are before **us**, right?

20          A     Right.

21          Q     Have you ever sought or received medical  
22 treatment for a condition that did not allow you to  
23 practice your job?

1 MS. KINCHLOE: Objection.

2 THE WITNESS: Well, I had a cervical disc and  
3 I couldn't come to work for three weeks on the advice  
4 of my neurosurgeon, but other than that, no.

5 BY MR. FORET:

6 Q That's the only time?

7 A Yes. That was quite a time too.

8 Q Has your medical license ever been suspended  
9 or revoked?

10 A No.

11 Q Your hospital privileges ever been suspended  
12 or revoked?

13 A No.

14 Q Do you have a hospital privileges anywhere  
15 other than Georgetown?

16 A Yes.

17 Q Where?

18 A Fairfax Hospital.

19 Q For how long?

20 A Very recently. Probably three or four months  
21 ago.

22 Q Prior to obtaining those privileges at  
23 Fairfax, had you ever held privileges at any hospital

1 other than Georgetown?

2 A I have a -- sort of informal consulting  
3 privileges at Arlington Hospital and Washington  
4 Hospital Center where I see newborns.

5 Q For how long has that been?

6 A Ever since I've been here.

7 Q Anywhere else?

8 A No.

9 Q Have you reviewed any cases for any **of** the  
10 attorneys, including Ms. Kinchloe, at her firm other  
11 than this case?

12 A I can recall, I believe, two other cases that  
13 I've reviewed for either Ms. Kinchloe or the firm.

14 Q What did they involve?

15 A One involved basically failure to diagnose  
16 meningitis. I read it and I turned it away because I  
17 thought it was a good case.

18 Q A plaintiff case?

19 A Or a bad case.

20 Q It depends on how you look at it?

21 A How you look at it, yes. I don't remember  
22 what the other case was, actually.

23 Q What **do** you remember about the case you

1       turned away, factually?

2               A       Before sitting with Ms. Kinchloe this  
3       afternoon, I had **no** recollection of that case.

4               Q       I appreciate her refreshing your memory.

5               MS. KINCHLOE: Well, let me just say that the  
6       case is still pending and I'm not sure I want Dr.  
7       Wientzen to be testifying as to what his opinions were  
8       in the case.

9               MR. FORET: Well, let's make this agreement.  
10       Don't mention any names so nobody can find out what  
11       case this is.

12              MS. KINCHLOE: I know, but the -- I'm still  
13       uncomfortable with the testimony about even the events,  
14       because they could be easily connected up.

15              MR. FORET: Well, can I just somehow get,  
16       without getting into the specifics of the case so they  
17       can't be connected up, just why you thought that it was  
18       a viable case on behalf of the plaintiff?

19              MS. KINCHLOE: If Dr. Wientzen can **do** it in  
20       very general terms.

21              BY MR. FORET:

22              Q       Maybe you can just give me the temperature  
23       and age of the child or something like that **so** that if

1       one of my colleagues reads this transcript they won't  
2       be able to tell what case this is?

3           A       I don't remember the real specifics about the  
4       age of the child or the degree of fever. In fact, the  
5       only thing I remember is what Ms. Kinchloe reminded me  
6       of today, which is that this was a febrile child who  
7       looked sick and had no focus of infection. It was my  
8       view in reading the case that the physician, and I  
9       don't even remember whether it was a pediatrician or an  
10      ear doctor or whatever, didn't do what I thought needed  
11      to have been done.

12          Q       What did you think needed to be done?

13          A       I don't remember how the child appeared to  
14      say specifically what that was, but obviously some  
15      testing that normally would be done was not done.

16          Q       All right. You thought something should have  
17      been done that wasn't done?

18          A       Right.

19          Q       That's about all we can say right now. All  
20      right. Have you been asked, do you know, to review  
21      cases where -- on behalf of a defendant, where the  
22      defendant is insured by the same insurance company that  
23      Dr. Kim is insured with? I assume he's insured with

1 Med Mutual?

2 A So the question is have I reviewed other  
3 cases for Med Mutual, is that it?

4 Q Yes. For Med Mutual or where you are asked,  
5 of course, by the defense firm, but you knew that Med  
6 Mutual was the insurance company.

7 MS. KINCHLOE: Well, in retrospect, is that  
8 what you mean?

9 MR. FORET: Yes.

10 MS. KINCHLOE: Obviously --

11 MR. FORET: Any way.

12 THE WITNESS: Yes. In retrospect I know I  
13 have reviewed cases for Med Mutual.

14 BY MR. FORET:

15 Q Do you know how many?

16 A No. No.

17 Q What percentage of your income, without  
18 telling me any numbers right now, is from providing or  
19 reviewing cases as an expert witness?

20 A Probably 30, 40 percent of my income comes  
21 from this work.

22 Q Thirty to forty?

23 A Right.

1 Q Do you advertise?

2 A No.

3 Q Have you ever?

4 A No.

5 Q Let me just ask you a couple of questions  
6 about your CV, and then I'll give you the marked copy.  
7 Tell me which of your publications, in your opinion,  
8 are -- involve the issues in this case?

9 A The publication in Clinical Pediatrics, I'm  
10 trying to find it here, number 11, Occult Bacteremia.

11 Q Wait. On what page?

12 A Page 7/9.

13 Q Page 7 what?

14 A It looks like it's 7 of 9. I have 7/9 on the  
15 top.

16 Q All right. It's which publication?

17 A Eleven. Have you got a different one that I  
18 do? Maybe one is the updated one. You may be on the  
19 abstracts.

20 Q Page 6. In Clinical Pediatrics, right?

21 A Right. I know I -- I don't see it on here,  
22 but I wrote a monograph for the American Family  
23 Physicians publication on sort of a general view of

1        pediatric infections. I believe that contains some  
2        writing on the topic that this case is about.

3            Q        That would have been in which publication?

4            A        It's called the American Family Physicians  
5        Monograph. I don't know how more precise to term it  
6        than that.

7            Q        When would that have been, if you recall?

8            A        Two or three years ago.

9            MR. FORET: Off the record.

10            (A discussion was held off the record.)

11            MR. FORET: Back on the record.

12            BY MR. FORET:

13            Q        The publication number 3 on page 8 says in  
14        press. Is that out of press?

15            A        Which one now? Page 3?

16            Q        Page 8, number 3 under chapters and books.

17            A        No. That -- this book was published and it's  
18        no longer in press. It's published. It was about 10  
19        years ago, right, or more.

20            Q        Do you have any chapters in any books that  
21        are on your updated CV you're going to give me that  
22        aren't on the one we're looking at?

23            A        No.



1           Q     When you took the pediatric boards, you only  
2     took them one time, correct?

3           A     Correct. Well, there's an oral and a written  
4     part.

5           Q     You took the written part once and you took  
6     the oral part once, right?

7           A     Right.

8           Q     The sub-specialty board was only a written  
9     exam?

10          A     Yes.

11          Q     Of course you only told me once, because it's  
12     only been given once, right?

13          A     Correct.

14          Q     In your current job, answer for me in  
15     whatever the easiest way is, in terms of telling me how  
16     your time is divided up between teaching, seeing  
17     patients?

18          A     Well, it's hard to divide it up, because the  
19     teaching role is almost always with a patient present,  
20     so probably right now 70 or 80 percent of my time is  
21     clinical time seeing patients, inpatients and  
22     outpatients, often co-joined with teaching students and  
23     residents while I'm doing that.

1                   The other 20 percent of my time is some **of**  
2                   the research that I do, some of the administrative work  
3                   for my division, for the department and for the  
4                   university that I do.

5                   Q     All right. So now your division is pediatric  
6                   infectious diseases, correct?

7                   A     Correct.

8                   Q     That's part of the Department of Pediatrics?

9                   A     Right.

10                  Q     You're the chairman of the division?

11                  A     Chief.

12                  Q     Chief.

13                  A     They don't call it chairman.

14                  Q     It's chairman of the department?

15                  A     Correct.

16                  Q     Who is chairman of pediatrics?

17                  A     Dr. Owen Rennart.

18                  Q     Who is the vice chairman?

19                  A     Actually, there are two vice chairmen. Siva  
20                  Subramanion and Pedro Jose.

21                  Q     What other attending physicians are in your  
22                  division?

23                  A     There's Dr. Charlotte Barbee-Morrell, who is

1 full-time in the division, and Dr. Joseph Bellanti, who  
2 does occasional work in my division.

3 Q How do you spell his name?

4 A B-e-l-l-a-n-t-i.

5 Q How long have they been with you?

6 A Dr. Bellanti has been here for 30 years, so I  
7 sort of was with him for a while in his division, and  
8 then it sort of split off, but we still do things  
9 together.

10 Dr. Morrell came right after Dr. Freij left,  
11 so I don't know when that was, in 1990 or 1991,  
12 somewhere around then.

13 Q Dr. Bellanti is in another division?

14 A He's in immunology, yes.

15 Q At the present time how many fellows are in  
16 your division?

17 A We have no -- we used to have a fellowship  
18 program. No longer do we have a fellowship program.

19 Q So the residents would be just residents in  
20 the Department of Pediatrics?

21 A Right.

22 Q That would include working with you, correct?

23 A Correct.

1 Q Why did you stop the fellowship program?

2 A It's very expensive. It's hard to get  
3 grants, hard to get funding. When it falls and you  
4 have them, you're responsible for them.

5 Q When did it stop?

6 A Either '89 or '90 was our last fellowship  
7 year.

8 Q How much of your clinical time would you  
9 describe being in general pediatrics as opposed to  
10 pediatric infectious diseases?

11 A Well, currently a small fraction of my time.  
12 I do give some general pediatric care to two groups of  
13 people. A group that was in a study that I did for  
14 oral immunity, which is pretty much finished now.

15 The other group would be children who are HIV  
16 positive who come to our AIDS clinic, and more for  
17 their convenience and cohesion of care we render -- I  
18 render both primary pediatric care as well as the  
19 infectious disease care until we know whether they're  
20 infected or not.

21 If they're not infected, we send them away.  
22 If they are infected, we keep them. So what fraction  
23 of that it is, five percent, eight percent. A small

1       percent.

2           Q       So 95 percent of your time is in your  
3       specialty of infectious diseases?

4           A       It hadn't always been that way.

5           Q       For how long has it been that way?

6           A       I was -- as it says somewhere in my CV, I was  
7       a member of the outpatient department part-time, the  
8       fifth person, if you will, and did general pediatrics  
9       probably for about 20 percent of my time from the late  
10      '70s until probably 1990 or '91.

11          Q       Is that what's meant when it says attending  
12      children in youth ambulatory service?

13          A       Correct.

14          Q       What is your current involvement in the NICU?

15          A       Right now it's only as a consultant to  
16      children who I get asked to see.

17          Q       In the past?

18          A       When I was in the pediatric clinic, there  
19      would be babies who would be in the regular nursery who  
20      would get sick and I would admit them to the NICU. I  
21      would surrender their care at that time, but I would  
22      still follow them and be their pediatrician in general.

23          Q       So you have pretty much done everything at

1 Georgetown except your fellowship, right?

2 A Right.

3 Q Your fellowship was two years?

4 A Right.

5 Q Were both years of your fellowship under Dr.

6 McCracken?

7 A Correct.

8 Q What's the difference between the two

9 hospitals?

10 A It's poorly written in there. The fellowship

11 really is a single program, and the patients are at

12 Parkland Hospital and Dallas Children's Hospital.

13 They're co-joined. You walk in the same hall and you

14 go from one to the other and you never know -- other

15 than one's newer and the one's dirtier, you never know

16 that you've left the hospitals. So it's really just

17 one program.

18 MR. FORET: Off the record.

19 (A discussion was held off the record.)

20 MR. FORET: Back on.

21 BY MR. FORET:

22 Q When were you first contacted in this case?

23 A Now that's a very hard question. I can tell

1       you when Ms. Kinchloe first contacted me, but I don't  
2       remember when you contacted me.

3           Q     You don't have any recollection of that; is  
4       that correct?

5           A     Yes.

6           Q     Well, you know what, I won't ask you about it  
7       then. When did **Ms.** Kinchloe first contact you?

8           A     By -- just by looking at the date here on her  
9       cover letter to me, sometime probably in August of  
10      1994. About a year ago.

11          Q     I won't bother marking all this, but we're  
12      looking at her letter to you of August 19, 1994, and  
13      evidently you had a conversation sometime before that?  
14      Does that comport with your recollection?

15          A     Probably, yes.

16          Q     Then there is a second letter to you from her  
17      dated March 16, 1995?

18          A     Right.

19          Q     As I read these letters, you received  
20      initially everything except the depositions **of** Ms. Cho  
21      and Dr. Freij, I think?

22          A     I think. I'm honestly trying to reconstruct  
23      this. I made some notes to myself after I read, as you

1 can see, all the information, and there were some  
2 questions that I had, one of which was where is the  
3 deposition of Dr. Kim, which is supposed to be  
4 enclosed, but I don't believe it was.

5 Q Okay. Why don't you -- there are notes on  
6 her August 19th letter on both the front and the back  
7 page. For right now just read me the ones on the front  
8 page.

9 A On the front page it says was there a 10/27  
10 ER visit to Shady Grove Hospital, question mark. Where  
11 are the strep. pneumo. sensitivities from the blood  
12 culture of 11/2 at Shady Grove Hospital, and number  
13 three, no deposition of Dr. Kim enclosed. Then I have  
14 some lab results from the Georgetown Hospital LP, which  
15 is what's in the record about the **CSF** findings.

16 Q Just read them so I can make sure --

17 A I have Georgetown University Hospital **CSF**  
18 11/3 after stable, 6,000, which is white cells, 64  
19 segs. Then I have 11/6 800 white cells, glucose 37,  
20 protein 176.

21 Q I'm sorry. What I'm pointing to, which is  
22 the second note from the first set, that says where are  
23 the what?



1 A Sensitivities, antibiotic sensitivities.

2 Q From Shady Grove?

3 A Right.

4 Q From the blood culture done at Shady Grove?

5 A Right.

6 Q Those were just not in the records you  
7 initially received?

8 A I couldn't find them.

9 Q Are there any?

10 A I still can't find them.

11 Q Okay.

12 A I can see in there that the zone **of**  
13 inhibition around the oxacillin disk was **23**  
14 millimeters, which would mean it's sensitive, but I  
15 don't see form MICs.

16 Q Let me go back. I told you I wasn't going to  
17 ask you about the conversation you and I had, and I'm  
18 really not, other than just to ask you this question.  
19 I understand you don't remember it, but can you explain  
20 to me in any way today why you didn't make the decision  
21 to review the case when I asked you to, but you did  
22 when Ms. Kinchloe asked you to?

23 A Again, I would give you my guess, but I don't

1 know that this is the only part of it, and that is you  
2 called me when I was much busier and I had more -- more  
3 things to do and I just didn't want to be hassled by  
4 another case or another problem.

5 Ms. Kinchloe called me in the summer,  
6 obviously, when things are quieter and I might have  
7 been more free to give up a Saturday to read this  
8 stuff.

9 Q The notes you just read from on the front  
10 page of the August 19, 1994 letter were made when,  
11 approximately?

12 A Probably in August of '94 when I read the  
13 records.

14 Q Have you submitted any bill yet?

15 A I probably did a year ago when I reviewed  
16 this stuff.

17 Q I didn't ask you this when we went through  
18 the deposition notice requests and you indicated you  
19 didn't have anything responsive to the question about a  
20 bill. You don't keep them?

21 A No.

22 Q So as far as you can recall, you may only  
23 have submitted one bill?

1 A Correct.

2 MR. FORET: Well, I might ask you for that.  
3 As a matter of fact, I think I will. You can take it  
4 under advisement.

5 MS. KINCHLOE: Okay.

6 MR. FORET: Thank you.

7 BY MR. FORET:

8 Q Then the notes on the back page of the August  
9 19, 1994 letter were made when?

10 A The ones in red were made after I read the  
11 main body of medical records that came. The ones in  
12 blue were made after I read the -- so that would  
13 probably be August of '94, maybe within a few weeks of  
14 this letter.

15 Then the blue notes were made after I read  
16 Dr. Kim's deposition. I don't remember when I first  
17 read it, because I don't have a cover letter to tell me  
18 when it really was sent.

19 Q All right.

20 A May I explain that?

21 Q Yes. Go ahead.

22 A It gets confusing. I have a second cover  
23 letter here from Ms. Kinchloe dated, as you know, March

1 16th of '95, saying here are the transcripts of Dr.  
2 Kim, Dr. Cho and Dr. Freij.

3 I have transcripts, Dr. Kim, Dr. Cho -- Ms.  
4 Cho and Dr. Freij. Unfortunately, I have two copies of  
5 Dr. Kim and Dr. Freij. So obviously someone sent me  
6 those two depositions twice. I don't know when one --  
7 the first set came.

8 Q Fine. What I'm now doing is just for my own  
9 purpose, because when I look at the copy of your notes  
10 that you just identified, I'm not going to see red and  
11 blue. But the blue is off to the right side of the  
12 notes and appears to refer to Dr. Kim's deposition as  
13 you just explained.

14 What I'd like you to do is read the notes on  
15 the back of the August letter, and I'm going to look  
16 over your shoulder while you're reading them since  
17 that's the only copy.

18 A I should read them, interpreting my  
19 abbreviations?

20 Q Please.

21 A Sujin Diane Cho, date of birth 5/25/90.  
22 Birth weight 8 pounds 14 ounces. 7/21/90, one and  
23 three-quarter months old, 15 pounds, 23.5, which would

1       probably be the length, smiles. Diagnosis mild URI.  
2       Weight too high. Weight increase. DPT, OPV vaccines.

3               9/26/90 height 24.5 inches, weight 20 pounds  
4       1.5 ounces. This is at four months of age. Well baby  
5       exam, obesity. Oral polio virus vaccine and DPT  
6       vaccine.

7               10/30/90, five-and-a-half months of age.  
8       Cold, stuffy nose, fever since Saturday night. Took to  
9       hospital Sunday, temperature was 104, still has fever.  
10      Seen by Dr. Kyung Sik Kim, treated as URI on Sunday  
11      with IM Gentamicin. Fever up to 104, still eating  
12      well, playful.

13              Physical exam active, temperature 102.3  
14      rectally, not toxic, not irritable. HEENT exam,  
15      fontanelle not tense. Tympanic membranes hyperemic  
16      both sides, throat congested, nasal congested. No  
17      neurologic anomaly. Impression, otitis media both  
18      sides, URI. Rule out FUO. Throat culture negative.  
19      Ampicillin one gram, amoxicillin 125, one-half teaspoon  
20      three times a day.

21              Off to the right of that dates notes I have  
22      several Gentamicin and I have erythromycin, according  
23      to Dr. Kim's reading of his notes during his

1 deposition. Also has independent recollection of baby  
2 smiling and playful in office.

3 Q Since you're at this point, let me just ask  
4 you one thing, not about that but about your note about  
5 ampicillin one gram. Where did the one gram come from?

6 A That is a mistake. As I read through Dr.  
7 Kim's reading of his deposition, it's just -- it's what  
8 I thought was one gram is IM. There is no dose.

9 Q What would be a normal dose for this child?

10 A This baby weighed 10 kilos, probably anywhere  
11 from 250 milligrams to 1 gram would be a typical dose.

12 Q IM?

13 A IM.

14 Q All right.

15 A I have 10/27/90 down here, and I wrote it  
16 because I thought there was supposed to be an ER visit,  
17 according to the cover letter that I -- the medical  
18 contents that I got, but there turns out not to be --  
19 not to have been a Shady Grove ER visit of 10/27/90,  
20 but I didn't know that at the time, so I left space.

21 10/28/90, office visit with another Dr. Kim.  
22 Temperature 104 rectally, beefy red throat, acute  
23 pharyngitis. Garamycin, 20 milligrams, pediatric IM.

1 Circle, call regular private medical doctor in a.m.

2 Q Is that note from the records from that day  
3 as you're reviewing them, you think?

4 A Yes. 11/2/90, Shady Grove Hospital, 10:22  
5 a.m. to 3:30 p.m., transfer to Georgetown University  
6 PICU. Right tympanic membrane is full, not red.  
7 Fontanelle is tense lying down and full sitting up. I  
8 have blood culture positive. Strep. pneumo. urine  
9 positive antigen test.

10 11/2/90, Georgetown University Hospital  
11 admission. Right tympanic membrane injected and dull,  
12 stiff neck. LP 6,000 white count. Recrudescence fever  
13 on day four. LP 800 white blood cells.

14 Then on the other side I have the same  
15 Georgetown lab values.

16 Q Then on your reference on the cover sheet of  
17 the medical records, the side that's Shady Grove  
18 Hospital 10/27, I think I know what you're saying, but  
19 just read it.

20 A I have question mark, not in packet. Was  
21 there an ER visit prior to the seizure visit, question  
22 mark.

23 Q Does the fact that there was no ER visit on

1           10/27 1990 at Shady Grove make any difference?

2           A       I don't see it making any difference. It was  
3           just something that was supposed to be there that  
4           wasn't that I didn't want to not review if it really  
5           happened.

6           Q       Do you know Dr. Kim, the Defendant in this  
7           case?

8           A       I don't think I do, although I gave a lecture  
9           at Shady Grove Adventist Hospital six months ago on  
10          antibiotic resistance, and I know I met a Korean doctor  
11          who I think is a Dr. Kim, but it turns out there may be  
12          many of those. We certainly didn't acknowledge each  
13          other as knowing each other through this case.

14          Q       Do you know Dr. McDowell, who is an expert  
15          for the defense?

16          A       Not personally, but I know the name.

17          Q       How do you know his name?

18          A       Just as a practitioner in the communit .

19          Q       Of course you know Dr. Freij. I assume you  
20          regard Dr. Freij to be a good physician, correct?

21          A       Yes, I do.

22          Q       He would have worked with you here at  
23          Georgetown -- well, however long he did. It was



1 several years, right?

2 A I think it was three.

3 Q Then he received a position or an offer for  
4 the position where he currently is and that's why he  
5 left?

6 A Correct.

7 Q Why don't you read now for me the notes you  
8 have written on the front page of Ms. Cho's deposition?

9 A These notes come from her deposition and they  
10 are sort of a running tally of her perceptions of the  
11 baby during the course of the events.

12 Q You wrote that down because it's important  
13 when you reviewed this case?

14 A Yes. A little fever and runny nose 2:00 to  
15 3:00 p.m., Saturday 11 -- I'm sorry -- 10/27. Sunday,  
16 10:28 a.m., felt warm. 10/28, 2:00 p.m. warm and  
17 vomited times 1. 10/28, 3:00 p.m., axillary  
18 temperature 102 to Dr. Kim's office. This is the other  
19 Dr. Kim. 10/29, 6:00 a.m. to 7:00 a.m. the temperature  
20 was 102. A call from mother-in-law at noon to say that  
21 there was a temperature of 102 axillary.

22 12:00 to 12:30, phone conversation with Dr.

23 T. Kim. The mother made an appointment for a 9:00 a.m.

1 visit on 10/30. On 10/29 at 6:00 p.m. there was a  
2 phone call with the mother-in-law again saying the baby  
3 is eating, playful and fine, but still fever.

4 The baby was asleep at 9:30 when mom got home  
5 and slept through the night. On Tuesday, 10/30, the  
6 baby was warm on awakening between 6:30 and 7:00, ate  
7 breakfast, which was formula, was calm, quiet, and was  
8 following what was going on.

9 9:00 a.m. visit with Dr. Kim, cold and throat  
10 infection. No mention of otitis media. If doesn't get  
11 well, come back Saturday morning. 1:00 p.m. phone  
12 call, happy, playful, eating. 6:00 p.m. phone call,  
13 antibiotics were given with Tylenol and the baby had a  
14 fever up and down. Temperature was up and down  
15 throughout the day. The baby slept through the night  
16 to Wednesday. Wednesday, 10/31, there was a noon phone  
17 call from the grandmother, decreased appetite with the  
18 fever. An 8:00 p.m. phone call with the grandmother,  
19 diarrhea since noontime. Had laid down all day, not  
20 playful as had been before.

21 Mom got home at 9:00 p.m., the baby looked  
22 exhausted while lying in bed asleep. Called Dr. Kim to  
23 state fever, diarrhea, doesn't eat much, lying down,

1       sick and tired. Temperature 102 to 103 axillary.

2               Asked why was there diarrhea, the answer was  
3       probably the antibiotics. Asked if the antibiotics  
4       were stopped would the diarrhea stop, Dr. Kim advised  
5       to stop antibiotics.

6               Thursday, 11/1, between 7:00 and 8:30 a.m.,  
7       the baby was awake but Ms. Kim has no recollection of  
8       the baby's presentation. There was a noon phone call  
9       from the grandmother, the diarrhea stopped, but the  
10      baby still had fever and was lying down.

11              8:00 p.m., phone call, same circumstances.

12      9:30 Dr. Kim phone call, fever, tired, sick without  
13      diarrhea. Dr. Kim advised cool baths and if not  
14      better, bring to his office or hospital. That's it.

15              Q     I think the rest of Ms. Cho's deposition you  
16      may have underlinings and markings on it. You don't  
17      have any more notes written on there, do you?

18              A     No.

19              Q     Do you have any writings that you made in the  
20      Georgetown records?

21              A     Yes.

22              Q     Can you identify those for me?

23              A     Writings at the time I saw the child?

1           Q     Correct.

2           A     Yes. This on -- the date -- actually,  
3           there's a whole through the date. Probably the 6th.  
4           My pages aren't numbered.

5           Q     I might be able to help you. Let me just get  
6           that.

7           A     It's right here where it says ID. That's my  
8           note that goes with the full consult that was written  
9           by my resident.

10          Q     But this is your writing?

11          A     Yes, this is my writing.

12          Q     Why don't you read that for me?

13          A     Full consult entered. Recrudescence of  
14          temperature to 40 on day four of penicillin G therapy  
15          for strep. pneumo. meningitis. Line sight/bone/hips/  
16          lungs negative on exam. Plan, rule out penicillin and  
17          sensitive strep. pneumo. Rule out line sepsis. Rule  
18          out intracranial separation.

19                 Suggest add Cefotaxime, 200 milligrams per  
20          kilogram per day in four divided doses every six hours.  
21          CAT scan, blood culture, urine culture and chest x-ray,  
22          BAER, brain stem auditory evoked response, at the end  
23          of the week. Will follow.

1           Q     Now, since you -- at some point, I assume,  
2     you've had an opportunity to review in your work on  
3     this case the entire Georgetown chart?

4           A     Right.

5           Q     At least the pertinent parts, right?

6           A     Yes.

7           Q     Why was your division, **or** you, infectious  
8     diseases, not consulted until the 6th? She was  
9     admitted on the 2nd.

10          A     I think our intensivists here are very  
11     complete and generally good physicians. They commonly  
12     don't involve **us** in a case where the diagnosis is  
13     rather clear until there's a complication that they  
14     can't manage, not to say some other intensivist, even  
15     the ones here, wouldn't have called us right away, but  
16     it is rather straightforward what to do with a baby  
17     that has meningitis.

18          Q     Was the baby developing a complication as of  
19     November 6th, then?

20          A     Well, actually I see two things. I see in my  
21     note that the baby recrudesced with fever. That is the  
22     baby had lost fever and then it returned, which would  
23     be a concern. Although now that we know more about

1       steroids, what I didn't put in here and I would put  
2       today, that this could be rebound from the loss of  
3       steroids if you stopped the steroids. So in that  
4       regard this could be a complication. Although the  
5       consult and other notes in the record say the baby  
6       persisted with fever for four or five days, that would  
7       be a reason to have a consult and see the child.

8               I have one more note that I found if you  
9       want.

10       Q     Yes. I want to keep doing it.

11       A     This is on the 10th, I think. It's almost a  
12       blank page. Somehow nothing else was written.

13       Q     Okay.

14       A     It says ID will assume care. I think this is  
15       when the baby was transferred to the ward. Needs to  
16       complete 14 days therapy, penicillin and full  
17       developmental evaluation before discharge.

18       Q     That's your signature?

19       A     Right.

20       Q     So the other infectious disease progress  
21       notes in the record are written by who?

22       A     By the resident who is on the service at the  
23       time.

1 Q Do you know the name?

2 A You know, I don't. It might be Diane Bork,  
3 but I can't vouch for that.

4 Q B-o-r-k?

5 A It might be, yes.

6 Q Look at the infectious disease note on  
7 November 8th. Does that appear to be the same person?

8 A ID follow-up, yes.

9 Q Now, who is or was Dr. DeCarlo who did the  
10 discharge summary?

11 A Joe DeCarlo was one of our intensivists here  
12 at the time, and I think right now he's in Russia.

13 Q When you describe an intensivist, you mean  
14 someone working in the PICU?

15 A Correct. He's not a neonatologist, he's a  
16 pediatric critical care specialist.

17 Q Of course that's where this baby initially  
18 was treated?

19 A Right.

20 MR. FORET: Let me have this marked as  
21 Exhibit 3. It's the Georgetown bill.

22 (Whereupon, Wientzen Deposition

23 Exhibit No. 3 was marked for

1 identification.)

2 BY MR. FORET:

3 Q I want you to either identify it for me or  
4 tell me how to identify what charges are for your  
5 service on that bill. I know your name shows up on  
6 here. Nothing on the first page, right?

7 A No. Consult, patient, new comprehensive.  
8 There's no way to know if that's me.

9 Q What's written? I-v-e is part of  
10 comprehensive, right?

11 A Comprehensive, right. What date is that, the  
12 6th? That might be me unless she had a developmental  
13 consultation on that same day. Then the 8th a  
14 follow-up, which is me because it's got my name. I  
15 would bet you that the 6th is my consult.

16 Q All right.

17 A Ventilating -- ventilation. I don't know.  
18 These might be my charges for follow-up.

19 Q What day are you looking at?

20 A I'm looking at the 7th, 8th and 9th.

21 Q It just says follow-up, right?

22 A Yes.

23 Q Is there any way to tell from the CPT code?



1           A     There may be. I'm certainly no expert in CPT  
2     codes. The CPT codes are the same, aren't they? No, I  
3     see a couple are different.

4           MR. FORET: Then there's one on the final  
5     page that has your name on it, so we know that's you.

6           It's three o'clock. Why don't we take the  
7     break.

8           (Whereupon, a brief recess was taken.)

9           MR. FORET: Let's go back on the record.

10          BY MR. FORET:

11         Q     Dr. Wientzen, why don't you tell me what your  
12     opinions are in this case?

13         A     Okay. I think my basic opinion is that the  
14     care Dr. Kim rendered this baby **at** the time of his  
15     visit with the baby on 10/30/90 was within the standard  
16     of care. The question of a phone call and the  
17     conversations, I mean, I don't have any firm facts to  
18     answer a question like that, but if you wanted to give  
19     me a hypothetical situation, I could tell you what a  
20     physician should have done or had to have done or would  
21     have done.

22               I can't sort of drum up an opinion because no  
23     phone calls existed.

1 Q Anything else?

2 A Well, there's a lot of derivative opinions in  
3 terms of what this child had at different periods of  
4 the evolution of the illness. My view is that this  
5 baby did not -- I agree with Dr. Freij -- probably did  
6 not have meningitis at the time Dr. Kim was seeing this  
7 child on the 30th. It may have been bacteremic and may  
8 not have been bacteremic.

9 To me that is a function of whether or not  
10 Ms. Cho actually did stop the antibiotics on Wednesday  
11 night. If she did, an alternative evaluation or  
12 explication of the case would be that the baby could  
13 have become bacteremic after stopping the amoxicillin.

14 Q But what is your opinion as to whether the  
15 child was bacteremic as of the visit to Dr. Kim on  
16 October 30th?

17 A I would -- the way I would look at the case  
18 is this. If Ms. Cho had not stopped the antibiotics on  
19 Wednesday, there would be no question in my mind that  
20 this child must have been bacteremic at the time of the  
21 Tuesday morning visit on the 30th, because one cannot  
22 really become bacteremic while being prophylaxed with  
23 amoxicillin.

1                   So the bacteremia must have been there at the  
2                   time Dr. Kim was seeing the baby. If the mother did  
3                   not stop the antibiotics -- had she stopped the  
4                   antibiotics, I don't know how to pick between those two  
5                   scenarios, that the mom stopped the antibiotics and the  
6                   baby had a viral disease and the bacteremia began  
7                   Thursday and eventuated to meningitis Friday, or Dr.  
8                   Kim's therapy was not sufficient for the bacteremia  
9                   that existed on Tuesday and it persisted and progressed  
10                  to meningitis.

11                 Q     Let me just ask you a general question that's  
12                   obviously related to this case, but I'm not asking you  
13                   about the facts of this case. I want you to just  
14                   answer for me, in whatever the easiest way is that you  
15                   can, what the standard of care requires in terms of a  
16                   pediatrician treating a child with fever?

17                 A     Can we just cone it down to the age group  
18                   that we're dealing with, because it's complicated.

19                 Q     Absolutely.

20                 A     I think the two things that a physician has  
21                   to do as he approaches a baby with fever is, number  
22                   one, make a general assessment as to whether this baby  
23                   looks sick or toxic or septic, whatever terminology you

1 want to use. Should a baby **look** that way with fever,  
2 the baby is immediately hospitalized and everything  
3 gets done for the child.

4 The second feature, assuming a baby does not  
5 **look** septic **or** toxic or **sick**, is a physician has a  
6 responsibility to identify a focus of that infection.  
7 Depending on whether or not a focus is found and  
8 depending on how high the fever is, a physician may  
9 need to do laboratory testing on a patient with fever,  
10 and specifically with no focus of infection and a fever  
11 that exceeds 102 or 102.5, in that neighborhood, a  
12 physician, in general, would be required to perform at  
13 least a CBC blood count on a febrile baby between the  
14 ages of three months and two years or so.

15 Depending on the results of that **blood**  
16 culture, he would then opt to take a -- I'm sorry --  
17 depending on the results of that blood count, he would  
18 then opt to take a blood culture, a urine culture and a  
19 number of other things.

20 Q Is the standard of care different for a child  
21 under three months?

22 A Certainly it's different under a month. I  
23 don't think there's any question. Under a month with a

1 fever, you hospitalize, you **do** everything and you  
2 treat. Between one month and three months, what else  
3 is thrown into the mix nowadays is the determination  
4 not only does the child look sick and how high is the  
5 fever, but is this child a high risk infant. Basically  
6 a high risk infant is anything other than a pristinely  
7 normal term healthy baby.

8 So a premature baby at two months who comes  
9 with fever, the workup is done. A baby who had  
10 respiratory distress in the newborn period and comes  
11 with fever, a workup. If you take that out **of** the  
12 picture, it's a fat, healthy baby, no problems, comes  
13 in at six weeks with fever, then one follows the same  
14 algorithm that you would do for a five-month-old baby.

15 You look for a focus, you don't find it, you  
16 do a blood count and a workup if it's needed.

17 **Q** Sujin Cho would be classified as a normal  
18 child under the description you were just talking  
19 about, right?

20 **A** Correct.

21 **Q** Let me go back to what you told me. When  
22 does the standard **of** care require a CBC in this age  
23 group?

1           A     In a non-toxic baby who doesn't look septic,  
2     it would be when one does not have -- when one has not  
3     identified a focus **of** infection.

4           Q     So when the treating physician, in his own  
5     clinical judgment, has decided that he or she has not  
6     identified a focus, a CBC should be done?

7           A     In general. There are certainly exceptions  
8     to that, epidemiologic exceptions exist all the time.

9           Q     What do you mean by that?

10          A     The child's in a day care center and there  
11     have been five cases of roseola, and this child has  
12     classic roseola. Do you need to do a blood count, no.  
13     I think there would be a lot of reasons not to do a  
14     blood count. But in general, **yes**, a blood count would  
15     be done.

16          Q     Now, when does the standard of care require a  
17     treating pediatrician to do a CBC, even though a focus  
18     has been identified but the fever has not subsided?

19                   MS. KINCHLOE: Objection.

20                   THE WITNESS: I don't know what you mean by  
21     not subsided. You mean the child's had fever for two  
22     or three days?

23                   BY MR. FORET:

1           Q     That's what I'm asking you. What period of  
2     time?

3           A     I think one doesn't so much use the period of  
4     time so much as how the baby appears clinically. That  
5     is to say, an ear infection after three or four days of  
6     fever is still an ear infection, if the baby apparently  
7     has nothing else going on on examination.

8                     In truth, there's a lot of gradations of the  
9     baby's physical exam on what's known as the  
10    observational variables. There are babies where, you  
11    know, the baby sort of sits in the middle of the road.  
12    He's a little bit irritable, but not that irritable,  
13    has some inter-activity with the examiner, but not as  
14    much as normal. Those cases maybe you would **do** a blood  
15    count.

16                    But a baby who is, for instance, described as  
17    happy and playful, I think would be not anywhere near  
18    the middle **of** the road and, in fact, would be on the  
19    good side of the road and safe and far away from a  
20    blood count, should you find a focus.

21           Q     Why does the standard of care require  
22    immediate hospitalization for a neonate who has fever  
23    as opposed to an older child?

1           A       Probably a number of reasons. One is that  
2 newborn babies are less likely to show how advanced the  
3 illness is versus older children. The second is that  
4 the observational variables that we use in six-month-  
5 old babies are not very well-developed in six-day-old  
6 babies.

7                       So we really can't even use the basic  
8 approach that's been worked out for older kids very  
9 well. They are the two main reasons, I think. People  
10 would add to that a third feature probably that sepsis  
11 is probably more common in that first month of life  
12 than ever, ever again, and so the risk is higher.

13           Q       In the age child of Sujin Cho, am I correct  
14 that the physical exam is not very reliable at that  
15 age?

16           A       Reliable for what?

17           Q       In terms of diagnosing what's causing the  
18 fever.

19           A       No, I think the physical exam is the only  
20 reliable thing that you have. In other words, if you  
21 see pus on the throat, whether it's a six-month-old  
22 baby or a six-year-old, you know the child has  
23 tonsillitis. If you see middle ear disease, you know



1       it's otitis media.

2           Q       Toxic signs are not as well identified in a  
3       four-month-old child as opposed to a child who would be  
4       older, correct?

5           A       I think if you compared, for instance, a  
6       five-year-old to a five-month-old, you'd be right.  
7       There would be more signs that you could elicit from a  
8       five-year-old than a five-month-old, but in general, in  
9       the age group that we're dealing with, three months to  
10      two years or three months to three years, where there's  
11      a fever without focus approach being used now,  
12      physicians are comfortable with the observational  
13      variables and physical exam that's presented in a five-  
14      month-old.

15          Q       This case of Sujin Cho, she had a  
16      Gram-positive coccal infection, right?

17          A       Right.

18          Q       Now, in terms of classifying the various  
19      bacteria, that's one of the more easily treatable,  
20      correct?

21          A       In general it's easier to treat that organism  
22      than any Gram-negative rods. It takes less medicine to  
23      treat it, so from that standpoint that's true, yes.

1           Q     In terms of my general question that I've  
2           asked about the standard of care in dealing with a  
3           febrile child four months old, when should a urinalysis  
4           be done?

5           A     Actually, this has been looked at in the  
6           literature for a while, and the answer is, in a female  
7           who has no significant findings to explain where the  
8           fever is, a urinalysis is almost always done. If you  
9           have otitis media, copious upper respiratory findings,  
10          bad runny nose, definite signs, there's no requirement  
11          to do a urinalysis in that instance.

12          Q     Same question in terms of the general  
13          standard of care dealing with a four-month-old child.  
14          When should a blood culture be done?

15          A     Again, in a toxic child, right away on all.  
16          In a non-toxic child, a blood culture is done if you  
17          have not identified a focus of infection and a blood  
18          count exceeds 15,000. So they would be the two  
19          requirements. No focus, you do a blood count and it's  
20          high, more than 15,000 a blood culture is taken.

21          Q     Then when, in the general standard of care  
22          question I'm asking, when should the four-month-old be  
23          admitted to the hospital?

1           A     Again, a sick, septic baby, right away. A  
2     baby who is not toxic or septic and who has a positive  
3     CBC for 15,000, some physicians elect to put those  
4     children in the hospital, but it's not the standard to  
5     do that. Those patients can be managed as an  
6     outpatient.

7           Q     When would the standard require  
8     hospitalization?

9           A     I think the standard would normally require  
10    hospitalization when the blood culture comes back  
11    positive and the patient hasn't had a very nice  
12    clinical response to the antibiotic that was used.

13          Q     Now, in a four-month-old, febrile infant  
14    where a focus has been identified and there are not any  
15    toxic signs --

16          A     Could we switch to a -- she was five-and-a-  
17    half months at the time. Could we just make all of  
18    these questions five months or five-and-a-half, because  
19    that's really --

20          Q     Does it matter?

21          A     No.

22          Q     Okay. I'm sorry.

23          A     I missed the first part of your question.

1           Q     I'll ask it again. In a child of Sujin **Cho's**  
2     age, where the treating pediatrician has identified a  
3     focus and there are no toxic signs, what does the  
4     standard of care require in terms of a follow-up  
5     appointment?

6           A     The -- well, typically for middle ear disease  
7     an appointment is made about two weeks to four weeks  
8     after the diagnosis of middle ear disease is made.  
9     Oftentimes in the case of well babies, for instance,  
10    babies this young, they have well-baby checks so  
11    frequently spaced that there's not really a need to do  
12    that, because they're going to come in and have their  
13    ears checked in a timely way no matter what.

14                   Older children probably two to four weeks  
15    would be the typical time. The other, **I** think,  
16    requirement would be some sense to the parent that  
17    there is an expected course for resolution **of** this  
18    illness, which if it doesn't happen, we would need to  
19    see this baby or at least discuss the illness again.

20                   In the case of otitis media, that typically  
21    is -- you should see significant improvement within **48**  
22    to 72 hours.

23           Q     So if there is no improvement within 48 to 72

1       hours and the pediatrician is notified, then a follow-  
2       up visit would be required under the standard of care,  
3       correct?

4           A       I would say most **of** the time, although I  
5       think depending on what information is exchanged at  
6       that point, a different diagnosis could possibly come  
7       to light over the phone, in which case maybe a visit  
8       wouldn't be needed, but in general it would be.

9           Q       Is there a classification in terms of a  
10       normal temperature for otitis?

11          A       No.

12          Q       Do you have any opinions in this case  
13       regarding the treatment rendered to Sujin Cho **by** the  
14       first Dr. Kim?

15          A       Assuming I'm reading the first Dr. Kim's  
16       notes correctly, I don't agree with Dr. Freij. There's  
17       no place in pediatric medicine for a blind injection of  
18       Gentamicin to a baby where there is no diagnosis made.

19          Q       Any other criticisms of the first Dr. Kim's  
20       treatment?

21          A       I think his follow-up and his directions were  
22       pretty good. No, I don't think there's any others.  
23       His therapy was not really pediatric therapy.

1           Q     Did his giving of the Gentamicin have any  
2           effect or does that have any significance **on** this  
3           child's course as we now know it was?

4           A     No. Gentamicin is not really active against  
5           pneumococcus and would have done nothing for this  
6           infection, assuming it was even present.

7           Q     Can you place a percentage on whether or not  
8           Sujin had a bacterial illness as of Sunday, October  
9           28th, which is two days before the visit to Dr. Kim,  
10          the Defendant in this case?

11          A     The percentage? I think it would be a very  
12          low percentage. I mean, assuming Dr. Kim's exam, the  
13          first Dr. Kim's exam, of the middle ears disclosed no  
14          abnormalities and all he could identify was, I think, a  
15          beefy, red throat, to me that sounds like this child  
16          had a viral illness.

17          Q     Most fevers are caused by a virus, right?

18          A     Absolutely.

19          Q     Can you classify -- I guess maybe **my**  
20          percentage question in terms of what percentage **of**  
21          fevers, in your experience, are viral illnesses and  
22          what percentage are bacteria?

23          A     **Well**, if you just take the first day **of**

1 fever, let's say, in young infants like this, it's  
2 probably 98 percent that are viral, or more.

3 Q All right. Then Sunday would have been -- do  
4 you agree with me, that would have been her second day  
5 of fever?

6 A Right.

7 Q So what does the percentage become then?

8 A It probably doesn't change very much with  
9 that within 24. The issue becomes -- many of these  
10 viral diseases become a bacterial super infection or  
11 sinus or middle ear on the second, third, fourth day of  
12 fever, then the ratio changes.

13 Q So is the most probable diagnosis of Sujin as  
14 of Sunday a viral illness?

15 A Yes.

16 Q What about as of Monday? What's her most  
17 probable diagnosis?

18 A Well, again, without an exam being done it's  
19 hard to know whether the ear infection was present or  
20 not. I think that's, to me, the guts of the issue. So  
21 I don't know. I really don't have a percent likelihood  
22 of it being viral or bacterial. I mean, certainly the  
23 otitis media developed at some time, but I don't know

1           whether it was before that or not.

2           Q     Has her chance of developing a bacterial  
3           illness as of Monday without any physical exam changed  
4           since she's now been febrile for approximately 48  
5           hours?

6           A     If I understand your question, not having  
7           been examined doesn't change the statistical chance of  
8           her progressing to an otitis media, let's say, on that  
9           next day. If you want to just talk statistics, it's  
10          still viral on Monday.

11          Q     But the statistics are the same or have they  
12          changed?

13          A     There may be data on this, but they probably  
14          have changed so that a few more percent of babies who  
15          are going to get otitis media by the fourth day of  
16          fever will have had otitis media now on the third day  
17          of fever.

18                   So if it was a two percent risk on Sunday  
19          where we know there was no -- we're very certain there  
20          was no middle ear disease, maybe it's a five percent  
21          risk on Monday or a ten percent.

22          Q     Why shouldn't a CBC be done after 48 hours of  
23          fever?



1           A     Well, in most instances it's not necessary or  
2           indicated. That's the only reason. I mean, lab tests  
3           are important to help guide a physician when the  
4           diagnosis isn't apparent. When a diagnosis is  
5           apparent, then laboratory studies are really not  
6           helpful.

7           Q     When do you -- do you have an opinion as to  
8           when, had a CBC been done in this case, at what point  
9           in time it would have revealed a white blood count of  
10          greater than 15,000?

11          A     Well, I think that goes back to the answer I  
12          gave a little while ago about whether this baby was  
13          bacteremic on Tuesday the 30th. That's, again, the  
14          question that's risen with respect to what Ms. Cho did  
15          with the antibiotic.

16                 Assuming Ms. Cho had continued the antibiotic  
17          and she mis-remembers about having stopped it on  
18          Wednesday, then I'm sure the baby was bacteremic at the  
19          time Dr. Kim saw the baby on Tuesday, and the white  
20          count would have been, more likely than not, more than  
21          15,000.

22                 If Ms. Cho actually stopped the antibiotic on  
23          Wednesday, then in my judgment I can't tell you whether

1       the baby was bacteremic on Tuesday the 30th. It could  
2       have become bacteremic two days later, in which case  
3       the white count would have been below 15,000 on the  
4       30th.

5           Q       Now, why is it so significant if she did not  
6       stop the antibiotic that certainly the baby would have  
7       been bacteremic as of Tuesday?

8           A       Because, as I mentioned a little while ago, I  
9       think if this baby had been treated with intramuscular  
10      ampicillin, which we know the baby was, and then  
11      promptly begun on oral amoxicillin, which, by the  
12      mother's deposition, in fact, she was, it would be  
13      virtually impossible for that baby to acquire  
14      pneumococcal bacteremia after the injection of  
15      ampicillin.

16                   She would be, in fact, prophylaxed against  
17      that the way we prophylax sickle **cell** patients. So  
18      knowing that she at some point had bacteremia, if the  
19      medicine had never been stopped, it had to have started  
20      -- the bacteremia had to have started before that  
21      injection was given.

22                   If the mother stopped the antibiotics, then  
23      the bacteremia could have occurred later.

1           Q     What, if any, significance is there to you  
2     that Dr. Kim diagnosed Sujin with bilateral otitis, but  
3     also put in his notes that he was concerned about a  
4     fever of unknown origin?

5           A     Looking at the record without hearing his  
6     deposition, I'd just be confused as to what he meant by  
7     that. Reading his deposition and having him explain  
8     that he meant this could be roseola, I don't know  
9     anybody else who would use FUO to describe a specific  
10    process, but if that's his practice, that's his  
11    practice.

12          Q     What you were telling me earlier about  
13    defining a focus of the fever, making a diagnosis of  
14    otitis would be finding a focus for the cause of her  
15    fever, right?

16          A     Correct.

17          Q     Would an upper respiratory infection also be  
18    a focus of the cause of the fever?

19          A     No.

20          Q     Why not?

21          A     It's just -- what one really -- I think the  
22    whole nuts and bolts of this is one identifies or tries  
23    to identify a treatable bacterial -- presumed bacterial

1 focus of infection **so** that one can then say this **is** the  
2 cause for the fever and, more importantly, this baby is  
3 going to be getting antibiotic therapy. So the issue  
4 of bacteremia is no longer a pressing issue.

5 A runny nose, a mild cold, is not **a** treatable  
6 cause for the fever, and so it would not count. It has  
7 not counted, in fact, in the -- in all the work of the  
8 research work that's been done looking at this -- at  
9 this issue of occult bacteremia or outpatient  
10 bacteremia, call it what you want. Even in the study  
11 that we did, a runny nose and a little bit of a red  
12 throat is not a reason to identify a focus.

13 Q I want you to assume that Dr. Kim did not  
14 make a clinical judgment on October 30 that the fever  
15 was not caused by the otitis media, and that's why he  
16 wrote down rule out fever of unknown origin. Assuming  
17 that to be true, what did the standard of care require  
18 him to do?

19 MS. KINCHLOE: Objection.

20 THE WITNESS: Assuming that he did not make a  
21 diagnosis of otitis media?

22 BY MR. FORET:

23 Q **No.** He made a diagnosis of otitis media, but

1 in his clinical judgment, that was not the focus of the  
2 fever.

3 MS. KINCHLOE: Objection.

4 THE WITNESS: I don't know how one can do  
5 that. I think, in fact, it's a little oxymoronic. I  
6 mean, the reason you look for otitis media is to  
7 explain fever. So I wouldn't -- I wouldn't --

8 BY MR. FORET:

9 Q You have trouble accepting it. I understand  
10 that. Please do so. Maybe I can ask the question this  
11 way. Let's assume that he did not identify a focus of  
12 the fever and not identifying a focus of the fever  
13 would be making a diagnosis of fever of unknown origin,  
14 correct?

15 A Yes. Well, yes.

16 Q Go ahead.

17 A Fever -- FUO is a precise definition that  
18 everybody sort of bastardizes, and it should be  
19 probably fever without focus. FUO means fever for  
20 weeks and so on.

21 Q In any event, if he had not identified a  
22 focus of the fever as of the visit on Tuesday, October  
23 30th, what did the standard of care require him to do?

1           A     I think at that juncture she would have been  
2     a baby who needed a CBC, to start, and then depending  
3     on the magnitude of the white count, a blood culture  
4     and a urinalysis would be considerations.

5                     The urinalysis, I think, would be a very  
6     important thing to do in the face of a baby whose  
7     physical exam doesn't show an upper respiratory  
8     infection. In this instance if you don't have a focus,  
9     all you have is copious runny nose and a very red  
10    throat, I think you do need a blood count. But a UA, I  
11    think, is still optional at that juncture because it  
12    would be unusual to see a urinary infection to present  
13    that way.

14           Q     Certainly we can probably agree that from  
15    what we know about Sujin Cho, a urinalysis wouldn't  
16    have shown anything at any time before one was finally  
17    done I guess, right?

18           A     Right.

19           Q     So if Sujin had presented to the visit on  
20    Tuesday, October 30th, and assuming Dr. Kim had not  
21    identified a focus of the fever, the standard of care  
22    would have required him to do a CBC, right?

23           A     That is right.

1           Q     Then the results of the CBC come back in  
2     within how long?

3           A     If his office does it, probably within half  
4     an hour. If he has to send the child to a lab across  
5     town or across the street, within a couple of hours.

6           Q     Then if the CBC comes back in that time frame  
7     and shows a white blood count of 15,000 or above, then  
8     the standard of care requires a blood culture?

9           A     That's right.

10          Q     If the white count comes back at 14,000, what  
11     do you do? Is it a clinical judgment?

12          A     It is a clinical judgment. I think most  
13     people really use the 15,000 cutoff. I do. Sometimes  
14     you see 15,502 and you say, I'm sorry, I'm not going to  
15     subject this child to a blood culture.

16          Q     Why not just do the -- since you're drawing  
17     the blood anyway, why not just do the CBC and the blood  
18     culture with the same specimen?

19          A     In fact, a lot of times that's what's done  
20     and the blood culture is inoculated and then sent to  
21     the lab to be processed if the blood count comes back  
22     high enough or thrown out of the blood count doesn't  
23     come back. It saves the parents some money, basically,

1 if you don't send a blood culture that's not necessary.

2 Q The blood culture results will be available  
3 within 24 hours?

4 A Well, at 24 hours in general. There is a  
5 preliminary reading and probably half of the  
6 bacteremias or more would be at least preliminarily  
7 diagnosable at that point, and then definitively at 48  
8 hours.

9 Q Had Sujin been bacteremic with what we now  
10 know she eventually had as of Tuesday, that type of  
11 bacteria would be showing on the culture within 24  
12 hours?

13 A In general, yes.

14 Q Then again, making the assumptions that I'm  
15 asking you to make, what would the standard of care  
16 have required Dr. Kim to do on Wednesday, October 31st,  
17 in the face of a positive blood culture?

18 A I think when notified of that positive blood  
19 culture, I think Dr. Kim would have to recall Sujin for  
20 reexamination and for a repeat blood culture, and at  
21 the bare minimum, assuming his therapy and so on,  
22 amoxicillin he had used, rendered this child normal,  
23 that's all he would have to do, and then continue



1 therapy.

2 If there were persistent symptoms at 24  
3 hours, he would then have to complete a workup, which  
4 would, in general, include a lumbar puncture.

5 Q What do you mean by persistent symptoms?

6 A Fever, specifically irritability, but some of  
7 the things she didn't have, poor feeding and a fever.

8 Q But if making all the assumptions that I  
9 made, and if the CBC comes back over 15,000 and the  
10 blood culture is done and that comes back positive and  
11 she still has a fever as of Wednesday, October 31, then  
12 a further workup would have been required under those  
13 facts?

14 A Yes. I think I said that.

15 Q I just wanted to make sure.

16 A Yes. Absolutely.

17 Q A further workup would have included a lumbar  
18 puncture at that time?

19 A Correct.

20 Q Now, had that all been done, again, based on  
21 all the assumptions I've asked you to make, her injury,  
22 her hearing loss would have been avoided, correct?

23 A Assuming no meningitis existed at the time of

1 the further workup, absolutely true. She would have  
2 avoided any and all nerve injury. If meningitis had  
3 already been there, unbeknownst to Dr. Kim at the time  
4 of the recall on Wednesday the 31st, depending on how  
5 aggressive the meningitis was, some or all of the  
6 injury could have been avoided.

7 Q What's your opinion as to when her meningitis  
8 developed? Would it depend on the antibiotic being  
9 given or stopped at all, or not?

10 A I think, again, it's not always easy to know  
11 when a baby develops meningitis, but if you look at the  
12 -- at the rendition of the events according to Ms. Cho,  
13 by Wednesday evening when the baby was no longer  
14 playful, looked exhausted, was lying all day, she  
15 certainly could be describing a baby who might have  
16 meningitis.

17 Also, you could be describing a baby who has  
18 become bacteremic, since she hadn't stopped the  
19 antibiotics by the time the call was made, it goes to  
20 what I said before, I think at that point the baby  
21 would have had meningitis, more likely than not, by  
22 Wednesday night.

23 Q That's predominantly based on Ms. Cho's

1 description of her, correct?

2 A Right. Entirely, because there's no other --  
3 now, I also said -- let me also balance that by saying  
4 the medical records written downstream are in somewhat  
5 of a conflict with that deposition testimony of Ms.  
6 Cho, namely the Shady Grove Hospital records and the  
7 Georgetown records speak to this baby improving with  
8 time. That's -- in fact, that's as far as they take  
9 it, to basically say the baby gets ampicillin  
10 intramuscularly, amoxicillin orally and gets better,  
11 only to have an unexpected seizure on Friday morning  
12 while being fed by, I guess, the caretaker  
13 grandparents.

14 So depending on which scenario really is a  
15 more accurate rendition of events, this baby may not  
16 have had meningitis on Wednesday.

17 Q Going back to my same assumptions and the  
18 blood culture has been done and it's come back and it's  
19 positive, we would now be at Wednesday, October 31, and  
20 the child still has fever. You've already told me that  
21 a workup should have been done which would have  
22 included a lumbar puncture.

23 What, if any, different antibiotics should

1 have been given while the workup was being done?

2 A Well, two things. If the baby looked okay,  
3 the workup could have been done as an outpatient. If  
4 the lumbar puncture were clear, a physician could --  
5 and a chest x-ray were done and that was normal, a  
6 number of other things, but the physician could have  
7 given an intramuscular injection of an antibiotic. I  
8 would use the one -- I think most people would have  
9 used the one that Dr. Freij mentions in his deposition,  
10 Ceftriaxone, which would, in fact, provide yet another  
11 24 hours worth of pretty aggressive therapy, and then  
12 the baby would be re-evaluated again.

13 Q Would the baby also be continued on oral  
14 antibiotics in addition to that IM, or just the IM  
15 shot?

16 A Just that one dose. That's it.

17 Q By the way, the dosage that Sujin was  
18 actually given by Dr. Kim, number one, we don't know  
19 what the dosage was **of** the IM ampicillin, correct?

20 A Yes.

21 Q But the dosage that we do know existed as to  
22 the oral amoxicillin, that would be a normal dosage for  
23 an otitis?

1           A     That is right.

2           Q     What would that -- assuming Sujin was  
3 bacteremic as of the beginning of Dr. Kim's  
4 medications, what would that dosage have done?

5           A     Well, certainly combined with an  
6 intramuscular dose of ampicillin and then followed with  
7 oral therapy to sort of consolidate that, the average  
8 child with pneumococcal bacteremia would be rendered  
9 normal, would be treated.

10                     In fact, to jump maybe to one of your future  
11 questions, that is why there is no pressure to get a  
12 blood culture on a child with a defined treatable  
13 source of infection, because it's understood that  
14 conventional therapy for that source will treat a  
15 bacteremia, if it happens to be present, as it might be  
16 even with otitis media.

17                     So in this instance, what one would normally  
18 expect would be return to normalcy in 24 to 36 hours  
19 with that oral therapy, even if the baby had a  
20 bacteremia with or without otitis media.

21           Q     Say that last part again.

22           A     Normally one would expect, whether there was  
23 otitis media with bacteremia or bacteremia alone,

1 intramuscular ampicillin followed by oral amoxicillin  
2 should treat the disease.

3 Q So now what causes a child with bacteremia to  
4 develop meningitis?

5 A Well, the long answer to your question is  
6 that some children already have meningitis when the  
7 physician treats for what he thinks to be bacteremia.  
8 No one has a crystal ball. Early, early, early  
9 meningitis, it can't be -- I'm sorry -- it can't be  
10 identified by a physician clinically. So there are  
11 cases on record where therapy for bacteremia fails  
12 because meningitis is already extant.

13 Q So if that's the case, the dosages that she  
14 were receiving don't do anything?

15 A No dose would have made any difference of any  
16 oral or IM antibiotic as an outpatient. The second is  
17 that there is -- there is a defined failure rate to the  
18 parenteral or oral therapy for bacteremia that exists.  
19 Some fraction of kids will continue to have bacteremia  
20 and seed their meninges. To some degree I think it's a  
21 race. I think it's a race between the load of bacteria  
22 in the body, the rapidity with which the antibiotic  
23 gets absorbed, the height of the blood level of the

1       antibiotic, the resistance or partial resistance of the  
2       agent and the immune response of the host.

3               I think all these things figure into an  
4       equation sometimes, which is solved not in the  
5       patient's best interest and the patient gets  
6       meningitis, even though it's being treated for the  
7       illness that precedes the meningitis, namely  
8       bacteremia.

9           Q     What does the blood-brain barrier have to do  
10       with any of this, if anything?

11          A     Well, not too much, really. I think when you  
12       use intramuscular ampicillin, you're going to cross the  
13       blood-brain barrier. Oral amoxicillin wouldn't give  
14       much of a CSF level, but a good slug of ampicillin  
15       intramuscularly would be how we treat meningitis so it  
16       gets in. I don't think it has much to do with the  
17       blood-brain barrier.

18          Q     You agree that Sujin's hearing loss was  
19       caused by her meningitis?

20          A     Yes.

21          Q     Can you quantify when, in the course of her  
22       meningitis illness, that she suffered what is her  
23       current injury, her bilateral hearing loss?

1           A     My view is that it was probably a progressive  
2     injury over the course of however long her meningitis  
3     existed before therapy was first instituted.

4           Q     Of course therapy being first instituted was  
5     done --

6           A     Shady Grove Hospital early afternoon of the  
7     2nd.

8           Q     Did the injury continue even after the Shady  
9     Grove therapy began?

10          A     Probably.

11          Q     For how long?

12          A     I don't think anybody can quantitate that.

13          Q     Can you get to a date in the course of her  
14     treatment where you can say more probably than not no  
15     additional injury occurred after that date?

16          A     Well, I mean, it would be almost a  
17     guesstimate in my view. I don't think there's any  
18     scientific data to support anyone's judgment about  
19     that. My view, certainly by the time the repeat lumbar  
20     puncture was done.

21          Q     Here at Georgetown?

22          A     Here, and the cell count had fallen to 600  
23     there is very strong evidence of shutting off the



1 inflammatory response.

2 Q Is your opinion that steroids do prevent  
3 hearing loss?

4 A Well, you asked a very hard question. I  
5 don't think there's any question in my mind that  
6 steroids prevent hearing loss in hemophilus meningitis.  
7 In my view -- which could change tomorrow, depending on  
8 what's published -- my view is that they probably  
9 provide some benefit to pneumococcal meningitis and do  
10 prevent some pneumococcal meningitis from developing  
11 into hearing loss.

12 But I would say it's an opinion that is with  
13 medical certainty, but there's a lot of work being done  
14 on this and it could change at any time.

15 Q Sujin was treated with steroids here in 1990,  
16 correct?

17 A Right.

18 Q You still treat the same today?

19 A Today, you know, the American Academy has  
20 come out on record saying that steroids are not  
21 indicated in the treatment of bacterial meningitis.  
22 You can do it if you want, but it's not standard care.

23 More work is being done on the -- that's

1           because hemophilus has disappeared.

2           Q     Because of the vaccine?

3           A     Exactly.

4           Q     More work is being done on the pneumococcal  
5           thing. There was just two articles out recently that  
6           are pro-steroids, but to me that is not standard care  
7           yet. Would I do it myself? Yes. Would my partner **do**  
8           it? She may not and I wouldn't criticize her for not  
9           doing it.

10          Q     But you, yourself, would still treat a child  
11          in Sujin's condition the same today as she was treated  
12          in 1990?

13          A     Yes.

14          Q     Is your experience here at Georgetown -- you  
15          probably just answered this, but let me make sure --  
16          your experience is the use of steroids does prevent  
17          hearing loss in pneumococcal meningitis?

18          A     I wouldn't say my experience has been. It's  
19          my belief that it does. I don't know that we've had  
20          enough cases where steroids are used and enough cases  
21          where they weren't to make a -- you know, a scientific  
22          judgment.

23                   I'll tell you quite honestly, one **of** the

1 reasons I would do it is, just like Dr. Freij, I  
2 trained under Dr. McCracken, and the man is a  
3 spectacular researcher. He is a genius when it comes  
4 to formulating answers to clinical questions, and I'd  
5 take his opinion with a very, very strong bias.

6 Q He's of the opinion that steroids help?

7 A He is **of** the opinion that steroids help. **So**  
8 I'm not going to -- he's probably right. That's what  
9 I'm saying. Until someone proves him wrong, I think  
10 he's probably right.

11 Q One of the problems is that in terms of  
12 numbers of patients in the studies, even as of today  
13 the numbers are still mostly for hemophilus meningitis?

14 A True.

15 Q Although that's now starting to change?

16 A Right.

17 Q What's the current status of the pneumococcal  
18 vaccine?

19 A The pneumococcal vaccine is -- the one that's  
20 presently available is not immunogenic under the age of  
21 two years. It does not produce an antibody to the  
22 capsular polysaccharide that's injected.

23 In the next year there will be a series of

1 vaccines probably coming out that are like the  
2 hemophilus vaccine, which will be what are known as  
3 conjugated vaccines, which are pneumococcal antigens  
4 linked to proteins that trick the immune response even  
5 at two months of age to produce antibody against those  
6 antigens, just as the hemophilus vaccine now is  
7 conjugated.

8 It sort of tricks the immune response to work  
9 earlier than it normally would. It's going to be at  
10 least a year, maybe several years, before there'll be a  
11 vaccine program.

12 Q Now, the current pneumococcal vaccine is used  
13 in children over two years of age, but not every child?

14 A Oh, no. There are certain indicated --  
15 sickle cell anemia, asplenic patients. What else?

16 Q There are certain indications when it's not  
17 done?

18 A This is not a board exam. I don't have to  
19 know.

20 Q That's right. In answering the question as  
21 to when -- at what point in time treatment would have  
22 stopped or prevented Sujin's injury, is there any  
23 significance to the initial CBC findings at Shady Grove

1 to you in terms of the protein, the glucose, the  
2 sodium, whatever?

3 A The CBC was 13,000, I think. You're talking  
4 about the blood count?

5 Q Correct. Yes.

6 A No. There's no -- to me that doesn't shed  
7 any light on how severe the meningitis was, how  
8 longstanding it was.

9 Q What about the initial lumbar puncture findings?

10 A That spinal fluid finding, unfortunately, was  
11 done 24 hours after admission, so 24 hours into  
12 therapy. So it doesn't shed any real light on duration  
13 of illness, severity of disease, CSF findings and  
14 meningitis can go very high with therapy.

15 Q So the only -- I just want to make sure I'm  
16 correct. The only significance in terms of any -- any  
17 of the lumbar puncture findings was from the second one  
18 when the cell count had dropped to 600, which would  
19 indicate the disease process is stopping?

20 A Right. Just to complete my answer about  
21 that, that spinal fluid was done on the fourth and  
22 fifth day of therapy, and it might have been that low  
23 even two days earlier. I don't know, because it wasn't

1       done. It wasn't needed to be done.

2           Q     Can you render an opinion as to assuming that  
3       proper therapy had been started, for whatever reason,  
4       as of Thursday, November 1, whether or not her  
5       bilateral hearing loss would have been prevented?

6           A     So you're talking, like -- go back 24 hours,  
7       say she had come in --

8           Q     Yes.

9           A     I think, you know, certainly she would have  
10      had an improved prognosis. Whether it would have been  
11      ameliorated to any degree, I don't really know. I  
12      would, if you want -- if I was forced to say yea or  
13      nay, I would say yea.

14                   I would say probably 24 hours earlier therapy  
15      would have made some difference in her ability to hear,  
16      at least in one ear. But it's a reluctant opinion  
17      without a lot of scientific background. **If** you go two  
18      days --

19           Q     But we just don't have the scientific  
20      background in this case?

21           A     Right.

22           Q     I'm sorry. If you go two days earlier, 48  
23      hours, your opinion becomes much stronger?

1           A     I would have really -- I would have no  
2           reluctance to say, yeah, I think she probably would  
3           have had significant preservation of her hearing, at  
4           least in one ear, assuming she got standard therapy at  
5           that juncture.

6           Q     Well, assuming she got the therapy that she  
7           got.

8           A     Okay. Yes.

9           Q     Would it also be more probable than not that  
10          she would have had improvement in both ears two days  
11          earlier?

12          A     The way the literature talks about it, it's  
13          one ear, so I don't know.

14          Q     What about you, your experience, your  
15          opinion? I mean, you're entitled to render these.

16          A     Well, see, I think the issue that comes to my  
17          mind, really, that is the only -- the real crucial  
18          issue is would she have enough hearing so that her  
19          speech would be intact, and you only need one ear for  
20          that. That's how the data is always presented in the  
21          articles, so I don't know. Two ears I don't know.

22          Q     But significant improvement in one ear makes  
23          a big, big difference, particularly as to speech

1 development, correct?

2 A Yes.

3 Q Have there been any other cases where you  
4 have been a treating physician -- you're a treating  
5 physician in this case, correct?

6 A Yes.

7 Q Have there been any other cases where you've  
8 been a treating physician where you've also rendered  
9 expert testimony?

10 A This is the only one. As far as I can  
11 recollect, yes. In the Kozup case I wasn't even -- I  
12 wasn't deposed, I was sort of interviewed.

13 Q The which one?

14 A Kozup, the AIDS baby that I told you about.  
15 I don't believe there's any other -- any other cases.

16 Q Why is that okay, in your opinion, in your  
17 capacity as a treating physician, to also become an  
18 expert in the case?

19 A Why is it okay? I don't think morally  
20 there's any different with being a treating physician  
21 or not being a treating physician and giving an expert  
22 opinion or expert witness testimony.

23 I don't even see there's a -- maybe you could



1           give me, from your side, why -- why you think there  
2           would be a problem.

3           Q     I can't. I can't today.

4           A     Okay.

5                     MS. KINCHLOE: You can't do it today?

6                     BY MR. FORET:

7           Q     Let me ask you this. You will agree with me  
8           that, from a patient confidentiality point of view,  
9           nobody on behalf **of** the Cho family has waived the  
10          physician-patient privilege between you and Sujin Cho,  
11          correct?

12          A     Not that I know of. I would have to get a  
13          release from them.

14          Q     What **do** you mean you'd guess you'd have to  
15          get a release from them?

16          A     To waive physician-patient -- in other words,  
17          if I wanted to go to the press and say I have this  
18          case, I wouldn't be able to do that without their  
19          written consent, right?

20          Q     I mean, these questions aren't easy, but what  
21          the heck. I mean, why was it okay for you to get  
22          involved looking at this case and discussing it, then,  
23          with Ms. Kinchloe, and I'm not saying anybody did

1 anything wrong, but I'm also at the same time not  
2 saying anybody did anything right, just to be fair,  
3 without such a waiver from the Cho family?

4 MS. KINCHLOE: Objection. You're asking him  
5 a legal question, because there's a legal basis.

6 MR. FORET: I'm not.

7 MS. KINCHLOE: Yes, you are.

8 MR. FORET: No, I'm not. I'm not asking a  
9 legal question in my opinion, because I'm just asking  
10 him why he felt it was okay, whether there's a legal  
11 basis or not. If that's your answer, that's your  
12 answer.

13 MS. KINCHLOE: Well, I think that you are,  
14 because what you're asking him to do is to assume or  
15 having him assume that in speaking with me about the  
16 case he has violated that privilege, and he hasn't, and  
17 you know it.

18 MR. FORET: No. I don't agree with you.

19 MS. KINCHLOE: Yes, you know it.

20 MR. FORET: No, I don't. There's a case in  
21 D.C. which is not very specific in terms of, in my  
22 humble opinion, what it stands for, but if that's your  
23 answer, that's your answer.

1 BY MR. FORET:

2 Q I mean, is that your answer?

3 A I don't even know what your that refers to.  
4 I'm not sure if that that refers to Ms. Kinchloe's  
5 explication of your answer --

6 Q I want to know in your own mind why you felt  
7 it was okay to review this case without a written  
8 authorization from the Cho family?

9 MS. KINCHLOE: Can I just add one more thing?

10 MR. FORET: Yes.

11 MS. KINCHLOE: He would have reviewed the  
12 case not in terms of his care and treatment, but he  
13 reviewed the case in terms of someone else's care and  
14 treatment.

15 MR. FORET: I agree with that.

16 MS. KINCHLOE: That doesn't have anything to  
17 do with his relationship with the Chos.

18 BY MR. FORET:

19 Q Now, can you answer my question?

20 A Well, I mean, my first answer would be, which  
21 is not a legal but more of a medical answer, that --

22 *a* Which is what I'm asking.

23 A -- that my recollection is Ms. Kinchloe

1       called me to review the case, knowing that I was a  
2       treating physician **of** this baby while the baby was here  
3       at Georgetown, that she had seen the records and I  
4       don't know whether that's why she called me or not, but  
5       I assume that this is kosher.

6               When a physician gets sued, anybody can be  
7       asked to be an expert, and it doesn't matter that you  
8       were a treating physician. That was my assumption.

9               MR. FORET: No questions. No more questions.

10              MS. KINCHLOE: Thanks.

11              MR. FORET: You have no questions?

12              MS. KINCHLOE: No.

13               (Whereupon, at 4:33 p.m., the deposition **of**  
14       RAOUL L. WIENTZEN, **JR.**, M.D. was concluded.)

15                               \* \* \* \* \*

16               (Signature waived.)

## CERTIFICATE OF NOTARY PUBLIC

I, Eva M. Bridget, the officer before whom the foregoing deposition was taken, do hereby certify that the witness whose testimony appears in the foregoing deposition was duly sworn by me; that the testimony of said witness was taken by me using stenomask dictation and thereafter reduced to typewriting under my direction, that said deposition is a true record of the testimony given by said witness; that I am neither counsel for, related to, nor employed by any of the parties to the action in which this deposition is taken; and, further, that I am not a relative or employee of any attorney or counsel employed by the parties hereto, nor financially or otherwise interested in the outcome of this action.

---

Notary Public in and for the  
District of Columbia

My commission expires:  
February 28, 1998