

#662¹

IN THE CIRCUIT COURT OF THE SIXTH
JUDICIAL CIRCUIT OF THE STATE OF FLORIDA
IN AND FOR PASCO COUNTY COUNTY
CASE NO.: 94-343-CA

CYNTHIA MESIEMORE, as
Personal Representative
of the Estate of
CHRISTOPHER SHELLEY, a
minor, deceased,

COPY

PLAINTIFF

vs.

: DIVISION "Y"

EAST PASCO MEDICAL CENTER,
INC., EAST PASCO EMERGENCY
CONSULTANTS, ELIZABETH
DEMERS, D.O., AND ALICIA
FERNANDEZ-GARCIA, M.D.,

:
:
:
:

DEFENDANTS

:

Washington, D.C.

Wednesday, October 25, 1995

DEPOSITION OF

Raoul L. Wientzen, Jr., M.D.,

an Expert Medical Witness, was called for examination
by counsel for the Plaintiff, pursuant to notice,
taken at Georgetown University Medical Center, 3800
Reservoir Road, N.W., Bles Building, Room 5036, at

FRIEDLI, WOLFF & PASTORE, INC.
1735 EYE STREET, N.W., SUITE 920
WASHINGTON, D.C. 20006
(202) 331-1981

1 beginning at approximately 2 P.M., before Brenda
2 Hornstein, CSR, a notary public in and for the
3 District of Columbia, when were present on behalf of
4 the respective parties:

5 FOR THE PLAINTIFF:

6 Dennis A. Lopez, P.A.
7 BY: Dennis A. Lopez, Esq.
8 210 Pierce Street
Tampa, FL 33602
813-223-1977

9 FOR THE DEFENDANT EAST PASCO MEDICAL CENTER:

10 Cameron, Mariott, Walsh, Hodges and D'Assaro, P.C.
11 BY: Christopher C. Coleman, Esq.
12 18 Northeast First Avenue
Post Office Box 5549
Ocala, FL 34478-5549
904-351-1119

13 FOR THE DEFENDANTS DAVID WILCHER, D.O., ELIZABETH
14 DEMERS, D.O., AND EAST PASCO EMERGENCY CONSULTANTS,
15 INC. :

16 Shear, Newman, Hahn & Rosenkranz
17 BY: Glenn M. Burton, Esq.
18 201 E. Kennedy Boulevard
Suite 1000
Tampa, FL 33601
(813) 228-8530

19

20

21

22

FOR THE DEFENDANT ALICIA FERNANDEZ-GARCIA, M.D.:

Freeman, Hunter & Malloy
 BY: C. Howard Hunter
 Suite 1950
 201 East Kennedy Boulevard,
 Tampa, FL 33602
 (813) 222-8200

- - -
 C O N T E N T S

WITNESS:
 Raoul L. Wientzen, Jr.

EXAMINATION BY:
 (Mr. Lopez)

4

E X H I B I T S
 EXHIBIT NO. FOR IDENTIFICATION

1	Curriculum vitae.	14
2	Letter, September 8, 1994.	23
3	Letter July 31, 1995,.	23
4	Letter, September 27, 1995.	23
5	Deposition of Cindy Lynn Mesiemore.	74
6	Condensed Deposition of Paula Leach, R.N.	74
7	Deposition of Patricia Ann Johnson, R.N.	74
8	Condensed Deposition of Dr. Andiman, M.D.	74
9	Condensed Deposition of Dr. Franklin, M.D.	74

(Exhibits attached to transcript.)

1 Whereupon,

2 Raoul L. Wientzen, Jr., M.D.,
3 the Expert Medical Witness herein, called for
4 examination by counsel for the Plaintiff, having been
5 duly sworn to tell the truth, the whole truth, and
6 nothing but the truth, was examined and testified as
7 follows:

8 EXAMINATION BY MR. LOPEZ:

9 Q Would you state your full name, please.

10 A My name is Raoul L. Wientzen, Jr., M.D.

11 Q Is it pronounced Wientzen?

12 A Wientzen, yes.

13 Q Your occupation?

14 A I'm a pediatrician. I'm a physician who's
15 a pediatrician that deals with infectious diseases in
16 children.

17 Q Dr. Wientzen, my name is Dennis Lopez. I
18 represent a lady named Cynthia Mesimore's concerning
19 the death of her three-year-old son in 1991.

20 I'm going to ask you some questions today.
21 If at anytime I'm not clear or you're not 100 percent
22 sure what my question is seeking to elicit from you,

would you let me know so that I can repeat it
2 rephrase it?

3 A Agreed.

4 Q Have you given a deposition before?

5 A Yes, I have.

6 Q Approximately how many times?

7 A Probably four or five times a year for the
8 last few years. Prior to that, fewer.

9 Q Doctor, you indicated you're a
10 pediatrician, did you say, with a specialty in
11 infectious disease?

12 A Correct.

13 Q I noted on your C.V. that you did a
14 fellowship in infectious disease. Is that right?

15 A Pediatric infectious disease.

16 Q I was curious that the fellowship was in
17 two different locations, one year at each. Tell me
18 about that, please.

19 A Actually, it wasn't. It's a single
20 combined program. That's just the way the sheet
21 appears to read, at the Parkland Memorial Hospital
22 and Dallas Children's Hospital are co-joint hospitals

1 in it's a single program.

2 Q You listed it as one year at one and one
3 year at the other. Is that right?

4 A I don't remember how it's listed, but it
5 may be.

6 Q Do you have your C.V. handy?

7 A Actually, I brought a copy **up** and I don't
8 know where it went.

9 Q Well, I've got a copy here.

10 A (Indicating.) Yeah, that's how it's
11 listed, but it probably should be fisted as a single
12 experience for two years in the same place.

13 Q One is the University of Texas Health
14 Science Center at Dallas, Southwestern Medical
15 School. That was the year '75 to '76. Correct?

16 A That's how it's listed, yes.

17 Q And then the '76 to 1977 listing is a
18 fellow in pediatric infectious disease at Parkland
19 Memorial Hospital and Children's Medical Center of
20 Dallas. Correct?

21 A Right.

22 Q What does your fellowship certificate

indicate? Who awarded you a fellowship certificate?

2 A I think it's from the University of Texas
3 Health Science Center in Dallas, Texas.

4 Q The reason I ask, most of my experience has
5 been that fellowships of two years' duration usually
6 take place under one moniker, if you will. Has that
7 been your experience?

8 A And that's how mine was, yes.

9 Q But you prepared this?

10 A My secretary prepared it, probably, 15
11 years ago.

12 Q So there's nothing unusual about this
13 fellowship?

14 A No.

15 Q You touched on your previous instances of
16 depositions. Just let me get this cleared up out of
17 the way. Approximately how regularly in your
18 practice do you get involved in medical malpractice
19 cases?

20 A Well, from the standpoint of reviewing
21 cases, I probably review a dozen or 15 cases a year.

22 Q And of those dozen or 15, do you review

1 cases both on behalf of prospective defendants as
2 well as injured people?

3 A Yes.

4 Q Do you keep records to indicate what
5 percentage of each of those you get involved in?

6 A Just in my mind.

7 Q Tell me, in your mind, how that breaks
8 down.

9 A Right now, it probably breaks down
10 two-thirds, defense, one-third, plaintiff.

11 Q Have you ever been involved in a case as an
12 expert in which a claim of a misdiagnosis of an
13 infection of a child was the issue or one of the
14 issues?

15 A Yes. You mean, against me?

16 Q Not personally against you.

17 A No, not personally against me, but I have,
18 yes.

19 Q Approximately how many other cases of that
20 type other than this one have you been involved in as
21 an expert?

22 A Well, probably 95 percent of the work that

1 I've done over the years for pediatric or, rather,
2 for the medical/legal arena has been in some way,
3 shape, or form involved with infectious diseases in
4 children, so it's the vast majority of times that
5 I've reviewed a case that has to do with an
6 infectious disease that was misdiagnosed or
7 mistreated or a problem with it.

8 Q Do you maintain a list of the cases and
9 lawyers you've worked with and for?

10 A No, I don't.

11 Q Do *you* have anything other than your
12 memory to rely upon to provide me with information
13 about that?

14 A No.

15 Q When's the last time you've testified in
16 court?

17 A The last trial that I went to, I would say,
18 was probably March of this year.

19 Q Where was that, sir?

20 A That was in Florida.

21 Q And who was the attorney that you were
22 employed by?

1 A I was a plaintiff's expert witness in a
2 case in Naples, Florida, and the attorney was
3 **Mr. Marvin Weinstein** from Miami, **I** think.

4 Q Do you remember, prior to that, when's the
5 last time you testified in a trial?

6 A I don't. I couldn't tell you.

7 Q Was it within the last year or so?

8 A Probably.

9 Q You just don't recall?

10 A That's right.

11 Q You've told me about depositions. Tell me
12 how many times in your practice you've actually
13 testified at a trial, in a medical malpractice
14 matter.

15 A Probably 10 or 12 times in the last 15
16 years.

17 Q What was the issues in the case in which, I
18 think you said, Mr. Weinstein --

19 A **Yes.**

20 Q -- hired you?

21 A This was a Haitian young man who developed
22 a fever, was brought to an Emergency Room, had an

1 evaluation in the Emergency Room. A blood culture
2 was obtained.

3 The child was sent home, I believe, on
4 amoxicillyn therapy for a potential ear infection,
5 And then the next day or two days later, the results
6 of the blood culture came back positive for
7 Haemophilus influenzae and no one notified the
8 family.

9 The child went on to develop bacterial
10 meningitis and had a serious neurologic injury. In
11 the interim, the child was seen by a second ER, in a
12 second ER in another city close to where the original
13 visit occurred.

14 And I can't remember the specifics of what
15 happened in that ER visit, but there was no, no
16 identification of the prior blood culture made at
17 that time either, so, basically, it was a
18 misdiagnosis of meningitis.

19 Q At the original hospital?

20 A At the first. I believe it was the first.
21 It's been almost a year as I read the information,
22 but I believe it was the first visit, yeah.

1 Q Do you remember the name of the plaintiff
2 in that case?

3 A The name of the plaintiff?

4 Q The one who you were testifying on behalf
5 of?

6 A Yeah. Pierre, LaFortune Pierre.

7 Q Last name, Pierre?

8 A I believe his last name was Pierre.

9 Q LaFortune?

10 A Was his first name.

11 Q Was the antibiotic therapy that was
12 prescribed in that case not appropriate the for the
13 condition?

14 A No, it was. The initial antibiotic therapy
15 was appropriate.

16 Q What were your opinions in that case?

17 A Well, my first opinion, as I read the case,
18 was that the hospital, certainly, failed to notify
19 the family.

20 Q That?

21 A That this child has a positive blood
22 culture.

1 Q Even though they had already administered
2 antibiotic treatment before he left the hospital?

3 A Before he left the Emergency Room, yeah, **he**
4 was administered, I believe, oral amoxicycllin.

5 Q Was that oral amoxicycllin appropriate
6 treatment for the condition which the hospital failed
7 to notify the family of?

8 A **No**, it was not. The organism was resistant
9 **to** amoxicycllin, but the amoxicycllin was appropriate
10 to the initial evaluation of the child, the initial
11 therapy.

12 Now, **I** may be wrong. It may well have been
13 the first ER visit, there was no amoxicycllin given,
14 and it was a second ER visit the amoxicycllin was
15 given. I can't remember.

16 Q At another hospital?

17 A At the second visit. I don't remember
18 how --

19 Q Okay. But you testified on behalf of the
20 plaintiffs?

21 A Yes, I did.

22 And do recall that on of your testimonial

1 points was that, I take it, that the hospital erred
2 in not notifying the patient there had been a
3 positive blood culture. Is that correct?

4 A Right.

5 Q What were some of your other opinions,
6 professional opinions, in that case?

7 A If I remember right, there was, at the time
8 of the second ER visit, I think I was somewhat
9 critical of the management of the child and I don't
10 remember the specifics as to exactly what the second
11 ER visit's management was lacking, but I thought
12 there was.

13 THE WITNESS: Can I interrupt you? I'm
14 sorry. I know you have a train of thought, but I
15 just got paged. You know, I'm on service. I have
16 people that's supposed to be covering for me. I'm
17 not sure why they didn't get it, but can I answer
18 this?

19 MR. LOPEZ: Sure.

20 (Break was taken.)

21 (Discussion off the record.)

22 (Exhibit No. 1 marked.)

1 THE WITNESS: Can I add just one quick
2 question, one quick report about my C.V.?

3 BY MR. LOPEZ:

4 Q Certainly, Doctor.

5 A Not only is it poorly typed, but there are
6 things missing on there that probably should be on
7 there that will be on there the next time.

8 Q This is an exhibit. I don't know how I got
9 an exhibit stamp. Is that from this deposition? Did
10 you just put that on?

11 (Discussion off the record.)

12 Q Take a look at it and tell us what's
13 incorrect or needs updating, please, as to your CV.

14 A Specifically, with respect to boards, I now
15 am a diplomate of the subboard in pediatric
16 infectious diseases that was given for the first time
17 last year, and I took it and passed it successfully
18 at Chapel Hill, North Carolina.

19 With respect to licensures, I'm now
20 licensed in Virginia and Maryland, as well as D.C.
21 With respect to awards, I was awarded the teacher of
22 the year award again last year. And with respect

1 to --

2 Q '94?

3 A Well, let's see. It would have been in
4 June of this year, so '95.

5 Q So you won it in '77 and '95?

6 A Right. And that's, I mean, from the
7 standpoint of, I couldn't tell you about the
8 publications, but they are the features that I would
9 add to it right now.

10 Q You said a diplomate of the subboard of
11 pediatric infectious diseases?

12 A Correct.

13 Q What organization confers that board
14 status?

15 A The American Board of Pediatrics.

16 Q Is that a new board or subboard?

17 A Brand new board. It was just formulated
18 and had given its first exam this past November.

19 Q November of '94?

20 A Correct.

21 Q And you took it then on the first time --
22 Yes.

1 Q -- and you passed both parts? It's written
2 and oral?

3 A No. It's just an oral, oral exam. I
4 passed it in the 90th percentile.

5 Q You've told me you can't recall any other
6 cases that you've testified in court in other than
7 the one in Naples.

8 A I don't think I said that.

9 Q I thought you did earlier.

10 A No.

11 Q Okay. Tell me other cases you've testified
12 in court in.

13 A For the same firm, Mr. Weinstein's firm, I
14 was an expert and appeared in trial in Florida a
15 number of years ago on a child who had a misdiagnosis
16 of pneumothorax, in fact.

17 Q Do you remember the name of that case?

18 A No, I'm sorry. I don't,

19 Q Okay. Approximately when was it?

20 A Probably 1983 or '84. And then I was an
21 expert for Mr Carl Santone as a defens witness in a
22 case of croupy stre meningitis and in one oth case

1 that's too hard to even describe what it was about.

2 I was an expert witness for a Mr. Watson, I
3 believe, in a case of Haemophilus meningitis as a
4 defense expert, and from the standpoint of Florida,
5 that's all the cases I think I've done.

6 Q Okay. I appreciate you confining that to
7 Florida for my benefit. My question didn't, but can
8 you first tell me where Mr. Carl Santone is located?

9 A Right now, I think he's retired in
10 Charlottesville, Virginia, living the life of a
11 gentleman farmer.

12 Q Where did he practice prior, when you
13 worked with him?

14 A I think it was West Palm Beach, but that's
15 more of guess than --

16 Q Approximately when?

17 A The last time I appeared for him would have
18 been, probably, 1991 or so.

19 Q You've worked with him on more than one
20 occasion?

21 A As I mentioned, two times, yes.

22 Q I'm sorry. I misunderstood. You mentioned

1 a Mr. Watson. Was that the plaintiff's name in the
2 second case or was that an attorney's name?

3 A He's an attorney. He's a defense attorney.

4 Q Where is he located?

5 A Again, I think it's West Palm Beach, but I
6 don't know.

7 Q Do you remember his first name?

8 A I think it's Roy.

9 Q Have you worked as an expert in or been
10 asked to review a case or given testimony or any
11 involvement of that type in a case involving an
12 infection in a patient that had chicken box or
13 varicella, similar to this case, and when I say
14 similar to this case, only in terms of infection with
15 chicken pox?

16 A No, I don't think so. There was a prior
17 case where the child actually had disseminated
18 varicella, but no bacterial superinfection.

19 Q When were you first contacted about this
20 case, Doctor, and by whom?

21 A Well, I have a cover letter to me dated
22 December 8th, 1994, from Mr. Coleman, and it refers

to a recent telephone conversation, so m first
2 contact would have been about that date, probably,
3 from Mr. Coleman.

4 Q Do you know how Mr. Coleman obtained your
5 name?

6 A No, I don't.

7 Q You never learned that or --

8 A Well, he may have told me when he first
9 contacted me, but I certainly don't remember it right
10 now.

11 Q Have you ever previously worked for this
12 particular hospital as an expert in any capacity?

13 A Not to my knowledge.

14 Q How about the hospital corporation that
15 owns this hospital?

16 A I couldn't tell you the name of that
17 corporation to know whether I did or not, but I don't
18 think so.

19 Q Before today, had you met or had any
20 communications with any of the other lawyers or
21 parties to this lawsuit?

22 A No.

1 Q When I walked in prior to today's meeting,
2 I found, at 1:30 today, a half hour before the depo,
3 I found you in a conference with Mr. Coleman and
4 Mr. Hunter. Was that the first time you had any
5 contact or discussions with Mr. Hunter?

6 A Yes.

7 Q And you understand that Mr. Hunter
8 represents the pediatrician in this case. Is that
9 correct?

10 A I did. I did after he told me that. Yes,
11 I understood it.

12 Q How was it that Mr. Hunter was able to be
13 privy here at your predeposition conference
14 concerning this case?

15 A I think Mr. Coleman invited him in.

16 Q Did you and Mr. Hunter have any
17 discussions?

18 A We had a trilateral discussion about the
19 physiology of Group A strep sepsis.

20 Q When you say trilateral, yourself,
21 Mr. Coleman, and Mr. Hunter?

22 Correct.

And how long did you all meet today?

2 A I met with Mr. Coleman, probably, for 15 or
3 20 minutes before Mr. Hunter popped his head in the
4 conference room and was invited in, and **so** we met
5 from then until, probably, 10 to two or something.

6 Q When did all that start, though?

7 A 1 o'clock.

8 Q When Mr. Coleman first called you on the
9 telephone, what, if anything, did he ask you to do **a t** ,
10 that time or what did he discuss?

11 A I don't have a real recollection of that
12 conversation, but I can presume that he told me about
13 this case and asked me if I would review it,
14 specifically with respect to the nursing care and the
15 child's overall illness.

16 Q **Okay, sir. You mentioned a letter. May I**
17 take **a** look at that letter?

18 A Sure. There are actually three letters.
19 (Indicating.)

20 Q Okay. Thank you. (Indicating.) The red
21 pen writing on the letter, September 8th, **1994**, is
22 that yours?

1 A Yes, and on the back, too.

2 Q Thank you. I appreciate that.

3 MR. LOPEZ: If we may, Madam Court
4 Reporter, let's go ahead and, before I forget --

5 THE WITNESS: I took the liberty of making
6 some copies of the letters and the handwritten notes
7 so that I could keep my own, if it's okay with you.

8 MR. LOPEZ: That's fine. I appreciate
9 that. Let's go ahead and mark as Plaintiff's next
10 three exhibits in line, the letter of September 8th,
11 1994. That's a composite exhibit in that it's got
12 handwritings on the back of the letter, and I believe
13 the Doctor has indicated he's made a legible copy of
14 that.

15 (Exhibit 2 marked.)

16 THE WITNESS: Not legible.

17 MR. LOPEZ: Well, I was presumptuous. You
18 are correct. It is not legible. We'll work off of
19 your copy, and the next exhibit in line, the
20 September 27th, 1995 letter from Mr. Coleman to
21 Dr. Wientzen. Am I saying that correctly?

22 (Exhibit 3 marked.)

THE WITNESS: That's fine.

MR. LOPEZ: And the next in fine, the July 31st 1995 letter from Mr. Coleman to Dr. Wientzen.

(Exhibit 4 marked.)

BY MR. LOPEZ:

Q Concerning this first letter, Doctor, dated September, 8th, 1994, all the red pen writings that's on the front and back, were those made at the same time by you?

A I'd say 98 percent of it was. I added, over the course of return visits to the records, various labs values that weren't apparent to me at the first go-round, but the vast majority of it was done at one sitting when I went through the medical records.

Q And, I take it, it was sometime close to receiving this letter from Mr. Coleman?

A It depends on how busy my life was in September of '94. I don't know. It could have been months after that.

MR. LOPEZ: May I take a moment, again, to see if I can --

1

2

BY MR. LOPEZ:

3

4

5

Q Doctor, would you be so kind as to read to us what your notes are in red there on that September 8th, 1994 letter.

6

7

A All right. On the front page of the letter --

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

Q Yes, sir.

A It's No. 1, charting in hospital by nurses, colon, problems and departures. Underneath it I have, no initial assessment on day shift. Underneath it I have, few notes from about 5 p.m. to 9 p.m., and then I have a question mark, emergencies ongoing. And then I have no I&O sheet, input and output sheet.

No. 2, survivability after admission to East Pasco at about 2. I have, colon, no standard intervention would have changed outcome.

Q Read No. 2, again, please.

A Survivability after admission to East Pasco at about 2 p.m. No standard intervention would have changed outcome. Do you want me to read the back,

1 too?

2 Q Please.

3 A It's going to take a very long time.

4 Q Well --

5 A Can I read the important parts?

6 Q Just go ahead and read through it, please.

7 A Christopher Shelley, 3 years, 9 months,
8 East Pasco Medical Center ER. Dr. David Wilcher. I
9 have 4/24/91, 7:11 a.m., and then crossed out
10 underneath it, I have 4/23/91, 5:36 p.m., Tuesday.
11 Onset of varicella-zoster Saturday. Intermittent
12 fever since with vomiting. Unable to keep Tylenol
13 down. Today, increased fever, hallucinations, and
14 vomiting. Unable to ambulate this afternoon.

15 Q Can I interrupt you there?

16 A Sure.

17 Q These notes that you wrote down at this
18 time, was this as you were going through the medical
19 records that Mr. Coleman had sent you?

20 A Correct.

21 Q Prior to him sending you the records, had
22 he provided you with any factual basis as to what

1 this claim was about?

2 A He may have over the phone call, but I
3 don't know for sure.

4 Q Do you know if, do you recall if you
5 expressed any preliminary opinions to him at that
6 time during your phone conversation?

7 A I have no, no way to know if I did or
8 didn't.

9 Q Okay, **sir**. Please continue with your
10 reading.

11 A Then I have, physical exam, well nourished,
12 well developed. Irritable. Cooperative and alert.
13 Multiple varicella lesions, but no evidence of
14 secondary infection. Neck, supple. Neurologic exam
15 within normal limits. No joint effusions. Mucous
16 membranes dry. **Good** skin turgor and capillary fill
17 time. **UA** was 1030. White blood cell count, **14,700**,
18 **32** segs, **50** bands. 11.8 **slash** 35.3, hemoglobin and
19 hematocrit.

20 Q Let me stop you. **You** mentioned the **CBC**
21 and the segs and bands.

22 A Right.

1 Q What significance, if any, was that lab
2 finding to you?

3 A To me, that lab finding shows a borderline
4 elevated white count, but within normal limits, total
5 white count, with a shift to the left showing an
6 increased number of immature segmented forms, namely,
7 bands.

8 Q When you say borderline white count,
9 borderline to what, Doctor?

10 A Well, typically, 15,000 is the cutoff for
11 true leukocytosis in children where one is concerned
12 about identifying a bacterial infection.

13 Q So you say this is only a borderline
14 indication of a bacterial infection. Is that right?

15 A Total white count, yes.

16 Q **Yes, as** to total white count?

17 A Well, I would, let me rephrase that. I'm
18 not sure I would consider it an indication of a
19 bacterial infection. It has not exceeded the
20 threshold, that is, the threshold for a suspicion for
21 bacterial infection.

22 Q Let's talk about the shift you mentioned.

1 Is that, in your opinion, the finding that was
2 the preliminary lab value of April 23rd,
3 1991, indicative of a bacteriological infection, in
4 your opinion?

5 I think it's, it potentially is indicative
6 of a bacteriologic infection and it's also
7 indicative, it can go both ways, either a bacterial
8 infection or a viral infection can induce a
9 significant shift to the left

10 You say that a viral infection can induce a
11 significant shift to the left?

12 Yes

13 Could you provide me with the basis of that
14 statement would be?

15 I think it's a well-known fact. It's in
16 textbooks of microbiology and infectious disease.
17 It's certainly my clinical experience.

18 The viral infection in this case, of
19 course, would have been the likely varicella.
20 Correct?

21 A Right.

22 Q Is it your opinion that you would have

1 expected a 14,000 white blood count and the type of
2 shift that was demonstrated in the differential of
3 April 23rd, 1991, in a child with varicella and not a
4 secondary infection?

5 A I think, to answer your question, I mean,
6 the way physicians look at this is to say there a
7 million or two million cases of varicella a year in
8 the United States. And if you were to do white
9 counts every day on those patients, you would not
10 find a very large percent of children having this
11 white count on the fourth day of disease.

12 But because there are so many such children
13 with varicella, this white count is not a
14 particularly abnormal experience; that is to say,
15 there would be thousands and thousands of children
16 with plain old varicella who, on the fourth day,
17 would have this particular kind of white count.

18 Q And how about this particular kind of shift
19 on the fourth day?

20 A I'm talking, I'm actually talking not just
21 about the total white count, but the shift, also, on
22 the fourth day.

1 Q Okay. So that's your opinion?

2 A Yes, sir.

3 Q Okay. Continue reading, please.

4 A Platelets, normal, 247,000. And then we
5 have the electrolytes, which are 130 sodium, 3.7
6 potassium, 94 chloride, 20 CO₂, BUN of 9. And then
7 I have some times which represent nursing times for
8 vital signs. 5:05, pulse 180. Respiration is 52.
9 Temperature, 105.6. 6:45, temperature, 103.54.
10 7:36, temperature, 102.3. Next to that, I have
11 therapy.

12 Q Let me interrupt you there, if I may.

13 A Sure.

14 Q You just gave some temperature values that
15 were taken by you off of the initial Emergency Room
16 admission on the 23rd. Correct?

17 A Yes.

18 Q Do you have an opinion as to whether a
19 temperature of 105.6 is consistent or likely to be
20 caused by a fourth-day varicella in and of itself?

21 A Yes, I do.

22 Q What's your opinion?

1 A I think it's the same general sense about
2 the white count, that it would not be a common
3 finding in kids with varicella.

4 It would represent a small fraction **of** kids
5 on the fourth day who would have that high degree of
6 fever. But because there are millions of cases a
7 year of varicella in the lifetime experience of a
8 physician taking care of kids with chicken pox, he
9 would see many such children who had **105 1/2** degree
10 temperature on the fourth day and only due to
11 varicella.

12 Q Do you currently treat children?

13 A Sure. Yes.

14 Q You have a pediatric practice?

15 A You mean, general pediatrics. Part of my
16 job here is **to be** ward attending. And two or **three**
17 months a year, I take care of all the children on the
18 wards, no matter what they have.

19 The rest of the year, I see some general
20 pediatric patients, but, in general, they are sort of
21 special patients, the AIDS children that we take care
22 of, some of the faculty children that use me as a

1 pediatrician, and a small cohort of children that was
2 in a study of mine that I've given free care to for
3 purposes of keeping them in their follow-up mode;
4 but, in general, not much general pediatrics outside
5 of my two or three months in patient ward attending.

6 Q As a patient ward attending for the two or
7 three months, are you the only attending for that
8 entire two- or three-month period?

9 A Well, there are two wards and so there
10 would be two attendings, one for each ward, but I
11 would be that ward's attending, yes.

12 Q And is that for a two- or three-month chunk
13 at one time, so to --

14 A I thank God, no, no. It's usually broken
15 up. You do it in the fall or the winter and then you
16 do it again in the spring.

17 Q In your practice, Doctor, if a patient
18 presented to you with a history of onset of varicella
19 four days prior, seems to have gotten worse, unable
20 to walk on the particular day they're brought,
21 carried into the Emergency Room, and you obtained a
22 temperature of 105, would you have suspected a

1 secondary infection?

2 MR. COLEMAN: Let me just raise one
3 objection, and if I could have a standing one.
4 Dr. Wientzen's here to testify on behalf of
5 salvagability or survivability of the child and as to
6 the nursing care, the standard of care.

7 He's not going to be testifying as to what
8 he would have done or not have done or should have
9 done. He's just purely in those two issues and I
10 think we're going far afield.

11 And I want to object to the form and I'd
12 like to have a standing objection that, you know,
13 he's not here to give expert opinions as to standards
14 of deviation of care of everyone else in this case
15 and I think it's improper for the expert testimony
16 that I'm proffering him for to give answers to
17 scenarios on treatment modalities and other such
18 factors.

19 So, with that caveat, I just object to the
20 form and I'm not going to instruct him not to answer
21 because we're up in D.C. here. So, anyway, with that
22 caveat, I object to form.

1 I mean, the Doctor can answer if he wants
2 to and if he feels comfortable.

3 MR. BURTON: But before he does, I,
4 obviously, join in the objection to the extent that
5 his answer may involve standard of care questions
6 against someone that's not in his area of specialty
7 and there hasn't been a predicate established as to
8 expertise in that area.

9 MR. HUNTER: I've got nothing better to do,
10 so I'll join in both objections.

11 THE WITNESS: Well, if someone could read
12 back the question or restate the question because I
13 don't really remember how it was phrased.

14 MR. LOPEZ: Sure. Madam Court Reporter
15 will.

16 (Discussion off the record.)

17 BY MR. LOPEZ:

18 Q Doctor, in your practice, you've indicated
19 to us that two or three months a year here, as a
20 pediatrician, you attend to the pediatric ward
21 patients. Okay?

22 A Right.

1 Q I'd like you to assume for purposes of my
2 question that a three-year-old would present to you
3 with a history of a four-day onset of varicella,
4 vomiting, fever, and appeared to have gotten worse on
5 now, the fourth day, to the point where the child is
6 not walking and is carried into the ER and you obtain
7 a temperature of 105 degrees, 105.6 degrees.

8 My question to you is, would you, under
9 those facts, be suspicious of a secondary infection?

10 MR. BURTON: Same objection.

11 MR. COLEMAN: Same objection.

12 MR. HUNTER: Same objection.

13 A Based on that history, yes. Before
14 examining the patient, I would be suspicious that one
15 of the reasons for this problem could be a secondary
16 bacterial infection.

17 Q Even though varicella is *obvious* and
18 present for all the world to see?

19 A True.

20 Q Why would you be suspicious of a secondary
21 infection?

22 A For a couple of reasons. One, there would

1 be only two ways that I could think of wherein
2 varicella would eventuate in a child not walking, or
3 three ways. I'll take that back; three ways.

4 One is a neurologic involvement involving
5 either the spinal cord or brain. And No. 2 would be
6 just general, an inanition and sickness, dehydration
7 from the varicella. And No. 3 would be a localized
8 infection in the area that the child is complaining
9 of pain.

10 Q Doctor, how about assuming the same facts I
11 just gave, and, in addition, add to that that there
12 had been an increase in fever on this particular day,
13 that the child was hallucinating, or the mother was
14 giving a history that the child was hallucinating,
15 vomiting, and unable to ambulate as of that
16 afternoon, which I mentioned to you previously; would
17 those factors, in of and themselves, strengthen your
18 suspicion that a second infection is likely
19 present?

20 MR. BURTON: Before you answer, Doctor,
21 Dennis, did you give us that standing objection to
22 the why in questions or not?

1 MR. LOPEZ: Certainly. But my response to
2 that is, I believe, your proffer is, this expert's
3 good for, Chris, as to survivability and what else?

4 MR. COLEMAN: As with regard to the
standard of care in the nursing charting.

5 1
6 MR. HUNTER: Just for clarification, *is* he
7 being proffered for the purpose that's set forth in
8 the interrogatory answer?

9 MR. COLEMAN: Yes.

10 MR. HUNTER: Because the interrogatory --

11 MR. LOPEZ: Go ahead, Howard. It's
12 probably going to help clear up the record.

13 MR. HUNTER: The interrogatory answer says
14 that Dr. Wilson -- I'm sorry. Dr. Wientzen will
15 testify as to the salvagability of Christopher
16 Shelley and what actions of the nursing **staff** had any
17 effect on the ultimate demise of Christopher Shelley.

18 THE WITNESS: I have your question.

19 MR. LOPEZ: Just for purposes of the
20 record, I believe that the, though you made your
21 statement as to why he's here, I believe the rules
22 are very clear that any question that will reasonably

lead to the discovery of relevant evidence is
2 appropriate because this is discovery, and that's
3 all. And you guys, you can have a standing
4 objection, so, yes.

5 BY MR. LOPEZ:

6 Q Do you still remember my question?

7 A Yes.

8 Q Go ahead, sir.

9 MR. BURTON: Okay. Wait a minute. I just
10 want to make clear, that's not the basis that I'm
11 objecting. I'm objecting that he is not an Emergency
12 Room --

13 MR. LOPEZ: I didn't phrase my last
14 question under Emergency Room.

15 MR. BURTON: I understand that.

16 MR. LOPEZ: Okay. So your objection on
17 that basis is groundless.

18 MR. BURTON: And I don't mean to argue. I
19 understand that you have not offered a sufficient
20 predicate for him to be testifying as to the standard
21 of care against other health care professionals, and
22 that wasn't covered in Mr. Coleman's objection.

1 I just want to make sure that you have
2 granted us a standing objection **so** I don't have to
3 keep interrupting, which **I** don't want to do.

4 1
5 Glenn does in terms of predicate for my doctor, who
6 is a general pediatrician. But, in addition, his
7 answers to interrogatories indicate that this Doctor
8 **is** here **to** testify that the Shelley child would not
9 have been salvagable, even if the medication had been
10 started at a particular point in time **as** well as that
11 his nursing staff did not cause or contribute to the
12 child's demise. That's what's in the interrogatory
13 answer, **I** mean, an incomplete representation **of** it
14 earlier.

15 I have a standing objection to a lot of the
16 questions **as** well. **I** don't think your statement
17 regarding the law on discovery is correct with regard
18 to discovery, an expert witness's opinion. **I** think
19 your discovery **is** limited to the subject of the
20 testimony and does not go beyond that and **I** object on
21 that basis.

22 BY MR. LOPEZ:

1 Q Do you remember my question?

2 A Yes.

3 Q Go ahead, sir,

4 A You added two, two other features of the
5 presentation, namely, the hallucinations and the
6 heightened fever over that day and asked me whether
7 or not that would increase my suspicion for a
8 bacterial superinfection.

9 And the answer is that, probably, not truly
10 increase my suspicion for a bacterial
11 superinfection because both of these features would
12 be seen in children, for instance, who would have
13 neurologic injury as a consequence of varicella, so I
14 would certainly think of a bacterial infection as
15 potential etiology, but those features don't add
16 weight to one side or the other.

17

18

19

20

21

22

1 back a value as was obtained in the Christopher
2 Shelley case, namely, a 14,000 white blood count and
3 a late shift on the differential, would that
4 strengthen your suspicion that there was, in fact, a
5 underlying or superimposed bacteriological infection?

6 MR. HUNTER: Object to the form, quoted on
7 the basis previously stated.

8 A I would answer your question by saying, we
9 would never do, nor would we teach residents or
10 students to do a white count before we examined the
11 patient. And the white count, really, only exists,
12 in my judgment, in relationship to what the physical
13 exam discloses in this child who comes in with fever
14 and hallucinations and, quote, unquote, refusal to
15 walk.

16 So it's hard to, you really, in my
17 judgment, can't take the white count and stick it on
18 as another piece of clothing on this animal and help
19 identify the name of the animal. I need to do the
20 white count after the physical exam.

21 Q Well, with the facts that I had put forth
22 to you previously in this hypothetical question in

1 terms of your practice --

2 A Right.

3 Q -- would you have ordered a white blood and
4 a differential?

5 A I would have.

6 Q Assuming you had done so and it had come
7 back with the values of 14,000 on the white blood
8 count and, I believe, what you referred to as a left
9 shift on the differential, would that have
10 strengthened your suspicion that there was a
11 bacteriological infection?

12 A Again, I mean, the physical exam has to be
13 done before the white count is done. I mean, if
14 someone told me the white count without telling me
15 what the physical exam showed, I would probably say,
16 well, before we talk about the lab, tell me, what
17 does the child look like?

18 Can *you* really identify a focus of
19 infection on the exam? Does he really have a swollen
20 knee, ankle, tenderness in the calf area, in the
21 infected pox? Then the white counts means something.
22 But absent the physical exam the white count stands

1 there, in my mind, as a, as a, you know, a pigeon
2 that's looking for a home.

3 Q You told me earlier that the left shift and
4 the 14,000 white count, in your opinion, are both
5 independently not particularly indicative of a
6 bacteriological infection. Is that correct?

7 A I think I said, they point in two
8 directions. They could be -- they are consistent
9 with a significant viral infection, viremia, let's
10 say, on the one hand, and they are consistent with
11 someone who has now developed a bacterial process.

12 Q So it's your opinion that a 14,000 white
13 count in a three-year-old child with a four-day
14 history of onset of varicella is consistent with that
15 varicella?

16 A True.

17 Q If you could remember where we stopped
18 reading in terms of red notes on the back of
19 Mr. Coleman's initial letter.

20 A Okay. I think we started with therapy,
21 RX colon Tylenol and Advil, PO, 5:52 p.m., PO fluids,
22 and then IV fluids at 9 p.m

1 Under that I have, 8 p.m., colon, walking
2 and running in ER, acting normal, per mom. Having
3 episodes of diarrhea, semicolon, repeat episodes of
4 diarrhea.

5 And then underneath that I have, blood
6 culture, arrow, Group A strep, right foot pox
7 culture, no growth. Urine culture, no growth. Chest
8 X-ray, negative, and then I have home at 11:10 p.m.,
9 and that concludes the notes on the 4-23 visit.

10 Q Injuries that you've mentioned about the
11 patient laughing and running in ER, where do you
12 obtain from the chart, and feel free to refer anytime
13 to your records in front of you, as to who made that
14 entry?

15 A I think it looks to me like the doctor's
16 writing, although it's a little bit difficult to
17 read, if I remember right, to read the signature.
18 Let me see it I can find it. (Indicating.)

19 MR. COLEMAN: (Indicating.)

20 A You're quicker than me. Here it is. Let
21 me just see, because I have my own. Yeah, here it
22 is. I have it on the second page of the ER visit.

1 It's under the section called treatment and
2 medications and, again, it looks to me like
3 Dr. Demers' handwriting, but I don't see a specific
4 signature right underneath that little note. It's
5 underneath the Tylenol, Advil dose.

6 Q Do you indicate on the chart what time that
7 entry was made?

8 A To me, it's looks like 2000 hours, which is
9 a o'clock.

10 Q Doctor, let's finish with your notes from
11 this, and, I take it, this was your initial review of
12 the records. Correct?

13 A Correct.

14 Q Let's go ahead and continue with those,
15 please.

16 A Then 4/24/91, 7:11 a.m., East Pasco ER,
17 vomited all night. Now complains of left foot pain
18 and can't bear weight. Spoke with Dr. Fernandez last
19 night. Have ER care for patient, and I have a
20 question mark after ER because I don't think I can
21 read specifically the word. Spoke with Dr. Graves
22 last night. Not his patient.

1 Q You said, spoke with Dr. Fernandez last
2 night. Have ER care for patient?

3 A Yes.

4 Q Where did you obtain that from the chart,
5 please?

6 A I believe it's in the nursing notes of the
7 second ER visit (indicating). Let's see here.
8 Yeah. It's the fifth line, two, four, fifth line of
9 the second ER visit. It says, spoke with
10 Dr. Fernandez last night, who stated -- I think it
11 says ER care for patient and then, underneath, it
12 says, spoke with Dr. Graves *last* night.

13 Q Let me show you a page and see if it's the
14 same page because mine, fortunately, and the others
15 we've put in evidence before are numbered.

16 A Yes.

17 Q And this is the line you're reading from?

18 A Yes.

19 Q And this is numbered at the top as to
20 Page 17?

21 A I think it says 11.

22 Q But is there a No. 17 on the copy that I'm

1 showing you?

2 A Right.

3 Q And the same page?

4 A Yes.

5 Q Okay, sir. Continue with your notes,
6 please.

7 A Then I have, left knee and foot, tender and
8 swollen. Skin without secondary infection.
9 Questionable increased liver size. Neck, supple.
10 Then I have ESR of 25. 3800 white count. 36 segs.
11 58 bands. BUN of 22. And then the electrolytes,
12 135, 3.0, 98, and 18.

13 Q Let me stop you there. What were those
14 values you started reading before you got to the
15 3800? Start above those, please, and tell us what
16 those are. ESR, I believe?

17 A ESR is the erythrocyte sedimentation rate
18 and 25 is a number that shows some mild amount of
19 inflammation.

20 Q That's the sed rate, commonly referred to?

21 A The sed rate, yes.

22 Q 25, is that a normal finding?

1 A 25 is an elevated finding, but early in an
2 illness, it doesn't necessarily mean anything.

3 Q Is four days, post chicken pox, early in
4 the?

5 A For chicken pox?

6 Q Yes, sir.

7 A Yes.

8 Q Shouldn't it be resolving by the fourth
9 day?

10 A Patients typically are better by the fourth
11 day, but the average child with chicken pox with
12 seven days, it's seven days with ongoing disease, so
13 it's right in the middle.

14 Q But my question was, normally, by the
15 fourth day, patients are typically getting better.
16 Correct?

17 A Right.

18 Q And based on the records that you reviewed
19 up to this point in your review, this was the fourth
20 day and the patient was getting worse. Is that
21 correct?

22 A I would say that's true.

1 C Okay, sir. You mentioned the sed rate.
2 What was next?

3 A 3800 white count. 36 segs. 58 bands.

4 Q Let me stop you there. That's the white
5 blood count in the differential. Correct?

6 A Correct.

7 Q As of the first day?

8 A As of, this is the second visit.

9 Q Excuse me. As of April 21st, the second?

10 A 24th.

11 Q Did I say 21st? As of April 24th, the
12 second presentation to the Emergency Room. Correct?

13 A That's right.

14 Q What, if anything, does the white blood
15 count value of 3800 indicate to you?

16 A Well, to me, in context with the white
17 count the night before --

18 Q Yes, sir.

19 A -- it is, again, raises two
20 considerations. Could this be now typical leukopenia
21 that we see with a severe viral process or could this
22 be a bad prognostic sign for someone who has a very

1 aggressive bacterial process. They are the two ways
2 of interpreting --

3 Q Okay, sir. Doctor, at anytime, has
4 Mr. Coleman or anyone else provide? you with any
5 information that, possibly, that 3800 value might
6 actually be 38,000?

7 A The only information that was not provided
8 by anybody, except what was given in the various
9 depositions where that question came up, I went to
10 the lab slip and it was, to me, very certain that
11 there was 3800.

12 Q Assuming it was 38,000, would that change
13 your impression as to the significance of that
14 finding other than what you just told us a moment
15 ago?

16 A I would say, if this white count were
17 38,000, it would be much, much stronger evidence for
18 a bacterial process than any other process.

19 Q Than any other process?

20 A Right.

21 Q Does the fact that you've interpreted as
22 3800, is that of equal significance that it's

1 indicative of a bacteriological infection as it would
2 be had it been 38,000?

3 A I think I understand your question.

4 Q Okay.

5 A But, no. If it was -- I guess, I don't
6 really understand your question.

7 Q You mentioned in terms of a 3800 white
8 blood count on the second day, a presentation at the
9 Emergency Room, that in conjunction with the 14,000
10 that was taken the day before, you would interpret
11 that 3800 as being indicative of two potential
12 scenarios, as I understood them.

13 A Right.

14 Q One having to do with a viral complication.

15 A Right.

16 Q As a result of the varicella and the other
17 being a indication that this child had a very serious
18 bacteriological infection.

19 A Right.

20 Q Is that right?

21 A Correct.

22 Q And if, in fact, the 3800 white blood count

1 was being caused by a very serious bacteriological
2 infection, would it be correct that this child's
3 system, in terms of being able to produce those white
4 blood counts, is about depleted at a very low point
5 at that stage?

6 A That is true. Yeah, that's what happens.

7 Q In this case, have you reviewed all the
8 medical reports and the autopsy report?

9 A Yes.

10 Q Do you agree with the cause of death?

11 A As I sit here, I don't remember what the
12 autopsy says was the cause of death, but I know what
13 the cause of death was.

14 Q What was the cause of death, sir?

15 A Well, the proximate cause of death was
16 toxic shock syndrome from Group A strep.

17 Q Okay, sir. Would you have an opinion that
18 this child was suffering from a bacteriological
19 infection when he presented to the Emergency Room on
20 the first day, that is, April 23rd of 1991?

21 A Oh, I don't think there's any question that
22 we know he was. Postretrospectively, we know he

1 was. His blood culture was positive for Group A
2 strep, so there's no question about that.

3 Q And on the 24th, when he had this 3800
4 white blood count, do you have an opinion that that
5 white blood count, in retrospect, was, in fact, a
6 reflection of the fact that he had a severe
7 bacteriological infection?

8 A Knowing what I know about him now, in
9 retrospect, there's no question in my mind the 3800
10 white count is definite evidence that this is now an
11 infection out of control.

12 Q Okay, sir. In terms of the left side shift
13 there that was taken, the differential on the 24th,
14 give us the values and tell us, in your opinion, how
15 marked a shift that is.

16 A The values of 36 segs and 58 bands, and
17 that is a very significant, very marked left shift.
18 More, more than that, people don't usually
19 characterize left shifts. I mean, this is a very
20 hefty left shift.

21 Q And a left shift is consistent with a
22 bacteriological infection. Correct?

1 A That's true.

2 Q Is it consistent with other things that
3 this child was presenting with at that time to that
4 degree of shift, in your opinion?

5 A I think the degree of the shift, again, is
6 also consistent with a severe varicella infection
7 that's now also getting out of hand. And, in fact,
8 what one does is look at the patient to help
9 determine what, what of those variables, which is the
10 more likely one.

11 Q Explain what you mean by that, in order to
12 look at the patient to determine whether it's a
13 varicella problem or a secondary bacteriological
14 infection. Is that what you're saying?

15 A Yes.

16 MR. BURTON: Well, wait. Before you answer
17 that question, just let me make sure. We're still on
18 our standing objection. Right?

19 MR. LOPEZ: Absolutely.

20 MR. BURTON: Is there any confusion about
21 that?

22 BY MR. LOPEZ:

1 Q I'm asking this Doctor, based **on** his
2 experience as a pediatrician, to explain that last
3 comment in terms of what he stated.

4 A Well, what I think one does is look at the
5 patient to see several features. One, how sick or
6 toxic does this child appear?

7 A child who's very ill appearing and has a
8 white count like that, the evidence suggests that you
9 should treat that patient for a bacterial infection.

10 Secondly, when you've gone beyond the
11 general overview, is this **child** toxic or not, you
12 look for *foci* of infection.

13 If a child has cellulitis, septic
14 arthritis, a pneumonia on examination, a horrendous
15 pharyngitis on examination, and then you look at this
16 white count, you say, in context, this white count **is**
17 probably telling **us** this is aggressive infection.

18 **So** the focality, you identify the source
19 that's probably a bacterial infection or the overv ew
20 **of** the patient, how sick the child is, in a way,
21 determines how you interpret that white count.

22 Q On the 24th, the child's presentation in

1 to see more than one joint with septic arthritis,
2 and, by description, there are two anatomic regions
3 here that are swollen, the ankle and the knee, **so**,
4 statistically, it's not likely.

5 No. 2, characteristically, children who
6 have septic arthritis only have pain, at least,
7 initially, when they're moving their extremity. They
8 **don't** have spontaneous pain when they're at rest.

9 And by, what **I glean** from the mother's
10 deposition, and, certainly, after the child was
11 admitted, this child was having real marked pain,
12 spontaneous pain, and that is the hallmark **of**
13 necrotizing fasciitis, but not the clinical hallmark
14 of septic arthritis.

15 Thirdly, if **you** just want to look at the
16 microbiologic, or, rather, the biochemical markers,
17 the child also had hypocalcemia, which is, again, a
18 feature of necrotizing fasciitis because of the
19 saponification, the making of soap.

20 **And** then, lastly, if one looks at the
21 autopsy, one sees under the skin pathology evidence
22 for a necrosis and bacteria, so I think the pathology

1 is there any the clinical presentation and. I

2 believe, strongly, this was necrotizing fasciitis

3 Q Was this necrotizing fasciitis caused by
4 the strep bacteria?

5 A Yes.

6 Q So whether it is septic or a necrotizing
7 fasciitis, it's your belief that it was caused by the
8 streptococcus?

9 A Oh, absolutely. It was even seen at the
10 time of post mortem found cocci in the skin. Yes

11 Q Did you note in the autopsy report that
12 there were no active varicella lesions obtained by
13 the pathologist?

14 A I don't remember seeing that

15 Q Assume that that is the final autopsy
16 report, is that of any particular significance to you
17 in terms of indicating what this child was suffering
18 from on the 24th or the 23rd that was causing this
19 fever and that sort of thing?

20 A I don't think I would need to -- a head, in
21 retrospect, I certainly wouldn't need to know that
22 what was suffering or causing this child to suffer

1 fever and **so** on. We have the culture of the blood
2 from the 23rd and then we have the subsequent courses
3 of events on the 24th.

4 Q I guess what I'm asking is, do you have an
5 opinion as to whether the varicella actually had
6 resolved by the 23rd or the 24th?

7 A If, in fact, the pathology description of
8 **the** skin lesions are that they are all crusted over,
9 then they would have all, in fact, resolved.

10 Q Doctor, I know I'm interrupting as you go
11 along when you hit relevant areas. If *you* would
12 continue reading your notes, please.

13 A **All** right. I think we had gone over the
14 electrolytes, BUN and CO₂, the hemoglobin and
15 hematocrit, 11.5 and 33.5.

16 Q Let's do **this**. If you come across **things**
17 in the notes that you wrote them down that you felt,
18 that you feel bears mentioning as to their
19 significance, please do so and I won't have to
20 interrupt you.

21 A Okay,

22 MR. BURTON: I don't understand what you're

1 asking him to do.

2 Q He does.

3 MR. BURTON: Well, I don't --

4 A He wants me to --

5 MR. BURTON -- understand what the question
6 is. I mean, you're asking him, are you asking him
7 to --

8 (Simultaneous speaking.)

9 MR. BURTON: I'm sorry. I have my
10 objection, so I'm just going to sit, sit back and --

11 MR. COLEMAN: I don't want to like waive
12 anything by him volunteering these opinions, so we
13 still have a standing objection, which I think I do.
14 I'll let him go ahead so we can speed this thing up.

15 THE WITNESS: Bun of 22, I think, is
16 significant. It shows a worsening of his hydrational
17 status compared to the night before and it shows,
18 basically, kidney involvement in whatever process
19 we're dealing with.

20 CO₂ of 18 is, to me, significant because
21 it is suggestive of an underlying metabolic acidosis
22 that has developed since this child left the ER the

1 night before, since his intravenous infusion of
2 fluids, which was given, in effect, to help combat
3 some of the causes of metabolic acidosis,

4 The SDOT of 145 and SGPT of 52 and
5 bilirubin 1.8, I think, are significant. They show
6 liver involvement in whatever process this is.

7 The child also had a, skipping to the
8 bottom of the page, but just to keep it **all** under the
9 same rubric of laboratory, had a pro time, a **PT 14.7**,
10 which was prolonged. He had a platelet count of,
11 it's in here somewhere and I just don't see it, I
12 think, 148,000, which is low.

13 All of these are evidences of a very
14 aggressive bacterial process which has gotten out of
15 control and, in fact, this child is showing fairly
16 multisystem organ involvement with whatever **process**
17 this is as we **look** at it prospectively.

18 Q Okay, sir.

19 A The rest of the note says, consult
20 Dr. Fernandez. First call at 9:16, then at 10:21,
21 and then here at 11:25. And then this is from
22 Dr. Fernandez's typed note, physical exam, oriented

1 and appropriate. Liver is 3 fingerbreadths below the
2 right costal margin.

3 Q significance?

4 A Hepatomegaly is significant in a child with
5 varicella for many, many reasons; toxic hepatitis
6 disseminated, bacterial disease, viral hepatitis,
7 Reye's syndrome. I mean, it raises a whole bunch of
8 issues for a physician.

9 Q Swollen liver?

10 A Swollen liver. Left knee and ankle swollen
11 and red. And then I have here, varicella with
12 hepatitis, arthritis, fever, and I think I have the
13 word tender underneath swollen in red, knee and
14 ankle, but it doesn't, like my C.V., I have it on the
15 wrong line.

16 Then I have, admit, diagnosis,
17 varicella-zoster. Rule out Reye's syndrome,
18 varicella, hepatitis, encephalitis.

19 Then I have some vital signs taken at 7:13,
20 temperature 99.6, pulse 124, respiration is 44.

21 9:05, temperature, 101.4. 10:25,
22 temperature, 102.6. Pulse, 160. Respiration's 44.

1 Then I have nurse's notes. Vomited 45 minutes post
2 home last night. Complained of stomach and left foot
3 pain and swelling. Acting like he doesn't know where
4 he is.

5 8:45 a.m., therapy IV with D5 half normal
6 saline, 50 ccs an hour, and I have a line drawn. And
7 I have, admitted to East Pasco at 2:10 p.m.

8 Then I have, Dr. Fernandez orders negative
9 antibiotics. Nurse's notes, 5:15 p.m., patient's
10 mother called. Complain of change in the skin in
11 neurologic exam, dark purple to bluish colors of ears
12 and toes. I have a PTT of 31.2 and then the PT of
13 14.7 seconds. And then the last line is 7:13,
14 arterial blood gases. Dr. Fernandez called 9:50 p.m.
15 All Children's Hospital and then arrested and died
16 3 a.m.

17 Q Those are your initial notes after your
18 review of the records. Correct?

19 A Again, a few of the things that are on here
20 were added with subsequent reviews.

21 Q Is there any way for you to tell which ones
22 are which?

1 A I can point out a few of them for you. For
2 instance, the notation Tuesday in brackets on
3 4-23-91, I believe, came after I read
4 Mrs. Mesimore's deposition and she timed the days,,
5 the days of Sunday, Saturday, when things were
6 happening.

7 Q In terms of the four-day onset?

8 A Right. And the rest of it, I think I
9 probably circled some of these lab values this
10 morning when I was reviewing my notes so that I could
11 highlight them when I gave you my discourse.

12 Q Okay, sir. And those are the ones that are
13 circled now?

14 A Yes.

15 Q Please tell me, Doctor, what in its
16 entirety have you reviewed or relied upon in order to
17 reach the opinions which you, apparently, prepared to
18 express here today?

19 A I reviewed the East Pasco Medical Center ER
20 visits of the 4-23 and 4-24. The All Children's
21 Hospital hospitalization of 4-24, 4-25, The autopsy
22 report. The depositions of Mrs. Mesimore's, Nurse

1 Paula Leach, Nurse Patricia Ann Johnson Dr. Warren
2 Andiman, and Dr. Howard Franklin.

3 Q Do you know or know of Dr. Andiman?

4 A No, I don't.

5 Q You're not familiar with him?

6

7

- a

9 A No.

10 Q Anything particular in terms of the

11

12 Mrs. Mesimore's, the mother of the **boy**, Paula Leach,
13 and Pat Johnson, firstly, that strike you as
14 particular significant as it pertains to this case?
15 **And you can** take them one at a time, if you wish to.

16 A Well, again, my main concern, **as I was**
17 going through the records was, was largely to
18 identify what the eventual outcome of this child
19 could have been or would have been had things been
20 done differently.

21 Q Yes, sir.

22 A **So** with that concept in mind, one of the

1 **issues** that, that addressed, I addressed was, when
2 did the tissue infection really become well
3 established, and that has serious importance as I
4 look at a case like this.

5 Q Let me ask you this question. Did I ask
6 you specifically what Mr. Coleman had requested you
7 to do in terms of reviewing these records and what
8 opinions he wanted you to -- let me start again.

9 Have I asked you what Mr. Coleman had asked
10 you to review for what purposes?

11 A I don't think so, at this point.

12 Q Please tell me, when Mr. Coleman engaged
13 you and sent you these records and had you review
14 them, what, if anything, did he tell you to do at
15 that time?

16 A He, basically, told me to review the
17 records and see whether or not I thought there was a
18 problem with the nursing care and whether or not that
19 problem with the nursing care in any way prejudiced
20 the outcome of this young boy.

21 Q Okay. Now, you've done that?

22 Yes.

1 You've completed your work?

2 Yes.

3 Q **Is** there any materials which you had
4 requested which weren't provided to you for whatever
5 reason?

6 A Well, I don't know if I would use the word
7 requested, but I do think **I** spoke with Mr. Coleman
8 after **I** had read Dr. Andiman's deposition and, in
9 there, realized through some questioning that he **was**
10 addressing that Dr. Fernandez's deposition had been
11 done, had been taken.

12 And I believe when **I** discussed
13 Dr. Andiman's deposition testimony with Mr. Coleman,
14 I made mention of the fact that I hadn't gotten
15 Dr. Fernandez and he basically said, **well**, we're
16 interested in your opinions for the nursing care and
17 **so** you don't need to do it, so I haven't seen
18 Dr. Fernandez.

19 Q Have you formed any opinions concerning the
20 standard of care as it pertains to Dr. Fernandez?

21 A Without reading her deposition and the
22 other doctors involved, I wouldn't want to **do** that.

1 **No, I** have not.

2 **Q** Okay. Do you plan on doing so prior to
3 trial?

4 **A** Not unless somehow I have to.

5 MR. COLEMAN: No. That's fine.

6 **Q** Same question as it pertains to the
7 Emergency Room care that was administered in this
8 **case.** Have you formed any opinion as to whether or
9 not there was an appropriate standard of care
10 administered in the Emergency Room by the physicians?

11 **A** Same answer. I would want to find out more
12 what their thought processes were before making any
13 judgment about that.

14 **Q** In terms of what Mr. Coleman had asked **you**
15 to do, basically, to determine, in your opinion,
16 whether, I think you stated, there was **a** problem with
17 the nursing care?

18 **A** Right.

19 **Q** And whether, if there was a problem with
20 the nursing care, it would have made a difference in
21 the ultimate outcome of this child. Is that right?

22 Correct.

1 Q With that mind, I asked you about the
2 significance of the depositions. Firstly --

3 A Right.

4 Q -- Ms. Mesiemore, Paula Leach's, Pat
5 Johnson's, the ones you said you reviewed, and we can
6 take them one at a time.

7 A Right.

8 Q And you started telling me, you wanted to
9 make a determination concerning the tissue?

10 A Right.

11 Q Please tell us about that.

12 A This goes to, I think, primarily,
13 Ms. Mesiemore's deposition and that is, my basic, and
14 just to give you a whole paragraph and maybe it'll
15 shorten this, my basic opinion in this case is that
16 children who have invasive Group A strep disease with
17 the kinds of strep that happen to be circulating in
18 the United States right now, it has been well proven
19 that when this particular infection is well
20 established in tissue, that is to say, it's gone on
21 for 12 hours, 24 hours, untreated in tissue,
22 antibiotic therapy no longer is very effective.

1 And that when you have such a circumstance,
2 a well-established, reasonably longstanding tissue
3 infection, the outcome in these patients is
4 universally poor.

5 So I looked at Mrs. Mesimore's's
6 deposition to see whether or not there was a way of
7 timing when the necrotizing fasciitis or even septic
8 arthritis, if that's what it really was, was really
9 beginning and how long it might have been there
10 before any physician saw it and identified it, could
11 have treated it.

12 In that regard, I noted in
13 Mrs. Mesimore's's deposition that there was fever on
14 Monday, which would have been the 22nd, which, later
15 on, was associated with knee pain, and that on
16 Tuesday, her sister, who was taking care **of**
17 Christopher that day, contacted her late in the
18 afternoon about the knee pain or the leg pain and the
19 fever, suggesting to **me** that there was probably some
20 ongoing tissue involvement as early as late Monday
21 or, perhaps, during the course of the day on Tuesday.

22 Q Tuesday the 23rd?

1 A Yes, Tuesday the 23rd. That, to me, **was**,
2 was the beginning of the question of, when did this
3 problem really start?

4 Q Okay. You're reading from your notes that
5 you've made and read on this time on the page of
6 Ms. Mesiemore's's deposition.

7 A That is right.

8 Q Madam Court Reporter, let's mark the cover
9 page as the next exhibit in line.

10 And did you do that, Doctor, for each of
11 the depositions?

12 A Right. Right.

13 MR. LOPEZ: And we will mark, in line, the
14 cover pages of each of the respective depositions
15 where Dr. Wientzen has recorded his notes.

16 (Exhibit Nos. 5-9 marked.)

17 (Discussion off the record.)

18 BY MR. LOPEZ:

19 Q Doctor, you even listed page numbers.
20 Correct?

21 A That's right.

22 Q Please, go there. You mentioned that you

1 determined from Ms. Mesiemore's's deposition that he
2 had a fever on Monday?

3 A Right.

4 Q And that was associated with some knee
5 pain?

6 A Yes.

7 Q Did you reference a page number?

8 A No, I did not, not on those notes.

9 Q Would the fever have been consistent with
10 varicella in and of itself?

11 A Yes.

12 Q Continue on with your notes, sir, if that
13 helps refresh your recollection. Basically, what I
14 asked you was, in terms of Ms. Meisiemore's
15 deposition, what significance did that have to you?

16 A **The** second series of issues that **would be**
17 significant to me from this deposition is her
18 statements about the course of events after leaving
19 the ER, the first time, late on the night of the 23rd
20 going through the morning hours of Wednesday the
21 24th.

22 And by her description the child, during

1 the course of those hours, was hallucinating, had
2 high fever, and was very restless and not sleeping,
3 and those were the reasons why she took him back to
4 the Emergency Room.

5 Prior to that, he had complained, after
6 getting home, of leg pain and vomited soup, so
7 suggesting that this leg pain was persisting and the
8 process was, in fact, continuing and getting, getting
9 worse.

10 Q Okay. Let me see if I understand you
11 here. You obtained from the deposition statements
12 from Ms. Mesiemore's that she indicated that her son
13 was complaining or there was some reference to knee
14 pain on the 22nd, that is, the date prior to first
15 presentation to East Pasco Emergency Room.

16 A Right.

17 Q And you also mentioned hallucinations on
18 the night of the 23rd after returning from the
19 Emergency Room. Is that correct?

20 A I think it's early morning hours of the
21 24th. I mean, she got home, probably, at midnight or
22 close to it, so we're talking about the 8 hours or

1 7 hours between getting home and then returning to
2 the ER.

3 Q Now, you had already noted there was a
4 recorded history of hallucinations on the first
5 presentation --

6 A Right.

7 Q -- at the Emergency Room on the 23rd.
8 Correct?

9 A Although she has no recollection of that
10 now, but I don't have any doubt about that.

11 Q Who has no recollection of it now?

12 A I believe, Mrs. Mesimore's in her
13 deposition doesn't remember about the hallucinations
14 leading up to the first ER visit.

15 Q Okay. But they're reflected in the
16 records --

17 A Absolutely.

18 Q -- are they not, sir?

19 A Exactly. I'm saying, I have no, I have no
20 debate about that.

21 Q Okay.

22 A If they're there, they're there.

2 Okay. So they were recorded by whatever
physician or person took that history on the 23rd.
Right?

4 A Right.

5 Q You noted, though, that the patient had
6 more hallucinations after returning back from the
7 Emergency Room on the 23rd. And what I'm trying to
8 determine is, **of** what significance is that to you
9 since the presentation on the 23rd has it recorded in
10 the chart that the child had hallucinations?

11 MR. BURTON: I object to the form of the
12 question. I believe that was a history given, and
13 not a finding of hallucinations by the medical staff,
14 so I think you unintentionally mischaracterized what
15 that record really reveals, but **go** ahead.

16 A It truly was a history of hallucinations
17 and children with very high fever can hallucinate.

18 Q Okay.

19 A There's a very, in fact, probably, the most
20 common cause of children talking out of their head is
21 a high fever from any cause.

22 The reason I note it in here is that it

1 tells me that this process is continuing.

2 Q Okay.

3 A It is not something that really was
4 starting and stopping, but it's now a continuation of
5 the same process that was in effect Tuesday, let's
6 say, now on Wednesday.

7 Q Okay. So in review of that deposition, you
8 found a reference to knee pain by Ms. Mesiemore's on
9 the 22nd or the date prior to admission to the
10 Emergency Room or presentation to the Emergency Room
11 on the 23rd?

12 A Right.

13 Q Okay. You assert or believe that that knee
14 pain was indicative of the same inflammation that
15 later manifested itself in the chart at East Pasco
16 Hospital?

17 A Yes.

18 Q Do you have any opinion as to when the
19 infectious process started because you, in your
20 paragraph that you mentioned earlier, you used terms
21 like reasonably long period of time, 12 hours, 24
22 hours, etc.? Do you have any feeling as to when the

1 infectious process started?

2 A Well, I think, whenever the knee pain was
3 first complained of, in my judgment, would be when
4 this process **was** in the tissues, whenever that was.

5 She doesn't time it. I don't think she was
6 asked the time. I don't think whoever was taking the
7 history from Ms. Mesiemore's understood the
8 significance of that line of questioning to me, but
9 whenever that was, in my judgment, the infection was
10 there at that time.

11 Q Okay.

12 A How many hours earlier was it there before
13 the pain occurred, I don't know.

14 Q Well, let me ask you that, sir. You have
15 indicated you're board or subboarded in infection
16 disease?

17 A Right. Pediatric disease.

18 Q Do you have any opinions as to when a Strep
19 A bacteria would likely be in the tissue before it
20 manifested itself in terms of pain?

21 A No.

22 Q Is there any way to determine that?

1 A I would not know. I mean, the animal
2 models that are used for this process don't allow you
3 to ask those questions.

4 Q Based on your clinical experience with
5 patients and the histories you've obtained and the
6 things you've learned in your practice, you don't
7 have any opinions about that?

8 A I can give you a very general opinion,
9 which is just the opinion of a reasonable man, and,
10 that is, Group A strep is a reasonably aggressive
11 pathogen. And so my judgment would be, the pain
12 commenced within a reasonably brief time after the
13 introduction of the bacteria into the tissues, but I
14 didn't mean to pick a number of hours, but,
15 certainly, not days.

16 Q My question to you was, have you formed any
17 impression, do you have an opinion as to when the
18 infectious process started?

19 A Yes.

20 Q And when is that?

21 A It was certainly, it would have started not
22 long before the first notification of pain that

1 mother described on Monday the 22nd

2 Q Okay Can you indicate where that was
3 stated or do you have a page number or anything like
4 that, and we don't have to waste a lot of time going
5 through the deposition if you have a page number, but
6 the problem is, I can't read your writing on the
7 cover ⁰ page.

8 A Well, for that group of, for the group on
9 the top here, I didn't put a page But, if I
10 recollect, I think it's towards the end of the
11 deposition, strangely enough Let me see
12 (Indicating) do you want me to just look through
13 this for a minute?

14 MR LOPEZ: Yes sir

15 (discussion off the record)

16 (Short break was taken)

17 BY MR. LOPEZ:

18 Q Doctor, you were attempting, as we went off
19 the record here, was taken about a five-minute
20 break to try to find the portion in Ms. Missimore's
21 deposition that appears to support the testimony you
22 gave me earlier as to when, based on that deposition,

1 **Ms.** Mesiemore's had stated that her son Christopher
2 had first shown knee pain to her. **Is** that right?

3 **A** Correct.

4 **Q** In an effort of brevity, hopefully, why
5 don't **we go** to your notes, your red written notes on
6 that first page of that deposition, and let me ask
7 you, did you read that entire deposition?

8 **A** Yes, **I** did. Several times.

9 **Q** Several times?

10 **A** Yes.

11 **Q** How about these other depositions; you've
12 read them in their entirety?

13 **A** Yes.

14 **Q** Okay. Madam Court Reporter, let's copy
15 them all, the entire depositions, because you've made
16 underlined notations on these depositions. Correct,
17 Doctor?

18 **A** Right.

19 **Q** When they came to you, were they not marked
20 in any way?

21 **A** No.

22 **a** **So** we can assume that all the marks were

1 yours?

2 A Yes.

3 MR. LOPEZ: Okay. Mark those as the next
4 prospective exhibits.

5 (Exhibits 5-9, previously marked, include
6 entire depositions, not just cover pages.)

7 BY MR. LOPEZ:

8 Q If you would, sir, please read to us **your**
9 notes from the cover page of Ms. Mesimore's
10 deposition.

11 (Discussion off the record.)

12 THE WITNESS: So read what's on my cover?

13 BY MR. LOPEZ:

14 Q Please, sir.

15 A Fever on Monday. Fever stayed high and
16 associated with left knee pain. Then, underneath **it**,
17 I have Tuesday with a dash. And what we've now
18 discussed is that it seems like the fever stayed high
19 and it was associated with knee pain that began on
20 Tuesday. **So** the knee pain began on Tuesday and **I**
21 stand corrected based on my misreading of my
22 handwriting notes.

1 Q Just so we're clear, that's **Tuesday**, the
2 same day of initial presentation to the Emergency
3 Room?

4 A Correct.

5 Q I.e., April 23rd?

6 A That's right.

7 Q Okay.

8 A Contacted by sister at 4:30 p.m. about
9 this. Had had diarrhea prior to coming to ER.

10 Page 53, Dr. W exam, dehydrated plus
11 chicken pox, IV fluids for 2 to 3 hours.

12 Re-evaluated patient. Sent the patient home. Chris
13 was calm in bed at ER. Was unable to walk post IVs.

14 Page 56, D/C instructions did not contain
15 information concerning complications to watch for.
16 Carried Chris from the Emergency Room. Complaint of
17 light pain at home. Vomited soup. Wednesday, early
18 a.m., hallucinating. Had had high fever, very
19 restless, not sleeping. Arrow back to the ER.

20 Saw Dr. Demers in ER. She called pediatric
21 consultant, Dr. Fernandez. And then I have crib,
22 comma, no bed. Then bed. IV backed **up** and fixed.

1 Noted **pox** to be purple; skin and nails with color
2 change.

3 Page 98, first ER visit. Mom noted the
4 left knee swollen, but not overly swollen, in
5 quotes. Wouldn't move it. No color change.

6 Page 132, denies CS, which is Christopher
7 Shelley, was walking or running in the ER and denies

8
9 Q Those are the notes that you made on the
10 cover page of Ms. Mesimore's's deposition which you
11 felt were significant in terms of her deposition
12 testimony?

13 A Right.

14 Q As it pertained to what Mr. Coleman had
15 asked you to do. Is that right?

16 A Well, some of them were important for what
17 Mr. Coleman asked me to do, but some of them were
18 just generally important.

19 Q Okay. We're clear that based on your
20 review of that deposition, the mother of the child
21 first noted that her son was complaining of knee pain
22 the very day she took him to the Emergency Room. Is

1 that right?

2 A Yes.

3 Q Okay. Do you have an opinion or impression
4 as to when this infection, in your professional
5 opinion, might be started in this child?

6 A If the knee pain began on Tuesday, my
7 judgment is, the invasion of the tissue began shortly
8 before **the** complaint of knee pain on Tuesday,
9 whatever hour that can be timed at by the sister
10 whose deposition I haven't read either.

11 Q I don't think it's been taken, sir. In
12 terns **of** the invasion of the tissues, that
13 phraseology that you use, does that mean that that's
14 when the infection started?

15 A Yes.

16 Q **So** the infection, in your opinion, started
17 on Tuesday the 23rd?

18 A Right.

19 Q Can you tell us, based on -- strike that.
20 Assuming that the child complained of knee pain as
21 reflected in Ms. Mesiemore's deposition, whenever
22 that might have been, can you quantify for **us** as to

1 when you think the infection started in terms of time
2 prior to that that knee pain manifesting itself?

3 A Again, I would only be able to quantitate
4 that by saying, within a pretty brief period of time,
5 a reasonably brief period of time.

6 Q Okay. Are we talking --

7 A Hours.

8 Q A few hours?

9 A Yes.

10 Q Okay. Doctor, what was the next, or take
11 any of these depositions. We can take them in line,
12 in the manner that we've marked them that you
13 reviewed for purposes of this case.

14 A Right.

15 Q And whose deposition was that and what are
16 you notes reflecting there, please?

17 A Paula Leach's deposition, and I'd have to
18 read my notes to see why I took them, but would you
19 like me to read them into the record?

20 Q Please, sir.

21 A Staff nurse, third floor, 3 to 11 shift,
22 was charge nurse 3 to 11 shift, April 1991. Took

1 oral report on Chris Shelley from outgoing charge
2 nurse. Had varicella, plus vomiting at home.

3 Page 77, 78, saw Christopher Shelley on
4 rounds at 3 p.m. Alert, talking, and pink. 30 to 45
5 minutes later, went back to see Christopher Shelley
6 with Dr. Fernandez at about 4 p.m.

7 Dr. Fernandez was upset. The labs had not
8 yet been done, especially the ESR. Asked Dr. F if
9 patient could be transferred because of being covered
10 with varicella-zoster and swollen knee.
11 Dr. Fernandez left the hospital at 4:30. At 5:19,
12 though, it might be 5:15.

13 Q Can I interrupt you a minute?

14 A Yeah.

15 Q That last entry you read from your notes
16 prior to Dr. Fernandez leaving the hospital at 4:30,
17 what was that entry, please?

18 A The one prior to that?

19 Q Yes, sir.

20 A It says, asked Dr. Fernandez if patient
21 could be transferred because of being covered with
22 varicella-zoster and swollen knee.

1 Q Okay, sir.

A Dr. F left hospital at 4:30. At 5, either
3 15 or 19, Mrs. Mesiemore asked the nurse to check
4 Christopher. He was lethargic, had blue nails, and
5 slightly labored respirations.

6 Someone paged Dr. Fernandez at about 5:20
7 to 5:25. She returned the call at 7:10 and gave
8 orders for an arterial blood gas, etc., and then in
9 parentheses I have O₂ tent.

10 Saw Christopher Shelley at about 6 p.m.
11 Again, he remained the same. Dr. Fernandez arrived
12 about 7:25. Nurse Leach recruited from role of
13 charge nurse to work only with one patient,
14 Christopher Shelley, and work with Nurse Dixon,
15 getting vital signs and establishing second line.

16 Q Okay, sir. The particular significance of
17 that deposition as it pertains to what you were asked
18 to do by Mr. Coleman?

19 A Just flushing out of what other things
20 might have been, being done for Christopher while he
21 was on the ward before he was transferred, before his
22 situation got critical.

1 Q Okay, sir. What do you make of Nurse
2 Leach's statement that you read in your notes that
3 the patient was alert, talking, and pink sometime
4 prior to, what, 3 o'clock in the afternoon? Is that
5 correct?

6 A Yes. I think that's on rounds at about
7 3 o'clock. That's compatible with a child who's got
8 any one of a number of serious problems that are
9 going to present, even fatally, in the next few
10 hours.

11 Q Alert, talking, and pink?

12 A Yes.

13 Q That phraseology in and of itself that you
14 wrote down as being significant to Ms. Leach's
15 deposition is indicative of a child that's going to
16 die in the next few hours?

17 A No. I'm saying, I guess, I was trying to
18 jump ahead to maybe answer the second question that
19 you were going to ask after your prior question.

20 That is a notation that describes a child
21 with reasonably normal neurologic functioning. That
22 child could be on a baseball field, playing baseball,

1 or that child could have necrotizing fasciitis, be
2 bacteremic, as we know he was at this time, and be in
3 shock, florid shock, two hours later. That is how
4 this disease can progress.

5 Q Okay. My question to you was the fact that
6 you wrote down in your notes as a significant finding
7 in Nurse Leach's deposition was that the child,
8 allegedly, at 3 o'clock was alert, talking, and
9 pink --

10 A Right.

11 Q -- was answered by you, I believe, that in
12 the next three hours or so, something bad could
13 happen. Is that right?

14 A I think your question was, what is that
15 compatible with or what does that tell you?

16 Q What does that tell you?

17 A At the precise moment you make this
18 determination, that this tells you the child's
19 neurologic status appears intact.

20 Q Okay. The next deposition, Doctor, and its
21 significance, and I believe that would be No. 7. And
22 before we get to that, and I've got it right here,

it's Nurse Johnson's, let me ask you a question

2 I believe, based on your review of
3 Ms. Mesiemore's deposition, and correct me if I'm
4 wrong, I think you were under the mistaken impression
5 that the child had given a history or that knee pain
6 had been noted the day prior to the hospital
7 admission. **Is** that a fair statement?

8 A Yes.

9 Q So you were under the impression that the
10 knee pain that manifested itself on Monday the 22nd
11 when, in fact, it had manifested itself on Tuesday
12 the 23rd. Is that right?

13 A That is correct. I misread my notes.

14 Q So are we correct that everything has moved
15 forward now 24 hours from what your previous opinions
16 would have been as far as salvagability?

17 MR. BURTON: Object to the form of the
18 question.

- 19 A No. Regardless of whether this process
20 began on Tuesday or whether this process began on
21 Monday, my opinions on salvagability are not moved
22 forward because the crucial question **is** whether or

1 not there has been a 12-hour interval **for** these
2 organisms to establish themselves in tissue.

3 And if there is a 12-hour interval,
4 conventional and microbial therapy has minimal effect
5 on the serious consequences that these organisms are
6 going to wreck on the cardiovascular system and the
7 pulmonary system by virtue of production of the
8 various toxins that they produce.

9 Q Was there anything particularly different
10 about this type of Group A strep that Christopher
11 Shelley had?

12 A I think this particular strain of Group A
13 strep was never tested for the production of the
14 various toxins that have been associated with the
15 organisms that produce toxic shock syndrome and
16 necrotizing fasciitis and other varieties of invasive
17 Group A strep disease.

18 But based on the clinical disease that
19 Christopher Shelley had with rapid progression to
20 fulminant shock, irretrievable shock associated with
21 chicken pox, I think the odds are overwhelming that
22 this was a variety of strep that produced

1 streptococcal, pyrogenic X with Toxin A and probably
2 proteas and other toxins that are very poisonous to
3 human beings.

4 Q Regardless of the type of strep that it was
5 back in 1991 when this child presented, do you have
6 any opinions that this particular type of strep,
7 whatever it was, would have been very easily
8 controlled and treated by antibiotic therapy had it
9 been instituted in a timely fashion?

10 A I think we would disagree with what a
11 timely fashion might mean for this variety of Group A
12 strep.

13 Q Well, do you know what variety of Group A
14 strep it is?

15 MR. BURTON: Well, hang on a second. I
16 don't think the Doctor was finished with his answer.

17 MR. LOPEZ: Well, he wasn't. I'm sure he
18 wasn't, but I wanted to clarify.

19 MR. BURTON: Well, no, no.

20 MR. HUNTER: He gets to finish his answer.

21 BY MR. LOPEZ:

22 Q Go ahead, Doctor. I'm sorry I interrupted

1 **you.**

2 **A** For strains of Group A strep that produce
3 necrotizing fasciitis and that produce toxic shock
4 syndrome, which is what this child had, timely
5 institution of antibiotics has to be very, very early
6 in the evolution of the process.

7 No. 2, antibiotic therapy is fruitless,
8 unless it's cojoined with aggressive surgical
9 debridement of these patients.

10 **So** unless a physician was willing to take
11 this child to the operating room and, essentially,
12 flay this child, this child was going to have
13 continued production of toxin and disruption of his
14 cardiovascular and pulmonary circuits.

15 **So** antibiotics are only one part of the
16 story. They must be given very early and, even in
17 that circumstance, alternative or, what's the word
18 I'm looking for, adjuvant therapy needs to be given,
19 namely, surgery.

20 **Q** Okay. I want to back up a little bit
21 because I'm confused about something. You said, in
22 these types of patients, in order to treat them

effectively, some type of surgery would have to have
2 been done. Is that right?

3 A Right.

4 Q When you say these type of patients, what
5 specifically are you referring to, the previous
6 diagnosis that you've given as to what you think was
7 actually going on in the knee and ankle? Is that
8 what you're referring to?

9 Well, certainly, there's no question that
10 necrotizing fasciitis would need to have a very major
11 debridement, and, often, repetitive debridements.

12 Children who have suppurative arthritis and
13 had two joints involved, one would need to get the
14 material that was infected out of the knee joint.
15 That would be less surgery, but it would still be
16 surgery.

17 Q Okay. You don't think he had septic
18 arthritis?

19 A That's right.

20 Q You think **he** had necrotizing fasciitis?

21 A Correct.

22 Q And you would say that for treating

2 necrotizing fasciitis, that surgery would have to
done rather rapidly?

3 A Right.

4 Q Now, is it your testimony that in all cases
5 of necrotizing fasciitis, that surgery is necessary
6 to save the patient's life? Is that what you're
7 saying?

8 A In the majority of cases, yes, and if you
9 don't do surgery, there's a very grave chance that
10 you will sacrifice the extremity. The patient may
11 live, but the extremity is gone.

12 Q You mentioned earlier in this last exchange
13 here, before I interrupted you, and I'm sorry, that
14 you believe, and you used the term, a 12-hour
15 interval is what is the time frame that you've
16 utilized in terms of if the bacteria has a
17 opportunity to establish itself for that length of
18 time, I believe, you said that it becomes futile to
19 treat it?

20 A Refractory to therapy. The antibiotic,
21 beta lactam antibiotic therapy like penicillin and
22 the ceftriaxone that was used here.

2 Is there any antibiotic therapy that, in
3 your opinion, if administered more than 12 hours
4 after onset of this infection, would be able to stop
the infection?

5 A Yes.

6 Q Which ones?

7 A Clindamycin.

8 Q Was that available in 1991?

9 A It was available. Very few people knew
10 that it was potentially life preserving and it was
11 not standard therapy, but it was available.

12 Q Okay. I'm trying to understand what you've
13 stated here, and maybe you can help me through this.
14 It's your testimony that this child, if not given
15 correct antibiotic therapy within 12 hours of the
16 onset of this infection, which you've indicated first
17 manifested itself by the pain in the child's knee
18 sometime on the day of admission of the 23rd?

19 A Okay. Let's say date of visit rather than
20 admission.

21 Q Excuse me. Date of admission to the
22 Emergency Room on the 23rd That would have been

1 within 12 hours of that time period. It's your
2 opinion that if that was not done, this child was
3 doomed?

4 A That is right.

5 Q That's your opinion within a reasonable
6 degree of medical probability?

7 A Absolutely.

8 Q And you base that on the fact that all we
9 know about this is that it was Group A strep.
10 Correct?

11 A Well, that's not we all know about this.
12 We know that this is a Group A strep strain that
13 caused toxic shock syndrome with intreatable shock
14 and multisystem organ involvement and soft tissue
15 infection that I think was necrotizing fasciitis.

16 Q Let me ask you this. There's many
17 different strains and varieties of Group A strep.
18 Correct?

19 A That is true.

20 Q Let's take your garden, hypothetically, a
21 garden variety of Group A strep that's present in
22 anyone in this room's bloodstream or the tissues of

anyone in this room who's afflicted with it.

2 A You mean, if someone were to **be** afflicted
3 with it?

4 Q Yes, sir.

5 A Okay.

6 Q Okay. And let's assume this garden variety
7 Group A strep is not treated with any antibiotic
8 therapy. Do you have any opinion as **to** whether **that**
9 garden variety Group A strep would lead to the **same**
10 type of result that happened in the Christopher
11 Shelley case?

12 MR. BURTON: Object to the hypothetical.
13 It's incomplete.

14 MR. HUNTER: Same objection.

15 Q If **I** understand your hypothetical, you're
16 positing, you induce necrotizing fasciitis, or let's
17 not even say necrotizing fasciitis; let's just say a
18 bad cellulitis with Group A strep and then don't
19 treat that patient with antibiotics. What will
20 happen to him?

21 Some fraction, which would probably not be
22 insignificant, of people would die. The majority of

1 people probably would not die They would suffer
2 vitally for a long time and get better

3 Q Are you asking for purposes of your
4 opinions that this was a particular type of Group A
5 strep that we have no empirical proof that it was,
6 but you're assuming that it was a particular proof
7 that existed in Christopher Shelley?

8 A Well, the empirical proof as to what kind
9 of Group A strep this was is the syndrome that he
10 presented with This syndrome is due to, is caused
11 by these strains of Group A strep that are toxogenic
12 Group A strep.

13 They produce these enzymes, this whole
14 cocktail of enzymes called SPEA and protease and a
15 number of other chemicals that cause horrendous
16 disruption to the cardiovascular stability of human
17 beings and a very high mortality rate.

18 This particular variety of strain of
19 Group A strep has become more common in the last five
20 years in Canada, the United States, in other parts of
21 the world It has disappeared, largely disappeared
22 in the 80 or 40 years prior to now and now has made a

1 resurgence in the world, in fact, and no **one** exactly
2 knows why.

3 But, in fact, the truth of the matter is,
4 more and more people are becoming infected with this
5 variety of strep and, hence, the reports of the,
6 quote, unquote, flesh-eating bacteria, that is what
7 this child had.

8 Q Okay. I'm glad you stated that. **It's** your
9 opinion, Doctor, that Christopher Shelley has the
10 so-called flesh-eating bacteria type of strep that's
11 been talked about in the press in the last couple of
12 years?

13 A **Yes**, sir.

14 Q What do **you** base that opinion on?

15 A Based on the presentation of a child with a
16 very classic presentation **of** necrotizing fasciitis
17 for me. The organism in the blood. Multisystem
18 organ involvement. Shock that's impossible to treat.

19 Q Based on the opinion you just stated,
20 Doctor, under any scenario, would a child **who**
21 presented with a symptomatology that Christopher
22 Shelley presented with to the Emergency Room **on**

1 April 23rd, under any scenario, should that child
2 have been allowed to go home?

3 A If one --

4 MR. BURTON: I have a standing objection.
5 That's the standard of care question with regard to
6 the ER people, and, obviously, I renew my objection.
7 Go ahead.

8 A If one knew that he had this particular
9 syndrome, there was no way to send that patient home
10 within the standard of care.

11 Q Would the appropriate standard of care of a
12 pediatrician when presented with the symptomatology
13 and history that Dr. Fernandez was presented with on
14 the 24th, would the appropriate standard of care, in
15 your opinion, as a pediatrician been to begin
16 aggressive antibiotic therapy?

17 MR. HUNTER: I object to the question.
18 Lack of predicate and the fact this Witness has
19 already indicated, he's not reviewed Dr. Fernandez's
20 deposition and has no opinion regarding the standard
21 of care.

22 A I can answer the question?

1 Q Yes, sir.

2 A The answer would be, yes, aggressive
3 antibiotic therapy should be given in that instance.

4 Q Let's go to the next deposition, please,
5 the ones you reviewed that you felt were significant
in terms of --

7 A I'm not sure what number we're up to now.
8 Does anybody know?

9 Q You just finished Paula Leach.
10 MR. BURTON: That was 7.

11 A That was 7, so 8.

12 Q No. Paula Leach is 6. 7 is Patricia Ann
13 Johnson.

14 A On top, it says, R.N. who was
15 administrative assistant at East Pasco responded to
16 Nurse Leach's call at about 7:30 p.m., 7:35 p.m. on
17 4-24. No. 8 is --

18 Q Before we leave Pat Johnson, what was
19 significant about that deposition, from your
20 perspective?

21 A From my perspective, it was sort of a
22 nullity. I mean, by the time she got involved in

1 this child's ongoing care, things had really
2 progressed so extensively that I just didn't even
3 think it was much of a factor and I did not review
4 this deposition for today, to answer that.

5 Q Did you note or were you told by
6 Mr. Coleman or did you note in your prior reading of
7 the deposition that Nurse Pat Johnson had seen fit to
8 include into the medical record of East Pasco
9 Hospital a handwritten notation or letter or report,
10 if you will, and I'm showing that to you now as part
11 of this deposition?

12 A I think that's also in my packet of records
13 for East Pasco, and I think I read that at the time I
14 saw this.

15 Q Well, find it and let's talk about that a
16 moment, please.

17 A I found it and it's on Page 00043.

18 Q Okay, sir. And you've made some red
19 underlinings there?

20 A That's right.

21 a What have you underlined, sir?

22 A Next to, approximately, it looks like 7 5,

1 but **it's** not quite clear. I underlined,
2 Dr. Fernandez arrived approximately 20 minutes after
3 being called and then, underneath that, I've
4 underlined, it says, Marge Preston from ED came and
5 did start IV in the right foot. D5, abnormal saline
6 hung approximately normally 8:30, and then I've
7 underlined, and Dr. Fernandez asked for a surgeon to
8 do **a** cut-down. Dr. Demers called from **ED**.

9 Q Okay. That's what you've underlined?

10 A Yes, sir.

11 Q Doctor, do you note from that letter there
12 by Nurse Pat Johnson as to what antibiotic therapy
13 was attempted to be administered to the child **at**
14 approximately 7 or so p.m. that evening?

15 A Yes.

16 Q What was it?

17 A Rocephin.

18 Q Okay. Do you know what antibiotic therapy
19 was instituted at **All** Children's Hospital?

20 A Again, I don't absolutely recollect, but, **I**
21 bet you, Rocephin.

22 Q Okay. Now, is it your opinion that the

1 Rocaphin that was administered at 7 o'clock p.m. here or
2 attempted to be administered at East Tasco Hospital
3 and later was administered at All Children's Hospital
4 was not the appropriate antibiotic to treat this
5 infection?

6 A No, that's not my opinion at all. It was
7 not an effective antibiotic, but it would be the
8 antibiotic that almost everybody would have chosen to
9 administer to a child like this.

10 Q All right now if I understand your
11 testimony, it's your opinion that everybody who would
12 have chosen that antibiotic to treat this infection,
13 including the doctors at All Children's Hospital,
14 were incorrect in choosing that, Rocaphin is that
15 correct? Is that your testimony?

16 A No, that's not truly my testimony.

17 Q Well, why don't you tell me what it is

18 A It has been shown --

19 Q As of 1991, we're talking about, Doctor.

20 A It has been shown recently and, again, I'd
21 have to pull the date to find out what year it was,
22 that the so-called Engle effect, which was proven to

1 occur in Group A strep infections that are tissue
2 infections by Dr. Harry Eagle in 1951, can be
3 surmounted or subverted by using Clindomycin rather
4 than a beta lactam.

5 The Eagle effect is an effect wherein, if
6 you give Group A strep a head-start in tissues and
7 let Group A strep grow for 12 hours, in some cases,
8 even 6 hours, but 12 hours, to pick a fair end point,
9 the effectiveness of penicillin is gone and instead
10 of dying 24 hours after a dose of antibiotic, the
11 Group A strep is still alive and well in the tissues
12 of this, of this animal, which is how Dr. Eagle did
13 the study.

14 I believe it was in 1991, but I'm not sure,
15 a researcher repeated Eagle's studies and proved that
16 if you used Clindomycin, it was markedly superior to
17 the use of beta lactams and, in fact, overcame the
18 Eagle effect, the Group A strep dying quite nicely in
19 tissues, even if they've been given a 12-hour
20 head-start on antibiotic therapy.

21 Now, does that make it standard care?
22 That's the question that you've stuck me with and the

1 the answer is, no. I warrant you, even a big **hunk of**
2 pediatric infectious disease and board certified
3 physicians don't know anything about the Eagle
4 effect.

5 I warrant you, Dr. Andiman doesn't **know**
6 anything about the Eagle effect because it didn't
7 come out in his deposition when he said penicillin or
8 ceftriaxone would be what we should use. I don't
9 disagree with it, that physicians would use
10 penicillin or ceftriaxone.

11 But when he reads this article, and he
12 probably will after he reads my deposition, I
13 believe, if he's a fair-minded scientist, he will
14 agree that Clindomycin offers a specific theoretical
15 survival advantage to patients who have invasive
16 Group A strep strains.

17 **Q** I'm asking you, as of April of 1991, **is** it
18 **your** opinion that Rocephin was an inappropriate
19 antibiotic to give to this patient?

20 **A** It is not my opinion that it was
21 inappropriate, nor is it my opinion that it's
22 inappropriate now.

1 Q **Is** it your opinion that to give Rocephin
2 for what Christopher Shelley had was a deviation from
3 the acceptable standard of care?

4 A It is not a deviation from the standard,
5 acceptable standard of care.

6 Q **So** what I'm getting from your testimony is
7 that you think Rocephin is okay, but Clindomycin is
8 better. Clindomycin is better?

9 A Rocephin is what people use and it's not
10 very effective. Clindomycin, more and more people
11 are using, and it is effective, but I don't think
12 Clindomycin has become the only antibiotic one could
13 offer and still be within the standard of care.

14 Q Okay. But I want to confine your answers
15 to 1991, April. What was available **to** physicians at
16 that time, okay, and Clindomycin was available to
17 physicians at that time?

18 A Yes, it was.

19 Q Was it widely used?

20 A Not for soft tissue infections due to
21 Group A strep like, but for other things, yes.

22 Q Okay. Was the Rocephin that was

1 administered at All Children's Hospital shown to have
2 had any effect in combatting the strep?

3 A In fact, no, it was not shown to have any
4 effect in combatting the strep and I say that because
5 at autopsy, this is now, I can't remember when the
6 autopsy was done, but let me just pick a number, 12
7 hours, after he was given a dose of Rocephin, they
8 still identified bacteria from his tissues.

9 Q So it's your opinion, after reviewing the
10 medical records of All Children's Hospital and East
11 Pasco Hospital concerning Christopher Shelley and
12 everything else you reviewed as to this case, that
13 the Rocephin was given admittedly much too late.
14 Correct, Doctor?

15 A Right.

16 Q Did not have any effect in combatting this
17 strep bacteria. Is that right?

18 MR. HUNTER: Object to the form.

19 A Well, we're talking about different
20 things. I think the tissue phase of this infection,
21 no. Did it potentially sterilize the blood after 12
22 hours? I don't know if it was cultured or not, but

1 it may well have been able to do that

2

3

4

5 as what's shown to be, in fact, an effective
6 antibiotic to treat that bacteria?

7 A I think if you were to test the bacteria
8 against Rocephin in a test **tube**, is that what you're
9 talking about?

10 Q No, sir. I'm asking you, in terms of your
11 review of this case and the Rocephin that was
12 administered to this child, albeit, admittedly, much
13 too late, do you have an opinion as to whether
14 anything in the record showed that the Rocephin, in
15 fact, was combatting this bacteria, but it was just
16 too little too late?

17 MR. HUNTER: Object to the form.

18 A As I sit here, I don't recall anything in
19 the record that I even looked at to address that
20 question.

21 Q Okay. Do you have any opinions or
22 impressions as to what the purpose was of Pat

Johnson, the nurse at East Pasco Hospital, since
2 you've been retained by East Pasco Hospital to render
3 opinions as to whether the standard of nursing care,
4 as I understand it, is one of the things you've been
5 retained to give an opinion on, do you have any
6 opinions or impressions concerning what the
7 significance of this letter by Nurse Pat Johnson to
8 the file is, from your perspective?

9 A I can't read into this what significance it
10 has with respect to why she wrote it. To me, it
11 would be just what a nurse would do, post facto, who
12 wanted to keep the records straight. Excuse me.

13 (Discussion off the record.)

14 (Break was taken.)

15 (Discussion off the record.)

16 (Testimony was read back.)

17 BY MR. LOPEZ:

18 Q Doctor, you've been asked by Mr. Coleman,
19 as I understand it, correct me if I'm wrong, to do a
20 couple of things; to render an opinion as to whether
21 the nursing care was appropriate?

22 A Right.

7

1 Q That's one of the things?

2 A Yes.

3 Q And, No. 2, to give an opinion as to **when**
4 the child, Christopher Shelley, would not have been
5 able to have been saved. Is that correct?

6 A That's right.

7 Q **As** to the first question, do you have an
8 opinion **as** to whether the nursing care at East Pasco
9 Hospital, as it pertains to Christopher Shelley, was
10 appropriate care?

11 A I do. If one looks at the nursing, and
12 we've read these into the record, or very early in
13 this deposition, but if one reads the record, I
14 believe, the record is short in terms of the
15 notations that are put into the record with respect
16 to vital signs and input and output and the typical,
17 normal nursing component that one commonly sees in
18 children who are hospitalized.

19 That is, to me, a deviation from the
20 standard **of** nursing care. There is, perhaps, more to
21 it in the sense that, even though there may be
22 deviations from the bookkeeping or the recordkeeping

1 however, it is even Mrs. Marsh's remembrance that
 2 nurses were coming to and doing things every
 3 frequently with various problems over the time as
 4 well as it is Nurse Leach's recollection that she was
 5 so repetitively and, yet, didn't write notes

6 I think they should have written notes
 7 The care may have been better than the notes suggest.
 8 but the notes suggest less writing about the care
 9 than you would expect to see so that you can

10 objectively assess what the care really was like
 11 Q In your medical training and experience,
 12 have you ever encountered the phraseology, if it's
 13 not charted, it didn't happen?

14 A I've heard. I've heard people talk about
 15 that. That's certainly not the way I practice
 16 medicine, but I've heard people say that that's a
 17 cursing overview.

18 Q When you say that's not the way you
 19 practice medicine, tell us what you mean.

20 A I think I'm a middle-of-the-road person
 21 with respect to putting things in the chart and I'm
 22 not the kind of person who makes a handwritten

1 notation about every phone call that I get about
2 every one of my patients and then runs it over to the
3 medical record department to have it stuck into the
4 chart. And I may order or recommend Tylenol for
5 fever or whatever and it doesn't get in the chart,
6 but it did happen and it did get done, and I think
7 it's reasonable care.

8 Q Okay. Based upon your review of all the
9 records that have been provided by Mr. Coleman and
10 any other information that he's provided to you, and
11 feel free to refer to the hospital chart in front of
12 you at anytime, what's the last notation as to the
13 child, Christopher Shelley, in the Emergency Room on
14 April 24th of 1991? What's the time or entry of
15 those please, sir, and, again, refer to the chart at
16 your leisure?

17 A (Indicating.).

18 MR. BURTON: And the page you're reading
19 from, Mr. Lopez, is?

20 A The last notation, let me look here.
21 (Indicating.) I see two notations that would be
22 juxtaposed. There's a 1310, sleeping quietly, no

1 distress noted, and then there's a 1410, time
2 admitting called notation, so it appears here, 1410,
3 someone called admitting to notify them that this
4 child was to be admitted to the hospital.

5 Q That's at 2:10, correct, 2:10 p.m.?

6 A That is 2:10 p.m, yes.

7 Q What's the first -- you mentioned some
8 specifics earlier, just so we're, hopefully, on the
9 same page here. You do agree that the charting
10 that's performed by the nurses at East Pasco
11 Hospital, in your words, was a deviation from the
12 acceptable standard of care. Is that right?

13 A Well, my view on that is, certainly, after
14 this child was admitted, in-hospital charting, the
15 in-patient charting was scanty and was subpar.

16 Q Okay. It was unacceptable, you're saying?

17 A Yes.

18 Q And an unacceptable deviation from what
19 good charting should have been. Correct?

20 A In my medical judgment about nursing
21 standards, yes.

22 Q Okay. And that's what Mr. Coleman has

1 asked you to do?

2 A Yes.

3 Q And however the offshoot of your opinion
4 is, though, the charting was poor, it didn't have any
5 effect on ultimate outcome. Is that right?

6 A That's right.

7 Q In terms of the last notation in the
8 Emergency Room at 2:10 p.m., which is just a call
9 saying it's time to get him up to the floor. Is that
10 right?

11 A Right.

12 Q You mention some specific items that are
13 missing from the hospital chart or record pertaining
14 to the child's admission up to the floor. Could you
15 specifically tell us what's not there that normally
16 should be there?

17 A The initial nursing assessment. When a
18 patient is admitted to a hospital, there's usually a
19 pretty significant nursing form that's filled in.

20 Q Okay. Did you ask Mr. Coleman where that
21 might be or --

22 A When we talked about it, I don't know if I

1 asked him where it might be, but 1 stated, it should
2 be there and I don't recollect any retort to him,
3 well, we have one, but it's out of the chart. I
4 don't know anymore than that.

5 Q You're assuming it's non-existent?

6 A That's my assumption.

7 Q What else, sir, other than the initial
8 nursing assessment?

9 A Dr. Fernandez ordered an I&O to be kept on
10 this child, a strict I&O, and I don't see an I&O
11 sheet to determine what his fluid intake was hour to
12 hour and his output was time to time.

13 Q Okay. There's no I&O sheet.

14 A As far as I could see.

15 Q Doctor, what are you using as the basis
16 that Dr. Fernandez did, in fact, order a strict I&O?

17 A His order, that's like the fourth or fifth
18 order, as he admits this child to the hospital. It
19 says I&O, strict.

20 Q And what page are you reading from, sir?

21 A It's not noted.

22 Q Those aren't noted, and that's

1 Dr. Fernandez's orders?

2 A Yes.

3 Q What else, Doctor? You mentioned absence
4 of an initial nursing assessment. No I&O sheet.

5 A Then, my other handwritten note to myself
6 says, there were a few notes from about 5 p.m. on the
7 24th to 9 p.m. on the 24th.

8 Q Find that part of the chart, Doctor, that
9 reflects those notes from 5 p.m. to 9 p.m. I think
10 Mr. Coleman has the page here.

11 A Yes. I see them right here. I have a note
12 and I **don't** see a number on my --

13 Q Doctor, let me show you, just for purposes
14 of keeping the record straight, is this the page, the
15 same page you're looking at now?

16 A (Indicating.) Yes.

17 Q And it's numbered Page 42 at the top?

18 A Your notation is 42 at the top.

19 Q Okay, sir. You mentioned to me that
20 there's few notes from 5 p.m. to 9 p.m., but,
21 actually, it's from 3:30 p.m. to 9:50 p.m. **Is** that
22 correct?

1 A Well, I think I keyed on the 5 p.m. to
2 9 p.m. because it was at this point that Christopher
3 went into florid clinical shock and, in those
4 patients, typically, there's a lot of nursing
5 information that's gathered as the problem unfolds.

6 Prior to that, again, recollecting
7 Ms. Leach's statement that this child was pink and
8 awake and talking, I could see the need for less
9 nursing hour-to-hour notes then after a child becomes
10 cyanotic, let's say.

11 Q Do you know who wrote these nurses' notes
12 there on the pages before you, which is numbered
13 Page 42 at the top?

14 A Well, the handwriting is hard to read, but
15 from my recollection of Nurse Leach's deposition, it
16 was Nurse Nixon or Dixon.

17 Q Okay. Do you know or have an impression as
18 whether all these notations -- firstly, have you read
19 them?

20 A Yes.

21 Q Okay. Do you have an impression as to
22 whether they were all done contemporaneously, sir?

1 A I don't know if they were done all at **one**
2 sitting or hour to hour.

3 Q Okay. Do you have an impression?

4 A I would think they would be done hour to
5 hour. I would find It hard to recollect a six-hour
6 period with the kind of detail this person had
7 retrospectively.

8 Q Well, by reading them, sir, where the time
9 entries are placed in, does the time entries give you
10 any indication that that's starting a new entry or
11 does it just seem to flow one to the next?

12 A It seems to flow one to the next.

13 Q With that statement, do you have an
14 impression whether they were done all at one time?

15 A Again, I would, I would find it hard to
16 understand how anybody could recollect this kind of
17 detail in sitting down and doing it all at one time.

18 It might have been and I just -- you know,
19 I would judge not, but if Nurse Dixon says
20 differently, she wrote them.

21 Q That's fair. What information, if any,
22 other than this entry here, do you have to make an

assessment as to what the patient's condition was
2 from, I believe, 1:10 or 1 o'clock in the Emergency
3 Room when he was allegedly resting quietly till 3:30
4 in the afternoon, when we have the first entry on the
5 floor? What information do you have to make any
6 assessment as to what the patient's condition was
7 during that period of time?

9 the record to give such a assessment.

10 Q And do you feel that such an absence of
11 such a record is a deviation from the acceptable
12 standard of care as it pertains to nurses?

13 A Well, we're talking about a two-hour period
14 or two-hour-and-20-minute period and, to me, the real
15 question is, is there a requirement for a nurse to
16 make a **note** every hour or hour and a half on such a
17 patient, and I don't think there really is. It's a
18 function of how sick the patient is.

19 Q Okay. Well, let me ask you that, sir. Is
20 it your testimony then that the absence of a record
21 for 2:10 to 3:30, using 2:10 as the last entry, even
22 though, in the Emergency Room, even though that has

1 nothing to do with the patient's condition, till
2 3:30, based on what you have gleaned from these
3 records as to Christopher Shelley, the fact that
4 there's a 2-hour-and-20-minute gap with no indication
5 or no entries at all as to what the patient's
6 condition was; do you feel that that is or is not a
7 deviation from the acceptable standard of nursing
8 care?

9 A No, I don't believe that is a deviation
10 from the acceptable standard of nursing care to go
11 two hours without making an entry on a child who's
12 being admitted from an Emergency Room where he's been
13 monitored all morning.

14 Q Do you know what the particular nursing
15 requirements of East Pasco Hospital are as to
16 charting?

17 A No.

18 Q Have you asked or been afforded that
19 information?

20 A No.

21 Q In terms of your opinions as to the nursing
22 standard of care, I think we've covered that and,

1 basically, correct me if I'm wrong, yes, the nurses
2 were negligent in the recordkeeping and that was a
3 deviation from the acceptable standard of care in
4 terms of no initial nursing assessment, no input and
5 output sheet, few notes from 5 p.m. to 9 p.m., the
6 lack of vital signs in certain parts of the chart.
7 Is that correct?

8 A Yes.

9 Q What else, if anything, have I left out?

10 A As I sit here, that's all I can recollect
11 as to what should be there.

12 Q What significance would the vital signs
13 have had to you, sir?

14 A Well, clearly, this child was in clinically
15 diagnosable shock by 5:15 and vital signs sometimes
16 change to the worst before the patient, apparently,
17 changes to the worst on physical inspection, so the
18 importance of the vital signs is it could have
19 potentially allowed for a somewhat earlier diagnosis
20 of cardiovascular compromise.

21 Okay. Prior to 5:15 when is the last
22 notation of blood ressure, pulse and temperature

1 prior to 5:15 that you were able to determine or from
2 any materials supplied to you by R. Coleman?

3 A Memorandum was taken somewhere between
4 3:30 and 4, according to this block charting by Nurse
5 Nixon, taken twice, in fact. And then the prior
6 pulse and respiratory rate that I could find, the
7 last one was -- I have to look through here
8 (indicating) there was a pulse and respiratory rate
9 taken at 11:25

10 Q Okay. How about blood pressures?

11 A I don't see any blood pressures

12 O At all?

13 1

14 4 Well, not during the course of the early
15 unfolding of this process, no

16 Q Okay. When was the first blood pressure
17 that you noted in the chart?

18 4 (indicating) As I sit here, I don't
19 recollect ever seeing a blood pressure in this
20 chart. There might be one after the shock was
21 clinically diagnosed, but, by then, I didn't continue
22 to track what was missing.

Q In terms of the progression that this child

1 took from the time he came to the ER on the 24th to
2 the time **he** was air lifted to **All** Children's Hospital
3 and later expired that morning at 3 a.m., would it
4 have been important, in your opinion, to have taken
5 blood pressures on this child?

6 A Yes.

7 Q For what reason?

8 A Again, it gives some assessment as to
9 cardiovascular stability of the patient.

10 Q Can you be more specific as it pertains to
11 what was plaguing Christopher Shelley as to why a **low**
12 blood pressure would have been particularly
13 important?

14 A Well, a low blood pressure would correlate
15 with shock and it would be an indication for more
16 aggressive fluid and electrolyte management and,
17 perhaps, earlier transfer.

18 Q Okay. When he was noted to be molted and
19 his fingernails were blue --

20 A Mottled.

21 Q Mottled, excuse me, and his finger nails
22 were blue and his skin had changed color, does that

1 clinical description signify a person in shock to
2 you?

3 A Yes, it does.

4 Q In your opinion, from what point in time
5 prior to that, Doctor, would a blood pressure reading
6 likely have indicated that the child was severely
7 compromise?

8 A I don't think any, I don't think I
9 certainly can predict when his blood pressure would
10 have been low, vis-a-vis, the clinical
11 determination.

12 It could have been hours. It could have
13 been minutes. I would, I would say that a child with
14 hypotension would not be a child who looks pink and
15 awake and alert and talking, as Nurse Leach describes
16 him at 3:30, so one could use that and say,
17 probability not then, but it could have been anytime
18 after that.

19 Q Okay. Did you review parts of the chart
20 where the IV wasn't working or there had been
21 difficulty inserting the IVs?

22 A Uh-huh. Yes.

1 Q Co ld a low blood pressure have, would have
 2 been consistent with that in that the child was
 3 suffering from low volume or things of that nature at
 4 that point in time?

5 A If I understand your question, no, I don't
 6 think it works that way I don't think an IV stops
 7 functioning properly because a child goes into
 8 shock

9 It has nothing to do with shock In fact,
 10 low volume pressure would be a reason to keep, would
 11 be a reason for the IV not to come out All right?
 12 That's what happens in shock There's low volume
 13 pressure, so there's nothing there exerting any vis a
 14 tergo, force backwards on the catheter, so I don't
 15 think there's any relationship between those two
 16 features

17 Q Okay In terms of the starts the line and
 18 having difficulty finding the vein --

19 A Yes.

20 Q -- would that condition, in your opinion,
 21 if a blood pressure was taken, likely signify how
 22 what's the term I'm looking for?

1 A Tension.

2 Q Hypotension, low blood pressure?

3 A I think you mixed two metaphors there. If
4 you're asking, do children in shock sometimes have a
5 hard time getting an IV starting because they have
6 poor circulation, the answer is, yes, if that was
7 your question.

8 Q Okay. Do you form an impression and, from
9 the records, as to whether or not the child was, in
10 fact, receiving any IV therapy from 1 o'clock or so,
11 when he was last noted to be sleeping in the
12 Emergency Room, till the note you were looking at at
13 Page 42 as reflected on the 3:30 block charting?

14 A It was my sense that the child had the IV
15 in place until, while he was on the ward until the
16 time when the IV was noted to be backed up, and the
17 nurse had to go in and either restart it or fix it.

18 Q And when was that, sir?

19 A I don't think I see it in the nursing notes
20 as a timed entry, so I would have to look in the
21 deposition of Nurse Leach to find that out.

22 Q Okay. Well, you read the deposition of the

1 mother and didn't she not discuss what was happening
2 up there on the floor during those hours before the
3 child, obviously, turned to the worst and everyone
4 came running into the room? Did she describe that in
5 the her deposition?

6 A Did she describe what, that the IV had
7 backed **up** or something?

8 Q Or that it didn't appear to be working,
9 yes, and the blood was running up the tube?

10 A Right.

11 Q Do *you* remember that?

12 A Yes.

13

14

15

16

17 A Fluid.

18 Q Well, I used the medication. The hydration
19 in the IV solution was not being administered to the
20 patient at any point in time when there's blood
21 running up the tube. Is that right?

22 A This is true. Um-hum.

1 Q Did you form an impression from the records
2 as to how long the child went without any hydration
3 therapy?

4 A No, I did not.

5 Q Do you feel that's significant in terms of
6 assessing the nursing care as it pertains to East
7 Pasco Hospital?

8 A Well, I think the, I mean, there are two
9 issues here. IVs blow all the time in pediatric
10 patients.

11 It's the bane of having a child in the
12 hospital is for the IV to be occluded and back up and
13 clotted and out and it had to be restarted, so the
14 mere fact of that happening, to me, is not at all a
15 reflection of the adequacy of nursing care.

16 When it comes out, if it's needed as
17 therapy, it should be started, or at least attempted
18 to be started in a reasonably fast way. So, to me,
19 the issue would be, how long did they wait before
20 they started the effort of putting the IV back in?

21 Q Okay. That's exact --

22 A And I don't know how long that was. I

can't reconstruct it here from an of the notes and
I don't remember from the depositions.

Q Okay. Well, that's exactly my question.
How long, did you form an impression how long the
child went without any IV therapy while he was on the
floor, and is your answer, no, you didn't form an
impression?

A I read through the information in the
depositions, but did not structurally think about
that to determine how long it might be that he was
without an IV.

Q Okay. If, in fact, I want you to assume
that the, from the time that the mother arrived with
the child on the floor, and do you know how the child
arrived on the floor?

Evidently, the mother carried him.

Q Okay. From the time the child arrived on
the floor and for a period of hours thereafter, I
want you to assume the child was not receiving any
antibiotic therapy in that, as you've indicated, if
the blood's running up the tub, the chi not
getting any of the solutions. Right?

1 A Antibiotics weren't ordered, though.

2 Q Excuse me. I'm sorry. I apologize. I'll
3 start again. I'd like you to assume for purposes of
4 my question that the child, from the time he arrived
5 on the floor and for a period of hours thereafter,
6 according to the mother's deposition, which you've
7 indicated you've read, was not receiving any IV
8 therapy in that the blood was running up the tube,
9 the mother and other persons in the room noted this
10 and were telling the nursing staff that it didn't
11 appear to **be** working properly and that nothing was
12 really being done until the point in time where the
13 child's condition notably and visibly changed and all
14 the nurses came running in the room.

15 I'd like you to assume that for purposes of
16 my question. Assume that to be the facts, would you
17 feel that that would be a deviation from the
18 acceptable standard of nursing care as it pertained
19 to Christopher Shelley?

20 MR. COLEMAN: I just want to object. I
21 think it's a mischaracterization of his testimony.

22 MR. LOPEZ: If the hypothetical is

1 incorrect. You were correct, Mr. Coleman, but I am
 2 asserting it on facts presented to this doctor

3 MR. COLEMAN: Okay.

4 THE WITNESS: It would be a deviation from
 5 the standard of nursing care, assuming the admitting
 6 orders by the physician required or requested the
 7 administration of a fluid volume of a certain amount
 8 per hour.

9 BY MR. LOPEZ:

10 Q Okay, sir.

11 A And I can check the orders to find out what
 12 that was

13 Q Please do

14 A After there was an order now, so,
 15 consequently, if that I was not working for several
 16 hours and no one --

17 Q Hold that, hold that page okay?

18 Q And no one was attending to it, then it
 19 would be a deviation from the nursing standards

20 Q What were the other orders that were made a
 21 part of the chart there, and those are
 22 Mr. Fernandez's orders? Is that correct?

1 A Yes.

2 Q Please indicate what those orders were that
3 you have before you.

4 A You mean, read them all? There's a whole
5 series of orders here that anybody could read, if
6 they want it.

7 Q I want to talk about, since you've been
8 given **a**, you've given an opinion here **as** to the
9 deviation from standard of nursing care. I want to
10 ask you about the orders that Dr. Fernandez gave and
11 whether those orders were carried out or not.

12 A Okay.

13 Q Can you tell us?

14 A Well, again, the order for fluid, you want
15 me to go through every order?

16 Q Yes, sir.

17 MR. COLEMAN: And let the record reflect,
18 he has not seen Dr. Fernandez's deposition.

19 MR. LOPEZ: I understand.

20 THE WITNESS: Respiratory and contact
21 isolation, that's no way for me to know in that
22 nurses don't usually even note that in the record, so

1 it would be something on the door.

2 Vital signs, Q2 hours times 24 hours.
3 Vital signs were done intermittently during the
4 course of the first few hours, probably, at a
5 two-hour interval, although I don't see the full
6 vital signs at 2-hour intervals, so I would say, no,
7 the vital signs were not done in their **fullness** every
8 two hours.

9 Next order is observe for change in
10 sensorium or lethargy. Notify M.D., P.M.D. I think
11 that was done based on Nurse Leach's deposition and
12 what I can glean from the nursing records.

13 There are indications about the child being
14 awake and alert and when this child did have the
15 change of color and lethargy, Dr. Fernandez was
16 called at 5:15.

17 BY MR. LOPEZ:

18 Q You believe that that order was adequately
19 carried out, based on your review of the records?

20 A Yes.

21 Q The observe for change and sensorium?

22 A Yes.

1 Q Next Go ahead

2 A It says weight to chart I believe that
3 order was done

4 Q What's that, weight?

5 A Weight to chart

6 Q Meaning, the child's weight?

7 A Right.

8 Q Is it reflected in the chart? Have you
9 seen it anywhere?

10 A It's in the ER record, which is, I'm sure,
11 part of the record of this child as he comes into the
12 ward, and I believe it's also written right next to
13 the order, weight to chart, 14 5, and circled on the
14 page

15 A About those Dr. Fernandez's admitting
16 orders?

17 A Yes, they are

18 Q Wouldn't that have been something that she
19 wanted done prospectively?

20 A I think that order is ambiguous in the
21 sense it could mean, make sure we know what this
22 patient weighs, in any way, and if the patient has

1 been weighed three hours ago let's use that weight.

2 Q Go ahead, sir.

3 A I&O, strict, and, again, we've already
4 talked about that note. I don't see a I&O sheet.
5 Urine specific gravity Q shift. There was one in the
6 Emergency Room and I don't see a second one done
7 during the course of this shift, but the shift hadn't
8 ended by the time the child was transferred, so it's
9 a nullity.

10 Q Doctor, is your opinion then that that
11 order was or was not carried out at the urine
12 specific gravity Q shift?

13 A I'm trying to remember when the child
14 actually left East Pasco. Was it before 11 o'clock
15 at night?

16 Q Well, when he leaves by being air lifted in
17 very critical condition, I mean, if they haven't done
18 one prior to that time, has that order been carried
19 out or not, in your opinion?

20 A Well, the shift hasn't ended, though, but
21 it hasn't been carried out, but there would have been
22 time to do it had not events intervened.

1 Q Okay.

2 A PO, cleared diet times 24 hours. Yes, the
3 child is drinking, according to the nursing notes and
4 7-Up or, yeah, 7-Up.

5 Q Okay.

6 A So that order was carried out. And then it
7 says, and then advance to regular diet for age.
8 Well, he had not been there for 24 hours, so that
9 order could not be carried out. We have CBC and diff
10 in a.m. and 8 p.m. tonight. I believe that order was
11 carried out.

12 Q The CBC at 8 p.m.?

13 Q Yes.

14 A You believe it was?

15 A If I remember right, there was an 8 p.m.
16 CBC.

17 Q Okay.

18 A And then it says, repeat liver function
19 test with ammonia in a.m. Obviously, it couldn't be
20 done. It says, ammonia and glucose, Q shift. I know
21 there was a dextrose stick for glucose that was done,
22 and I believe there was another ammonia done.

Q Doctor, you say you believe, and I don't
2 mean to be -- well, you don't have at your disposal
3 the benefit of Dr. Fernandez's deposition as to
4 whether, in the specifics, as to what order she gave
5 in addition to what you're reading there on the
6 chart. When you say you believe it, are you just
7 going from recollection here?

8 A Yes, recollection that there was a dextrose
9 stick that was done, that was discussed in one of the
10 depositions, and it was an opportunity for the nurses
11 to come in and interact with the child. And I may
12 may be wrong. I've read a lot of things on this
13 case.

14 Q I understand. Okay.

15 A Next order was, notify PMD with results. I
16 don't know whether she was notified with results or
17 not.

18 Q Notify primary medical doctor with results?

19 A Right.

20 Q Regarding the liver function test that you
21 think were done, the repeat liver test, do you
22 remember something from Paula Leach's deposition that

1 when Dr. Fernandez came to the floor supposedly at
2 3 or 4 o'clock, that she was very ang -- or she was
3 upset over the fact that certain tests had not been
4 performed at that point in time?

5 A Well, I think the repeat LFTs were to be in
6 the morning.

7 Q My question is, do you recollect something
8 from Nurse Leach's deposition about Dr. Fernandez
9 being bothered by the fact that certain tests had not
10 been performed?

11 A Yes.

12 What test was it that she had wanted done
13 and hadn't been done at that point that?

14 One that was not back on the chart.
15 Whether it had been done or not was the issue, but
16 one that was not back on the chart was the sed rate.

17 Are you saying there was even a chart when
18 Dr. Fernandez came up to the floor, supposedly, at
19 3:30 or 4 o'clock?

20 My recollection of Nurse Leach's deposition
21 is that they went back to the nursing area and
22 reviewed the chart.

1 Q Okay. What chart is there that reflects
2 that time period?

3 How do you mean, what chart?

4 Q Well, we have all the records here and the
5 only thing we have from 3:30 to 4 o'clock, from my
6 understanding, is the 2 or 3 inches of the page
7 you've been reading to.

8 A Well, a chart, when it's made up in the
9 hospital, has the admission sheet that the admitting
10 office makes up. It has insurance information. It
11 may well have had, and typically would have had, a
12 copy of the ER record put into the chart. It would
13 have the nursing notes. It would have
14 Dr. Fernandez's handwritten admitting orders as part
15 of the chart and any progress notes or admitting
16 notes that she made to that time.

17 Q The same handwritten orders of
18 Dr. Fernandez that you're reading right now?

19 A Yes.

20 Q Again, my question to you is, is it your
21 understanding that when Dr. Fernandez went up there,
22 she actually had accessibilit to the chart?

1 A From Nurse Leach's deposition, yes.

2 Q Okay.

3 A As you know, I didn't read Dr. Fernandez's
4 deposition to know whether that's in debate or not.

5 Q Okay. Any other orders that Dr. Fernandez
6 had given as to whether they were carried out or not?

7 A E5, half normal saline at 51 ccs an hour,
8 and we talked about when that might have fallen
9 through with respect to the IV coming out.
10 Pediaprofen. If temperature greater than 102.5 Q6
11 hours, and I looked in the, I looked at the medical
12 record or medical treatment record, drug treatment
13 record, and I don't see any notation that the
14 Pediaprofen was given in that record.

15 Q Okay.

16 A And it was for a threshold temperature of
17 102.5, so I'd have to look through here and see
18 whether the child made that threshold, and, in fact,
19 at 3:50 p.m., the temperature was 102.6, and we'd
20 have to look on the ER record and see whether they
21 gave Pediaprofen within 6 hours, which would block
22 the administration of Pediaprofen at that time, and

1 you probably know the answer to this, **so tell** me.

2 Q What's the question?

3 A Was Pediaprofen given in the ER?

4 Q Not to my recollection or knowledge.

5 Gentlemen? I don't know.

6 MR. HUNTER: I can't remember.

7 MR. COLEMAN: If it wasn't Tylenol, then I
8 don't know.

9 THE WITNESS: It wasn't given in the ER or
10 within 6 hours of the 3:30, 102.6. Then, by
11 Dr. Fernandez's order, it should have been given at
12 about that time, and I don't see a record where it
13 has been given because it's not checked off as a
14 given medicine on the med sheet. Next order is
15 Aveeno bath soaks. I don't know if they were given
16 soaps. Next order is calamine lotion to skin PRN. I
17 don't know if they were given.

18 Q Is that it?

19 A There's a bunch more here. I'm trying to
20 read this one. Please notify doctors of immune
21 compromised patients on the floor. If a patient with
22 varicella for appropriate VZIG administration, I

1 don't know if that **was** done. Then we have **stat**, this
2 is a **1910** order, stat ABGs, and they were done **30**
3 percent phase ten.

4 Q That was after the child had gone into
5 shock?

6 A Correct.

7 Q Did **you** denote from these records that a
8 code was ever called?

9 A No. I didn't denote anywhere in these
10 records of East Pasco Hospital that a code was
11 called. It was called at **All Children's**.

12 Q **All** right. Maybe it's a difference in
13 terminology. Did anything come to your attention **in**
14 review of these records that when the child's
15 condition was noted to be as serious as it was
16 sometime in the afternoon or early in the evening **of**
17 the 25th, that something was called in that various
18 doctors and nursing personnel all convened in that
19 room on a stat basis?

20 A Yes.

21 Q Is there a term for that that you are
22 familiar with or you use?

1 A Well, I wouldn't use the word code because
2 then that gets too strong, but I think it would be a,
3 you know, a stat cry for help to establish IVs and to
4 help maintain the patient.

5 Q Okay. Did we finish with the orders?

6 A Yes.

7 Q Okay. Doctor, let's talk about your
8 opinions concerning the child's chances of surviving
9 this,, a varicella and strep that he had. Okay?

10 A Right.

11 Q Do you have an opinion as to whether on
12 April 23rd, Christopher Shelley, if given appropriate
13 medical treatment, would have survived?

14 A My answer is, if the timing of the sister's
15 recollection of the onset of this disorder's pain was
16 such that there would have been less than 12 hours
17 from the time the antibiotics could have been
18 started, then, yes, he probably would have survived.

19 Q All right. Less than 12 hours of the onset
20 of the knee pain?

21 A Right.

22 Q Okay. Is it your professional opinion that

1 if appropriate antibiotic therapy would have been
2 started within 12 hours of the reported onset of the
3 knee pain, which, according to what you have before
4 you, was sometime on April 23rd. **Is** that correct?

5 A Correct.

6 Q That Christopher Shelley, in your opinion,
7 would likely, more likely than not, have survived?

8 A That **is** true.

9 Q **Is** that 12 hour a time period that you're
10 giving, Doctor, in your opinion, inflexible or etched
11 in stone, so to speak?

12 A Pretty much so.

13 Q And what do you base that opinion upon?

14 A I base it on Harry Eagle's studies of 1951
15 where he showed that a 12-hour interval of tissue
16 infection with Group A strep made it essentially
17 impossible to treat that patient and sterilize the
18 tissue rapidly with a beta lactam.

19 Q What's a beta lactam? What's that?

20 A Penicillin, ceftriaxone.

21 Q Rocephin?

22 A Ceftriaxone is Rocephin.

Doctor, you mentioned a more subsequent
2 study, and I'll talk about that in a minute, but do
3 you consider the 1951 Dr. Harry Eagle is still
4 authoritative?

5 A I don't know. What do you mean by still
6 authoritative? I think it's --

7 Q Well, you've cited it in the basis of your
8 opinion and I would think that the question answers
9 itself. But for purposes of this deposition, do you
10 consider Dr. Harry Eagle's's 1951 study that you've
11 made reference to authoritative in this area?

12 A I'm always reluctant to say anything is, by
13 definition, completely correct in it's entirety,
14 which is how I take to mean authoritative. But you
15 could quote any sentence from that study and not
16 disagree with it 50 years later, so I would not claim
17 that the study is, in its entirety, authoritative.

18 I would tell you that I believe the
19 scientific principle that he identified that, namely,
20 when you get to the resting phase of tissue infection
21 with Group A strep, you no longer have potency of
22 beta lactam therapy. That is authoritative. That

1 has been studied and proven by many other
2 investigators, so that point, yes, is authoritative,

3 Q You read Dr. Andiman's deposition?

4 A Yes.

5 Q Do you agree with Dr. Andiman's findings in
6 this case?

7 MR. BURTON: Object to the form of the
8 question. That's a little broad.

9 A There are 200 findings. Which finding **do**
10 you want to talk about?

11 Q Well, let's talk about his opinion. What
12 do you recollect his opinions to be and do you agree
13 with him?

14 A As soon as I get this page all typed.

15 (Short break was taken.)

16 (Discussion off the record.)

17 BY MR. LOPEZ:

18 Q Doctor, I don't think I have too much more,
19 believe it or not, and I know it's been rambling and
20 I appreciate your patience.

21 We were going to talk about Dr. Andiman and
22 **you** expressed some reluctance about the term

1 authoritative.

2 A Um-hum.

3 Q You cited Dr. Harry Eagle's article in
4 support of your opinion.

5 A Right.

6 Q You agree with the article?

7 A In general, yes.

8 Q Okay. That's fair enough. What
9 individuals in this field, pediatrics or pediatric
10 infectious disease individuals do you feel are
11 authoritative?

12 A How do you mean, authoritative?

13 Q In terms of their writings, their
14 textbooks, their studies, things that you rely on in
15 your practice as a physician. That's what I mean as
16 authoritative.

17 A Specifically, with respect to this
18 disease?

19 Q No. Pediatrics, in general- I'd like you
20 to be as broad as possible, please, and things that
21 you rely upon that you consider authoritative in your
22 practice, textbooks.

2 I'm very confused by you asked people; now
3 you want textbooks.

4 Q Well, we're going to do both, but you
5 equivocated about Dr. Eagle, so I'm asking you about
6 individuals.

7 I didn't equivocate about Dr. Eagle.

8 Q Well, okay.

9 A
10 about a lot of illnesses that had been proven true
11 and some things were said that were proven false.
12 Not having read Harry Eagle's work in some weeks, I
13 couldn't sit here and vouch for everything he said
14 being true today, 40 years later.

15 Q Okay.

16 A All right. But there's one feature of his
17 work, which is, you get to postlog phase growth in
18 tissue with Group A strep, and beta lactam doesn't
19 work anymore. Everybody accepts that. That is
20 authoritative information. That is truth, medical
21 scientific fact.

22 Q And that's the so-called Eagle effect?

A Yes.

1 Q So you cite the proposition of the Eagle
2 effect as supportive of your opinion, professional
3 opinion in this case, that 12 hours from the onset of
4 that knee pain, if Christopher Shelley did not get
5 appropriate antibiotic therapy, he was a goner. Is
6 that correct?

7 A Why do you have to have to use terms like
8 that?

9 Q Because, sir --

10 A Why can't you say, he would have died? I
11 mean, when you say a goner, you're bringing this down
12 to a street level.

13 Q All right. Let's, let's -- I apologize. I
14 apologize and your point's well taken. It's your
15 opinion, professionally, that within 12 hours of the
16 onset of the knee pain, Christopher Shelley, if not
17 given appropriate antibiotic therapy, would have
18 expired?

19 A Secondary to this given conventional
20 therapy, yes.

21 Q Okay. When you say given conventional
22 therapy, does that mean he could not have been saved?

1 A Well, I think what it really means that if
2 he had been lucky enough to have been treated with
3 Clindomycin, he probably would have had a better than
4 50/50 chance of surviving, even 12 hours after the
5 onset of his knee pain.

6 If given intravenous gamma globulin and
7 Clindomycin, that would have further increased the
8 chance of his survival, but none of these are
9 standard treatments and, in 1991, no one really knew
10 about them.

11 Q I want to definitely confine your analysis
12 of my questions to what was available in 1991, April
13 of 1991.

14 A The problem with that is these these were
15 available and, maybe, a select few people in the
16 country knew about them, but they weren't general
17 knowledge nor standard care, so if you mean by
18 available, what was standard treatments, then we
19 could proceed.

20 Q Okay. Is Rocephin still prescribed for
21 strep?

22 A Yes.

A Right.

Q And what I still don't understand is, if Christopher Shelley was first treated with appropriate antibiotics as of what was available and known to a generally competent pediatrician in April of 1991, some 12 or 18 or 24 hours after the onset of knee pain, do you have an opinion as to whether the child's life could have saved?

19

A I've already expressed that opinion and the answer is, no, I don't think it would have been saved.

20

21

22

1 Q Not past 12 hours?

2 A Correct.

3 Q so in the 13th hour, it's your professional
4 opinion, after the onset of knee pain, that
5 Christopher Shelley would not have been able to have
6 been saved?

7 A Correct.

8 Q Do I take it that anytime prior to the 12
9 hours then, it's your professional opinion that
10 Christopher Shelley could have been saved?

11 A Yes.

12 Q Other than the Dr. Harry Eagle 1951 article
13 and the so-called Eagle effect, can you provide me
14 any other basis for that opinion, whether it be other
15 publications, your own practice, etc.?

16 A Well, certainly, other publications, as I
17 talked to you three hours ago, at the beginning of
18 this deposition. The Eagle effect has been reprised
19 and a group of researchers have repeated the Eagle
20 using beta lactams compared to Clindomycin. I'm sure
21 Dr. Andiman can get you the reference.

22 Do you know the name of th article and

1 when it was done?

2 A I believe it was 1991. I already told you
3 this.

4 Q Yes, sir, you're correct, but you didn't
5 have the name.

6 A No. I still don't have a name. My
7 experience has been that Clindomycin outperforms beta
8 lactams in my patients that I've treated with this
9 particular problem, so my own judgment is that it
10 really is a feature of survivability to use
11 Clindomycin.

12 Q What percentage of children, in your
13 opinion, that have varicella present with an
14 underlying infection, bacterial infection?

15 A It's a significant percent on the order of
16 10 percent, 15 percent. There's actually data in the
17 preantibiotic era which show 30 or 40 percent.

18 Q Okay. Do you agree with the proposition
19 that a bacteriological infection is the most common
20 serious complication of varicella?

21 A Yes.

22 Q Has that been the case since the time you

1 began practice **of** medicine?

2 A Yes.

3 Q And that's still the case today?

4 A Yes. It's also the argument for the **use** of
5 the varicella vaccine.

6 Q How effective is **the** varicella vaccine?

7 A Very effective.

8 Q Percentage-wise, are you able to say?

9 A 80 to 90 who get it will not get varicella,
10 and the ones who get varicella will have a very mild
11 disease.

12 Q **You** do not have, nor do you plan on
13 expressing any opinions in this matter concerning the
14 standard of care as to the Emergency Room physicians,
15 Dr. Wilchers and Demers, nor should the standard of
16 care **of, at** this trial, of Dr. Fernandez-Garcia, **Is**
17 that correct?

18 A That is right.

19 Q Have you told me all of the professiona
20 opinions you have concerning this case that
21 Mr. Coleman has retained you in order to do a review
22 of, basically, two, as **I** understand it?

1 A I believe so.

2 Q Lastly, have you ever been a party to a
3 medical negligence action?

4 A No.

5 MR. LOPEZ: Congratulations- I have
6 nothing further.

7 MR. BURTON: No questions.

8 MR. HUNTER: No questions.

9 MR. COLEMAN: No, we don't have any and, if
10 it's ordered, we shall read.

11 (Deposition concluded at 5:02 p.m.)

12 I HAVE READ THE FOREGOING
13 DEPOSITION, WHICH CONTAINS
14 A CORRECT TRANSCRIPT OF THE
15 ANSWERS GIVEN BY ME TO THE
16 QUESTIONS THEREIN RECORDED.

17

18

19

20

Raoul L. Wientzen, Jr., M.D.


21

22

Certificate of Notary Public

I, Brenda Hornstein, a notary public in and
for the District of Columbia, do hereby certify that
the foregoing Witness, whose testimony appears in the
foregoing deposition, was duly sworn by me; that the
testimony of said Witness was recorded

transcribed from my stenographic notes and electronic
tapes to the within typewritten matter in a true and
accurate manner; that said deposition is a true



Notary Public

My Commission Expires:

April 1, 1996