

Doc. 453

IN THE COURT OF COMMON PLEAS

CUYAHOGA COUNTY, OHIO

\* \* \* \* \*

KATHLEEN NABOZNY,

Plaintiff.

-vs-

Case No. 131627

WILLIAM E. CHEPLA, D.D.S.,

Defendant.

\* \* \* \* \*

DEPOSITION OF IRA E. WILLIAMS, D.D.S.

Monday, November 7, 1988

11:50 o'clock a.m.

Reported by: LISA A. CREERON

*Magnum* on the square *Reporting*

computerized reporting services

DEPOSITION of IRA E. WILLIAMS, D.D.S.,  
a **witness** of lawful age, taken on behalf of the  
defendant, wherein Kathleen Nabozny is the plaintiff, and  
William E. Chepla, D.D.S., is the defendant. pending in  
the Court of Common Pleas, Cuyahoga County, Ohio,  
pursuant to stipulation, before LISA A. CREERON, a Notary  
Public in and for the State of Wisconsin, at 6101 South  
Highlands Avenue, Madison, Wisconsin, on the 7th day of  
November, 1988, commencing at 11:50 o'clock a.m.

#### A P P E A R A N C E S

FRANK R. DeSANTIS.

KAUFMAN & CUMBERLAND, Attorneys at Law,  
1404 East Ninth Street, Cleveland, Ohio,  
44114-2702, appearing on behalf of the  
plaintiff

EUGENE B. MEADOR,

KITCHEN, MESSNER & DEERY, Attorneys at Law,  
1100 Illuminating Building, 55 Public Square,  
Cleveland, Ohio, 44113, appearing on behalf  
of the defendant

\* \* \* \* \*

#### I N D E X

<u>Exhibits Nos.:</u>	<u>Identified</u>
1 - Curriculum vitae . . . . .	3
2 - Dr. Williams' report . . . . .	37

(Original transcript is filed with Attorney Meador)

\* \* \* \* \*

(Exhibit 1 is marked for identification)

IRA E. WILLIAMS, D.D.S.,

called as a witness, being first duly sworn in the above cause, testified under oath as follows:

MR. MEADOR: This is the discovery deposition of Dr. Ira Williams in the case of Kathleen Nabozny vs. Dr. Chepla. The time, place and other arrangements for this deposition have been agreed to by counsel, is that right?

MR. DeSANTIS: Yes.

MR. MEADOR: We have also agreed that the original transcript of this deposition can be sent to myself, and that's also by agreement of counsel, is that right?

MR. DeSANTIS: That's correct.

MR. MEADOR: Did you administer the oath?

THE REPORTER: Yes, I did.

EXAMINATION

BY MR. MEADOR:

Q Dr. Williams, we've met at the airport My name is

Gene Meador, and I represent Dr. Chepla. You've given a deposition before, I assume?

n Yes.

Q If you don't understand one of my questions. please tell *me* and I'll be glad to rephrase it for you so that you do understand it, However, if you do answer one of my questions, I'm going to hold you to the answer and assume that you've understood it, *is that fair?*

A That.'s correct.

Q For the record, would you tell us your name, please.

A Ira E. Williams, D.D.S.

Q And your residence address, please?

A 6101 South Highlands Avenue, Madison, Wisconsin, 53705.

Q And your business address, please?

a It's the same at this time. I practice *out* of other offices now. I am semiratired and continuing my private practice in other offices.

Q Okay, And you ate a dentist who specializes in oral surgery, *is that correct?*

a Correct.

a Dr. Williams, handing you what's been marked as Exhibit 1 and dated today, it appears to be a resume which is dated on the top January 29th. 1988. Is

1 that resume an accurate recitation of your education  
2 and the associations you belong to?

3 A Yes. Not a complete, but what I consider the more  
4 pertinent elements of my curriculum vitae, and this  
5 was prepared by myself.

6 Q Okay. Insofar as it's not complete, is there  
7 anything that you would care to add which you believe  
8 would be pertinent to the opinions that you're going  
9 to be giving in connection with this litigation?

10 A I just presented a surgical round-table to our clinic  
11 that was presented twice at tho -- we refer to as  
12 WAQMS, A-A-O-M-S, the American Association of Oral  
13 and Maxillofacial Surgeons annual meeting at Boston,  
14 and these presentations were September 31 and  
15 October 2 of 1988.

16 I also do not list two articles that I have  
17 published in the literature years ago during my  
18 internship and shortly after in the beginning of my  
19 residency and numerous continuing education sessions  
20 that I have maintained through the years.

21 I have been very active in going to the state  
22 and national oral surgery meetings and anesthesia  
23 meetings for continuing education in my specialty.

24 Q Was the round-table presentation which you gave in  
25 Boston, what was the topic of that. or was it -- let

me rephrase that.

Did your presentation or the two articles that you mentioned or any of the other continuing education courses that you've been continuing to do over the years, did they have anything to do with the topics that are going to be discussed in connection with this litigation?

And by that I mean like multiple extractions and the kind of procedure that Dr. Chepla performed, in addition, infections and the treatment for infections and that sort of thing.

A My presentation in Boston consisted -- the topic was otogenous bone grafts and Corvin implants to both jaw -- to either jaw and would indicate an area of advanced surgery of the jaws for the new era of implants that we're in.

I have also attended throughout the years several presentations specific on infections and dealing with maxillofacial infections throughout the years, so yes, much of my continuing education has been directly related to the factors involved in this case.

Q When was the last time that you attended a seminar or some kind of an educational course concerning infections?

A It's difficult to be precise in chat I've gone to so many like when I -- since 1966 I have attended over 75 percent of all the annual meetings of our national society and have attended usually three round-tables each year I would go that would be given for specific topics.

One of the topics, without a doubt, was on infections and the treatment of infections of the oral -- of the maxillofacial region. I can't tell you exactly which annual meeting was the last one I attended prior to this.

Q Where that topic was discussed --

A Also, I was on a ski trip about four years ago where the infectious disease department at the University Medical School in St. Louis, St. Louis University, happened to have postgraduate education going on, and I attended that. It was primarily for physicians, but I attended that on infectious disease and brought back much of the handouts and material they gave and gave them to one of the physicians at the clinic that I was most closely related to here in the city, and I don't know if that was, say, about four years ago.

I forget, whether it was Aspen or Vail or where, but I did attend their meetings and did go to that place to ski. Just coincidentally they had this

meeting, and I thought it was on things that interested me. So I can't remember the exact date, and this was multiple lectures throughout the week, a daily --

Q Would it be fair- to say, though, based upon your recollection that the last update that you've had in the infection ares, so to speak, would have been within the last four years?

A Oh, easily, yes.

Q At that ski trip that you're talking about?

A Yes.

Q The two articles that you published, what *were* they on?

A Oh, one was on -- in fact, one has been cited numerous times throughout the literature because it was one of the early examples of showing carcinoma beginning in the lining of a dental cyst, and in my case of the upper jaw, which at that time most experts did not believe that carcinoma would begin in the epithelial lining of dental cysts.

So in 1962 when I was in internship at the VA in Memphis, I removed *the* cyst in the upper jaw of a patient, and the pathologist read the slides and said, "In thia case I see early carcinoma."

I presented this, and one of probably the



most noteworthy oral pathologists at that time, who was a General Brenier (ph.) who was head of the dental service for the Army at Walter Reed, at a meeting later disputed that finding.

Since then they have found that carcinomas can and do begin in the lining of cysts, and my article has been cited, oh, I would say numerous times throughout the literature throughout the world as one of the early cases showing this, and the other was just a case report on a fibrous dysplasia of the maxilla, just kind of an interesting little case. Didn't add that much to the literature.

Q Have you relied on any particular articles or reference books in arriving at your opinions in this case?

A In this case, the bulk of my opinions have come from my continuous use of our journals. Primarily the Journal of Oral Surgery put out by the Association of Oral and Maxillofacial Surgeons and to some extent. the '88 journal and what they call the OOO, the Oral Pathology, Oral Medicine Journal, but primarily it has been my own practice and experience and primarily the Journal of Oral Surgery.

Q Are there any articles that come to mind or any articles that you reviewed in connection with

1 preparing your opinion in this case?

2 A Yes. I think *it's* nine articles and two fetters to  
the editors concerning those articles, and I had sent  
4 copies of these just recently to Attorney --

5 MR. DeSANTIS: DeSantis.

6 A -- DeSantis. And he can provide you copies of these  
7 or I can provide you copies of these.

8 Q Okay, If I can do that, I will get copies then of  
9 those articles instead of wasting time and going over  
10 each of those titles and authors now.

11 A All right. Now, one thing, the copies I gave  
12 Attorney DeSantis I had not marked an, and since  
13 sending those and for further preparation, I did go  
44 through these and make a small number of marks that  
15 would not be shown on his copies.

16 I could get my wife to copy or I can copy  
17 those pages right quick later on to make sure you  
18 show the markings that I put on these.

19 Q Very good.

20 A And they're *not* a great deal of markings, but I did  
21 them so I would help both of you to focus in on what  
22 I believe the series of articles can lead you to.

23 Q Okay. Is there any one article that you relied on  
24 more than the others, or are they all equally  
25 persuasive to you?

A My feeling in reviewing them was that they more or less provide a mosaic, and I felt that without trying to overwhelm you with articles that this number would more or less be like pieces of a puzzle that would fit together, and at the end I think would give you a vary clear and concise basis for at least the determinations that I have made.

Q Prior to your retirement, which I believe you said was in August of thia year?

A August 31 I closed my office for the active practice, I have since -- well, last Thursday E surgically implanted four implants in a patient, and so again, I'm still continuing to practice but not on a daily basis, nor do I have an office of my own, so that was August 31 of this year,

Q What is your present arrangement to do your work that you still have an interest in doing?

A Right now I'm consulting with patients from any source, not just from the men whose office I might use to do implants, and that's for myself and that patient to know what to later reconstruct on those implants when they're ready far reconstruction.

as a surgeon, I can only put implants in. I cannot build on then. So any implants I might insert, there would be an understanding we would find

a dentist qualified to build on them later.

So right now the one office I use has three dentists in it and so they will -- I have consulted with one or two patients of theirs that in the future I'll probably put implants in. In fact, I was putting implants in the very last day my office was open, August 31.

I had a patient, that I was inserting implants in because I knew I had a dentist that could build on them later and that if they had any problem, I could see then in their office and so forth. So I practiced right up until the end doing things that could be done in the future, knowing that I would continue to stay and practice to some degree.

Q How are you limiting yourself. in terms of your practice now? What kind of work are you willing to do and how much of your time are you willing to devote to your consultation work?

A Well, quite frankly, I had just started doing the bone grafts for implants about the last year and a half, and I find this fascinating and I've got one patient who we were supposed to do the surgery for the bone graft in July of this year, this past July, and her husband's company changed insurance at the end of June, and they change to Blue Cross, Blue

1       Shield, which denied the surgery.

2               We're appealing that, and we both anticipate  
3       that: if Blue Cross, Blue Shield will ultimately  
4       approve that, I'm still going to do the bone graft in  
5       the hospital for her and then later put implants in  
6       it.

7               So I envision next year to consistently be  
8       putting implants in people, that I think this will  
9       come to a fairly consistent practice and not just  
10      something that I hardly ever do at all, but I haven't  
11      got into it far enough to really know. I was so busy  
12      closing my office and getting ready for the talks in  
13      Boston, I wasn't pursuing it much since the end of  
14      August,

15              But I envision next year to continue to still  
16      put implants in, and one of the reasons is that I  
17      feel particularly the younger dentists need to get  
18      implants in their practice. Most of them don't have  
19      the ability to put them in, and I'm hoping to  
20      encourage young dentists to start using implants, and  
21      I **will** be glad to insert them as often, as frequently  
22      as I can. So I do anticipate continual practice.

23    Q    With the implants?

24    A    With the implants in other *offices*.

25    Q    Just so that I understand what you mean by implants,

could you tell us?

A The "new" implant, they call them endosseous, e-n-d-o-s-s-e-o-u-s, and they're actually root form. You're literally drilling slowly on bone and inserting a titanium cylinder, most of them are titanium cylinder, into the bone and sew it up and let the bone recover and then uncover them a few months later and if the bone has responded well and it's totally angulosed in the bone, you can then attach a denture, a partial, a bridge, a crown to it,

And so whereas they haven't been doing them for too many years in this country, in Sweden they have probably 20 years experience now. The success rate is so high that they just had a consortium meeting at NIH in June of thia year, I believe. I have a copy of it, and they believe they're getting close to the point of telling practicing dentists that if they don't include those new implants as one of the possible treatment plans of people who can benefit from them, that that in itself might be construed as less than satisfactory care, so they're coming an so fast.

I refer to them as being very similar to the total hip replacement. You can put them in anyone, regardless of age, if they have enough bone and they

aren't diabetic and have some debilitating disease and later on attach something to them, and they're just fantastic.

I think the dental literature, including the OOO, in the next 5, 10 years is going to do enormous expansion of their utilization and the number of dentists putting them in, but each dentist will have to learn how to use them,

The last few years I intend to practice, I hope to help in every way I can young dentists get them started. I tell practicing dentists in this area, the longer you expect to practice, the more important it is for you to get implants into your practice because they're going to become such a meaningful part of the future of dentists, and I will put: these in any member of my family. I would have one put in myself,

Q Prior to your semiretirement now, you were in private practice since, I believe --

A July '66.

Q '66. Why don't you, if you could, just outline in general while you were in private practice the type of patients that you normally saw and the type of treatment that you would give those patients.

A All right. In 1966 we were classified as oral

1 surgeons, and a high percentage of those  
2 practitioners would have been more accurately termed  
3 exodontists, primarily limited to removing teeth,  
4 impacted teeth, fixing fractures in the office.  
5 Fractures and things might be in the hospital, not a  
6 great deal of major surgery,

7 Beginning in the mid and late '60s, oral  
8 surgery started to expand rapidly into much more  
9 sophisticated major surgery of the maxillofacial  
10 region, meaning the upper and lower jaw and the  
11 ability and relationship of these jaws, and function  
12 is considered the maxillofacial -- the lower part of  
13 the orbit and beneath the skull and all of the bone  
14 and teeth and so forth would make up the oral and  
15 maxillofacial region, and I think it was late '70s  
16 that our American Association of Oral Surgeons  
17 changed its name to American Society of Oral and  
18 Maxillofacial Surgeons.

19 What I'm getting at is when I came out of  
20 training, I primarily was trained to do office oral  
21 surgery, a little -- name trauma and removing teeth  
22 and so forth. I made a very specific endeavor to  
23 stay up with my specialty, and so I expanded my scope  
24 throughout the years to include orthognathic surgery,  
25 which is the surgical correction of deformities with



the surgical repositioning of one or both jaws, of doing operations for the elimination of major pathology of the jaws, including bone grafts, and then the addition of implant surgery.

There is one aspect of oral and maxillofacial surgery I specifically did not incorporate into my practice, and that was surgery of the TM joint. I treated patients -- I treated and diagnosed TMJ problems and treated patients conservatively but elected specifically not to add surgery of the TM joint to my scope because, quite frankly, I felt like the degree of success was not encouraging, and I just didn't want to operate on those type of patients where a lot of them really didn't get a lot better.

I personally relate TMJ surgery to a typical neurosurgical practice, not all your patients survive, and so I eliminated that part, but almost everything else in oral surgery I feel I've kept up with.

So by the time I ended my complete active practice in '88, I had a fairly full range of oral surgery practice except for specific surgery of the TM joint and cleft palate and I did not include -- I never did add surgery of cleft palates. I did very limited surgery on cleft palate, very, very limited

1 but basically the surgical bone, grafting of the  
2 clefts I didn't include because in this area almost  
3 all of the work was done at the university.

4 I knew I would see so few of those patients,  
5 it wasn't worth adding that to my scope of practice  
6 because I would just see too few. The important  
7 ingredients of this case and of the care received by  
8 Mrs. --

9 Q Nabozny.

10 A -- Nabozny are very germane to the typical oral  
11 surgery practice in the '60s, '70s and '80s, and  
12 everything here is very run-of-the-mill, bread and  
13 butter work of almost every oral surgery practice in  
14 this country.

15 Q In general how have your patients been referred to  
16 you?

17 A I joined a group of three oral surgeons who had been  
18 in practice for a number of years and, in fact, in  
19 '66 was the only oral surgery office -- no, correct  
20 that. We were not the only surgeons in town, but it  
21 was a three-man group that had been here for a number  
22 of years and did the vast majority of the oral  
23 surgery work in this city.

24 So over the years we have received referrals  
25 from the dental community primarily and from word of

mouth from our past patients. A third source in a limited amount would be the medical community, and even above that, I guess the third choice would just be Yellow Pages or what have you, someone needing an oral surgeon.

So it would be primarily from the dental community, then from past patient referrals, then Yellow Pages, what have you, then the medical community.

Q Do you know how many oral surgeons practice in the Madison area?

A Right now there are, and I still consider myself practicing, right now there are 10. There are four each in two groups. There is one other oral surgeon who has practiced alone here since about 1969 or '70 and who does not have hospital privileges, does strictly office oral surgery and then myself,

Q And I believe that when you retired you were with three other oral surgeons?

A No, I was practicing alone since March -- I'm sorry, April 1, 1985 I practiced alone. From April 1, 1985 until August 31, 1988.

Q When did you first become involved with the review of cases in connection with lawsuits?

A All of my experience with malpractice litigation of

1 professional **services** has been in the Madison  
2 community, and probably I **became** involved with that  
3 in 1980.

4 Q How did you become involved in that?

5 A In that I **was** the second surgeon who assumed  
6 responsibility for a patient who required **corrective**  
7 **surgery** from previous oral maxillofacial **surgery**  
8 administered **by** physicians, **and** these physicians  
9 voluntarily turned this patient's care over to me  
10 with the statement, "We can't provide **what she**  
11 **needs.**"

12 Over the ensuing periods I did the surgical  
13 **correction, made** necessary efforts **for** in-depth peer  
14 review within the medical community of that hospital  
15 with nothing being done, and subsequently the patient  
16 did **take the** matter to litigation, **and I served as**  
17 **the** patient's expert witness because I **had** been the  
18 correcting **surgeon.** And **even** though the original  
19 surgery **was** by M.D.s, I was qualified to assume all  
20 the responsibilities and to perform the corrective  
21 surgery that **was** necessary.

22 Since then I have been involved with similar  
23 situations in **every** hospital in the city but the VA,  
24 and although it's **rare** to find a practicing surgeon  
25 of any degree in this country who has served **as a**

1 plaintiff's expert witness in legal matters in the  
2 city in which he practices, I have done this multiple  
3 times because I was intimately aware of all the  
4 conditions of the patient because I had to do the  
5 corrective surgery and because I, quite frankly,  
6 professionally felt that ethically I had no other  
7 choice but to testify.

8 Q When you started in 1980 with this litigation process  
9 involving malpractice and all the way up until the  
10 present, excluding this case, were you always the  
11 treating physician who later became involved in it  
12 and then thereafter was a treating physician and also  
13 an expert witness?

14 A Not always. And primarily because at the University  
15 Hospital, which is an entirely different world, I did  
16 end up writing a smoking gun letter which forced the  
17 medical staff to have a formal board review of a  
18 chairman of one of the subsurgical specialties, and  
19 in that letter there was one patient mentioned that I  
20 had not operated but of which I knew the details of.

21 But at the other private hospitals it dealt  
22 with patients that it had been necessary for me to do  
23 corrective surgery for.

24 Q Since 1980 how many malpractice actions have you been  
25 involved in either as an expert, witness or reviewing

the matter on behalf of either -- I assume for the plaintiff?

MR. DeSANTIS: Objection, go ahead.

10 A Yea. Years ago, very fleetingly, I testified for a  
1 defendant, a dentist, in the city Ear a case that  
12 should have never gone to court, and it was an older  
14 man that had had some teeth out by a general dentist,  
and I had seen the man and he had a rough area of  
bone near his tongue, and I had testified for the  
defendant in that case because I didn't feel the  
dentist had done anything wrong, and it was a very  
small matter, happened probably in '67 or '68. I  
vaguely remember it.

14 Since then I have testified four times as an  
16 expert witness in this city and given multiple  
depositions, and they were all for cases that I had  
operated for corrective surgery, Beginning in --

18 Q When you say multiple depositions, you're talking  
10 about in connection with the four times?

20 A With each case, yes, yes. Beginning in January '88,  
21 with much urging by an attorney friend of mine over  
22 the last several years of beginning to advertise as  
23 an expert witness, my first ad in Trial Magazine was  
24 in, I'm positive, January of 1988.

25 Since that time I have been involved with

possibly a dozen canes. In two or three of these, from my recommendation, any consideration for suit was ceased. I am actively working on -- actively. I have six to eight cases where I have reviewed material, made determinations, written letters.

This is the first deposition I have ever given for a case outside of the City of Madison or the State of Wisconsin that stemmed from the advertising in Trial Magazine, and I do have a deposition scheduled for two weeks from today here, and I am in the process of setting up a deposition for probably the first week of December in Jacksonville, Florida.

Q Can you give me the names of any of the cases that you testified in while you were in the City of Madison here?

A Stemming here?

Q Yes.

A Oh, now, three of the four only dealt with the Wisconsin physicians compensation -- malpractice compensation panel, They didn't go to circuit court, if that would help you. Three of the four -- three of the four depositions, you know, were with the malpractice panel, and so there are cases. One of them was Agnes Woodbury,

Q Well, let me ask you this. I don't need to know the names of these if they're not in any way similar to the fact pattern in this case.

A Oh, no. They were -- no. The one at the University Hospital was vaguely similar, just vaguely, and it was a fracture, but he came out with a permanent numb lip, and I had seen the patient to take out multiple abscessed teeth shortly after they had treated the fracture, and I was greatly suspect of the treatment rendered for two reasons.

In treating the fracture, they should have removed the multiple abscessed teeth and didn't have the capability, and two, the manner in which they treated the fracture, the young man was numb and probably numb forever on both sides, but I was treating him only to remove abscessed teeth after they treated the fracture.

This never went to a deposition, never went to -- but it was one aspect of the letter I wrote to the vice chancellor at the university. So the others were -- two were fractures with continuing infection but nothing as severe as this case, and the third was an orthognathic surgery trying to reposition part of the lower jaw with long-term infection, but nothing similar to this, to the type of infection we were



dealing with in this case.

Q You've testified or you will be testifying regarding the failure to meet the standard of care. Have any of your other cases been similar in the sense that the physician failed to meet the standard of care like the allegation here in this case?

MR. DeSANTIS: Objection. Go

ahead.

THE WITNESS: I'm sorry, go ahead.

MR. DeSANTIS: No, I'm just

registering my objection. You go ahead and answer.

A As best I understand your question, to some degree, yes. When I say failure to meet the standard, in my opinion, as a board certified oral surgeon and one I assume to be an expert; in this area from my practice and my qualifications, so from my determination, yes, in that they were treating infections, postoperative infections inadequately and totally -- in most cases I feel like I can say almost a totally inept manner, in all cases of treating postop infections.

But the: original cause of the treatments were triggered differently, were triggered by fractures or attempting to reposition a portion of the jaw.

Q Well, I'm trying to find out the names of the cases

where **you've** gone on record **either** in deposition **or** in the **court** system itself **where** you have **come** out and criticized another **physician** regarding their failure to treat somebody postoperatively and an infection **resulted**. Whether **or** not it's the same severity doesn't matter to me, hut you understand my question?

A Yes, and I can give you two **of those**. **There's** Agnes Woodbury vs. Donovan and Donovan. **Well**, the  
10 **real case was** Donovan, Donovan, **Dibble** and **St. Marys**  
11 **Hospital**, hut **Dibble and St. Marys Hospital were**  
12 relieved of any connection with the **case**, and the  
13 Panel findings were for the plaintiff at the Panel  
14 Level against Donovan and Donovan, and this was --

15 Q How would one go about getting a copy of **your**  
16 testimony regarding this matter?

17 A You could either contact Agnes **Woodbury's** attorney,  
18 which would be Jeffrey Kravat, and I can give you his  
19 name and **address** or the Wisconsin Panel, which  
20 they -- while they've done away with the panels as of  
21 '87, they **still have** all the **files** and they **still**  
22 **have** the Compensation Panel **office**,

23 Q Now do you spell **Jeffrey's** last name?

24 A **K-r-a-v-a-t**.

25 Q And **he's** an attorney practicing in Madison?

a Yes, and I can get you his address and phone number.

Q The Wisconsin Panel, what's the full name of that organization?

A Wisconsin medical compensation -- Wisconsin Compensation Panel. I don't know. It had about four or five term with it. It's in the Yellow Pages. I can find it in the baok for you. They still have a phone number, and they still are involved.

There is one other case that never went to deposition or anything that -- well, no, I better not. There wasn't enough to that for that to be of any substance, The other case of infection would be Beardsley, John Beardsley, B-e-a-r-d-s-l-e-y, I think. Beardsley vs. Demergian.

Q How do you spell that one?

A D-e-m-e-r-g-i-a-n. He is since deceased, Demergian, but he was found guilty by the Panel.

Q Was this also a matter that was presented to the Wisconsin medical compensation panel?

A Yes. Neither of these went to circuit: court. In both cases the panel found for the plaintiffs, and so they did not go into circuit court. Either side could have pursued that further, but neither side chose to,

Q So if I understand your testimony all total, you've

been involved in approximately four different matters in the Madison area regarding testifying as an expert witness, and then since your ad that was placed in the Trial Magazine in January of '88 you've had approximately a dozen requests for you to review matters?

A Yes. I think I've had more than a dozen contacts. I've had maybe -- I think I'm getting close to 10 or 12 where I've actually reviewed matters and probably 12, in that area. In two or three of those my review initiated cessation of the matter, and in the others that are being reviewed, this is the first one that has gone to a deposition.

I have written opinion letters in four or five cases where I felt I had enough information to state an opinion -- that's four or five where I felt I could state, an opinion of substandard care, That doesn't include the two or three where my opinion caused the actions to cease.

Q Where do you stand with respect to the other four to six?

A Again, I have a deposition scheduled for November 21 here and am to set up a deposition in Jacksonville, Florida for probably early December,

I just wrote a detailed letter on a matter in

Iowa that I don't know **if** it will go into an additional deposition or not, and X've got two cases in Michigan, I think, where I believe the **next step** would be a deposition if they choose, and I'm waiting -- I've just got the initial information on a **case** from Atlanta, Georgia that there's no doubt in my mind I have enough material to know that I **believe** there **is** substandard care but haven't even initiated anything with the attorney.

Q Other than the **two** or three which you said that after **your review** resulted in the cessation of the litigation, as far **as you know, have the** other 10 or so matters, have you found **there** to be substandard care?

A My opinion has been from the information I'm given **that there was, yes.**

Q **Have** any of those other cases where you did find substandard care? or in the two or three **where** you have found no substandard care, have they been similar to the facts in this **case**?

A One of the **cases** where I felt there was no substandard care **was** somewhat similar, and that **was** infection of the upper **jaw**, but it **was** anterior -- it did not get: to be near **as** severe as this or near as complicated, and once I felt I got sufficient

1 documents to review and, in fact, my initial opinion  
2 from just what was relayed to me over the phone, I  
3 felt there was probable substandard care.

4 Once they were able to send me all the  
5 details and I could put things together, I was able  
6 to contact them and say in this matter, I don't  
7 believe the oral surgeon did anything whatsoever  
8 substandard and here's why, and there was a couple of  
9 little missing ingredients you had to put together to  
10 show that while this was an unfortunate series of  
11 circumstances and the patient did have some  
12 discomfort and went through some problems and some  
13 infection problem, was in the hospital for one night  
14 for IV antibiotics and everything, I felt there was  
15 absolutely -- it was a unique series of little.  
16 circumstances and not really substandard care by the  
17 oral surgeon.

18 Q How do you refer to that particular file? Is there a  
19 name, that you can recall, to that file?

20 A No. It was from Illinois, and I can lay my hand on  
21 it fairly quick. It's in my basement in my files,  
22 but this was sometime last year, and I wrote them a  
23 detailed letter,

24 In fact, it was the wife of a senior partner  
25 of the attorney who contacted me and three years was

1 running out, and it was a small town with the same  
2 group of oral surgeons that were two older brothers  
3 and two sons and so forth and a very small town and  
4 it was ticklish, and the lady just wanted to find out  
5 what happened and was I wronged and everything,

6 On the surface *it* looked like she really was,  
7 and when you got right down to it, it was just very  
8 unfortunate and could have happened to anyone at any  
9 time, and she just wasn't getting any answers.  
10 Nobody wanted to talk about it, so nobody wanted to  
11 tell her what happened,

12 I could lay my hand on it, but once I got all  
13 the x-rays and saw the sequence of events and  
14 everything, I felt like I was able to give them a  
15 good rationale for what had occurred, the sequence of  
16 events.

17 6 Would you be willing to provide Mr. DeSantis and  
18 myself with a copy of your file in that case?

19 A Sure. I can pull that.

20 Q How did you become involved to review this particular  
21 case, the Nabozny case?

22 A I assume through my ad in Trial Magazine.

23 Q Wow were you contacted and when?

24 A I received a letter, I guess -- wait a minute. So I  
25 received my first letter May 5, 1988 -- no, I

received a phone call first.

Q From whom?

A The first letter is signed by Richard Zeiger, so either from Attorney Zeiger or Attorney DeSantis from their office, the office of Kaufman & Cumberland.

Q You don't remember the date when you received the first telephone call?

A I did not keep a record because I'm sure at that time we talked for a while about my qualifications, and I sent them a copy of my CV and of my fee schedule for expert witness and left it to them if they wanted to send me any other information.

So with the first letter via Federal Express X received a packet, and the letter is dated May 5, 1988, and they indicate the documents that they sent me.

Q Can I ask you if *you* have a copy of your fee schedule?

A I do. I can get it. Yes, and I don't have that -- that's in the basement, Let's make a list, and I'll get these.

Q Do you recall what your fee arrangement is?

A Yes. Basically it's \$900 to review a case, \$200 an hour and \$1,800 a day and if I travel, plus expenses, and that's pretty much it.



Q By the way, what is the arrangement for your deposition today?

A We just talked about that, and I guess my bill to you would be primarily just for the time today, you know, in a round number, and I'll be glad to come up with something we both agree on, three or four hours or whatever, whatever is customary, but Mr. DeSantis said that anything that I did prior, which has been quite a few hours, should be to his office.

10 So primarily yours would be just for what we  
11 would agree on for today,

12 Q Okay. The Letter that you received from Mr. Zeiger,  
13 could I look at it?

14 A Oh, certainly. And I believe. I still have everything  
15 that was listed that he sent me. I don't think I  
16 returned anything. In one or two cases I've returned  
17 some x-rays or some photographs, but in this case I  
18 think I still have everything that was originally  
19 sent to me.

20 Q Would it be possible for us to get copies --

21 A Sure.

22 Q -- of your file, including the articles and then we  
23 can mark these?

24 MR. MEADOR: Is that agreeable,  
25 Frank, to do that that way instead of me

1 marking everything now and slowing down the  
2 deposition?

3 MR. DeSANTIS: Yes. What do you  
4 want, just not to --

5 MR. MEADOR: I just want to get the  
6 whole file and have it all marked,

7 MR. DeSANTIS: Sure. You want to  
8 take a break and do that?

9 THE WITNESS: I can get Shirley.

10 MR. MEADOR: Why don't we wait  
11 until the end.

12 THE WITNESS: Or I can get Shirley  
13 to do it real quick.

14 MR. MEADOR: We can do it  
15 afterwards.

16 THE WITNESS: Okay. I've got a  
17 pretty good copy machine down there. We can  
18 just go down and fire them off.

19 Q So you showed me the May 5th, 1988 letter from  
20 Mr. Zeiger?

21 A Yes.

22 Q And the items that are listed 1 through 8 are the  
23 items that were enclosed with that letter?

24 a Yes.

25 Q And may I look at the statement of Mrs. Nabozny that

was provided to you?

MR. DeSANTIS: Objection. I don't

think it says the statement of Ms. Nabozny.

I think, number one, it says a fact summary.

A Yes, I assume that's --

Q That's what I wanted, thank you,

(Short recess is taken)

Q So you reviewed the materials that were sent to you with the May 5th, 1988 letter, is that right?

A Yen.

Q And then what did you do after you reviewed the materials?

A We had a conversation or we had probably two or three conversations, and when I say we, I can't: recall how many times I might have talked to Mr. Zeiger or if -- the bulk of my conversations have been with Mr. DeSantis, but somewhere -- well, as of May 12th, '88 I wrote them a letter that I felt documented my opinion of reading the file,

Q Between your review, which I assume occurred on or after May 5th of 1983, and your letter expressing your opinion, and the letter is dated May 12th, 1988 there was approximately --

A A week,

Q -- a week's time in there. How many conversations,

if you can recall, did you have with the attorneys from Kaufman & Cumberland?

A Possibly one or two very brief ones as to the fact that they were ready for me to give them a written report of my views of, you know, what I felt I could determine from the records.

Q You had an opinion, though, after your review of the records?

A Yes, and I stated it in that letter of May 12th.

1 Q Did your opinion after you reviewed the records on  
1 May 5th change at all after you had the conversations  
1: during the week before you --

1: A Oh, no.

1: Q Before you wrote down your opinion?

1: A No.

16 Q And does your opinion today, is it consistent with  
17 your letter of May 12th of 1988?

18 A It's consistent, but it's expanded. It's in more  
19 depth. The opinions I have now from going over the  
20 articles and other factors, basically it's the same  
21 opinion, but --

22 Q It may be more detailed?

23 A Yes.

24 Q Prior to writing your opinion, which is in the  
25 May 12th, 1988 letter and, by the way, why don't we

1 mark that Exhibit 2. Can I use this one?

2 A Sure.

3 {Exhibit 2 is marked for identification}

4 Q Doctor, handing you what's been marked as Williams  
5 Exhibit 2, that is the opinion which you have  
6 expressed, is that correct?

7 A Yes.

8 Q And that's the May 12th, 1988 letter?

9 A Yes.

10 Q Prior to arriving at your opinion in the May 12th,  
11 1988 letter, you had reviewed only those materials  
12 that were sent to you with the May 5th, 1988 letter,  
13 is that right?

14 A Correct.

15 Q And there were no other things that you reviewed, is  
16 that true?

17 A That's true.

18 Q When did you review those articles, the nine articles  
19 which you're going to copy for me?

20 A Oh, wall, I started accumulating them over the summer  
21 as I was getting ready to close my office. I went  
22 through all my journals from '65 or '66 and was  
23 taking out articles under various subjects, copying  
24 them or taking them aut and for some various  
25 subjects, and one of them was for infections.

And since then I have **reviewed** the articles that I took out, **this** series specifically, for the care of Ms. Nabozny.

Q Those articles would have been gathered by you after your **letter** of May 12th, 1988?

A Yea, yes,

Q During the course of your many years in practice, I assume that you have performed multiple **extractions** where it **would** involve 12 teeth?

A Hundreds of **times**. In fact, unfortunately, in the '60s and early '70s we **extracted** far more **teeth** than we do now, and when I first joined the group in the '60s, we used to take out a lot of **teeth**, and I have had people under general anesthetic in my **office** for over an hour at one time, and I've taken up to 32 teeth, including four impactions,

Q In one sitting?

A On a young man that was in his **teens** and **every** third tooth was decayed, and it was just nothing else to do, and I still think of him, and that was '66.

a What's your typical **procedure** when you perform multiple extractions?

A The vast majority of my patients were treated under **general** anesthetic administered by myself, and I have told **people** over the years I truly believe that, no

1 matter how many **teeth** they needed **out**, it **was far**  
2 better to do them all at **once**, and it **was** very, very  
3 infrequent that I **would** put **someone** in the hospital  
4 just to remove teeth **unless they had a medical**  
5 **problem** that demanded hospitalization.

6 **Most** of the cases throughout the years were  
7 **done** in either office that I practiced in in pretty  
8 **much the same way**.

9 **Q What do you normally review prior to doing the**  
10 **extractions?**

11 **A Well, we're far better now than we were in the '60s,**  
12 **but we would have a medical history and anything** from  
13 **the referring dentist we might have, although a lot**  
14 **of people would come in and say, "I want all my" --**  
15 **"I need all. my teeth out," and even before they had**  
16 **an appointment had gone to a dentist, say, in a**  
17 **smaller town and said, "I'm going to have all my**  
18 **teeth aut up at Kelly, Griffin, Lynn in Madison.**  
19 **Will you make my dentures" or something like this,**

20 **So it wasn't an infrequent thing for me to**  
21 **maybe make the initial diagnosis and treatment plan,**  
22 **and I have spent many hours trying to tell people**  
23 **they didn't need as many teeth out as they thought**  
24 **they did, but there was quite a few people who were**  
25 **not referred from anyone, and the first real x-ray**

they had would be in our office.

But her case and the material I see here, and I used this phrase earlier, is just a run-of-the-mill oral surgery case, very typical of things I have seen since dental school even and internship, much less my residency and private practice.

Q In your private practice in those cases where a patient was referred to you by a dentist who already had a treatment plan for her, what would you normally, if you were just a phase or part of that treatment plan, what would you normally see when you first saw the patient, the x-rays that were taken, is that right?

A Usually in my practice the front office people would get the pertinent history and paperwork, and as the patient was being first taken! sent to the bathroom because they were going to have general anesthetic and then taken to operatory, the surgical assistant would give me the chart with the x-rays. And in most cases I would have seen the x-ray before I might see the patient, but in a very short period of time I would see both x-ray and patient.

Q Were these any other materials that you normally reviewed prior to doing the multiple extractions?

A Well, again the medical history was the -- first



determination is is this patient a good candidate for an office surgery and then, in most cases, for a general anesthetic.

so our biggest decision, which is technically a history and physical as one would get in a hospital by a physician, is the determination can you do this patient in the office and then can you do them under general anesthetic. Are they a good risk for either or both of these categories.

10 Q And then in your private practice prior to performing  
11 the surgery, do you explain to the patient what  
12 you're about to do?

13 A Yes.

14 Q Do you have a consent form that you used in your  
15 practice?

16 A My consent form in my solo practice of the last three  
17 years was -- in fact, most of the years I practiced  
18 we did not have a consent form, We did not: use one.

19 Q When did you start using a consent form?

20 A I can't recall. We had a consent form when I was  
21 with the group, and I don't know how many years, and  
22 then when I started my privata practice, I had a  
23 consent. form for most typical oral surgery work in  
24 the office.

25 Then I also went to a separate consent form

for orthognathic surgery and a separate consent form for implants and in rare cases a separate consent form for any unusual thing that I might do.

Q When you said in your **private** practice, you mean when you went aut on **your** own?

A My solo practice from '85 to '88. I **went:** to multiple consent forms for different **specific** types of surgery -- orthognathic surgery, bone grafts, implants, things of this nature which were much more detailed than the small half sheet type consent form I use for the routine office clinical oral **surgery** extractions, impactions.

Q What Dr. Chepla did for **Mrs. Nabozny** would have been the routine oral **surgery**?

A Very, **vary** routine, run-of-the-mill.

Q Which would **have** used the shorter consent form in **your** practice?

A Yea.

Q Do you still have copies --

A Yes.

Q -- of the short form?

A Yes. Yes, I can get you a copy of **my** consent form.

Q Does **your** consent form deal with the possibility of complications after the surgery?

a Yes.

Q And what are the complications **that**, the patient is advised of on your consent form either in writing or by yourself orally?

A Well, I think all of the surgical **assistants** who worked in either office I worked at, either the group or when I **had** my solo office, will tell you I tended to spend a considerable period of time with my patients and would talk in more specific things for any factors I **saw** related to their **case**.

So if I saw someone with an oral condition that indicated possible consideration for postoperative infection or something, I would tend to go into that with these patients and things of this nature. It would **deal** with infections, it would **deal** with impactions, with numb lip, It would deal in severe impactions with possible fracture of the jaw.

It would deal with a multitude of possible postoperative complications that would **vary** depending on the case, so routine extractions, one of the biggest things would be infection for **these** people.

Q Let's assume that Mrs. Nabozny went into your office in June of '86. What kind of complications would you have explained to her that may result after the multiple extractions?

A In looking at the copy of the x-rays sent to me,

again, I consider these x-rays and things to indicate a typical. routine oral surgery case, nothing out of the ordinary, something I've seen literally hundreds of times, and one of the things that I would say to her -- of course, from the records that I've read, she went in indicating that she had been very lax about dental care.

She knew her teeth were in bad condition. Dr. Sangrick's notes indicated that she had gum disease and red gums and everything, so the potential for infection is certainly there for anyone taking out her teeth.

Q So you would have told her that?

A Without a question this would be one factor, Also, the impacted wisdom tooth shows the roots near the nerve canal, so you would consider possible numb lip paresthesia.

Q Of what?

A Of paresthesia, numb lip from removing an impacted wisdom tooth in a mature individual, and slim chance here of maybe opening into the sinus and some sinus, you know, complications.

That's basically the biggest things you would you see would be considerations with impaction, considerations with potential postop infection and a

slim chance for maybe opening into the sinus, which would delay healing.

Q Would you say that based upon what you do know about Mrs. Nabozny, not knowing what has happened after her surgery, what would you say the percentages of her developing an infection would be based upon your review of her x-rays as they were back in June of '86?

A That's easy for me to answer in that when I talked to my patients, I tried to give them some specific -- I used a lot of analogies.

And in something like this, I would say something very typical to if I were to operate on 10 patients like this, less than half of them will need antibiotics, but a few of them will, and we don't know who.

So I probably would not have started her on antibiotics at the time of extraction but would have assumed that this very readily could occur postoperatively, and so when I talked to patients, I would usually relate things in numbers like that, that if I do 10 or 20 of these, I know that less than half are going to get infection.

But I know that a small percent probably definitely will and that I may well have to put you

on antibiotics later **and** occasionally -- more than occasionally I would actually say to patients, "Do you tend to get infections easy, would you be more comfortable if I started you on an antibiotic," because I've occasionally **had** people tell me, "Oh, I get infections **easy**. I want to be on antibiotics."

With a condition like this, multiple sources, I would be comfortable starting them, but only **if** a patient kind of nudged me, pushed me into starting the day of surgery. In *most cases* looking like this, I would not **have started** her on antibiotics but would **assume** the potential is there.

Q You've talked about what you would **have** told the patient in regards to the possibility of infection. Would you also **have** told the patient what you would have expected in terms of the pain that may result after the **surgery**?

A Yes, I tended to tell these patients, and **it's** very specific, about if I felt I had to tell them they fell in a category that showed some potential for needing antibiotics, **then** I would tell them.

**See**, I normally used sutures that dissolved, cut sutures, and so I **would** tell my patients, "I **don't have** to **see** you if you're doing fine, but I need to **see** you for the slightest thing," and for

3 these patients I would say, "I have to know if you're  
having any problem. You don't call me. You come in.  
I have to see you. I have to know if you're starting  
to get any problem," and so these things I would be  
very specific with.

Q In the case of Mrs. Nabozny with her having 12 teeth  
extracted, what would you have expected the length of  
her pain would have been?

A My feeling --

Q Not knowing what we know,

A Yes. I think I know what you mean. Normally I told  
people who were having multiple extractions that,  
quite frankly, I have less problem with this type of  
patient than any other type patients, i.e.,  
impactions, root canal, apicoectomies, and I've said  
this often.

Usually when I take multiple teeth out of  
people, I expect them to feel a lot better within  
four or five days. Now, her impaction would throw an  
entirely different light on that, and I would have to  
say from the site of your impaction that's going to  
be totally different, but these other areas I would  
expect you to feel a lot better in four to five days.

A So four to five days of pain in the area --

A Of simple extraction.

1 Q -- of the simple extraction would be normal as far as  
2 you would say?

3 A Yes.

4 Q And as far as the area involving the impacted tooth,  
5 that would be an area where you would expect pain a  
6 little bit longer?

7 A In four or five days you would start to know if  
8 you're getting into the dry socket postoperative  
9 osteitis syndrome, the one that most people have  
10 heard about where you have your wisdom teeth out and  
11 it can hurt for a week or 10 days.

12 Those people that would get "dry socket"  
13 would have symptoms of that within four or five days,  
14 and then you can start to separate, which is  
15 primarily just packed, medicated gauze in this big  
16 hole that the wisdom tooth formerly occupied. Or if  
17 you're having problems from the other more routine  
18 extraction sites but two entirely different  
19 postoperative clinical scenarios, you would expect  
20 what a practicing oral surgeon would expect.

21 Q Mrs. Nabozny, her impacted tooth was on which side of  
22 her face?

23 A I can't tell from this. Oh, wait a minute. I think  
24 he said 17 was impacted, which would be the left  
25 side, but let me find his chart. Seventeen, which



would be the left side, so the opposite of the side where she ultimately had the problems.

Q So on the left side you could expect pain for about a week?

A Sure.

Q And that would still be within normal limit?

A Sure.

Q And that would not be alarming to you as an oral surgeon; however, on the other side, the right side of Mrs. Nabozny's face, you would expect the pain to be four to five days, and that would still be in the range of normalcy, is that true?

A Yes, I agree with that, but I would add something additional in that any time you work in the mouth, the potential for infection is there. Postoperative infections related with lower impacted wisdom teeth are quite different from postoperative infections of the upper jaws. Postoperative infections in the upper jaws are less frequent, but can be far, far more serious.

Q Which kind of infection did Mrs. Nabozny have?

A From the upper jaw, back in the spaces and from the upper jaw, and so the --

Q How do you know that the site of infection isn't on the lower jaw and that it moves to the upper jaw and

moves into --

1 A What you don't know for **sure**, except I believe they  
2 **got drainage** from that area, from the **area** and from  
3 **reading the reports**, I **sense** that they felt like it  
4 was from the **upper** extraction sockets. Plus when you  
5 read the **articles** I have and if you were to go into  
6 them, **usually** lower jaws will be in different **spaces**  
7 than upper **jaws**, but **it** could have come from either  
8 one. You're absolutely right.

10 The other indication **is** that during the **peals**  
11 of her infection, shortly **after admission** to the  
12 hospital, she **also** had her right sinus completely  
13 full of pus -- of **exudate**, and this would not **have**  
14 occurred from the **lower socket**, She could have had  
15 some from both.

16 From looking at her **x-ray**, I just **feel** that  
17 the primary source was from the upper, but this we're  
18 kind of splitting hairs, Whatever its source, once  
19 it was there, it's **kind of a moot point was it from**  
20 the upper or lower tooth.

21 Q We don't **really** know the actual source of **the**  
22 infection?

23 a No, but I think that people going over **all the**  
24 **records**, the consensus of a group of maxillofacial  
25 **experts** would feel that it was from the upper

1 primarily.

2 Q No, but I mean **we** **dan't** **know** what actually introduced  
3 the! infection into Mrs. Nabozny's **system**, do **we**?

4 A Oh, there's no question in my mind it was the day of  
5 extractions. In my mind from reading it, I feel that  
6 the initial -- the initiating source of the infection  
7 was the extractions. In other **wards**, I'm not  
8 **surprised** that she **ended up** with what she **had** from  
9 the **series** of events that I **read** through the records.

10 Q No, I don't know if **we're** talking about the same  
11 thing. Do you **know** what it was **that** actually  
12 introduced **the** infection into Mrs. **Nabozny's** system?

13 A I think the forceps extraction of the **teeth** and  
14 **roots**.

15 Q So you think it **was** Dr. Chepla's forceps that  
16 introduced the bacteria that eventually resulted in  
17 her infection and her problem?

18 A I think **any** oral surgeon in the country removing  
19 those teeth **would** have had the potential to get the  
20 exact **same** infection. I don't think it was just  
21 Dr. Chepla.

22 I think anyone with the ability to assume the  
23 responsibility in removing those teeth **would** have had  
24 the potential of getting the same infection just from  
25 the act of removing those teeth. I think the! removal

of' the teeth on, was it July 8th or whatever, was the initial source of her infection, was the date of extraction -- the things that happened in her mouth on the date of extraction was the initiating cause of her future infection.

Q Okay. I think I understand your answer, but I still am not certain whether or not you're saying that the source of the bacteria which was introduced into her system, where that source was.

10 A Oh, from the teeth, from the retained roots and the  
1 low grade chronic infection around the teeth and just  
14 the infection of the mouth, the inflamed gums and so  
16 forth,

So I don't think in any way Dr. Chepla caused  
18 the infection by inadequate means of removing the  
16 teeth. I think just the fact that this lady has  
14 chronic infection in her mouth and has for months or  
18 years, which she claims, and so she has a low grade  
16 infection in her mouth all the time. The mouth on  
20 everyone is filthy and has more bacteria than any  
21 other part of the body.

22 She has a constant low grade infection, and I  
23 think the mere fact of trying to remove these teeth,  
24 which needed to be removed, introduced some of this  
25 bacteria into deeper levels of the maxillary area and

particularly back behind the tuberosity and back behind the bone of the alveolus, and then when you get beneath the attached soft tissue, gum tissue that's tightly attached, you start getting into areas of tissue spaces and tissue that is not tightly attached, and some bacteria just inadvertently got seated in this area and proceeded to slowly incubate.

Q How do you know that the bacteria that caused her infection is the same kind of bacteria that was in her, as you say, her low grade chronic condition?

A Well, now, no one can ever say precisely, you know, where the bacteria of her infection or where it came from because the amount of organisms and the variation of organisms in everyone's mouth is very broad.

It is rare to have an infection with pus, a cellulitis or an abscess in the mouth and be able to get a culture and sensitivity and give an unequivocal specific diagnosis because if you get pus out of the mouth, it will almost always come back normal oral flora, but with an abundance of such and such, staph, strep, what have, you, which abounds in the mouth.

So the trouble in dealing with infections in the mouth is you can't be precise as to what the bacteria was or what its source was because if he put

1  
her to sleep and then injected with a local  
anesthetic, the needle might have transmitted some,  
which can happen, As he removed -- he says he did an  
alveolectomy of the tuberosity. So that means  
cutting the gum, pulling the tissue back a little  
bit, smoothing the bone, curating out the low grade  
infection, she had the chronic abscesses, and  
suturing her up, So that's introducing under the  
tissues.

10 Any and every oral surgeon that does this  
1 would have probably done it roughly the same way he  
1: did, and so I don't believe his getting the teeth out  
1: was substandard, but I think what he had to do and  
14 what he describes was the most logical way that the  
15 organisms which initiated subsequent events got  
16 deeply beneath the tissues enough to cause this, and  
17 I think the series of events that she shows and how  
18 the infection progresses, in my mind, supports that  
19 took her awhile, too.

20 Q What is your understanding of Mrs. Nabozny's  
21 condition as she presented to Dr. Chepla? And by  
22 that I mean the health of her mouth,

23 A I'm sorry, the original presentation?

24 Q Yes.

25 A On the day of extraction?

1 Q Yes.

2 A Again, a routine, run-of-the-mill oral **surgery case**  
3 of someone **who has** refrained from routine dental care  
4 for a considerable period of time and **now** needs  
5 things cleaned **up** rapidly to salvage what is  
6 salvageable and **get** her back where she can function  
7 orally.

8 So the service of the oral surgeon is to get  
9 out all the things that are in the **way** from getting  
10 the rehabilitation work done and so just remove  
11 everything that **needs** to be removed and clean things  
12 up so that **usually** the referring dentist can get on  
13 with his treatment plan.

14 And again, what I see here is so typical of  
15 things that I've seen in my **office over** the **years**  
16 that it is just run-of-the-mill oral surgery.

17 Q **You had** talked about a chronic condition that she  
18 **had**, and I know **there's** a lot of technical terms for  
19 that. Periodontitis, I believe, is one term that  
20 I've read. Is that what Mrs. Nabozny had when **she**  
21 came to Dr. **Chepla**?

22 A All right. Gingivitis is inflammation of just the  
23 gum tissue. Periodontitis is when this inflammation  
24 starts getting to the attachment of the gum tissue,  
25 to the tooth and the bone and is starting to **cause**

some bone loss and going deeper. She also has --

Q Do you see evidence of that in the x-rays?

A Not a great deal, a very little, and this is not uncommon in some people. People who are more prone to decay, many of them will be less prone to periodontal bone loss, and they feel some of this is because of the pH of the mouth, acidic and alkaline.

People who are very acidic will tend to have decay and very little bone loss, and people who, vice versa, can have a lot of bone loss, "Oh, my teeth are great, but my gums are bad," and you'll see very little decay but tremendous bone loss,

She shows very little bone loss. She just shows that her teeth in many cases have just rotted off to the gums, and why these people never get acute abscess where they suddenly swell up you don't know, but she's had these for years and yet -- so she has a chronic infection that has rarely, if ever, become acute.

She didn't go in with a lot of great gain. She said, "Well, I've got a couple teeth that are starting to hurt me with cold water," but the ones with the decay started getting close to the nerves where the crowns hadn't decayed down to nothing, and she said finally, "I've got such a mess. It's



finally time for me to really clean it up and do something good,"

But she does have chronic granuloma, which is inflamed tissue, but not bad. You know, you don't see a lot here. Her body seems to be very resistant, and I'm sure the people that used to cross the Plains, there would be some people who'd get an abscessed tooth and maybe die in a few days, and there are other people who have teeth rot off to the gums and never get abscesses, never swell bad, never -- you know, there's no way to explain it,

But she appears to be one of these people that when the decay starts getting near the nerve, it will hurt her for while, If she can get through that and then the nerve might die, then she could keep going. This crown, if she bites on it hard, this upper third molar, it would probably just crumble to nothing and yet maybe not have pain for a day or two, and you wonder how they can do it,

So she has had long-standing decay with retained roots and not even a lot of obvious granulomas at the root tips, so she's a very hardy individual. Her body indicates that it has very good response to infection, and I think from what I read, for her sake, that was fortunate, but you see very

little apical infection,

So the next thing that you go on is from Dr. Sangrick's chart that she **does** have red gums and gingivitis and certainly an unhealthy, **a** chronic infection of the soft tissue attachments around the teeth, so **we** know it's not real healthy,

Q Do you know what the treatment plan was for Mrs. Nabozny?

A His record is in here, and it seemed that basically  
10 he **was** going to get the **bad** teeth out, **send** her to **a**  
11 periodontist, a gum specialist, to clean **up** around  
12 the **teeth they were** going to retain, **and** then he  
13 anticipated doing **uper** and lower **partials**, He **said**  
14 mainly amalgam fillings, but he was going to get a  
15 few crowns so he could go to precision attachments,  
16 which would not have the clasp.

So it sounded as though he had a very **good**  
17 treatment plan overall for her that would retain the  
18 teeth in the front of both arches with precision  
19 attachments, would **give** her posterior function, which  
20 she really hadn't had for years. She hadn't really  
21 **been** chewing food for years.

Q **Let's** take a look at your May 12th, 1988 **report**.

A Okay.

25 **a** In the first **paragraph** of your report you have

written, "I **have** reviewed in detail the documents and **x-rays** pertaining to Mrs. Nabozny's **treatment** by Dr. William E. Chepla in July of 1986." **The x-rays** that you reviewed that you're referring to are the ones that **were provided** to Dr. Chepla, is that right?

A Yea. I'm **sure** the duplicates -- and I think it noted that he received duplicates, didn't he? I think. I'm not sure.

Q Yes, you're talking about Dr. Sangrick's x-rays?

A Yes.

Q Which **were** provided to Dr. Chepla?

A Yes.

Q **Those** are the only x-rays that you have **reviewed** in connection with this **matter**?

A Yes.

Q **And** the documents that you refer to in that first paragraph **would have** been the documents that are listed in Mr. Zeiger's letter of **May** 5th, 1988, is that right?

A Right.

Q Have you received any **other** documents since **that time**?

A I have not received any, no.

Q **No other depositions** **or** anything of that nature?

A No -- did the deposition come with that? **Let's see.**

Yes, I received the deposition transcript at the same" time, so yes;. So this is all X've received was all --

Q The deposition transcript that you have reviewed is Dr. Chepla's deposition, is that correct?

A Yes, Yes, it was taken on Monday, the 30th of November, 1987.

Q Have you seen the other depositions that have been taken in this case, for example, Dr. Sangrick gave a deposition?

A No, I haven't seen it.

Q Did you look at Mrs. Nabozny's deposition?

A Not her deposition, only her summary.

Q Okay.

A I haven't seen her deposition, no. I haven't seen any other deposition.

Q In the third paragraph of your May 12th, 1988 letter, the last sentence it reads, "Her initial oral surgery treatment on July 8, 1986 by Dr. Chepla was an ordinary procedure in the average oral surgery practice," Have you found any fault with the procedure that Dr. Chepla performed on July 8th of 1986?

A No.

Q Your next sentence in the next paragraph reads, "And

1 while her oral health on that day did not demand the  
2 immediate use of antibiotics, that condition did  
3 indicate that an absolute need for antibiotics might  
4 present itself during the postoperative recovery  
5 period."

6 Do I understand you to mean by that sentence  
7 that you did not believe that antibiotics were  
8 necessary on the day of the surgery, July 8th, 1986,  
9 however, that afterwards this patient should have  
10 been monitored more closely to determine whether  
11 antibiotics were needed postoperatively?

12 NR, DeSANTIS: Objection, asked and  
13 answered, Go ahead and answer.

14 A I think I can give you the answer you're seeking in  
15 that, sap, there are some oral surgeons that I've  
16 heard of and some general dentists that extract teeth  
17 who put almost every patient on antibiotics and if  
18 Dr. Chepla or anyone else had started Ms. Nabozny on  
19 antibiotics, I think it would be difficult for anyone  
20 to find fault with that.

21 I also don't feel you can find fault with the  
22 fact that he didn't, so I think in a cross section of  
23 oral surgery practices, some would have started her,  
24 Some wouldn't.

25 Q I believe that if I understood what you said earlier

this afternoon, if **you** had been **treating Mrs.** Nabozny in June of '86 instead of Dr. Chapla, you would not have put her an **any** antibiotics?

A Probably not --

PIP, DeSANTIS; Objection. That's not **an accurate** characterization of what he said. What he **said** is he wasn't **sure** at this time what he would do,

He would discuss with the patient whether she was the type of **patient who** infected easily, whether the patient **had** some desire to be on antibiotics beforehand, but he didn't **say** absolutely that he would not put her an them.

A Any patient with this type mouth and this type treatment plan **could tip** me into starting **them** on antibiotics the day of surgery, but most of them **from** the description of how she presented without acute abscess, without acute swelling, I probably would not **have** started her on antibiotics that date, July 8th.

Q Are you aware of whether or not Mrs. Nabozny had any **acute** abscess or **acute** swelling on June 8th of 1986?

A July 8th.

Q July 8th, 1986.

A Only from the records that neither Dr. Sangrick nor

Dr. Chepla nor her summary in any way indicates she came in with any swelling or acute abscess.

So there appears to be from three sources no evidence of any acute Infection, and so that's why I feel comfortable that on that particular day I find no fault with her or anyone else not starting her on antibiotics on that day.

Q On the next sentence in your report it reads, "Evidence supports the fact that such an absolute need did arise, that Mrs. Nabozny contacted Dr. Chepla's office more than once with indications of less than uneventful progress and that Dr. Chepla's responses were far less than adequate."

Let's start with the first part of that sentence where you say, "Evidence supports the fact that such an absolute need did arise." What evidence are you referring to in that sentence?

A All of the events from her coming in to him on, I think, the 18th and finally being started on antibiotics and her ending up in the hospital with the need for critical care in the treatment of an infection.

Q Can you be more specific? In particular, what evidence?

A Well, all right. Number one, there is no doubt in my

mind that the infection that Mrs. Nabozny acquired and was forced to **deal** with originated and stemmed from the extraction of her teeth on July 8th, 1986 **and** that **these** extractions themselves were not done in substandard care. But I have no doubt in my mind that she had just an unfortunate typical postoperative infection from routine extractions that subsequently led to an extremely **severe** maxillofacial infection that required hospitalization,

So I **feel** the series of events; **as you** go down the records and follow the people seeing her and the comments that it is a day-to-day **series** of events of her leading into **a** more infected condition that ultimately led to hospitalization in which sophisticated **x-rays showed** without a doubt she had a major maxillofacial abscess.

**Q** Would you agree with **me** that the infection itself is not evidence of malpractice in and of itself?

**A** Oh, I agree with you, yes.

**Q** And the fault that you **find** with Dr. Chepla is not that an infection developed in Mrs. Nabozny after the multiple extractions but rather the **failure** to treat **the** infection **once** it became evident?

**A** **Correct.**

**Q** And you have indicated in your letter here that there



1 was evidence to support a need to treat that  
2 infection?

3 A Correct.

4 Q What was the first bit of evidence that Dr. Chepla  
5 should have been aware of or was aware of that would  
6 have! caused him to take some action or should have  
7 caused him to take some action?

8 A Mrs. Nabozny states in her summary that she called  
9 him on the 10th, two days postop, complaining of  
10 severe pain and stiffness in the jaw and temple and  
11 was told that this was to be expected and called  
12 again on the 11th complaining of severe pain and  
13 stiffness and again told that this was normal and was  
14 not seen until her prearranged postoperative visit  
15 for the 15th, which was seven days postop, and four  
16 additional days after her second day of complaint.

17 I believe that she was describing the  
18 cardinal clinical sign for potentially significant  
19 infection, postoperative infection, after routine  
20 oral surgery.

21 Q Let me ask you this. The July 10th call that she  
22 claims that she made to Dr. Chepla, she talks about  
23 severe pain and stiffness in the jaw, is that right?

24 A Um-hum.

25 Q Is there anything significant about what she's

describing to Dr. **Chepla** to you?

A Overwhelmingly.

Q What is significant and why? I'm **just**: talking about the July 10th telephone **call** at that point.

A As you review these articles that I have, the thing that you will **see in** my mind that won't be hard for you as a nonmedical trained person to pick up on, the one key sign **and** symptom you look for in something like this where **the** potential **was** there the **day** of original surgery is trismus, stiffness.

She describes it -- **well, severe** pain and stiffness. Again, if I extract teeth, 12 or 15 or 20, **and** she calls me on the 10th and **says** she has **severe** pain and stiffness, even if I don't. **say** anything then or don't assume anything then and she calls me again the next day, she **is** giving me **every warning** she can that something bad is **brewing** because I expect on simple extractions -- **now, with her case** if she said stiffness and extreme pain just in the **lower left** with the wisdom tooth, I'd **still** want to see her, but I **would** think **wisdom** tooth, dry socket, what have you.

But if she's saying the other side, **which I** assume she would **say** right side, but if she's saying elsewhere or all over or anything that's just not

consistent with **an** impacted wisdom tooth, I believe that that immediately should call attention to something other than something routine going on, because when you do simple extractions of roots where **she's** had **these** for months and years and **she** doesn't even have abscesses around them and her body is responding to these so **well**, from the extraction sites, **she** should be feeling tremendously better in two, three, four days.

I tell most people where I'm routinely extracting teeth that you may be **sore** for a couple of days, but in three, four, five you should be surprised **how** good you feel,

Q Do you find anything alarming about the fact that she had the severe pain on July 10th and 11th? I understand what you're saying about the trismus, but I'm trying to talk about the pain now for a minute.

Is the pain that **she** was experiencing, could that be within normal limits given what **was** done to her in terms of the surgery and the information that you have on **her**?

A I think truly in this **case** it would be significant as to which side. If she **says** severe --

Q Does her statement say which side?

A I **don't** know that she does, and I'm assuming that she

would have because everything indicates it was on the right side, That's where the infection was and that she never showed any real problems with the wisdom tooth socket, and so -- but in her case, you would anticipate and you would react differently as to which side because of the impaction on one side and not on the other.

If she were to tell me, "Hey, it doesn't hurt on the side the impaction came out, it hurts more on the other side," this pain, severe pain would be highly significant also, to me highly significant.

Q So that you would not expect her to have severe pain on the right side of her face two or three days after the surgery?

A No, no. I would expect in most cases for them to say, "Gee, that feels a lot better. I sure am glad to have those rotten teeth out," something to that effect.

Q Wow long a period of time would you expect them to experience severe pain, though?

A A couple days without postoperative problems. Those people who had no postoperative infection or problems, and some would, and that no one could treat a number of patients like her without getting the postoperative condition he's getting in, but more

than half of them would just say in two or three or four days, "Boy, does it feel great to have those out."

Q Lat me look at this statement for a minute, if I could.

Do you know that; the July 10th and 11th telephone calls that you are referring to were not contained in Dr. Chepla's records?

A Well, I have the copies of his records and see they were not, but I think she did call Dr. Sangrick on one or two of those occasions, didn't she? I believe she called him. I forget when she first called him, but I saw that they were not contained in his record.

Q Of course, if Dr. Chepla didn't have that information, then you can't judge him to react to something he didn't know about?

MR. DeSANTIS: You're talking about the information on July 10th?

NR. MEADOR: I'm talking about the July 10th and 31th telephone calls.

A Well, not true in that it's the captain of the ship situation.

Q Do you think that Dr. Chepla should have called her then on July 10th or 11th?

A I think that Dr. Chepla has -- his office has to have

the policy of responding to **calls** of this type and even **if** no one told him she called, it's **a** failure of his office.

Q Wave you considered the possibility that Mrs. Nabozny didn't call anyone, either his office or Dr. Chepla and has said this **after** the fact?

A I have **not** considered that **for** this reason, and I feel it **a** vary important reason. As I go through these records, in fact, I make the comment somewhere in my notes, this patient gives all the indications of **a** super patient.

She calla you when she's got a problem, she tells you and if you say, "Well, don't do anything," she lets it go. She's not second guessing. She's not -- the previous **cases** I talked to you about where I gave expert testimony here, it's amazing how long some of these people will go being a perfect patient to the doctor **and** after three or four months you wonder how long would they continue under that care, that doctor's care when they're not really doing anything.

So from the record I sense a feeling that she's being a very good patient, so I never had the slightest doubt that she **called** those two days.

Q In your **next** paragraph of your May 12th, 1988 letter

you said that, "Dr. Chepla's records are extremely inadequate **but** still capable of revealing a litany of postoperative care omissions," and you go on to list four various omissions.

What about Dr. Chepla's records are in your words extremely inadequate?

A Well, times have -- you know, I practiced 22 years, and times have changed a great deal because of the malpractice crisis and everything, and our society, as many others, **have** started with the risk management programs and so forth.

Quite frankly, I would hazard to guess that at least 80 percent of all -- of most medical and dental **records** are "**inadequate**," Now that I've taken some risk management courses and now that I -- when I opened my own practice, I started making **far** better records **than I did before** of actually putting down things **that** happen and things **that were said** and things that might **be** anticipated.

Q Anticipating litigation?

A No, no, of potential infection. Like I probably would **have** put a note on her if I didn't start antibiotics, the need for **future** antibiotics may arise or something to that effect, and so I have **kept records** similar to Dr. Chepla many **times over** many

years, and I just believe in this day and time they are totally inadequate.

He does not describe in any detail what he really did or what his thought processes ~~or~~ what he considered, and unfortunately, I've done the same thing. And he doesn't say that he talked to her about possible antibiotics, that it might be a consideration, and then there's no note anywhere that she did or did not calf.

1                   So I would have to assume she did, and so  
1                   these are things where, unfortunately, this is a very  
1:                   typical record which is -- they are typically  
1:                   inadequate.

14       Q    You're not prepared to say that they're substandard  
15           just because of the record keeping itself?

16       A    Oh, I think as a record keeping, this is substandard.

17       Q    Is that malpractice?

18       A    In my mind, yes. Yes, without a doubt from the cases  
19           that I've reviewed and from the positions I've had on  
20           medical staffs, I personally believe that a  
21           substandard record is malpractice,

22       Q    Well, I'm talking about Dr. Chepla's records.

23       A    Yes.

24       Q    You believe that his --

25       A    I personally believe, yes.



Q That his record keeping in this case in **and** of itself  
is malpractice?

A I do **and** for this reason, When you go **back** and  
review substandard care and review the records, I  
think in almost all the cases you will find where a  
qualified expert will give you an opinion **this**  
**patient received** substandard care, you will also find  
a substandard record.

And to carry that further, I think it is an  
absolute requirement of someone assuming significant  
responsibilities in the care of someone, it's  
absolutely required to document not only what they  
did but some of the more important considerations  
they made, and I rarely did it in the bulk of my  
practice, but in this day **and** age I think it's an  
absolute requirement because I think in her **case** the  
potential consideration for future antibiotics was an  
absolute requirement.

The consideration that she may need them **was**  
absolute **and** in the treatment she received on July  
the 8th **and** that she might be one of these people  
that's going to **need** postop antibiotics **down** the road  
from what he **saw** when he took her teeth out.

Q Did I hear you **say** that approximately **80** percent of  
records nowadays are **extremely inadequate**?

A Well, I said that for this reason --

MR. DeSANTIS: objection. I don't know if he said extremely or not. I think he said inadequate.

A What I base this on is I have been chairman of the dental department for two two-year periods. I have been deeply involved in medical malpractice here and plus on the medical staff receiving literature like from in Wisconsin they have the WISPRQ, the PRO agencies that I have to review for Medicare and so forth, and I've seen comments like in staff newsletters, the medical records people asking for medical staff members to come down and help them review records for Medicare treatment and with the comments you need to come see the records. You won't believe them.

I have spent a lot of time in medical records talking to people about substandard care. I truly believe the bulk of hospital records, patient records and of dental records would fail any close scrutiny of what should be adequate today. We don't keep good records, anybody, and when you fall into something like this is where it suddenly becomes so important because in the bulk of the cases, nobody ever has to go back and look at them. So there's no problem, who

1           **cares.**

2       **Q**   But Dr. **Chepla** may want better records also?

3       **a**   Yes.

4       **Q**   And I'm not commenting on that one **way** or the other,  
5           but if I understand what **you're** saying, you're saying  
6           that the bulk of the **records nowadays if they were**  
7           scrutinized **would** fail the scrutiny?

8       **A**   Yes, I believe that,

9       **Q**   And what I'm trying to find out from you is why you  
10          would **say** that if Dr. **Chepla's** records fail within  
11          the majority or the bulk of the record keeping  
12          **nowadays, why** you consider that to be malpractice  
13          when malpractice is by definition failing to meet the  
14          standard of care, and **I believe that** the standard of  
15          care is defined by what the custom and practice is,

16       **A**   Well, I --

17                       **MR. DeSANTIS:**   Objection,   Wait a  
18                       minute, wait a minute,

19                       **THE WITNESS:**   All right.

20                       **MR. DeSANTIS:**   I'm not going to let  
21                       him answer that question because, first of  
22                       all, **I think it calls for a legal opinion**  
23                       about what malpractice is, and second of all,  
24                       I'm not sure **I understand it because it was**  
25                       **so** complex.

1 A Well, I can --

2 THE WITNESS: You don't want me to?  
3 I can **answer** it.

4 MR. MEADOR: Well, if he  
5 understands the question, Frank --

6 A I think it's very simple, and I can **see** why ha  
7 doesn't want me to **answer** it, and I think this will  
8 help you a great deal. The record is a specific part  
9 of the treatment. The record is as much a part of  
10 the treatment as putting the needle in **her** arm to put  
11 her to sleep, if that's what he used, in putting the  
12 local anesthetic needle under the gum to inject and  
13 putting the forceps up to remove the teeth.

14 The record is a specific part of the  
15 treatment and if you've got a record that is  
16 substandard, **you've** got substandard care because he  
17 cannot from **this** record go back and specifically  
18 identify whether ha talked to her about potential  
19 antibiotics, whether it ever crossed his mind whether  
20 she called or she didn't. All those are pertinent  
21 factors stemming to my unequivocal opinion that he  
22 rendered substandard care in this, and so the record  
23 is part of the treatment,, and this is what people  
24 **fail** to understand. It is as much as cutting the  
25 skin.

1           If you **can't** write down and document **what** you  
2       did, then you haven't done it properly because  
3       **it's** -- you can't separate the two. **You've** got to  
4       dictate an operative report. You've got to dictate a  
5       discharge summary. When you're in the hospital  
6       you've got to **say** what you **did**, and **that's** as  
7       important **in** the cases I've dealt with that I talked  
8       about before, **The** doctors would put the patients  
9       have complications and in a **discharge** summary would  
10      say no complications, no consultations, and they were  
11      **dealing with** something **they** didn't know what **they**  
12      **were dealing with**.

13           The record was **as** substandard as any part of  
14      **the care** they **gave**.

15   **Q**   Let **me** ask you **this**. What should **Dr.** Chepla have  
16      done then to bring his records up to the standard of  
17      care in **this case**? What should **his** record contain,  
18      in other words, to bring **it up** to **your** standards of  
19      care?

20   **A**   Well, now, the only **way** I can answer on this case **is**  
21      that, quite frankly, I **believe** that Dr. Chepla gave  
22      substandard care -- I'm assuming **she** called, and **if**  
23      he didn't know, he should have on those two dates.

24           I believe he gave substandard care on  
25      **July 10, questionable care** on July 10, the first

call. I believe he extended gross substandard care July 11th, the second day she called, and he did nothing. On July 15th when he took the sutures out after seven days, they get to be more of a harm than a help. Sutures, that is the only positive thing that I find, and from his record and his actions, I don't find he did anything right, and I find great gaps in even his surgical judgment in that I don't see he's thinking the right thing at the right time at any point in this is what concerns me.

So what I'm getting at is I don't know from this one case, and I'm not trying to classify him as a totally inadequate surgeon, I'm talking about one case, the care that he gave this lady in this one instance. From this I don't know if he knew enough on July 5th to make an adequate record -- I mean 8th, July 8th, because I don't see that he's even thinking about possible infection on the 10th, 11th, the 15th, the 18th, and what really brings that home is when she comes in on the 15th and he's got a couple little lines here.

Now, this in the third time, as far as I know, to his office she is giving big red flags and big horns and big noisemakers I got problems going, significant problems, and he's got two little lines

in here, and he says something about possible TMJ  
ynin.

Q What happened on July 15th, 1986?

A He took standard postop care of something, warm  
something, right -- he talks about right swelling,  
and then he says, "'Possible TMJ pain." You can  
hardly read it. There is I think in his  
deposition --

MR. DeSANTIS: Let me interject  
something. You were referring to  
Dr. Chepla's notes?

THE WITNESS: Office record, yes,  
for 7-15-86.

Q And in the notes I had of his deposition, here it is,  
7-15 if we go back to about Page 94 in his  
deposition, I'm not suggesting -- wait a minute.

"What was the next communication that your  
office had?"

"To my knowledge, it was her next  
appointment, July 15, '86. That's right, she came  
back. She was instructed to come back. That was a  
planned follow-up visit."

I'm just reading what he says, and --

MR. DeSANTIS: Let me interject,  
Doctor. Why don't you read it to yourself

and **see** if it refreshes your recollection and  
than answer his **question**.

A Here it is, talking about what he wrote down. SR  
means **suture** removing. The **stitches** were taken **out**.  
Then it **says**, "H well." That **means** healing well.  
Okay, that's shorthand.

Then it says CO. That means complaining of  
and then pain **right ear**. Okay. "Then I wrote down  
**possible** or POS, which means possible TMJ **pain**." And  
what is TMJ pain? Temporomandibular joint gain.

Q So that's what Dr. **Chepla** found on **July 15th**  
according to his notes?

A That's what his **records states he found**,

Q **What, if** anything, **did** Dr. Chepla do or not do on  
July 15th that constitutes malpractice?

A In my opinion, **other than** removing the sutures, he  
**did** absolutely nothing else right. Ha did everything  
wrong in that he **did** nothing plus --

Q Well, let me **ask** you this.

A -- he indicates --

MR. DeSANTIS; Let him finish, Why  
don't you let **him finish** his answer.

Q What should **he** have done?

A **Plus** he indicates he's looking -- well, it's so hard  
to explain. He **says** in shorthand, "Healing well,"



? which is totally untrue from -- no, wait a minute.  
And then he says, "Chief complaint of right: Bar  
pain," and he says, "Possible TMJ pain."

Now, unless she comes in and says, "I have  
had a blow to my jaw, my husband hit me, I had an  
auto accident, I fell down the stairs," which she  
doesn't, from what he did the week before and for her  
to have swelling, for him to start to inject into  
this possible scenarios for her pain and so forth,  
possible TMJ pain is -- it scares me.

I see no rationale for it, So what I mean is  
she's coming in with clinical signs that he doesn't  
describe well, signs and symptoms,

Q What are the clinical signs that he didn't describe  
well?

A She's been calling since the 10th.

Q I'm not talking about the telephone calls, Doctor,  
because that is something --

A Yes, but it all leads up. I'm sorry.

Q That is something you're assuming because of the  
written summary that Mrs. Nabozny gave you, and that  
may very well be her testimony. I believe it was.

A Yes.

Q But my question to you is going by Dr. Chepla's  
records and what he saw on July 15th. According to

his notes, what did he do wrong?

A All right.

MR. DeSANTIS: Wait a minute?. I'm going to object. You want him to get into Dr. Chepla's head? I mean I don't understand your question. What he's telling you is what he --

MR. MEADOR: Let me rephrase *it* then.

10 MR. DeSANTIS: -- opines this  
1 doctor did wrong based on all the facts he  
1: has before him.

1: Are you asking him to opine on what  
14 this doctor did wrong based on limited facts?  
15 I don't know if that's possible.

16 MR. MEADOR: I'm asking the Doctor  
1: to answer my question based upon the records  
18 that we have and Dr. Chepla's office records  
15 and what his notes are and what it is that he  
20 did not do that he should have done.

21 A All right. I think I've answered.

22 Q And why?

23 A I think I can answer you and answer you fairly well.  
24 Excluding removing sutures, which was scheduled the  
25 day of surgery and which is typical at least by seven

1           **days after surgery, excluding that, he did in my**  
2           estimation from the factors I **had** to go by, her  
3           **summary and his --**

4       Q    I'm asking **you** not to **go** by her summary.

5       A    Okay. Even with that --

6       Q    **Assume** that Dr. Chepla never got those two calls on  
7           **July** 10th and 11th and just **assume what you have in**  
8           **Eront** of **you** with respect to Dr. **Chepla's notes** and  
9           focusing in on July 15th.

10                   MR. DeSANTIS: Let me interject.

11                   Let me, object and inject. Are you asking --  
12                   **does this hypothetical include what happened**  
13                   afterwards too?

14       A    That's what I was asking, **Does** that include  
15           subsequent events?

16       Q    No.

17       A    I can't take one day, I **can't just by one day.**

18       Q    **You** have to **go** by what Dr. Chepla **did** and was told at  
19           the time it occurred, It's **easy** to judge in  
20           **retrospect.**

21       A    **Wait** a minute. Let me tell you why this is **so**  
22           important. **As you go further** in that on **the** 18th  
23           when she calls Sangrick and **Sangrick calls Chepla** and  
24           **so** forth, we know later on that she has to **be** having  
25           trismus and stiffness before the 15th.

so I can't assume from his **note** that **she** **doesn't** **have** **any** **stiffness** and **that** she doesn't come in -- **she** **says**, "I could hardly **open** my mouth for him to get the sutures out:," and I believe that **although** **ha** didn't write it **down** for that day, **he** **was** looking at **a** patient **that** had a significant clinical trismus.

So, you **know**, I can't separate that from how I give you **an** opinion because there are **too** many factors **after** to **show** that **had** to **be** there, the day -- 7-15, Even though he didn't write it down, **she's** got to come in with a **stiff** jaw, **she** can't open very wide.

Q Just for the record, her first **call** to Dr. Sangrick was the day **after**, **an** the 16th.

A Yes, okay.

Q So I **don't** know if you're basing it upon **some** call that **she** made to Dr. Sangrick before the 15th. That's erroneous information because I don't believe **the** **records** indicate that.

So assuming now that her first call to Dr. Sangrick **was** on the 16th of July and assuming only **the** facts that you **have** in Dr. **Chepla's** office records for July 15th of 1986, what --

MR, DeSANTIS: Wait a minute now, and the testimony that he had at that

deposition explaining what he **saw at** that office visit.

Q **And** Dr. Chepla's testimony that's in his deposition regarding what he **saw** on July 15th, 1986, **what** did Dr. Chepla do *or* not do that *you* believe **should** have bean dona?

MR. DeSANTIS: And wait a minute now. Just so I understand your hypothetical, you want him to **assume** that ~~she~~ did not call on the 10th and the 11th?

MR. MEADOR: That's correct.

MR. DeSANTIS: **You** understand the hypothetical **you're** given?

THE WITNESS: **Sure.**

A Fram what I can surmise **here!**, you know, from later on --

Q Doctor, You know, the problem that we're having here -- I'm running out of time here, and even though I'm not trying to not let you explain yourself, I need to know specific answers to specific questions.

A **Yes.**

a Not assuming any additional information, **and** I want you to only go **by** what Dr. Chepla **says** that he was told at that time, not **assume** --

A Okay.

Q -- subsequent events and not judge him in retrospect and also not include telephone calls that may or may not have been made before the 15th.

A Okay.

Q And if you could, could you tell me what it was that Dr. Chepla should have done on July 15th of 1986 based only upon what he says he was told at his deposition and his records for that day?

A Okay. I think I can do that for this reason --

11 Q You don't have to give me the reason why you can do  
1 it. Just give me the answer.

1: MR. DeSANTIS: And I'm going to ask  
1: you to take a look at the deposition  
14 transcript so that you know what he says that  
15 he saw or did on July 15th.

16 a I'll review what he says. "Suture removal, H well,  
17 means healing well, complaining of pain right ear,  
18 possible TMJ pain,"

19 All right. He says healing well. That's his  
20 summation.

21 Q Right.

22 A Shs says pain in right ear.

23 Q Right.

24 A That ain't healing well. That is a total  
25 contradiction, you know, one to the other.

Q Do you know what he means when he says healing well?

A He says, and I'm quotkng, "Then it says H well. That means healing well," okay,

Q Right. Do you know what is healing well'?

A That's shorthand. Sure, because? X've written it down thousands of times. It means clinically things look as you would anticipate them to look in this case seven days postop when he takes the sutures out.

1 There is nothing contra to what you expect,  
10 yet he says his term is healing well, and then the  
11 very next thing complaining of pain right ear.  
12 That's not healing well.

13 Now, what I'm trying to get at and I think  
14 what you want to know, my feeling is on the 15th he  
15 does absolutely nothing --

16 Q Let me ask you this.

17 A Now, wait a minute. -- specific. His actions are  
18 zero. He does nothing. He doesn't write a  
19 prescription. He doesn't tell her to come back. He  
20 didn't give her another appointment to see her the  
21 next day.

22 He does nothing from his record and his  
23 opinion, his surgical logic is totally faulty in that  
24 his summation /s she's healing well.

25 Q Right.

A And **her** summation is, "I've got a right **ear** that's hurting."

Q So you think it's inconsistent for him to putting down **healing** well and at the **same** time **put down** that she's complaining of ear pain?

A Right, and then wait a minute, You've got to go a little further than that. When she **says** right ear pain and then **he says** he suspects possible TMJ pain when there's nothing anywhere in any of this, wherever you go, that **she** would have! any reason to have **TMJ pain** unless something **from** the extractions caused it.

Now, there are a couple of ways you could have TMJ pain. You can have infection going there or when he puts her to **sleep** and opens **her** mouth kind of **wide** and fast some people would, but the pain if she gives no history of being hit or trauma anywhere, **he's** got to wonder why would it be TMJ.

This is something completely new, foreign to **what** we should **suspect** should be anything connected with what we did. It's got to be foreign to **this**.

Q So **are** you saying that the TMJ pain that might result from a person's mouth being opened wide during the procedure **would** not last a **week**?

A Well, or even if it did, you know, he's got to have



some rationals to write down possible TMJ pain. I find nothing anywhere that gives him this rationale.

Q Do you know how --

A When you take these two lines, I have to assume from these two lines he doesn't have any idea what's going on, and that's what scares me. His surgical judgment here from indicating what he writes down here approaches zero. (Indicating)

Q Based upon what you have in front of you --

A With the two lines here.

Q -- regarding the two lines from Dr. Chepla's notes for July 15th of '86, what in your opinion should Dr. Chepla have done?

A Several things on that day, antibiotics quick,

Q Antibiotics, what kind of antibiotic?

A Well, she's allergic to penicillin, and he a few days later started her on Keflex, which probably would have been the one to start three days sooner, and the heat.

Q What else? And what?

A And he's got to see her the next day.

8 So he should have seen her the next day, and she should have been prescribed Keflex or some other antibiotic?

a And he should have started to find out why this pain,

where is it.

Q How does he do that?

h Clinically he's got to examine her.

Q What kind of examination?

A Well, he's got to see how wide she can open her mouth. See, I can't totally divorce from her calling twice. What I'm getting at, though, I'm positive in my mind on the 15th when he's writing the note she's got trismus, and I'm positive he didn't put trismus in the note, and that blows his note to smithereens.

10 Q What's trismus?

11 A Trismus --

12 Q What's your definition of trismus? I can read the  
13 articles later on,

14 A Well, they had just one little thing that I wanted to  
15 read to you here in the first article, but tightness  
16 of the muscle, inability to open the jaw freely.

17 Q Okay. And you don't know how much she could open her  
18 jaw on July 15th of '86, do you? You don't know how  
19 far she could open her mouth on July 15th of 1986?

20 A All the indications are from different sources  
21 significantly limited.

22 a All the indications? The only indication is her  
23 summary of her calls, isn't that right? I mean what  
24 other indication do you have?  
25

**a** Well, by the time she finally got in the hospital she had extreme stiffness and so --

**Q** That's about a **week** or **so** later, isn't it, Doctor?

**A** She gets in the hospital --

**Q** On **the** 22nd of July?

**A** 22nd.

**Q** The 15th is a week before that?

**A** Yes, that's really bad.

**Q** What **besides** her summary that you **have read** --

**A** Well, **and** the fact that all the people that have seen her, which **were** quite a **few** by the time she got in the hospital, **no one** had any doubt that she had **been** having trismus and where the infection was finally **found**.

**And see, here's** what **you're** missing and here's where I think you're -- if you look **at** where this infection is **and** what goes on in there and where the muscles **are**, you start to understand that with pus there, **which** you can't **see** it outside much, **it is** right between the muscle: -- two of the **major** muscles **where** you can open the **jaw** and that the trismus is **all** part of it. She's not going to get an infection there without having trismus. You can't have it without having trismus.

So clinically **from** the other notes **and**

everything, there is no doubt in my mind she's got trismus on the 15th, and she's starting to get it on the 10th and 11th, whether she called or not because of where they found -- when they finally got the MRI and showed it and when they finally treated her for it, she's got to have trismus.

Q So because of where they found her infection, the abscess on 7-15, right --

A See, you're trying to Limit me to 7-15 only, and I'm trying to say from the other things that are in here, everything is going to show you that. His note is so faulty, there is so much left out of his note. That has to be there. Me's missing so many things on the 15th. It is so sad.

Q And I'm trying to understand what are your other reasons, not confining you to July 15th. I know you're relying on the phone calls that she said she made on July 10th and 11th. I know now that you're relying on the fact of where the abscess was found, that she would have had to have had trismus a week before that, is that right?

A Yes.

Q How long before when they found the abscess are you able to go back in time and say she had trismus?

MR. DeSANTIS: Let me object. Now,

are you not letting him **use the** phone calls;  
to Sangrick? You asked him not to consider  
**those** earlier.

MR. MEADOR: Right. And your  
understanding, Frank, may not **be** the same --

THE WITNESS: This is so  
run-af-the-mill **for me**.

MR. DeSANTIS: Let **me** just tell  
you, Doctor, when Gene and I are talking  
about objections, you've got to **wait** until **we**  
resolve it.

THE WITNESS: I'm sorry,

MR. DeSANTIS: I just want to make  
sure the record **is** clear, I don't **care** if I  
understand or he understands. It's got to **be**  
clear on the record what you're asking him,  
what **facts** you're assuming to include in his  
opinions.

Q Using all the facts you know now, why do you believe  
that on July 15th of 1986 Mrs. Nabozny had trismus?

A When you go through these articles **and have --** you  
know, some appreciation of the anatomy of where  
things are cooking in her anatomy, you will **see** that,  
number one, the thing that dings a chime on this  
particular thing is trismus because it's right **up**

between **two** major muscles and you get an abscess there, and the first thing that's going to **give** you any indication being a treating surgeon **is** trismus.

A patient's going to walk in and say, "Hi, I'm tired, I can't open **wide**, what's wrong," and **you** say, "I don't know," and you can't see the swelling, and that's why I go back to the 8th. If I don't start her on antibiotics, I know the least sign I **get** from her, I get her on antibiotics then.

1                   Because if somebody comes in to me with  
1                   significant trismus postop and I can't tell **for** sure  
1                   where it is and can't **get** at it, it scares the devil  
1                   out of me because if you don't get them on the right  
1                   antibiotics, they can start deteriorating quick and  
1                   this is **the** problem.

16       **Q**   I think I understand what you're saying. Because of  
17           the location of the abscess, **it** would have affected  
18           those muscles?

19       **A**   **Yes.** Trismus is your primary key. Trismus is --  
20           that's where it is, baby.

21       **Q**   Can the infection not be **severe** enough to actually  
22           cause the trismus? Can it be in that location but  
23           not be large enough or **severe** enough at that point to  
24           actually **cause** trismus?

25                   **MR. DeSANTIS:** As of July 15th or

at any time?

A Well, here's what I think **is** going on.

MR. DeSANTIS: Wait a minute. Let him -- 3 mean I **don't** understand the question, Gene.

I'm net sure! that you're **asking** him in any **case** can infection be in a particular place **and** not **cause** trismus or in this particular **case** with **Ms. Nabozny on July 15th** **could** there have been **an** infection that **could not have caused** trismus.

Q I'm asking with respect to trismus in **Mrs. Nabozny**, could **there have** been **an** infection but it could not have caused trismus on July 15th, 1986, even assuming its final location?

A No, I don't believe so.

Q Can the infection move? In other words, on July 15th of 1986 could the infection **not** have been in the location **whera** it **was** eventually **found**?

A The infection can spread but not move **in total**. It won't **move** from starting here and all **of** it going here, but it will start **here and spread**.

(Indicating)

Q Well, how do you know that the infection didn't start somewhere else and then spread **to** where it **was**

eventually found?

A **Well, number one, from the x-ray, and the anatomy x-ray I haven't seen, but I can show you a picture of it from a report that kind of clears it up for you, from where they know it was and have proof to where it was and everything.**

It had to start there where it truly became an infection that had to be treated and everything, because that's where the bulk of it was found and where it remained. When they finally two weeks later get her in the hospital and get the x-ray and start giving her antibiotics, the bulk of the infection are in this fossa, pterygopalatine fossa. (Indicating)

Q That happens to be a nice area. Is it a cavity area?

A **Well, what it is is when you run your finger up in here behind the last tooth in the jaw and right up the base of the skull and you got nerves and major vessels, artery and veins and everything and then you've got muscles coming, you've got a muscle coming from in here to the head of the condyle and you've got a muscle coming down the two pterygoids, so you're in smaller --** (Indicating)

MR. DeSANTIS: Let me interrupt, Doctor. The record is not going to demonstrate that he's been referring to a



skull., and I don't know how you want to handle that.

MR. MEADOR: Doesn't matter to me.

A No, I can tell you how to handle it. One of the articles I've got goes over the anatomy of the spaces. So what I'm trying to do while you ask me questions is give you a better idea of what we're dealing with.

So you're dealing with an area about this big that part of its boundaries are muscles, are these two muscles that affect the movement of the jaw, and once you get an abscess in that area, their function is going to be impaired, and that is trismus.

(Indicating)

Q And the area you've indicated for the record is about the size of a half dollar?

A Yes.

Q Is that a space?

A It's a space.

Q That's where the abscess was found with Mrs. Nabozny?

A Yes, and I think this will help you a lot, This is an MRI of a localization of a maxillofacial infection, and you see this big space, this is all pus. This is where -- this side you don't see it. This patient is infected like her in this area, and

all you'll see is a pus. So the trismus is an automatic clinical -- (Indicating)

Q Automatic clinical finding after you get --

A -- first clue.

Q After you get to the point where the infection is in the fossa, in the space area, then you're going to find the trismus?

A Yes.

Q Do you concede that an infection can start somewhere else and then spread into the fossa area and that you will not have the trismus until it actually reaches the fossa, which then would affect the muscles?

A Well --

Q Can you answer that yes or no?

A No, in that when does an infection become an infection? In other words, when he took the teeth out and you got the germs migrating back in the space behind the end of the jaw, upper jawbone and up on the edge of the space just in the front part of the space, this bacteria cooks for a few days and starts to get bigger and bigger, and sooner or later, if it continues to grow, affects the muscles enough to where your first sign in this area is trismus.

Q Okay.

A Alteration of function of muscle.

MR. DeSANTIS: Let me just interrupt, Gene. Just for the sake of the record, the MRI the Doctor was referring to earlier in his testimony came from a Journal of Oral and Maxillofacial Surgery, Volume 45, Page 549. He was referring to the upper right-hand image.

THE WITNESS; Yes.

A I'm going to give you all these,

Q Good.

A So I hate to -- I'm not trying to confuse you so much as I'm trying to hopefully make you better understand the area that you're dealing with.

Q At this point in time I'm going to have to go with what I feel is most important, and if we could, I'll try to move along as fast as possible given the time constraints.

Item D on Page 2 of your May 12th, 1988 report talks about failure to refer Mrs. Nabozny to a qualified source for treatment of her infection.

When do you believe that Dr. Chepla should have referred her to another qualified individual?

A When he felt her postoperative complications were beyond his capabilities,

Q When was that? When should he have done that?

A Well, the 15th he did nothing, The 18th he finally started her on antibiotics, and then I don't think he saw her again after that other than to talk to her on the phone,

She should have been under the care of someone who's going to actively treat her symptoms, which we, now know are etched in stone to be a pterygopalatine abscess, She should have been having active treatment minimum by the 15th, would have been best by the 11th if she made the phone calls Gut minimum by the 15th.

Q So it was malpractice for Dr. Chepla not to have referred her to another specialist on July 15th of 3.9863

A To treat her or refer her. He did neither. He didn't give her any active treatment. He gave her no treatment on the 15th, so it was.

Q What was it about the 15th that should have caused him to refer her to another specialist?

A Well, again I said treat or refer.

Q Right.

A So what was it about the 15th was primarily the trismus and the symptoms of a significant, potentially significant maxillofacial infection which demands treatment quick then, now.

Q Well, your opinion as to that he should have referred her, either treated her or referred her to another specialist on the 15th of July is based upon your belief that she was having trismus on that day?

A Yes.

Q Was she having trismus before the 15th of July?

A I believe that she definitely did call him on the 10th and 11th, and I believe trismus was beginning just a few days postop.

Q A few days postop would have made it --

A From the 8th to the 10th.

Q So you believe she was experiencing trismus on July 10th?

A Yes. I think early signs of trismus, yes, and I believe the 11th, and this is why.

8 And certainly by the 15th?

A And this is why --

Q Is that true?

A I think by the 15th, yes, By the 15th.

8 So he should have referred her by the 15th or treated her --

A If he didn't want to treat her.

Q -- an the 15th? Did he have an obligation to refer her any earlier than the 15th or have another specialist treat her?

A He didn't see her. I think he has an obligation to see her sometime first, and he didn't see her until the 15th.

Q When do you think that he should have seen her first?

A 10th would have been best, 11th without a doubt, assuming she made the phone calls, and I assume she did.

Q What was required of him, though, pursuant to the standard of care?

A In my mind to at least talk to her on the 10th and tell her that if she's no better the next day, he has to see her and see her on the 11th and institute -- begin antibiotics on the 11th.

The 10th, you know, depending on what's going on that day and everything, but he's got to see her by the next day. Without a doubt, he's got to know she called on 10th, and he's got to see her on the 11th and from two days, being the second day postop and the third day postop, and getting certainly no better, probably worse. She was getting no better, She had to be the same or worse. Start treatment then unless he didn't want to continue responsibility and then to get her in the hands of somebody, a qualified source.

Q If he had decided to treat her on the 10th or 11th,

what would the treatment have been?

A What ha finally did on the 15th, to at least begin with Keflex, at least begin with Keflex at that time,

Q Now, did you see his notes regarding the 18th of July?

A *Yas*, and the deposition, all. right, -- I've got Page 99 and 100 in his deposition. By the way, he was asked in the deposition, "How long would normal postoperativa swelling usually occur," and he said up to two weeks and maybe a little longer. That boggles my mind.

Q What is your opinion as to the normal range of swelling?

A For what he did?

Q Yes.

A Three to five days at the most or you've got problems, Ha says hers, "There's no indication that there was any swelling on the 15th or abnormal swelling." Swelling I can agree with. Trismus I don't. Now, getting back to the 18th --

Q Let me just --

A Here it is. That's when he starts talking about a possible parotid infection, parotid gland infection on the 18th.

Q Do you agree that the symptoms that he was seeing on

July 18th of '86, according to **his** notes **here**, not assuming other facts, could **have been as a result of** a parotid gland infection?

A Not **so that he** shouldn't **be able** to tell the difference, no. No, I think he should have **been able** to.

Q Well, what was inconsistent then looking at his notes from the 18th **and knowing what you** know about his notes from **the** 15th? What **was** inconsistent with a parotid gland infection?

A **Well**, several things. Number one, and I can't separate on the 15th, **he said** possible TMJ.

Q Right.

h Then three **days later** he **says** possible parotic? gland because she's getting more swelling, but she has gat -- and does he mention trismus in there yet? I don't **know** if he **ever** mentions it.

Q Let's assume he **doesn't mention** trismus.

A **It's got to be** in there. At this point in **time** he's run **out** of time **to say** possible this, **possible that**. He's **gat** to be doing everything he can **to find out** **what it is** now, and if **he can't** find out, to get somebody that can.

Q Well, let me ask you this. Looking at **his** notes **from** **the** 15th and the 18th, what is inconsistent in those



notes with the possibility of a parotid gland infection?

a Probably the degree of trismus. Now, I'm sorry --

Q The trismus is not in his notes.

A Yes, well --

Q I understand that you believe there was trismus at that time, but without an indication of trismus, assuming that from my question, what about the notes of the 15th or the 18th as to her symptoms is inconsistent with a possible parotid gland infection?

A The possible source, the clinical evidence that you would get from milking the gland inside the mouth, what I'm trying to say is that while he's writing this note on the 18th, he's got to be doing more than writing the note.

He's got to be doing specific things to find out like milking the gland to see if he's getting -- the duct comes out here. (Indicating) You can milk to see if you're getting saliva or a milky excretion.

He's got to be finding specific answers at this time, not just putting her on Keflex at this time. And he's gone from possible TMJ on the 15th to possible parotid gland, but he's not talking about probable, What is it probably? And this is what scares me about the whole thing.

He's too slow in finding out what is it most likely, and it's got to be something. **This** lady is getting more and more swelling. She's not getting any better, What **is** it most likely, and **this is** running a long time, and this, is what **scares** me about the **whale** thing. **It's** taking him too long to do anything more than, you know, possibles, and that's **not good enough now.**

11 Q Let me **ask** you this. What was it that this lady eventually ended up with?

1 A **Well,** she ended up with over **a** month in the hospital.

12 Q Pterygopalatine abscess?

13 A **Abscess,** yeah.

14 Q Well, based upon the notes here that you have on  
15 **July** 25th and July 18th, **Dr. Chepla was** considering  
16 the possibility of **TMJ** pain and he **also** considered  
17 the possibility of **a** parotid gland infection. Do you  
18 think he should have been considering at that time  
19 the pterygopalatine abscess?

20 A I'm embarrassed if these? **are** the best two things he  
21 could come up with.

22 Q Do you think that the pterygopalatine abscess **was**  
23 **more** probable at that point than TMJ pain or a  
24 parotid gland infection?

25 A **Yes.**

Q Why?

A Because from what he had done on the 8th and the condition of her mouth, a postoperative infection stemming from removal of teeth was something that was more probable than a coincidental TMJ pain and swelling or a coincidental parotid gland infection and -- wait a minute.

And if you read these articles, you will see that when you get this infection, these lead to death and/or extrema morbidity, loss of sight, loss of sensation. You're dealing with something -- this lady, there's no doubt in my mind, got very close to death's door, and nobody will ever know how close, but she got close to dying.

These are life-threatening infections, and so he doesn't have time here to be thinking on one day possible TMJ and three days later possible parotid. He's got to be thinking am I dealing with the big bad one.

Q You've done hundreds of multiple extractions, you said that. How many pterygopalatine abscesses have resulted?

A God, less than 10, less than five, thank God.

Q Out of how many you said hundreds, can you give me an idea of what the number would be?

A Of what?

Q The 900, 800, 700 of these multiple extractions you've done **over the years**.

A I worked **22 years**, and would I do five a month? **Let's say** 2,000, I don't know, 1,500, 2,000.

Q You've had five pterygopalatine abscesses?

A oh, less. **Less** than five, I think, I don't know, but **very** few.

Q **And out** of those 2,000 or so that you've had, how many have you had where they've had TMJ pain **a week** after your extractions?

A I don't recall that **any were?**, that significant, that TMJ pain was a significant postoperative complaint from routine extractions. I don't recall that played a part.

Q When you say significant, what kind of number would you put on the word significant?

A The best way to answer it is that if I did multiple extractions on Someone who later had TMJ pain, I **can't** recall where I wouldn't have clinical signs; and symptoms where I could diagnose **it** as TMJ pain and what **the cause was, where** it stemmed from.

It's impossible to **have** TMJ pain multiple **days** after -- in just routine multiple extractions and if **it's** truly TMJ pain not be able **to cipher** back

and ~~see~~ why. Oh, ~~yes~~, I know why you have TMJ pain. I think I opened ~~the~~ prop too fast or something.

So it's not something that's usually **related** to this. The TMS and the parotid, to me it looks like he's grasping at **straws**, I don't know where these thoughts come.

Q Out of the 2,000 multiple extractions you've done or so, how many **times** have your patients developed a parotid gland infection?

10 A None or one. You know, I can't **remember** any. Now,  
1 one other thing, Gene, about **going** through **these**  
12 articles and one of the things, they tell -- **these**  
13 articles, I started in '70 and went up through '85.  
14 The thing you've got to appreciate **is before**  
15 antibiotics, **people got these** and all of them died,  
16 **as far as** they can tell, and in one or two of these  
17 articles they **talked** about before antibiotics **they**  
18 **assumed** all people died when they had **this** infection.

19 Since antibiotics, these are very infrequent,  
20 very **rare**, and most oral surgeons will tell I've had  
21 one, I've had two, but if they happen, you're dealing  
22 with possible death, and if you let it **get** out of  
23 hand, it's just going downhill fast, and that's what  
24 the problem is.

25 MR. DeSANTIS: Let's go off the

record for a minute.

(Short recess is taken)

6 Doctor, have we discussed all of your opinions that  
you have regarding the treatment rendered in this  
case?

A My only opinion is that I feel that Dr. Chepla in  
this case rendered substandard care to a great  
degree, and I find his series of actions to be in my  
mind indefensible and I would want to say to him 3  
11 have no malice to him. I hope he will read this and  
1 learn.

12 I hope this will never happen to him or one  
13 of his patients again, but in this case I believe he  
14 did nothing right and everything wrong, I will send  
15 you copies of these -- leave me your card, and I will  
16 send you copies of everything I've talked to you  
17 about.

18 Q Have we discussed, though, all of the areas in which  
19 you are critical of Dr. Chepla?

20 A I believe from what I just said there, we have in  
21 that --

22 Q I understand you said he did everything wrong and --

23 A It pains me --

24 Q -- I've tried to find out from you what it is in  
25 particular that he has done wrong.

A Well, there's one other thing that I haven't mentioned. He said in his deposition he did the right thing sending her to a neurologist.

Q Yes.

A Two things I disagree with, Number one, I don't think he really made the referral. He told her to call one, and number two, a neurologist was not a qualified referral. She needed three things at that time.

8 At what time?

A When she finally got admitted to the hospital. She needed an adequate special type x-ray and CAT scan or MRI was the best, and she got an MRI.

She needed an infectious disease person to tell him what is the best bullet to grab, what antibiotic because they don't have time for culture and sensitivity, and she needed somebody who would get in there and try and stab and get some drainage in that area. When you look at that picture I showed you, It shows what she had to get.

Q She did finally receive all those three things?

A Yes, but a neurologist can't do any of those things, and a neurologist could only say she sure does have neurological signs and she's lost some sensation, but he doesn't deal with infections and he doesn't stab

and drain, and so he was not a qualified source for her.

She needed the combination of a good x-ray, an infectious disease person to say what is the best antibiotics right now, we've got to grab something quick and get in her vein and who can get back there and stab and drain, and Dr. Chepla says he does orthognathic surgery, which means he probably moves the upper jaw.

You can't move the upper jaw without not baing agreeable to go back in that area and try drainage. He should have been qualified to try that, and if he didn't want to, he had to get some people to do that, but you had to do those three things, and a neurologist can't do those,

Q You haven't prepared any other reports besides the one you looked at?

A No. I will send you copies. Leave me your card, and I'll send you copies. I'll send you copies of how I've marked these.

(2:37 p.m.)



STATE OF WISCONSIN )  
 ) ss.  
COUNTY OF DANE )

I, LISA A. CREERON, Notary Public duly commissioned and qualified in and for the State of Wisconsin, do hereby certify that pursuant to stipulation, **there** came before me on the 7th day of November, 1988, at 6101 South Highlands Avenue, Madison, Wisconsin, the following named person, to wit: IRA E. WILLIAMS, D.D.S. KATZ, **who was** by me duly to testify to **the** truth and nothing but the truth touching and concerning the matters in controversy in this cause: **that** the witness **was** thereupon carefully examined upon oath and reduced to writing by me; that the deposition **is a true** and correct transcription of the testimony given by the witness; and that the witness read and signed **the** same; I certify that I am neither attorney or counsel for, nor related to or employed by, any of the parties to the action in which this deposition is taken, and further, that I am not a relative or employee of any attorney or counsel employed by the parties hereto or financially interested in the action. In witness whereof I have hereunto **set** my hand and affixed my notarial seal this 15th day of November, 1988.

My commission expires: 2-28-89 /  
Notary Public, State of Wisconsin



DIPLOMATE, AMERICAN BOARD OF ORAL AND MAXILLOFACIAL SURGERY ✓ PRACTICE LIMITED TO ORAL AND MAXILLOFACIAL SURGERY

January 29, 1988

Curriculum vitae:

Graduated University of Tennessee Dental School 1961

Dental Internship - VA Hospital Memphis Tenn. 1962

Oral Surgery Residency - VA Hospital and Marquette University  
Milwaukee, Wis 1963-66

Private Practice of Oral Surgery, Madison, WI 1966 - Present

Membership in:

Dane County Dental Society

Wisconsin Dental Association

American Dental Association

Fellow American Dental Society of Anesthesiology

Wisconsin Society of Oral and Maxillofacial Surgeons  
(President 1977-79)

Fellow American Association of Oral and Maxillofacial  
Surgeons

Diplomate American Board of Oral and Maxillofacial  
Surgeons

Executive Committee and Board of Directors  
Member of Dane County Unit of American Cancer  
Society 1972-78

**Board** of Directors Wisconsin Division  
American Cancer Society 1978-80

Wisconsin Council for Cancer Control 1977-79

Chairman of Dental Department and Executive Committee  
Medical Staff Methodist Hospital 1974-76, 1980-82

Clinical Instructor ENT/Plastic University of Wisconsin  
Medical School & Hospital 1977-82

William:  
Ex 1  
LAC  
11-2-88



IRA E. WILLIAMS, D.D.S.

DIPLOMATE AMERICAN BOARD OF ORAL AND MAXILLOFACIAL SURGERY ♡ PRACTICE LIMITED TO ORAL AND MAXILLOFACIAL SURGERY

May 12, 1988

Mr. Richard C. Zeiger  
Kaufman & Cumberland  
Attorneys and Counselors at Law  
1401 East Ninth Street, Suite 300  
Cleveland, Ohio 44114-1779

Re: Kathleen Nabozny

Dear Mr. Zeiger,

I have reviewed in detail the documents and x-rays pertaining to Mrs. Nabozny's treatment by Dr. William E. Chepla in July, 1986.

It is my opinion that Mrs. Nabozny received treatment which fell below a reasonable standard of oral and maxillofacial care by Dr. Chepla during the period in question.

Mrs. Nabozny's treatment history and x-rays reveal a person who has been referred by Dr. Sangrick and is presenting herself to Dr. Chepla with a desire and intent to rehabilitate her current oral condition and function. Her initial oral surgery treatment on July 8, 1986 by Dr. Chepla was an ordinary procedure in the average oral surgery practice.

And while her oral health on that day did not demand the immediate use of antibiotics, that condition did indicate that an absolute need for antibiotics might present itself during the post-operative recovery period. Evidence supports the fact that such an absolute need did arise, that Mrs. Nabozny contacted Dr. Chepla's office more than once with indications of less than uneventful progress, and that Dr. Chepla's responses were far less than adequate.

Dr. Chepla's records are extremely inadequate but still capable of revealing a litany of post-operative care omissions, i.e.

- a - failure to see Mrs. Nabozny on either July 10 or 11th, after her calls regarding post-operative problems,
- b - failure to start her on antibiotics on July 15th, the date of her "scheduled" post-operative visit,
- c - failure to act with regard for her extremely significant complaint of "trismus," which in and of itself demanded antibiotic therapy, and

Williams  
11-7-88