

IN THE COURT OF COMMON PLEAS

CUYAHOGA COUNTY, OHIO

ZACHARY HAMMON, et al.,

Plaintiffs,

-VS-

JUDGE POKORNY
CASE NO. 209957

MARYMOUNT HOSPITAL,
et al.,

Defendants.

Doc. 456

- - - -

Deposition of MAX WIZNITZER, M.D., taken as if upon direct examination before Lynn D. Thompson, a Notary Public within and for the State of Ohio, at Rainbow Babies & Childrens Hospital, 2101 Adelbert Road, Cleveland, Ohio, at 4:50 p.m. on Friday, January 15, 1993, pursuant to notice and/or stipulations of counsel, on behalf of the Defendants El-Mallawany, Abrams and Brown in this cause.

- - - -

MEHLER & HAGESTROM
Court Reporters
1750 Midland Building
Cleveland, Ohio 44115
216.621.4984
FAX 621.0050
800.822.0650

1 APPEARANCES:

2 Christopher M. Mellino, Esq.
3 Charles I. Kampinski Co., L.P.A.
4 1530 Standard Building
5 Cleveland, Ohio 44113
6 (216) 781-4110,

7 On behalf of the Plaintiffs;

8 Lisa Cerino Cabi, Esq.
9 Hahn, Loeser & Parks
10 3300 BP America Building
11 200 Public Square
12 Cleveland, Ohio 44114
13 (216) 621-0150,

14 On behalf of the Defendant
15 Marymount Hospital;

16 Robert C. Seibel, Esq.
17 Jacobson, Maynard, Tuschman & Kalur
18 1001 Lakeside Avenue, Suite 1600
19 Cleveland, Ohio 44114-1192
20 (216) 736-8600,

21 On behalf of the Defendants
22 El-Mallawany, Abrams and Brown.

23 - - - - -
24
25

1 MAX WIZNITZER, M.D., of lawful age,
2 called by the Defendants El-Mallawany, Abrams
3 and Brown for the purpose of direct examination,
4 as provided by the Rules of Civil Procedure,
5 being by me first duly sworn, as hereinafter
6 certified, deposed and said as follows:

7 DIRECT EXAMINATION OF MAX WIZNITZER, M.D.

8 BY MR. SEIBEL:

9 Q. Would you state your full name for the record,
10 please.

11 A. Max Wiznitzer.

12 Q. Doctor, my name is Bob Seibel. We were
13 introduced briefly before, but I am from
14 Jacobson, Maynard, Tuschman & Kalur, and along
15 with Jerry Kalur of our firm, I represent
16 Dr. El-Mallawany and his firm. Dr. El-Mallawany
17 is an obstetrician/gynecologist. I was just
18 curious if you know him at all?

19 A. No, I don't.

20 Q. What is your professional address?

21 A. 2101 Adelbert Road, Cleveland, Ohio 44106.

22 Q. That's University Hospital?

23 A. Rainbow Babies & Childrens.

24 Q. Do you have any other offices?

25 A. I see outpatients at an office in Beachwood and

1 at an office in Lakewood on an intermittent
2 basis. I mean this is the home headquarters, if
3 you want to think of it that way.

4 Q. Those other offices, are they associated with
5 Rainbow Babies & Childrens Hospital?

6 A. We rent space. Out in Beachwood, it's the
7 Rainbow Subspecialty Center. I've forgotten the
8 exact name.

9 Q. How about Lakewood?

10 A. Lakewood just has my name on the door, when I'm
11 there.

12 Q. What's your date of birth?

13 A. March 4th, 1953.

14 Q. And what is your Social Security number?

15 A. 331-44-4521.

16 Q. Doctor, you understand that your testimony is
17 being recorded today?

18 A. Yes.

19 Q. And you understand that your testimony is also
20 under oath?

21 A. Yes.

22 Q. Do you understand that we'll be relying on the
23 answers that you give as we analyze this case
24 and prepare for trial?

25 A. Yes.

1 Q. Do you further understand that this is my only
2 opportunity to discover your opinions under oath
3 in this setting?

4 A. Yes.

5 Q. And would you agree not to answer any question
6 unless you understand it?

7 A. Yes.

8 Q. What is your position here at Rainbow Babies &
9 Childrens Hospital?

10 A. I'm the chief of child neurology.

11 Q. How long have you held that position?

12 A. Since July 1st, 1992.

13 Q. How many other child neurology physicians are
14 there here at Rainbow?

15 A. One-and-a-half.

16 Q. Dr. Horwitz is the other?

17 A. Dr. Horwitz is one, and we have another person
18 who is the head of the division of
19 rehabilitation and developmental disorders, who
20 is also a child neurologist who works with us.

21 Q. Who is that?

22 A. Dr. Mark Cohen.

23 THE WITNESS: I'm going to ask a
24 favor.

25 MR. SEIBEL: Sure.

1 - - - -
2 (Thereupon, a discussion was had off
3 the record,)
4 - - - -

5 (Thereupon, Defendants' Exhibit 1,
6 4-5-91 Wiznitzer letter to Kampinski, was mark'd
7 for purposes of identification.)
8 - - - -

9 Q. Would you describe your medical education,
10 training, experience beginning with college?

11 A. I went to Northwestern University and, first of
12 all, was in Evanston, Illinois in their honors
13 program in medical education. I was entered
14 from 1971 through 1977. The last four years, I
15 was in the medical school there. In 1975, I got
16 a bachelor of science in medicine, and in 1977,
17 I got my M.D.

18 After that, I did a pediatrics internship
19 and residency at the Children's Hospital &
20 Medical Center in Cincinnati. That was from
21 1977 to 1980. From 1980 to 1981, I did a
22 developmental pediatrics fellowship at the
23 Cincinnati Center For Developmental Disorders.

24 From 1981 through 1984, I did my neurology
25 training, which basically is my pediatric

1 neurology fellowship, although I'm boarded in
2 neurology, at the Children's Hospital of
3 Philadelphia and at the University of
4 Pennsylvania neurology program in Philadelphia.
5 And that went through '84.

6 From 1984 through 1986, I did a National
7 Institute of Health fellowship in higher
8 cortical functions in children at the Albert
9 Einstein College of Medicine in Bronx, New
10 York.

11 Q. Where did you go after you finished your
12 fellowship at Albert Einstein?

13 A. I came here.

14 Q. Are you employed by University Hospital?

15 A. No.

16 Q. So yours is a private practice of pediatric
17 neurology?

18 A. No. We are employees of Case Western Reserve
19 University School of Medicine.

20 Q. Do you maintain any private practice at all?

21 A. No.

22 Q. Besides the medical training that you just
23 described for me, have you begun any other
24 residencies or fellowships that you didn't
25 ultimately finish?

1 A. No.

2 Q. And did you attend any other medical schools,
3 for instance?

4 A. No.

5 Q. Are you licensed in any other states besides
6 Ohio?

7 A. Yes. To be honest with you, I can't remember if
8 my license is inactive or active, but I have
9 licensure in Pennsylvania and in New York.

10 Q. And how about hospital privileges; anywhere
11 besides University Hospital?

12 A. I have consultative privileges at Lakewood
13 Hospital and at Geauga Hospital.

14 Q. Has your license to practice medicine ever been
15 revoked, suspended, modified, restricted in any
16 way?

17 A. No.

18 Q. And have your hospital privileges ever been
19 revoked, suspended, modified or restricted in
20 any way?

21 A. Except for not dictating my charts on time,
22 where you get a death notice. Other than that,
23 the answer is no.

24 Q. Doctor, I'm going to hand you what we've marked
25 for purposes of this deposition as Defendants'

1 Exhibit 1 and ask if you would identify it for
2 the record, please.

3 A. Defendants' Exhibit 1 is a letter dated
4 April 5th, 1991 to Mr. Charles Kampinski from me
5 regarding Zachary Berardinelli,

6 Q. Have you issued any other reports besides this
7 one to Mr. Kampinski or anyone from his office?

8 A. Not to my knowledge.

9 Q. Do you maintain a separate file for these types
10 of reports apart from your patient file?

11 A. No.

12 Q. Was this the one and only draft of this report?

13 A. You want an honest answer?

14 Q. Sure.

15 A. I couldn't tell you if it was or if it wasn't.

16 Q. Well, my question is did you discuss your report
17 with Mr. Kampinski before you put it in final
18 form?

19 A. No. I mean the only other drafts that I can
20 think of would have been with typos or I wasn't
21 happy with the grammatical structure of
22 sentences.

23 Q. What issues did Mr. Kampinski ask you to address
24 that led you to writing this report to him dated
25 April 5th, 1991?

1 A. Maybe I don't understand the question.

2 Q. Sure. Well, something had to happen to prompt
3 you to write this report.

4 A. Yes.

5 Q. And I assume that it was some discussion with
6 Mr. Kampinski about Zachary?

7 A. Yes.

8 Q. So getting back to the question then, what
9 issues did Mr. Kampinski want you to address in
10 report form to him which led to your creation of
11 this April 5th, 1991 report?

12 A. I can only, you know, guess from the letter.
13 Basically, it was, number one, what kind of
14 neurologic deficits does Zachary Berardinelli
15 have and, number two, when did they occur.

16 Q. And does your report here address those two
17 issues to the best of your abilities as a
18 specialist in pediatric neurology?

19 A. It addressed it to the best of my ability at the
20 time that I wrote the letter.

21 Q. Do you now have information available to you
22 that affects your statements in this letter one
23 way or the other?

24 A. No, not really.

25 Q. So have your views changed at all since

1 April 5th, 1991?

2 A. They've only been more refined.

3 Q. We'll get into those in more detail.

4 Do you know when your first contact was
5 with Mr. Kampinski or someone from his office?

6 A. To be honest with you, no. I can guesstimate
7 for you.

8 Q. All right. What's your best guess?

9 A. 1990.

10 Q. Why do you say that?

11 A. Because I have a letter in my file asking for my
12 records in 1990.

13 Q. What's the date of the letter asking for your
14 records?

15 A. March 13th, 1990.

16 Q. That's from Mr. Kampinski?

17 A. Yes. He also asked to meet with me at the time.

18 Q. Did you meet with him?

19 A. Yes.

20 Q. Do you know when?

21 A. No. It was between March and April of 1990.

22 Q. Do you remember what you discussed?

23 A. Probably the same things that are in the
24 letter. I have no independent recollection of
25 the conversation, but I'll make that

1 assumption.

2 Q. Have you given deposition testimony before?

3 A. Yes.

4 Q. About how many times?

5 A. Ten. I'll be honest with you. That's a guess.

6 I really don't keep a count,

7 Q. How many times have you given a deposition as an
8 expert witness?

9 A. Define "expert witness" for me.

10 Q. Well, from your position as a pediatric
11 neurologist rendering opinions about any aspect
12 of a case.

13 A. See, I see "expert witness" more as a person
14 when I'm not involved in the child's care but
15 someone asks for my opinion.

16 Q. All right, fine.

17 A. In those situations, for depositions? Six,
18 seven.

19 Q. Now, you've been deposed six times in cases
20 where you have not been involved with the
21 patient?

22 A. Where I was not involved in treatment or the
23 care of the child.

24 Q. Where you've just looked at the records
25 independently and drawn certain conclusions?

1 A. Yes.

2 Q. And so then approximately four other times where
3 you actually have had involvement with the
4 patient?

5 A, Yes.

6 Q. Like this case?

7 A. Yes. And I'm -- to be very honest with you,
8 these are wild guesses, because I really just
9 don't keep a count on depositions. My business
10 is the practice of medicine, not to give
11 depositions.

12 Q. In those cases where you testified having not
13 been involved with the patient's care, on whose
14 behalf did you render opinions?

15 A. Primarily defense.

16 Q. And how would that break down in terms of
17 percentages?

18 A. Oh, at least -- at least 65, 70 percent defense.

19 Q. Describe your current practice, if you would.

20 A. I'm an academic physician, and as an academic
21 physician, I have responsibilities in three
22 areas. Area number one is clinical care of
23 children, since I'm obviously a pediatric
24 neurologist. And that subsumes the greatest
25 amount of my time. Both on an inpatient basis

1 as well as on an outpatient basis.

2 I also do things. I read EEG's, and I run
3 the evoked potentials lab at University
4 Hospitals. That's number one.

5 Number two, I have a responsibility for the
6 education of medical students and of residents
7 as well as of my colleagues in various aspects
8 of medicine. In terms of continuing education
9 programs.

10 And number three are my endeavors in
11 research. And, unfortunately, I would say the
12 latter is not as much time as I would like it to
13 be.

14 In addition to that, I also lecture to
15 parents groups and things like that when the
16 request arises, because I think it's important
17 to have a well-informed public.

18 Q. So all your compensation for the clinical care
19 of patients comes from Case Western Reserve
20 University?

21 A. Yes. Yes. I mean there's -- I have grants for
22 my research, if that's what you mean.

23 Q. Not necessarily.

24 A. But I do not -- no, I don't have a private
25 practice set up on the side where I see patients

1 in the evening or on weekends, No, I don't do
2 that.

3 Q. Why did you choose this particular specialty?

4 A. Why did you choose to be a lawyer?

5 Q. I asked you first,

6 A. Okay. Then I'll wait for an answer from you
7 afterwards.

8 Q. Yes, all right.

9 A. I chose pediatrics because I enjoy working with
10 children. If you want the story, I'll give it
11 to you.

12 Q. I do.

13 A. I don't mind giving it to you.

14 When I did my pediatrics training, I was
15 interested in going into developmental
16 pediatrics. I always had an interest in
17 learning disabilities and developmental
18 disorders in children, and my mentors at the
19 Cincinnati Center For Developmental Disorders
20 told me that you're not going to get any respect
21 unless you're trained not only in developmental
22 pediatrics but also in pediatric neurology. And
23 I took their advice seriously, and I went into
24 pediatric neurology with -- as you will notice,
25 with my NIH fellowship, which was in higher

1 cortical functions in children.

2 I'm interested in you might want to say the
3 cognitive aspects of my subspecialty. I mean I
4 have a wide range of interests, but that's one
5 of them. And I hate to say it. It's fun. I
6 enjoy my work, And I enjoy my research and
7 things like that.

8 Q. What is your fee for giving a deposition?

9 A. \$350 an hour.

10 Q. What is your fee for reviewing a case?

11 A. \$250 an hour.

12 Q. And how about for testifying at trial?

13 A. \$350 an hour. Including transit time.

14 Q. Do you intend to testify live at the trial of
15 this case?

16 A. If I'm requested to.

17 Q. And I say live at trial in contrast to
18 videotape.

19 A. I understand.

20 Q. All right. Still if you're requested to testify
21 live at trial, you will come down to court?

22 A. And if I'm around.

23 Q. What materials did you review before you wrote
24 your report on April 5th, 1991?

25 A. I reviewed Zachary Berardinelli's hospital chart

1 and my records, my office records, to that time,

2 Q. When you say "hospital chart," what do you mean?

3 A. He was admitted to the hospital. He was a
4 newborn. So his newborn records.

5 Q. "Hospital" meaning University Hospital?

6 A. Yes, University Hospital of Cleveland records.

7 Q. So before you wrote your report -- I just want
8 to summarize, make sure we have a clear record.

9 Before you wrote your report, you reviewed
10 the University Hospital/Rainbow Babies &
11 Childrens records for Zachary and his September,
12 '88 admission and then the records you had
13 available in your own office up to that point,
14 April 5th, 1991?

15 A. Yes.

16 Q. Any other records that you had reviewed before
17 your wrote your report?

18 A. Whatever was in the University Hospitals records
19 up to that point in time.

20 Q. Do you have a copy of those records in your
21 office here today?

22 A. Not here, but I have the original records right
23 here to refer to in case I need to.

24 Q. When was the last time you looked at those?

25 A. Earlier this week.

Q. Have you reviewed any further material since you wrote your report?

A. Only whatever has been clinically relevant. I think that's the best way of saying it. Maybe I don't understand what you mean. I've also looked at -- I have seen some expert reports. Let me think if I have this right. From Dr. Chalhub, Dr. Redline, Dr. -- there's a doctor at Metro --

Q. Dierker?

A. -- Health Hospital. Yes. That's right. It would be Dr. Dierker. And I looked at Dr. Edelberg's deposition.

Q. Do you have copies of those documents here in your office?

A. Not here, no.

Q. Where are they?

A. In my home.

Q. Any other further materials?

MR. MELLINO: I don't understand what you're asking him. If he's looked -- what he's looked at since he's written his report?

MR. SEIBEL: Yes.

A. Let me think. Rita Berardinelli's deposition I

1 briefly glanced through. But other than those
2 papers which have to do with the legal aspect,
3 the only things that I have looked at were any
4 reports that had to do with Zachary's medical or
5 neurologic care, That's it.

6 Q. What about the records of his pediatricians?

7 A. No. I haven't seen those.

8 Q. What about any Marymount Hospital records?

9 A. If there were any records from Marymount
10 Hospital that were in the University Hospitals
11 of Cleveland chart, I would have seen them.

12 Q. Have you developed any new opinions since you
13 wrote your report in April of 1991?

14 A. In what way?

15 Q. Any opinions that you didn't have in April of
16 '91 that you do have now relative to this case.

17 A. As I said, I've refined my opinions as to, you
18 know, what I wrote there. To be getting more
19 specific.

20 Q. When did you first see Zachary?

21 A. I saw Zachary in September of '88.

22 Q. What date?

23 A. Oh, I'll be honest with you. It had to be the
24 same date that the child neurology note was put
25 in the chart.

1 Q. Why do you say that?

2 A. Because a note wouldn't get put in the chart
3 without me seeing the child. More important
4 question is did I sign anything? The answer was
5 probably not. My first written note in the
6 chart is from September 19th, 1988.

7 Q. That's in the progress notes?

8 A. Yes. That I can find.

9 Q. That would be the first progress note on
10 September 19th, 1988?

11 A. Yes.

12 Q. Begins "Zachary is awake but not totally alert"?

13 A. Yes.

14 Q. That's your first entry in this chart, doctor?

15 A. That's the first entry in my handwriting, yes.

16 Q. Who wrote the September 6, 1988 child neurology
17 consult note?

18 A. Had to be one of my residents.

19 Q. Can you find it and tell me specifically.

20 A. I think the name is Neger, N-e-g-e-r. And I
21 just don't have any independent recollection of
22 who this person is.

23 Q. You don't know Dr. Neger?

24 A. I can't remember. I am sure I know Dr. Neger,
25 but you know how many people come through here

1 all the time?

2 Q. No, I don't.

3 A, I mean I have on the average two to six
4 residents on service with me, or medical
5 students, at any one time. We've had a lot of
6 trainees. We have 20 trainees, 20 residents per
7 year. Most of whom rotate through our service.
8 So that means since I've been here, which is
9 six-and-a-half years, we've just -- you know, in
10 and of itself, we've had 10 trainees that I've
11 known, minimum. Plus the neurology residents,
12 of which there's six per clinical year. So
13 there's 18 altogether. And they also rotate
14 through. So there's a large number of people
15 that come through.

16 Q. Do you know what level of training Dr. Neger had
17 reached by the time he or she wrote this
18 September 6th --

19 A. It has to be at least a second-year resident.
20 It has to be.

21 Q. In neurology? Second-year neurology resident?

22 A. I just can't tell you, sir. I just don't
23 remember. I'll be happy to find out if you'd
24 like. I mean I have access to those records.

25 Q. Your September 19th progress note, was that the

1 first progress note written by a pediatric
2 neurology attending physician?

3 A. The first note that I can see, yes.

4 Q. You actually have very legible handwriting, but
5 just in the chance that I'm misreading it, would
6 you go ahead and read your handwritten note for
7 September 19th, please.

8 A. Sure.

9 Q. And if you've used abbreviations, go ahead and
10 tell me what those abbreviations stand for.

11 A. The note says "Neurology. Zachary is awake but
12 not totally alert. Extraocular movements full.
13 Follows face/voice with his eyes." Or "with
14 eyes. Preference for head turn to right.
15 Moving right arm/hand better although tone and
16 grasp still low. Deep tendon reflexes brisk
17 with five to six beat right ankle clonus. Gag
18 intact. Suck moderately abnormal. No prolonged
19 effort. Question mark, increased lower
20 extremity tone, especially in hips. Poor cry.

21 "Impression. Exam, including brachial
22 plexus injury, is improved. Right arm movements
23 suggests good recovery potential. Central
24 nervous system exam still abnormal and outcome
25 still not clear. Will follow. M. Wiznitzer,

1 M.D."

2 Q. When's the next note in this record by either
3 you or another pediatric neurology attending
4 physician?

5 A. September 26th, 1988.

6 Q. That's you again?

7 A. Yes.

8 Q. Would you read your note, please?

9 A. "Neurology. Zachary is feeding better, having
10 taken 70 cc today, although he still does not
11 have a strong suck. Gag intact. Has sleep-wake
12 cycles.

13 "Physical examination. Head circumference
14 equals 37.4 centimeters. Positive moro.
15 Negative asymmetric tonic neck reflex. Trunk
16 tone decreased. Moves all extremities well with
17 normal tone. Opens and closes hands.

18 "Deep tendon reflexes 3/4 with unsustained
19 ankle clonus. Briefly visually fixates and
20 tracks other 45-60 degrees.

21 "Impression. Improved but still not
22 functioning at age-expected level. Will
23 follow. M. Wiznitzer, M.D."

24 Q. And that's the last note by a pediatric
25 neurology attending in this record?

1 A. Yes, it is.

2 Q. Do you remember Dr. Wolff, W-o-l-f-f? First
3 name initial D.?

4 A. Dr. Wolff is listed here as a pediatric
5 surgeon. No, I wouldn't know him.

6 Q. What role did you play in the review or approval
7 of the discharge summary for Zachary's
8 admission?

9 A. I had no role.

10 Q. Did you review it?

11 A. Recently. The only person who has a role in
12 that is the attending physician who dictates
13 it.

14 Q. In going through the progress notes, do you
15 recall the names of any of the pediatric
16 neurology residents that might have been
17 involved in Zachary's admission?

18 A. There are no names of any of my residents in
19 here. First of all, I don't think I had any of
20 my trainees writing this note. And any
21 neurology note would be labeled "neurology." It
22 just wouldn't be written.

23 Q. That's really why I asked. Is it fair to assume
24 that the other residents who have written notes
25 in the progress notes are not involved with the

1 pediatric neurological aspects of Zachary's
2 care?

3 A. Not unless I was walking through the nursery and
4 they asked me to look at him with them. We
5 discussed it a bit, and then I walked on. That
E happens many a time, and I don't write an
5 independent note, but then they'll write down
E what we went over together.

9 Q. Did it happen here?

10 A. I couldn't tell you yes or no.

11 Q. Doctor, could you give me -- you have your
12 original records for Zachary here in front of
13 you. Could you hand them to me, and we're just
14 going to mark them as an exhibit.

15 A. You can't take the originals. You know that.

16 Q. Oh, I don't intend to take them.

17 | A. Oh, okay.

18

19 (Thereupon, Defendants' Exhibit 2,
20 child neurology office chart, was mark'd for
21 purposes of identification.)

22

23 Q. Doctor, I'm going to hand you what's been marked
24 as Defendants' Exhibit 2 and ask whether you
25 would identify it for the record, please.

1 A. Defendants' Exhibit 2 is the office chart in
2 child neurology for Zachary Berardinelli, later
3 Zachary Hammon.

4 Q. Have you removed anything from that record?

5 A. No.

6 Q. When was the last time you saw Zachary?

7 A. The last time I saw Zachary was November 2nd,
8 1992.

9 Q. What was his condition at that time?

10 A. In what way?

11 Q. From a neurological standpoint.

12 A. He had an abnormal neurologic exam.

13 Q. What was abnormal about his neurological exam?

14 A. He had I guess what could be summarized as an
15 ataxic cerebral palsy with increased deep tendon
16 reflexes in the legs,

17 Q. I want you to list specifically all the abnormal
18 neurological findings that you detected at that
19 November, 1992 visit.

20 A. Well, I would say it's not only detected but
21 also elicited from history.

22 Q. Well, I want to know all the ones that you were
23 able to observe during your examination at that
24 time. We're going to go back and talk about the
25 historical features.

1 A. Okay. Oromotor movements are slow. This is
2 both jaw and tongue movements. He has mild
3 weakness in the shoulder girdle. He walks with
4 hip and knee flexion, which is abnormal. He has
5 adduction, or the knees coming close together.
6 He has ataxia, both of his arms and/or of his
7 extremities and his trunk. He has a tremor.
8 His speech is ataxic. His deep tendon reflexes
9 are brisk with three beats of clonus at the
10 ankle, and he has a mildly hyperactive jaw
11 jerk.

12 Q. Any others?

13 A. That's all that's in my note for that date.

14 Q. From the time of Zachary's birth, what has been
15 the progress of his neurological problems?

16 A. Why don't you be specific. I mean that's a very
17 broad question.

18 Q. All right. Well, let's go back then and examine
19 his neurological status by the time of his
20 discharge from University Hospital in September
21 of '88. Do you know what it was?

22 A. Whatever I wrote in the chart at that time.

23 Q. Did that change?

24 A. Yes.

25 Tell me how it changed and when it changed.

1 A. Going through my records, I next saw him in
2 followup on January 9th, 1989.

3 Q. What was his condition at that time?

4 A. His truncal tone was decreased. He had brisk
5 deep tendon reflexes. He had persistent
6 asymmetric tonic neck reflex. Especially with
7 rightward gaze. He had some problems with
8 truncal tone. And he had some delay in his
9 motor development with a functional level of
10 approximately three months.

11 Q. What treatment did you provide to him while he
12 was a patient at University Hospital in
13 September of 1988?

14 A. I just monitored his neurologic status.

15 Q. Did you prescribe any treatment for him in
16 between his discharge in September of '88 and
17 your next visit with him in January of 1989?

18 A. No.

19 Q. What was the purpose of your visit with him in
20 January of 1989?

21 A. To see how he was doing.

22 Q. And how was he doing?

23 A. He was -- he had a neurologic problem.

24 Q. Had it gotten better or worse or changed in any
25 way since his discharge?

1 A. That's not a good question,

2 Q. Why is it not a good question?

3 A. Neurologic status in children is not the same as
4 in adults because the nervous system in children
5 is maturing and developing. So we track the
6 children simply because there may be portions of
7 the nervous system that are supposed to kick in
8 at a certain age that don't, and that's when
9 you're going to start finding problems, even
10 though the injury damage or structural
11 abnormality, whatever it would have to be,
12 occurred a long time in the past.

13 But as I explain to people, if you cut a
14 wire in the back of a TV set, you're not going
15 to know if the TV set works or doesn't work
16 until you try to flip the switch on. So that's
17 why I'm saying it's not a fair question. The
18 only thing I can tell you with Zachary is there
19 was no obvious regression in skills. I think
20 that's an important point to be brought out
21 here.

22 Q. Well, one of the reasons I ask is because in
23 your January 26th letter to Dr. Bennet -- is
24 that what you're referring to there in your
25 record?

1 A. Yes, from the visit of January 9th.

2 Q. You use the word -- actually, you state the
3 phrase "he has shown developmental progression."

4 What did you mean by that?

5 A. What it means is that Zachary is continuing to
6 gain developmental milestones. Not to gain
7 developmental milestones either implies a severe
8 neurologic insult or some sort of a progressive
9 neurologic disorder that is making you regress
10 in your skills. Zachary did not show that. But
11 he wasn't showing the developmental progression
12 at the rate that I would expect for a child of
13 his age.

14 Q. And for a child with severe neurological insult,
15 you would have expected him not to be making the
16 severe milestones that he had made by then?

17 A. You're talking about severe, really bad ones?
18 Yes. The severe ones don't.

19 Q. When is it going to be possible to evaluate the
20 prognosis for Zachary over his lifetime?

21 A. In terms of?

22 Q. His neurological developmental delays,

23 A. You can have a problem with cognitive skills,
24 because in my impression, I mean Zachary is not
25 severely delayed cognitively. I thought he's

1 actually doing pretty well cognitively, but,
2 again, it's a coarse measure of what I see in
3 the office. I don't know what he's going to do
4 in terms of his reading and writing skills, in
5 terms of math skills, in terms of academic
6 skills and things like that.

7 Q. As of right now, today, do you have any
8 information that he has any cognitive
9 impairment?

10 A. I stated that I thought that he looked pretty
11 good to me. Now, that was just to me. During
12 the office visit.

13 Q. I understand.

14 A. And you have to remember it's a coarse measure.
15 It's a very coarse measure. What I am using
16 that measure to tell me is are there any
17 significant impairments, and there's not. Mild
18 I'm not really there to judge.

19 Q. But do you have any information that he even has
20 a mild cognitive impairment?

21 A. I'd have to go check. Hold on one second.

22 At the present time, I don't have any
23 information to point to anything big time, no.

24 Q. During the course of your involvement with
25 Zachary, has he continued to make developmental

1 progression?

2 A. Sure has.

3 Q. And has he had any what you call regression?

4 A. No.

5 Q. What is the prognosis for his motor problems?

6 A. In terms of?

7 Q. Well, are they going to get better, are they
8 going to get worse or are they going to stay the
9 same?

10 A. They may get somewhat better. His big problem
11 with his motor skills is his ataxia.

12 Q. What is ataxia?

13 A. You can think of it coarsely as unsteadiness.
14 And that is what's really interfering mostly
15 with his function.

16 The second problem with his motor skills is
17 that he also had an underlying element of some
18 spasticity, as shown by how he walks and also by
19 his increased reflexes. That will also
20 interfere with his motor function. But I mean
21 he walks for me, which is good. I like that.

22 Q. It's an encouraging sign?

23 A. Of course, it is.

24 Q. What are Zachary's current needs for special
25 equipment to enable him to function?

1 A. In terms of?

2 Q. Anything.

3 A. I would recommend that you probably talk to the
4 therapists about that.

5 Q. Well, I need to know, doctor, whether you have
6 an opinion one way or the other whether he --

7 A. At the present time in terms of what?

8 Q. Whether he currently needs any sort of special
9 equipment and for how long in the future he's
10 going to need special equipment.

11 A. He has had appliances in the past in terms of
12 for stabilization. I really can't tell you
13 right now. We'd have to see more of where he
14 goes developmentally.

15 And in terms of his motor skills, to see
16 whether he needs -- you know, whether he'll walk
17 faster using, you know, some sort of supportive
18 device, which he doesn't want to do. But that's
19 typical for children of his age.

20 Q. When you saw him in November of 1992, was he
21 using any devices?

22 A. I have no recollection that he was. There may
23 have been an insert in his shoe, but I just
24 didn't mark it.

25 Q. Now, in terms of whether he will need special

1 devices of any nature in the future, would that
2 be speculation at this point?

3 A. We'd have to see how he goes.

4 Q. Do you have an opinion that he will need such
5 devices?

6 MR. MELLINO: You already asked him
7 this. He told you that he would defer to
8 the therapist,

9 A. I would tell you what I'd do here. I can answer
10 your question much better if I can sit with this
11 therapist and sit with the orthopedic surgeon
12 and come up with a common consensus for this
13 kind of help. Then I can answer your question.

14 Q. Well, what is your role in that meeting?

15 A. My role in the meeting is partially as a
16 moderator, partially because I have some insight
17 into his neurology in terms of, for instance,
18 what the ataxia will do for him, how much you
19 can give in terms of stabilization and support.

20 The therapist's role is to make suggestions
21 for various kinds of devices if they are
22 needed. The orthopedic surgeon would be the
23 same way. It's basically a group thing.

24 Q. Has that taken place?

25 A. No. I am just saying if that's what you wanted.

- 1 MR. MELLINO: Well, he's been given
2 a report from a rehabilitation expert that
3 sets forth what his needs are going to be.
- 4 A. Very well may be, I haven't seen that report.
5 If you show me that report, I can comment
6 whether things make sense or not.
- 7 Q. Well, doctor, my understanding is in fact this
8 meeting has already taken place, hasn't it?
- 9 A. Well --
- 10 Q. A meeting with the rehabilitation people?
- 11 A. The rehabilitation people asked me general
12 questions.
- 13 Q. What did they ask you?
- 14 A. I can't remember. That was a long time ago.
- 15 Q. How long ago?
- 16 A. 1991?
- 17 MR. MELLINO: There's a letter I
18 think in there.
- 19 A. 1991.
- 20 Q. Do you remember who you met with?
- 21 A. Two names I have in here. Maureen Van Weenus
22 and George Cyphers.
- 23 Q. Do you know those people?
- 24 A. I've met them once.
- 25 Q. At that meeting?

1 A. Yes.

2 Q. Have you had any followup with them at all?

3 A. No.

4 Q. Do you remember what input you gave to them
5 during that meeting?

6 A. They asked me questions. And I have no
7 recollection, no independent recollection
8 whatsoever,

9 Q. Do you have any notes from that meeting other
10 than a bill for your time?

11 A. No.

12 Q. What is ataxic cerebral palsy?

13 A. Cerebral palsy is a nonprogressive -- actually,
14 that's the wrong word to use. Cerebral palsy is
15 an impairment in tone, strength or coordination
16 that is due to a static process. In other
17 words, it's not due to a progressive neurologic
18 disorder such as an enzyme deficiency that will
19 make you worse over time. Basically, it's like
20 a brain tumor or things like that.

21 We normally will use words to identify the
22 major component of what we find on examination
23 for the cerebral palsy. So, for instance, the
24 most common type we see is a spastic cerebral
25 palsy in which they have increased tone and they

1 have spasticity, which is an exaggerated
2 clasp-knife reflex, And there's various forms
3 of that. Then there are --

4 Q. Those would be the more severe forms of cerebral
5 palsy?

6 A. No. No. No, those are not. Severity can only
7 be judged on the child's functioning. So, for
8 instance, I have patients with extrapyramidal
9 forms of cerebral palsy like a choreoathetotic
10 form where the movement disorders had so
11 severely impaired them that they can't walk even
12 though they have decent strength, but they are
13 just bedridden because the movements are so
14 severe.

15 In my opinion, at the time that I saw
16 Zachary last, what he had was he was
17 significantly ataxic. He had truncal
18 instability and extremity instability, or
19 unsteadiness. That was part of this whole
20 picture, which has also been described as ataxic
21 cerebral palsy, if you want to think of it as an
22 ataxic component, because he has increased deep
23 tendon reflexes. And I guess that's what I mean
24 by that.

25 But it's a nonprogressive neurologic

1 disorder in that I don't expect him to look
2 horribly worse at age 20 than he does at age 4,
3 unless something else comes up, for instance,
4 and he develops another extrapyramidal quality
5 to his movements that would interfere with his
6 functioning.

7 Q. Do you anticipate that that will happen?

8 A. I don't anticipate it, but you can never exclude
9 it. Kids fool me all the time.

10 Q. So it's a possibility rather than probability?

11 A. Definitely.

12 Q. The ataxia of Zachary's cerebral palsy is the
13 chief feature of his neurological problem?

14 A. It's the major feature. I mean there's other
15 things. There's weakness and everything else.
16 But if you are going to look at Zachary and
17 watch him in movement, you'd say that, you know,
18 you'd be most impressed with the ataxia. But
19 that doesn't mean other things aren't there.

20 Q. In your report to Mr. Kampinski on April 5th,
21 1991, you say that "Zachary's most recent exam
22 is consistent with an ataxic cerebral palsy."
23 Is that still the case today?

24 A. Yes,

25 Q. What do you mean by "consistent with"?

1 A. That's what he has,

2 Q. Is it consistent with anything else?

3 A. No. That's what he has.

4 Q. What is encephalopathy?

5 A. Encephalopathy is dysfunction of the brain.

6 Q. What is the relationship between the term
7 "encephalopathy" and "ataxic cerebral palsy"?

8 A. By definition, ataxic cerebral palsy is an
9 encephalopathy. It's a chronic encephalopathy.

10 Q. What area of Zachary's brain is affected?

11 A. Good question. Thalamus. Definitely.

12 Q. Why do you say "definitely"?

13 A. Because it's shown on neuroimaging studies as
14 being abnormal.

15 Q. Which ones?

16 A. His MRI.

17 Q. Do you have a copy of that in your file?

18 A. Which?

19 Q. The report, the MRI that shows the thalamus
20 dysfunction?

21 A. No. I'll be honest with you. I'd have to go --
22 let me see what the report says.

23 I don't have a copy of it there. I know
24 I've reviewed the MRI.

25 Q. When was that MRI taken?

1 A. If I am not mistaken, it was taken about 1990.

2 Q. Was it taken here?

3 A. Yes.

4 10-16-90.

5 Q. Well, don't put it away. I want you to read me
6 what the report says.

7 A. Be more than happy to.

8 Q. All right.

9 A. MRI of head, clinical data two-year old male
10 with developmental delay. There's clinical
11 concern for abnormal myelination. Multiecho --
12 that's one word -- images of the head were
13 obtained in the axial projection. Additional T1
14 weighted images were obtained in the coronal
15 projection. There was normal myelination for a
16 two-year-old infant. No mass effect or shift is
17 identified, No extra axial collections are
18 seen. Impression, normal MRI of the head.

19 Q. Why does that lead you to conclude that there
20 are some abnormalities of his thalamus?

21 A. Because it was misread. I've reviewed it
22 subsequently with the neuroradiologists, who
23 agree that there's an abnormality in the
24 thalamus.

25 Q. Which neuroradiologist?

1 A. Both the man who read it, Charles Lancieri as
2 well as Alison. Smith.

3 Q. And when did you review that study with them?

4 A. I've reviewed it several times. Most recently
5 today.

6 Q. You actually looked at the film itself?

7 A. Yes, I did.

8 Q. Who did you review it with today?

9 A. Dr. Smith.

10 Q. And it's a she?

11 A. Yes.

12 Q. What's her first name?

13 A. Allison.

14 Q. And she's a neuroradiologist here at University
15 Hospital?

16 A. Yes, she is,

17 Q. Tell me about your conversation with Dr. Smith
18 today.

19 A. That's just it. I put the films up, and I said
20 "What do you think?"

21 Q. And what did she think?

22 A. And she says increased signal in the thalami.
23 There may be something in the centrum semiovale
24 bilaterally. And we do not have adequate
25 projections to be consistent with -- to try to

1 identify certain other areas of the brain. I
2 mean when the study was done, it was done in a
3 certain way.

4 And then I said to her, "It's not worth it
5 to put him through the study again just to get
6 this information."

7 And then we went off and discussed various
8 and sundry topics which have little pertinence
9 to you.

10 Q. Did she give you any explanation as to why this
11 was not reported back in October of 1990?

12 A. No.

13 Q. What specifically about the film that you
14 discussed today with the neuroradiologist leads
15 you to conclude that there's an abnormality in
16 the thalamus?

17 A. I just told you.

18 Q. Tell me again.

19 A. There is abnormal signal from the thalamus.

20 Q. To what extent is it abnormal?

21 A. In what way? You can see it. I mean I can
22 bring the films into this room, show you what a
23 normal MRI looks like, show you what this MRI
24 looks like, and you'd see the abnormality.

25 Q. Tell me is there a difference in quality of the

1 signal?

2 A. That's what I said. There's increased signal
3 intensity. I can't remember, to be honest with
4 you, if it was a T1 or T2 weighted study. It
5 was probably the T2 weighted study. Because if
6 I'm not mistaken in this situation, the white
7 matter was dark, which is what you get in T2
8 weighted studies.

9 Q. Did Dr. Smith say that it may be present or that
10 it probably is present?

11 A. Dr. Smith said it is present.

12 MR. SEIBEL: Do you need to get
13 that?

14 THE WITNESS: Yes.

15 - - - -

16 (Thereupon, a discussion was had off
17 the record.)

18 - - - -

19 Q. When was your first discussion with the
20 neuroradiologist about the October, '90 MRI?

21 A. Had to be sometime after the October, '90 MRI
22 was done. Because I usually try to look at all
23 neuroimaging studies that are done on patients
24 of mine.

25 Q. Is it your testimony, sir, that they have agreed

1 that their report showing no abnormalities has
2 been in error from the first time you reviewed
3 the actual MRI film with them?

4 A. Yes.

5 Q. Does the abnormality in the thalamus that you
6 believe was evidenced by the October, '90 MRI
7 explain Zachary's neurological problems?

8 A. It is associated with it. Is that what you
9 mean? I don't think it explains everything, no.

10 Q. Which problems does it explain?

11 A. I don't know which problems it explains. I will
12 be honest with you. I've seen scans like this
13 of this in the past with the children with
14 extrapyramidal cerebral palsy, of which he has
15 one type, the ataxic. So I've seen lesions of
16 this type before.

17 Is it associated with his ataxia? It could
18 be. What we might be doing is catching fibers
19 that are coming from the cerebellum and are on
20 their way up somewhere else, and they could have
21 been interdicted, if you want to think of it
22 that way. So it can explain that. If there are
23 abnormalities in the white matter, and it looks
24 like there are, then that could explain his
25 motor weakness and increased deep tendon

1 reflexes.

2 Q. Well, do you have an opinion to a reasonable
3 medical certainty as to whether the abnormality
4 that you say is present on this MRI explains
5 Zachary's neurological problems?

6 A. It's the other way around. That's not a fair
7 question. What you're saying is that one little
8 area, does that explain everything. I couldn't
9 say yes or no. Is it part of the picture? The
10 answer is yes.

11 Q. So if --

12 A. It is not a coincidental finding that has
13 nothing to do with Zachary.

14 Q. But it doesn't alone explain what his problems
15 were?

16 A. Maybe it does.

17 Q. But you can't say one way or another whether it
18 does or doesn't?

19 A. I just know it's associated with his problems.

20 Q. Were there any other abnormalities that you
21 believe are present on that October, '90 MRI?

22 A. Nothing other than what I've just told you.

23 Q. Are you aware of any other imaging tests or
24 objective test that would suggest that Zachary
25 has a problem with a specific area in his brain?

1 A. No.

2 Q. And by that, I mean EEG, CAT scan?

3 A. EEG would be useless in this situation. CAT
4 scans that have been done have not shown these
5 abnormalities, which is not unusual for his type
6 of cerebral palsy,

7 Q. Or any other laboratory data, anything that --

8 A. All the other laboratory data that has been done
9 has been to look for alternate causes, and all
10 of them have come back negative.

11 Q. What are some of those other alternate causes
12 that you were looking for?

13 A. Looking for an underlying metabolic disorder,
14 which was not there. Looking for a disorder
15 known as ataxia telangiectasia.

16 But the tests that we did, which were
17 immunoglobulins and an Alpha fetal protein, were
18 both normal, which basically excludes that
19 disorder. And there may have been some other
20 tests that we have sent off in the past. I know
21 when he was in Health Hill Hospital, I had
22 conversations with the doctors taking care of
23 him there to look for any alternate reasons for
24 his problem, and any of the other tests that we
25 did could not identify any alternate reasons.

Q. Do you believe that any other parts of Zachary's brain are affected besides this area in the thalamus?

A. There may be. But if you're really asking me short of doing an autopsy, I mean I couldn't put it down on a piece of paper. I mean he may have injury or damage to the Purkinje cells in the cerebellum, but you'd never see that in a million years on the scan, There may be small little areas perhaps in the basal ganglia or other nuclei, deep nuclei of the brain that may be dysfunctional, but we just don't have the resolution to see those. But you're just asking me.

Q. Right.

A. And that's what I'm saying. That that would just be, you know, guesswork on my part.

Q. Tell me, if you would, the areas of the brain that you feel are likely to be affected and causing Zachary's problems.

A. Areas of the brain that are definitely affected are if you want to call it the motor coordination centers, which means either the cerebellum or outflow or inflow tracts going into the cerebellum, which is what leads to his

1 ataxia. There are also probably white matter
2 tracts that are affected because of his
3 weakness, as well as his increased deep tendon
4 reflexes.

5 Q. Now, are you able to specifically identify those
6 areas other than generically that are damaged in
7 Zachary's brain?

8 A. Just from what I've told you before in terms of
9 what I see in the MRI. Unfortunately, I have
10 patients with other types of cerebral palsy who
11 have normal-looking MRI, CT scans and as such,
12 but they still have the neurologic deficit,
13 which means there has to be dysfunctional parts
14 of the brain. We are just not sophisticated
15 enough to identify where it is.

16 Q. What is the perinatal period?

17 A. You know, everyone asks me that, and I always
18 forget. It goes through portions of the
19 pregnancy through the beginnings of after birth,
20 and I'll be honest with you, I can't remember
21 the exact demarcations of when they are. I can
22 look it up and give you a number.

23 Q. Well, you put it in your report. That's why I
24 asked.

25 A. I know that. I know that. But that basically

1 meant -- I was talking about an abnormality in
2 the brain close to -- you know, close to around
3 the time of birth.

4 Q. Why did you characterize Zachary's
5 encephalopathy as due to problems in and around
6 the time of birth'!

7 A. In what way?

8 Q. Well, put it in your report.

9 A. Right. You want me to expand on that?

10 Q. I do.

11 A. At the time that I wrote the letter, I knew
12 definitely in my mind that his brachial plexus
13 problem was due to, if you want to say it, a
14 birth event. I had major suspicions that his
15 other neurologic problems were due to events
16 that occurred at the time of birth.

17 Unfortunately, I don't speculate and I hate
18 to speculate so I had to be a bit vague in my
19 letter, and that's why I was saying I've refined
20 my opinion later on as new medical information
21 has come available to make me much more
22 comfortable in my view that his problems
23 occurred as a consequence of events during the
24 birth process.

25 Q. What information has come to your attention that

1 allows you to make that -- to have confidence
2 now in that conclusion?

3 A. Well, it's more of just my generic reading, and
4 I really can't tell you from where I pulled
5 things out.

6 Q. Not reading anything about this case?

7 A. No. No. I mean I read all the time. I just
8 try to keep up, Most of it's garbage. Some of
9 it's pearls.

10 Q. Can you remember any specific publication or
11 piece of medical literature that you read that
12 gives you confidence now to say that this
13 encephalopathy occurred during the perinatal
14 period?

15 A. No. As I said, I already said that if there --
16 to start with, remember what I said here was
17 that -- just now that it was occurring during
18 the birth process. And it's more of my
19 accumulated knowledge.

20 Q. Well, what records have you reviewed that lead
21 you to conclude that Zachary's encephalopathy
22 occurred during the birth process?

23 A. Zachary showed evidence of an acute neurologic
24 syndrome after birth.

25 Q. "Acute" meaning what?

1 A. Something that came on at that point in time.
2 And that was due to some sort of a systemic
3 insult to the body -- I am just using this now
4 in generic terms -- manifested by the facts that
5 he had blood in the urine, he had elevated liver
6 enzymes, he had elevated muscle enzymes. He
7 had a relatively elevated uric acid, which was
8 three to four days after birth, which, if you
9 look at the later numbers, kept falling further
10 down, which makes me think it was on its way
11 down and we just caught it midway on the trip.

12 So he had had multi-organ system
13 dysfunction. He definitely had neurological
14 dysfunction. Not only with the brachial plexus
15 question but also with his central nervous
16 system. He was classified as a moderate
17 encephalopathy. There's multiple descriptions
18 in the records to go along with that.

19 Number two, there was an event that could
20 easily be related to this, which is the fact
21 that he was born with low Apgar scores, had an
22 Apgar of zero at one minute and at five minutes
23 and three at ten minutes, if I'm not mistaken.

24 During his resuscitation, his initial blood
25 gas showed an obvious metabolic acidosis. As

1 well as it also showed a respiratory acidosis.
2 But that was corrected with the metabolic
3 acidosis still being there, gradually
4 improving.

5 I think I'll stop there. Those are -- in
6 other words, what I'm saying is something
7 happened acutely. This is not something that
8 happened three weeks prior to birth.

9 Q. Do you have an opinion as to what the systematic
10 problem was that led to this?

11 A. I think it was a combination of two factors. I
12 think that he definitely had evidence of a
13 gram-negative infection. If I'm not mistaken --
14 you'd have to tell me if I'm wrong -- an E. coli
15 infection.

16 Q. I believe you're correct.

17 A. And I think of that as having stressed his
18 system. And then he had a cord compression at
19 the time of his delivery that if you want to
20 think of it, led to the acute asphyxial state.
21 He had no energy reserve left or he had very
22 poor energy reserves left because he had already
23 been stressed in utero from the infection and
24 just got pushed over the brink around that time
25 period.

1 Q. The latter event toward his birth, you're
2 talking about the shoulder dystocia?

3 A. Yes. Getting stuck, That is the layman's term,

4 Q. Which was a greater contributing factor to his
5 systematic problems, the E, coli sepsis or the
6 shoulder dystocia?

7 A. The shoulder dystocia.

8 Q. Why?

9 A. That led to what I consider to be the coup de
10 grace. That pushed him over the edge.

11 Q. Why?

12 A. If the E. Coli infection was there, and it was
13 there for hours -- maybe you people are arguing
14 about this. I have no idea. But this is my
15 impression from gleaning through the record.

16 If the E. coli infection had done something
17 to him before that time, he's still sitting
18 there in the milieu of that environment of
19 infection, which means I'm making an inherent
20 assumption here. And speculation, hypothesis,
21 whatever words you want to use.

22 Let's assume that the E. coli infection
23 started doing something to him four hours before
24 birth, that it would start causing irreversible
25 brain damage at that point in time. Zachary

1 would not look the way he looks today. He looks
2 too good for a child who has four hours of
3 progressive brain damage. It makes more sense
4 that something happened within that little
5 window of time, half an hour, 45 minutes, and
6 again these are Just round numbers, prior to the
7 time he was actually delivered that would have
8 caused this kind of a picture.

9 Q. Can brain damage result from E. coli sepsis in
10 utero?

11 A. If you go shocky, it sure can.

12 Q. If who goes shocky?

13 A. If the child. I mean you can have children who
14 get extremely sick and ill, and you can have
15 stillbirths. Whether it's due to the fact --
16 probably what happens in those situations is you
17 have such a rip-roaring infection in the
18 placenta that there's poor nutrition delivery to
19 the baby. By "nutrition," you know, I mean
20 glucose, oxygen. Doesn't get rid of the carbon
21 dioxide well. So you still end up with a,
22 quote-unquote, "hypoxic ischemic insult" that
23 occurs as a consequence of the infection.

24 But as I said, I think he looks too good to
25 have that kind of a problem.

1 Q. Good in what respects?

2 A. Excuse me.

3 Q. Good in what respects?

4 A. If he had been -- I mean if you start -- the
5 example I gave before. If you start having
6 irreversible damage occurring to you hours
7 before birth because you've got this infection,
8 you're going to get a cumulative worsening of
9 your neurologic damage and it's not going to
10 occur at a slow rate. It's going to occur at a
11 good rate. So that I would expect -- again
12 giving a round number of four hours or even two
13 hours prior to birth, I would have expected him
14 to be significantly retarded if this was only
15 due to the infection.

16 Let's assume he never had a shoulder
17 dystocia at all and he had only had the
18 infection. I would have expected him to be much
19 more severely affected from a neurology
20 standpoint both cognitively as well as
21 motorwise.

22 Q. Are you convinced that there was evidence when
23 he came under the care of University Hospitals
24 that there was multi-organ system involvement
25 systemically?

1 A. During the time he was in the hospital, yes. He
2 had blood in his urine.

3 Q. And you mentioned some other things before?

4 A. Well, unfortunately, they never checked liver
5 enzymes. They never did a Chem 20 or 23 until
6 several days after birth.

7 But for instance, his calciums dipped
8 between the first and second day of life, which
9 is an event I see in these -- whatever word you
10 want to use. Asphyxia I think is bandied about
11 too much in an irreverent manner, but we'll say
12 a hypoxic ischemic insult just to make it
13 easier.

14 That that was there, and all the other
15 numbers suggested something happened, an
16 improvement was occurring. It's not like he has
17 the infection and it's brewing and three days
18 later, you know, it's caused such significant
19 damage that we get problems. Because in that
20 case, I'd expect the numbers to be going up
21 rather than coming down.

22 Q. Is Zachary's brain damage irreversible?

23 A. Yes.

24 Q. Is it your testimony, doctor, that it would be
25 impossible for the E. coli sepsis alone to be

1 responsible for Zachary's problems?

2 A. At the state that he's in now, yes,

3 Q. Why?

4 A. I think I gave the explanation already.

5 Q. Give to it me again.

6 A. Assuming that the E. coli infection did him in,
7 it shouldn't have been a progressive problem.

8 Q. What do you mean by that, "progressive problem"?

9 A. Well, I put you in a pot of boiling oil. If
10 you're in a pot of boiling oil for -- I'm making
11 this up obviously,

12 Q. I hope so.

13 A. So appease me.

14 MS. CABI: That's his vision.

15 THE WITNESS: No, that's not my
16 vision. There are good lawyers and bad
17 lawyers, and I know I'm in the company of
18 good lawyers.

19 A. If I put an individual in a pot of boiling
20 oil -- or I'll even use hot water. That's
21 probably a better one to use. And I put a
22 baby -- which happens. You know, if a baby gets
23 put in too hot of a bath, the baby gets put in
24 the bath for a very short period of time, under
25 a minute, the child may be mildly scalded

1 perhaps, You'll see a little redness that fades
2 away by the following morning, But keep that
3 baby in there for a longer period of time,
4 you're going to first get a first-degree burn,
5 and then if you wait longer, you get a
6 second-degree burn. And then if you wait
7 longer, you get a third-degree burn. In other
8 words, it's a progression of severity of the
9 damage.

10 And it's the same way here by being in an
11 environment with E. coli sepsis. If you're in
12 that environment, it's not like -- you don't get
13 damaged at one point in time. The infection --
14 I'll say the "infection," which I think is a
15 better word to use here. The infection
16 continues, but no further damage occurs. That
17 does not logically make sense because you are
18 not about to be worse at one second because of
19 the infection and then without any significant
20 intervention be better at the next.

21 Also, he had a significant insult. Here he
22 comes into the time of delivery with a
23 measurable heart rate. He comes out with no
24 heart rate whatsoever. He has a good
25 circumstance for cord compression because he has

1 a nuchal cord wrapped around his neck twice
2 going through the canal, which can easily be
3 compressed as he comes through.

4 Q. When you talk about this progression of damage,
5 you mean progression in utero or in --

6 A. In utero.

7 Q. -- progression as long as the infection is
8 present?

9 A. I don't think he was sick enough after birth to
10 say that the E. coli did it. He wasn't that
11 sick.

12 Q. Well, when you talk about --

13 A. That's what you're asking me I assume.

14 Q. No, I'm not really asking you that. I'm asking
15 you about a situation where E. coli sepsis
16 causes brain damage.

17 A. Yes.

18 Q. In utero?

19 A. Yes.

20 Q. You said that you'd expect that damage to be
21 progressive in nature?

22 A. Yes.

23 Q. Now, progressive in nature up to the point of
24 delivery?

25 A. Up to the point of delivery and/or fetal death.

1 Q. And what is the basis for your testimony that
2 you would have expected Zachary's brain damage
3 to be worse at birth if it had been caused
4 strictly by the E. coli sepsis?

5 A. Because as I stated, it would have had to have
6 been a progressive process.

7 Q. What I don't understand, doctor, is why couldn't
8 it have progressed to the point that it did and
9 then Zachary was delivered?

10 A. Because he had another event that occurred in
11 between. He had a good reason. It took over
12 five minutes to deliver him. He had -- I don't
13 need to postulate an infection to look at the
14 events that occurred to him at the time of
15 delivery. He may have been so bad that he
16 had -- you know, he had a circulatory arrest at
17 the time of delivery. Unless he had
18 overwhelming sepsis to such a degree that would
19 cause that, that would make him not have a heart
20 rate or anything else, I would expect him in
21 that situation to have required major
22 intervention. In terms of the nursery, high
23 doses of presser agents in order to maintain
24 blood pressure, things like that. That was not
25 what was going on.

1 You've got another event here that can
2 account for where is the difference. And that's
3 the event that occurred at the time of birth.

4 Q. So since that event occurred, you are
5 discounting E. coli sepsis as the cause?

6 A. No. No. In fact., the E. coli infection, I
7 already stated I think that stressed him in
8 utero. I think that if the other event had not
9 occurred, Zachary would not look the way he
10 looks now.

11 Q. How would he look?

12 A. That's a good question. If you're going to say
13 to me, "Doctor, is it possible that he might
14 have a minor motor impairment," I would say
15 "Yes, it is possible," Then you would say,
16 "Doctor, is it probable that he would have the
17 same motor impairment that he has now?" I would
18 say "No."

19 Q. Why not?

20 A. For all the reasons as I've just given you
21 before. He had a two-hit phenomenon. Got
22 stressed by the infection, got finished off, if
23 you want to think of it that way, pushed over
24 the edge by the events that surrounded his
25 immediate birth.

1 Q. Do you attend deliveries?

2 A. I used to do so. All the time.

3 Q. When did you stop?

4 A. When my last child was born.

5 Q. Did you attend deliveries in your practice?

6 A. No. I've had to resuscitate babies
7 unfortunately even as a neurologist when there
8 was no one else around with pediatric
9 expertise.

10 Q. But you do not as part of your practice attend
11 deliveries?

12 A. No.

13 Q. Do you hold opinions to a reasonable medical
14 certainty about the standard of care utilized by
15 my client Dr. El-Mallawany in his delivery of
16 Zachary?

17 A. I have no opinion whatsoever.

18 Q. Do you anticipate giving testimony on that
19 issue?

20 A. No, I don't.

21 MR. MELLINO: He's not going to be
22 asked any standard of care opinions for --
23 for either one, the hospital or the
24 doctor.

25 Q. What does "intrapartum" mean?

1 A. The definition I know of is during the birth
2 process.

3 Q. That means what, from the onset of labor?

4 A. Yes.

5 Q. You've characterized Zachary's encephalopathy as
6 moderate?

7 A. Yes.

8 Q. What are the other gradations of
9 encephalopathy? Is it mild and severe or --

10 A. Yes.

11 Q. How would you define a mild encephalopathy?

12 A. Mild encephalopathy is a child who has a change
13 in his level of consciousness but for the most
14 part, appears hyperalert, not really awake but
15 he's hyperalert, very jittery, can't be consoled
16 easily, can have increased reflexes, have
17 dilated pupils, some increased jitteriness.
18 Lasts for about 24 or 48 hours, goes away, and
19 the baby looks good afterwards.

20 Q. What about severe?

21 A. Coma. With seizures. I think that pretty well
22 puts it together.

23 Q. What is the body's physiological response to
24 asphyxia or hypoxia to the brain?

25 A. No. No. That doesn't sound right. Your

1 question doesn't sound right to me.

2 Q. Why?

3 What would you expect as the brain's
4 response to hypoxia?

5 A. In what way?

6 Q. In a physiological response, In terms of
7 necrosis, in terms of edema, in terms of
8 anything like that,

9 A. Maybe you're using the wrong words.

10 Q. Help me then.

11 A. Are you talking about what anatomic changes
12 would one expect to see?

13 Q. Yes.

14 A. If you have a hypoxic ischemic insult?

15 Q. Sure. Well, you believe that's what happened to
16 Zachary, don't you?

17 A. Yes.

18 Q. And what would you as a neurologist expect as
19 the brain's response to that?

20 A. It depends if you have a partial asphyxia or a
21 total asphyxia.

22 Q. What was it in this case do you believe?

23 A. I believe it was a total asphyxia.

24 Q. So what would you expect the brain's response to
25 be to that?

1 A. Damage to deep gray matter. Nuclei.

2 Q. That's from a cellular standpoint?

3 A. Yes.

4 Q. What about from an anatomic standpoint?

5 A. You may not see edema in that situation, It all
6 depends.

7 Q. I am asking what is the most likely response?

8 A. Well, if you have a severe insult, I've seen all
9 sorts. How about if I say it that way. I've
10 seen severe insults where there's a little bit
11 of brain edema, yet there's -- and this is with
12 total asphyxia. Yet there's a significant
13 abnormality on later scans.

14 I've seen some kids who don't show any real
15 evidence of edema, yet are left with neurologic
16 devastation. This is acutely on CT scan. And
17 I've seen kids who have a lot of edema.
18 Especially in the white matter regions.

19 Q. Again, what is the most likely response?

20 A. It all depends on how severe the insult is.

21 Q. How about in an insult as severe as it was in
22 Zachary's case?

23 A. Well, I can tell you that he had a moderate
24 encephalopathy, so I would expect the brain
25 response to be not as bad as with a severe

1 encephalopathy.

2 Q. Would you expect there to be some response?

3 A. I think if I had done an MRI, yes, I think that
4 this CT technology we had would not have shown
5 abnormalities in the deep gray nuclei.

6 Q. Would it have shown abnormalities -- or would
7 you have expected it to show abnormalities in
8 any other place?

9 A. Perhaps in the white matter. But, again, the
10 technology is not all that good to show that
11 with the CT scan. Even with an MRI, in newborn
12 white matter, abnormalities may be difficult
13 because the water content of the brain is
14 increased to start with.

15 Q. In most cases, do you see neuroimaging results?

16 A. In the severe encephalopathies, yes, I see
17 abnormalities on neuroimaging studies. In
18 moderate encephalopathies, I see variables. I
19 may see some mild changes on CT scan or I may
20 see none at all.

21 Q. What are you most likely to see?

22 A. I'd have to go back and pull charts and tell you
23 that. I really -- I'm talking about a moderate
24 encephalopathy.

25 Q. Well, a moderate encephalopathy is the result?

1 A. No. No, no, It's not only the result. It's
2 the clinical expression of what's going on with
3 the brain.

4 Q. And didn't you tell me that you believe Zachary
5 had a severe asphyxia? Or total asphyxia?

6 A. He had a total asphyxia.

7 Q. What does that mean?

8 A. Total asphyxia means that there basically
9 is no exchange of oxygen, no delivery of
10 nutrients -- we'll think of it that way -- to
11 the baby for a fixed period of time. The most
12 obvious classical example is you clamp the
13 umbilical cord, just totally clamp it. Nothing
14 can go out, nothing can come in.

15 Q. In most cases, what would you expect to see on
16 neuroimaging studies of children within the days
17 or weeks of that type of total asphyxia?

18 A. It depends how severe the insult is.

19 Q. Can you get anything more than a total asphyxia?

20 A. If you pass out, you will have -- the reason you
21 pass out is there's inadequate nutrients
22 delivered to your brain. If you're that way for
23 15 seconds, you're not going to be left with any
24 deficit whatsoever. You're going to get right
25 back up and walk away.

1 If, unfortunately, you pass out because of
2 a heart arrhythmia and you're still kept
3 standing up and you're that way for, let's say,
4 five to seven minutes, you're going to have a
5 severe insult to your brain. But if you're
6 going to have something in between, you know,
7 you're going to hit a point where you're going
8 to get some irreversible injury, which will get
9 worse over time.

10 What we're dealing with here with Zachary
11 is that inbetween land. I'm not talking about
12 the severe cerebral edema. This would make it
13 an easy type of a picture. That's not what was
14 here because he didn't have a severe
15 encephalopathy. He had a moderate
16 encephalopathy.

17 Q. Does the absence of CT findings showing cerebral
18 edema, for instance, make it more difficult to
19 conclude that this is a birth asphyxia
20 situation?

21 A. No. He had an acute neurologic syndrome. He
22 had evidenced multi-organ system dysfunction.
23 There was no doubt about that there was
24 something acutely that occurred there. No doubt
25 about that at all.

1 The absence of changes on the imaging study
2 basically suggests that whatever happened to his
3 brain was not a severe insult. That's all that
4 I think that it tells you. Unfortunately, when
5 people write articles nowadays, they only
6 describe the most severe form of how kids look.
7 We don't really get into the less severe forms,
8 if you want to think of it that way.

9 Q. Are you aware of any -- I'm sorry. Go ahead.

10 A. No, you go ahead.

11 Q. Are you aware of any medical literature that
12 supports your view that Zachary's problems are
13 attributable to a birth asphyxia?

14 A. There's a whole bunch of articles written about
15 birth asphyxia stating that you've got to have a
16 causation and a clinical picture afterwards. I
17 mean that's just the standard thing.

18 Q. Let me refine my question a little bit then.
19 Are you aware of any specific medical literature
20 that would support your conclusion that
21 Zachary's problem is more attributed to the cord
22 compression than it was to the E. coli sepsis'?

23 A. Your question doesn't make -- people don't write
24 articles like that. I don't understand your
25 question, I guess.

1 Q. Well, are you aware of any commentary in the
2 medical literature, that you read in the
3 pediatric neurological texts or journals that
4 talk about the greater likelihood that problems
5 like Zachary's were attributed to birth asphyxia
6 rather than E, coli sepsis? Or some other
7 systematic problem?

8 A. I'm just being honest with you. Your question
9 just doesn't make sense to me. First of all,
10 like you have both things going on. That's
11 number one, And, number two, you want to ask
12 how does an in utero infection cause damage. It
13 causes damage because it leads to an asphyxial
14 state.

15 Q. All right. So that's why -- I guess that's why
16 I don't understand. I accept that.

17 A. And he never had -- in my opinion, he never
18 reached that point in time from his E. coli
19 infection. While the cord compression in
20 conjunction with depletion of his metabolic
21 reserves from the E. coli infection is more than
22 enough to explain his acute neurologic symptom
23 that occurred afterwards and then his subsequent
24 neurologic picture.

25 Q. What would Zachary's condition have been had he

1 been born immediately before the shoulder
2 dystocia took place?

3 A. How long is "immediately before"?

4 Q. Well, before there was any --

5 A. Before he came down the birth canal?

6 Q. Yes.

7 A. More likely than not, probably be normal. From
8 a neurology standpoint.

9 Q. Completely normal?

10 A. More likely than not, yes.

11 Q. Why do you say that?

12 A. Because I don't think that the E. coli infection
13 was severe enough. Now, it's hard -- I'll be
14 honest with you -- really hard -- let me think.
15 Let me back up here.

16 He had decels, decelerations, from what I
17 remember. And it's too bad I can't remember how
18 long they were. So I still would say probably
19 more likely than not, he would have been
20 normal. But if you're going to say to me does
21 the possibility exist today there could have
22 been some neurological impairment, I would never
23 argue with you. Let's give credit where credit
24 is due. Or I think I've answered before that he
25 might have had a smidgen of some neurologic

1 dysfunction but nothing as severe as what he had
2 now. It's pure speculation in that regard.

3 Q. Can encephalopathy or cerebral palsy like
4 Zachary has occur before labor begins?

5 A. Yes.

6 Q. What is the mechanism of that?

7 A. Great question. What's your next one?

8 Q. You don't know the answer?

9 A. In utero events can occur -- as a generic term?
10 Let's use generic statements. Could be due to
11 chromosomal abnormalities. Unfortunately, the
12 videotape of a child that I had -- of a child
13 like that that I had on my desk is not here.

14 It can be due to an in utero infection.
15 Usually a viral infection. It can be due to an
16 in utero hypoxic ischemic event that was
17 self-limited in nature. It can be due to, you
18 know, a malformation of the brain. But that's
19 not what it's due to here.

20 Q. Why?

21 A. Because he shows the rest of the signs and
22 symptoms that go along with it. If it quacks
23 like a duck and looks like a duck and walks like
24 a duck, it's a duck.

25 Q. How is in utero cerebral palsy detected before

1 birth?

2 A. I don't know.

3 Q. Can it be?

4 A. In what kind?

5 Q. A kind like Zachary has.

6 A. No. You can't say hat.

7 Q. Encephalopathy, ataxic cerebral palsy?

8 A. No. No. Moderate encephalopathy is what his
9 acute neurologic picture was after birth.

10 Q. Ataxic cerebral palsy?

11 A. I don't know how you'd detect it before birth.
12 There are some ways. For instance, if children
13 have big ventricles before birth. Or, God
14 forbid, the child dies right after birth and you
15 do an autopsy study and you find out that
16 there's abnormalities of the brain that by a
17 neuropathologic basis occurred weeks ago and it
18 can be dated that way. I mean things of that
19 nature,

20 If I have a child who is born full term
21 with no complications whatsoever, looks
22 perfectly fine, normal labor and delivery
23 history and yet has cerebral palsy afterwards, I
24 can basically conjecture, assuming that it's not
25 a progressive neurologic disorder, that it's a

1 static process. I can conjecture that whatever
2 caused the cerebral palsy, that had to have
3 occurred prior to birth. And I say this in my
4 office all the time to parents.

5 So in other words, I guess what I'm trying
6 to say to you is you've got to look at the
7 company it keeps in order to come to a
8 conclusion.

9 Q. You mean the events that surrounded the birth?

10 A. Yes.

11 Q. Did you do any investigation to exclude a
12 prenatal cause of Zachary's cerebral palsy?

13 A. In what way?

14 Q. In any way.

15 A. Yes. I looked for definable metabolic
16 disorders. I looked for certain hereditary
17 disorders. Yes,

18 Q. And you looked at the E. coli sepsis?

19 A. Yes. Well, that's not -- hold it. E. coli
20 sepsis is not really a true prenatal disorder,
21 I mean this is an intrapartum phenomenon.

22 Q. Do you know how long the E. coli sepsis had been
23 present?

24 A. Couldn't have been there that long.

25 Q. Why?

1 A. He would have been dead.

2 Q. You are saying to me it was not there for a
3 week?

4 A. No. I can tell you it wasn't there for a week.

5 Q. How long do you think it was there?

6 A. I have no idea.

7 Q. You just know it's not there for a week?

8 A. I know it's not there for a week. I've read the
9 reports of what people have stated. You can put
10 together perhaps a clinical history and
11 everything else like that and say how long was
12 the mother febrile, what else was going on, boom
13 boom, boom, so forth and so on, and put it all
14 together. A more-likely-than-not scenario, it
15 was probably there within the day. And that is
16 just, you know, a first-shot approximation.

17 Q. At what point did Zachary's brain injury become
18 probable?

19 A. What, when did it happen? Definitely?

20 Q. Well, to a probability. If you can say
21 definitely, then fine.

22 A. Well, I am saying what you are saying is when
23 did it happen more likely than not?

24 Q. Yes.

25 A. By the time he was fully delivered. Now, it

1 could have been a minute before that or two
2 minutes before that. That's also there. If
3 you're saying any type of brain injury, might
4 have been there six minutes before that, eight
5 minutes.

6 There was no monitoring going on. I have
7 no idea when his pulse fell out. But the
8 probability is that it was definitely there by
9 the time that he was born fully.

10 Q. Without the E. coli sepsis, would Zachary have
11 been born and been normal?

12 A. Probably.

13 By the way, I want to let you know that
14 that's a very difficult question. But that's my
15 viewpoint.

16 Q. Is there any connection between Zachary's
17 cerebral palsy and his asthma?

18 A. No.

19 Q. As of your last encounter with Zachary, did he
20 show any indication of being mentally retarded?

21 A. That was asked and answered.

22 Q. I think I asked in terms of cognitive.

23 A. Well, that's the same thing. What does
24 " cognition " mean?

25 Q. Well, you're the neurologist. Let me ask you.

- 1 A, "Mentally retarded" really means whether you
2 have normal intelligence. "Mentally retarded"
3 means you do not have normal intelligence. I
4 wrote this in my letter. I stated that on my
5 quick screen. "He appears to be functioning
6 adequately in the cognitive domain." Although
7 specific learning arrangements were not
8 assessed. And I felt that he should condition
9 with his therapy and so forth and so on.
- 10 Q. Has Zachary recovered from the palsy?
- 11 A. Functionally, yes.
- 12 Q. What other way is there palsy?
- 13 A. I am sure that when he's older, when I test him,
14 I might find some mild dysfunction. But if
15 you're asking me can he use the hand adequately,
16 is it going to interfere with -- you know, from
17 the palsy standpoint, is it got to interfere
18 with how he uses that hand, my answer is I don't
19 think so.
- 20 Q. Do you deem him to have recovered from the Erb's
21 palsy at this point?
- 22 A. Yes. That's what I said. He's functional. If
23 he has 90 percent function but it's enough to
24 make him do what he's supposed to do, that's
25 great. That's why I consider it -- even with my

1 patients who have a, quotation marks,
2 "recovery,"!end quotation marks, I may find some
3 very mild abnormalities down the road, but
4 what's more important to me is can they do
5 things that children can normally do. And on my
6 most recent examination, I didn't find a major
7 asymmetry of function that would suggest that he
8 has the problem.

9 Q. What is a Sarnat level?

10 A. Dr. Sarnat -- actually, there are two
11 Dr. Sarnats, just to be exact -- wrote a paper
12 on this whole subject of neonatal encephalopathy
13 purportedly due to hypoxic ischemic insults, and
14 they had rated their levels as 1, 2 and 3, which
15 are the equivalents of mild, moderate and
16 severe.

17 Q. Where would I find this article?

18 A. 1970 about. Oh, the journal. That's a good
19 question. Want me to take a wild guess?

20 Q. Sure.

21 A. I can see the article in front of me, and I am
22 trying to remember the journal it was in. It
23 had to be in a pediatrics journal. So I say it
24 was probably in Pediatrics. But, you know, I'll
25 tell you where you can find it. If you want me

1 to look it up right now, I'll tell you exactly
2 where it's at.

3 Q. I can find it. What's Dr. Sarnat's first name,
4 the one who wrote this article?

5 A. Both of them wrote it. There's two of them.
6 And I can't tell you which one it is.

7 Q. Is that a widely accepted and used standard?

8 A. No. No. "Standard" is the wrong word. Do
9 people normally score kids with encephalopathy
10 as mild, moderate and severe? The answer is
11 yes.

12 Q. Using the Sarnat criteria?

13 A. Using variations on their criteria on what they
14 initially proposed to the medical community.

15 Q. Would you pull out the 9-6-88 child neurology
16 consult note, please, from the University
17 Hospital records?

18 A. Got it.

19 Q. Did you sign this note?

20 A. No.

21 Q. Do you remember reading it at the time?

22 A. I have no independent recollection.

23 Q. Have you read it recently?

24 A. Yes.

25 Q. And do you agree with the conclusions reached in

1 that note?

2 A, No.

3 Q. And to what extent do you disagree?

4 A. I wouldn't -- for instance, Impression No. 1
5 says "Hypoxic encephalopathy (Sarnat level 2)."

6 I would probably put the words "hypoxic
7 ischemic encephalopathy" because I don't believe
8 personally that hypoxia in and of itself is
9 sufficient to cause the majority of the brain
10 damage that we see in newborns. I mean that's a
11 fine point to differentiate, but that's what it
12 is.

13 Q. Would you contrast "hypoxic" versus "hypoxic
14 iSChemic"?

15 A. It almost never occurs where you have lack of
16 oxygen but still have good circulation. I mean
17 the best model for that would be something like
18 carbon monoxide poisoning. It's a great model
19 for it because the gas displaces the oxygen from
20 its binding on hemoglobin, and so you've got
21 good circulation going on, but you've got poor
22 oxygen delivery going to tissues.

23 There are other ones that you can give, but
24 in these kinds of situations, you almost have a
25 mixture -- now, I'll give you another example.

1 If I clamped the cord so there's no -- or I stop
2 your heart -- that's even a better example -- so
3 it doesn't beat. You've got adequate oxygen in
4 your blood. It's just not going anywhere. And
5 the oxygen levels of the blood that's in any
6 organ -- you name the organ -- are going to be
7 rapidly depleted, but there's no circulation
8 going on so the initial event there is
9 ischemia.

10 Many people believe that you need the
11 ischemic component in order to really cause
12 problems.

13 Q. Do you?

14 A. Yes. I'm convinced by the patients that I've
15 followed in some of my own research work.

16 The second impression, just to continue
17 answering your question, which was a brachial
18 plexopathy, the answer is yes, I agree that the
19 child had a brachial plexopathy.

20 Q. Reviewing this 9-6-88 child neurology note, what
21 evidence is listed in there that supports the
22 conclusion of hypoxic ischemic encephalopathy?

23 A. In and of itself? None.

24 Q. Nothing?

25 A. No. No. In and of itself, there's information

1 in here, the description of the child's initial
2 Apgar scores, the description of his clinical
3 picture, but there were other facts that were
4 not put into this note.

5 Q. What other facts?

6 A. Like I had mentioned before, the fact that he
7 had multi-organ system dysfunction, More
8 details of the resuscitation, including the
9 presence of metabolic acidosis details if you
10 want to think of the whole picture being put
11 together.

12 Q. When did you get that information about the
13 resuscitation?

14 A. I may have reviewed it then. I've reviewed it
15 more recently. That's the only answer I can
16 give you. I can't tell you when. It's in the
17 medical records. It's in the chart. It is part
18 of the University Hospitals chart.

19 Q. Does the absence of any indication of cognitive
20 impairment or mental retardation in Zachary have
21 a bearing on whether his injuries are due to
22 perinatal asphyxia?

23 A. No. That just means he wasn't insulted long
24 enough to cause a big-time cognitive loss.

25 Q. How often do you see brachial plexus injuries in

1 shoulder dystocia cases?

2 A. I've never counted. How's that for my answer?

3 Q. Is it in the greater proportion of shoulder
4 dystocia cases?

5 A. No. Let's turn around the question.

6 Q. Fine.

7 A. How often do I see brachial plexopathy, and what
8 is the most common associated feature with it.

9 Q. Fine. Tell me.

10 A. In my experience, most recently, it's usually a
11 big baby who gets stuck. That's not the only
12 cause.

13 Q. Do you see those types of deliveries right here
14 at University Hospital?

15 A. Yes.

16 Q. Would you expect a child born with E. coli
17 sepsis to be depressed at birth?

18 A. Could very well be, yes.

19 Q. And difficult to resuscitate?

20 A. Depends on how severe the sepsis is.

21 Q. How severe does it have to be to have
22 difficulties resuscitating the infant?

23 A. The child would have to be in overt shock.

24 Q. No blood pressure? No heartbeat?

25 A. No heartbeat.

1 Q. Anything else?

2 A. Excuse me. I would consider the resuscitation
3 to be very difficult from that point forward so
4 that if the child was in such severe shock at
5 that point in time, I would expect that the
6 child would have to get more resuscitation, not
7 only that you'd have to intubate and, you know,
8 do bagging, but you'd have to also give major
9 pressers.

10 Q. Was Zachary's resuscitation difficult?

11 A. Yes, it was. But after he was resuscitated, the
12 amount of support that he needed was not as much
13 as I would expect for E. coli sepsis.

14 Q. Would you expect a child born after five minutes
15 of shoulder dystocia to be depressed?

16 A. Yes.

17 Q. And would you expect a child born --

18 A. Let me back up on that. That's not right. The
19 child can be dependent on if the shoulder
20 dystocia is associated with cord compression.

21 Q. All right. So I'll rephrase my question. Would
22 you expect a child born after five minutes of
23 shoulder dystocia with cord compression to be
24 depressed?

25 A. Yes.

1 Q. And would you expect a child born after five
2 minutes of shoulder dystocia with cord
3 compression to be difficult to resuscitate?

4 A. Dependent on the circumstances.

5 Q. Did Zachary's brain injury occur in any part
6 during the resuscitation process?

7 A, That's a good question. And I really don't have
8 an answer for that one. I would feel -- if we
9 were doing a good resuscitation, getting
10 circulation on him, giving him pressers and
11 everything else like that that more likely than
12 not, no, as long as that resuscitation was being
13 done in an adequate fashion.

14 See, you have to turn the question the
15 other way around and ask if he's difficult to
16 resuscitate because of the events that occurred
17 in utero, can that difficulty lead to further
18 problems, and the answer is yes, it possibly
19 can.

20 Q. Well, my question was --

21 A. That's what I'm saying.

22 Q. I just want to make sure you're answering the
23 question I asked.

24 A. I already answered the first one, and I just
25 gave you the opposite way around to it. You're

1 asking if he had further brain damage during the
2 time of his resuscitation,

3 Q. Exactly.

4 A. And my real answer to that one is it's always
5 possible, but I don't think that you can give an
6 answer -- it depends on the quality of the
7 resuscitation and, for instance, if you're doing
8 cardiac compression, how good the compression
9 is, how well it's being done, so forth and so
10 on.

11 Q. Are you involved in teaching residents here at
12 University Hospital about the difference between
13 possibilities and probabilities?

14 A. No.

15 Q. Is that something you assume they know?

16 A, I don't assume it, no, I don't.

17 Let me back up. Can I ask you when you say
18 "possibility" and "probability," in what
19 context? In the legal context or just in the
20 general, you know, writing notes that this is a
21 possible problem, that's a possible problem,
22 things like.

23 Q. Is there a difference?

24 A. I think there is, yes.

25 Q. What's the difference?

1 A. I think you folks use the word "possible" to
2 mean less than 50 percent chance of happening
3 and "probable" meaning more than 50 percent
4 chance of happening,

5 Q. And how do you folks use the term?

6 A. I think we don't use it that way. I really
7 don't. That's all I can tell you. And I think
8 if you went out and polled the residents, you'd
9 find out something very similar when they
10 write -- when notes are written.

11 Q. So when they write "possible," they mean
12 "probable," and when they write "probable," they
13 mean "possible"?

14 A. No. I don't think they make the fine
15 distinction that you folks make within the law.

16 Q. Doctor, through the questions that I've had the
17 opportunity to ask you this afternoon and into
18 this evening, have you had the opportunity to
19 express all your opinions in this case that you
20 expect to testify about at trial?

21 A. How about saying you've hit the core.

22 Q. Is that the right way of saying it?

23 A. I can't tell if it's every single itsy-bitsy
24 last one. There's nothing that I can think of
25 right now. You have to ask me more questions

1 perhaps -- I mean I could have missed something
2 or there might be something that's lingering
3 back there.

4 Q. Are you aware of any areas that I have missed in
5 my questions that are relevant to your opinions
6 in this case?

7 MR. MELLINO: I think he just wants
8 to know if he's covered all the areas that
9 you're going to testify on.

10 A. I'll tell you something. As far as I know,
11 you've covered the important questions. At this
12 point in time as we're saying it, that's what I
13 would say, that would be my answer.

14 MR. SEIBEL: Okay. I have nothing
15 further.

16 MS. CABI: I just have a few real
17 brief questions. Maybe two.

18 - - - -

19 CROSS-EXAMINATION OF MAX WIZNITZER, M.D.

20 BY MS. CABI:

21 Q. You're aware that the mother in this case was
22 postdate? She was 42 weeks?

23 A. That's what it says in the records?

24 Q. Yes.

25 A. Okay.

1 Q. You can assume that. If you don't recall that.

2 A. I will assume that. Okay. What does it mean
3 though?

4 Q. Would that give you a basis to form an opinion
5 as to the viability of the placenta to support
6 the fetus?

7 A. You can't say it in that way.

8 Q. Why?

9 A. There's a difference between the dates and
10 placental insufficiency, and I'm only telling
11 you this from just my general knowledge. It
12 depends on how the baby looks. If you've got a
13 baby that comes out fat and bustling and looking
14 good and everything else like that, you know the
15 placenta's supporting the child well to the very
16 end. It doesn't matter what dates you've got
17 there. If you've got a baby who comes out
18 scrawny, you know, wasted, decreased
19 subcutaneous tissue, excessive peeling of the
20 skin, things like that, I think you can turn
21 around and say the other way, that yes, that
22 event has already started to occur.

23 So just fixating on a number is a fatal
24 error in this situation. Again, you're got to
25 look at the company it keeps.

1 Q. In this case, can you form an opinion as to the
2 placenta's viability to support the fetus?

3 I thought it would be short.

4 A. Excuse me?

5 Q. I thought it would be short,

6 A. From the information that I have in my
7 University Hospitals of Cleveland record that
8 I'm looking at now, I mean I see no comment by
9 anyone describing -- giving the clinical
10 description of a postdate child. Is that a
11 reasonable answer?

12 Q. So you don't have an opinion?

13 A. I just do -- it's not that. I'm just saying to
14 you the opinion I have is I see nothing here in
15 front of me right now that describes a child who
16 is clinically -- clinically postdates, which
17 would mean that there's placental insufficiency
18 that's occurring.

19 Now, if you have information that you'd
20 want to share with me and that might make me
21 alter my opinion, I'd be happy to look at it.
22 My mind is always wide open for anything that
23 people are going to say.

24 Q. No. I just wanted to know if you had an
25 opinion.

1 A. Okay. I tell you that as a pediatrician. We'll
2 say that way, from my pediatric training.

3 MS. CABI: I don't have any other
4 questions.

5 MR. SEIBEL: I've just got a
6 couple.

7 - - - - -
8 FURTHER DIRECT EXAMINATION OF
9 MAX WIZNITZER, M.D.

10 BY MR. SEIBEL:

11 Q. In terms of the prognosis for Zachary's future
12 need, do you have an opinion as to whether -- or
13 how long he will require physical therapy?

14 A. He is going to require it for the foreseeable
15 future.

16 Q. How long is that?

17 A. He very well may require it through adulthood.
18 You know, if you ask me that question in five
19 years, I can answer it better for you. It
20 depends on what his motor progression does and
21 how bad his ataxia is.

22 Q. What will Zachary's getting older have to do
23 with the effect that his ataxia has? Is there
24 any relationship between his aging and the
25 deficits that he has?

1 A. I've had some children whose extrapyramidal
2 features tend to get worse over time. I gave
3 you the example before, especially to children
4 with choreoathetotic cerebral palsy, that it may
5 very well take someone and totally physically
6 incapacitate them. More likely than not, I
7 don't think it's going to do that to Zachary.

8 Q. What about the most likely need into the future
9 for occupational or speech therapy for him as
10 well?

11 A. For the foreseeable future, and I can't go any
12 further than that, for speech, definitely, he
13 has difficulties with expressive language,
14 predominantly because of the ataxia. Has to
15 work with it and has had some good successes
16 with his therapy. I think it's important to
17 continue through the formative years of
18 language. You know, the peak times are -- the
19 peak times is through age 6 years, but you still
20 have, you know, until you reach adulthood. I
21 mean does he need speech therapy when he's 25
22 years old? Let's see what his language is like
23 at age 20, and I'll tell you. In all
24 seriousness. I mean I can easily answer the
25 question there.

1 Occupational therapy. I see that also
2 through adulthood, he's going to have motor
3 difficulty and he's going to need help,
4 alterations, appliances. You know, probably
5 adaptive equipment in terms of spoons and things
6 like that.

7 If I am not mistaken -- I may be. I can't
8 remember if Zachary was the child who at Health
9 Hill Hospital, they actually weighted him down
10 in order to make his walking better, his
11 ambulation better. This is the kind of
12 strategies that as you're followed
13 longitudinally by therapists, things can be
14 done. He actually moved better. If he's the
15 one, he moved better.

16 Q. What is the actual mechanism of Zachary's brain
17 injury?

18 A. Hypoxic ischemic incident.

19 Q. So lack of blood to the brain?

20 A. Lack of adequate nutrient delivery to the brain.
21 That's the important word to use.

22 Q. How do you define "ischemia"?

23 A. "Ischemia" is an inadequate blood pressure or
24 inadequate delivery of blood in order to support
25 the metabolic functions of the organ.

1 Q. So he had both hypoxia and ischemia?

2 A, Assuming he had a total asphyxia. I've seen
3 stated he has a total asphyxia picture. He has
4 no blood flow at all. Technically speaking, he
5 uses up his oxygen reserves. He's then hypoxic.
6 He has no blood flow. He's ischemic because
7 you're not getting nutrient delivery to the area
8 in an adequate fashion.

9 Q. So that is the description of the mechanism of
10 the actual injury to the brain?

11 A. Yes.

12 Q. Have you ever talked to Dr. Redline about this
13 case?

14 A. No.

15 Q. Have you ever talked to Dr. Horwitz about this
16 case?

17 A. From the clinical standpoint? I'm sure we've
18 talked about Zachary in the past. I'm convinced
19 we have. So I guess the answer would be yes.

20 Q. Do you remember any specific conversation with
21 Dr. Horwitz?

22 A. No. There's so much going on with Zachary from
23 the very beginning till now. There have been
24 many management issues.

25 Q. The only other thing before we conclude, doctor,

1 is I need a copy of your chart,

2 A. My medical record?

3 Q. Your medical record, What is the best way to
4 get that?

5 A. Drop me a note.

6 Everyone wants the chart?

7 Q. Yes, I know that Ms. Cabi and I both need a
8 copy.

9 A. Just do the standard routine.

10 Q. Which is what?

11 A. I don't know. Didn't you folks already request
12 a copy of my chart?

13 Q. Well, if we did, we didn't get one.

14 A. See, I'm trying to look and see.

15 Q. I know that Mr. Kampinski did and he has a copy
16 of your records, but I don't have a copy.

17 A. He has a copy of my records through 1990.

18 Q. And I need a copy up to the current time.

19 MR. MELLINO: Can somebody in your
20 office copy those and send them out?

21 THE WITNESS: Oh, yes. I don't
22 care. Get the right paperwork sent to me,
23 and I'll be more than happy.

24 Q. It just takes a letter with the address where to
25 send them?

1 A. And a release, Always got to have a release.

2 Q. See, that's the problem.

3 MR. MELLINO: I'll take care of
4 getting you guys copies.

5 MR. SEIBEL: All right.

6 MS. CABI: Okay.

7 A. I've got to do things right. Can't give away
8 patients' charts without a release.

9 Q. No, and I wouldn't want you to. But it's just
10 that I want to make sure I get a copy as current
11 as it is of every piece of paper that you are
12 maintaining in your records for Zachary.

13 A. Sure. As long as you tell me we can do that, I
14 can release other people's records. I mean many
15 of the papers in here are other people's
16 records.

17 Q. I have Dr. Bennet's. I have Dr. McEvoy's
18 record. I have the University Hospitals
19 records.

20 A. But do you have his therapy records from the
21 achievement center?

22 Q. Yes.

23 A. So you really want my records --

24 Q. I want every piece of paper you have in your
25 chart. Whether it's duplicative of other

1 records I have already I don't care.

2 A. Okay. I just want to make sure. One of the
3 other things I worry about is giving away other
4 personnel's records without their permission,
5 but if you folks say that's legally okay, then
6 I'll be happy to do so.

7 MR. SEIBEL: Okay. We are done.

8
9
10 MAX WIZNITZER, M.D.

C E R T I F I C A T E

The State of Ohio,) SS:
County of Cuyahoga.)

I, Lynn D. Thompson, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named MAX WIZNITZER, M.D., was by me, before the giving of his deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this _____ day of _____, A.D. 19 ____.

Lynn D. Thompson, Notary Public, State of Ohio
1750 Midland Building, Cleveland, Ohio 44115
My commission expires January 21, 1995

W I T N E S S I N D E XPAGE

DIRECT EXAMINATION
MAX WPZNITZER, M.D.
BY MR. SEIBEL

3

CROSS-EXAMINATION
MAX WIZNITZER, M.D.
BY MS. CABI

88

FURTHER DIRECT EXAMINATION
MAX WIZNITZER, M.D.
BY MR. SEIBEL

91

E X H I B I T I N D E XEXHIBITMARKED

Defendants' Exhibit 1,
4-5-91 Wiznitzer letter
to Kampinski

6

Defendants' Exhibit 2,
child neurology office chart

25

~~IN THE COURT OF COMMON PLEAS~~

CUYAHOGA COUNTY, OHIO

ZACHARY HAMMON, et al.,

Plaintiffs,

- vs -

MARYMOUNT HOSPITAL,
et al.,

Defendants.

part of
Doc. 456
JUDGE POKORNY
CASE NO. 209957

Deposition of MAX WIZNITZER, M.D., taken as if
upon direct examination before Lynn D. Thompson,
a Notary Public within and for the State of
Ohio, at Rainbow Babies & Childrens Hospital,
2101 Adelbert Road, Cleveland, Ohio, at 4:50
p.m. on Friday, January 15, 1993, pursuant to
notice and/or stipulations of counsel, on behalf
of the Defendants El-Mallawany, Abrams and Brown
in this cause.

MEHLER & HAGESTROM
Court Reporters
1750 Midland Building
Cleveland, Ohio 44115
216.621.4984
FAX 621.0050
800.822.0650

1 records I have already I don't care.

2 A. Okay. I just want to make sure. One of the
3 other things I worry about is giving away other
4 personnel's records without their permission,
5 but if you folks say that's legally okay, then
6 I'll be happy to do so.

7 MR. SEIBEL: Okay. We are done.

8
9 MAX WIZNITZER, M.D.

TO THE REPORTER: I have read the entire transcript of my deposition taken on the 15th day of January, 1993 or the same has been read to me. I request that the following changes be entered upon the record for the reasons indicated. I have signed my name to the signature page, and I authorize you to attach the following changes to the original transcript:

2/12/93
Today's date


Signature of Deponent