

#667

✓

1 State of Ohio,)
2 County of Mahoning.) ss:

3 - - -

4 IN THE COURT OF COMMON PLEAS

5 - - -

6 Chelsea A. Davis, a minor, et al.,)

7)

8 Plaintiffs,)

9 vs.)

10 Howard Kramer, M.D., et al.,)

11 Defendants.)

12 - - -

13

14 Deposition of Max Wiznitzer, M.D., a

15 witness herein, called by the defendants for

16 oral examination, pursuant to the Ohio Rules of Civil

17 Procedure, taken before George J. Staiduhar, Notary

18 Public in for the State of Ohio, pursuant to notice,

19 at the offices of Max Wiznitzer, M.D., 5860 Landerbrook

20 Drive, Mayfield Hts., Ohio 44124, on Thursday,

21 October 10th, 1996, commencing at 6:30 p.m.

22 - - -

23

24

25

FINCUN-MANCINI - - THE COURT REPORTERS

1	I N D E X	
2	WITNESS :	CROSS
3	Max Wiznitzer, M.D.	
4	by Mr. Travers	4
5		
6		
7		
8		
9		
10		
11		
12		
13		
14		
15		
16		
17		
18		
19		
20		
21		
22		
23		
24		
25		

FINCUN-MANCINI - - THE COURT REPORTERS

1 APPEARANCES:

2 On behalf of the Plaintiffs:

3 John G. Lancione, Esq.
4 1300 East Ninth Street
5 1717 Bond Court Building
6 Cleveland, Ohio 44114

7 On behalf of the Defendants:

8 Thomas J. Travers, Jr., Esq.
9 Manchester, Bennett, Powers & Ulman
10 Atrium Level Two
11 The Commerce Building
12 Youngstown, Ohio 44503-1641
13
14
15
16
17
18
19
20
21
22
23
24
25

FINCUN-MANCINI - - THE COURT REPORTERS

1 Max Wiznitzer, M.D.
2 of lawful age, being first duly sworn, as
3 hereinafter certified, was examined and testified
4 as follows:

5 CROSS-EXAMINATION

6 By Mr. Travers:

7 Q. Dr. Wiznitzer, my name is Tom Travers, the
8 attorney in this case representing Dr. Kramer
9 who has been sued by the Davis family in the
10 Mahoning County Court.

11 We are here to take your discovery
12 deposition, which is an opportunity for me to
13 ask you some questions concerning information
14 that you may know or opinions that you may hold
15 pertinent to the issues in this lawsuit.

16 Have you had your deposition taken
17 before?

18 A. Yes.

19 Q. So you have some idea what we are trying to
20 accomplish today.

21 A. Yes.

22 Q. Would you state your full name, please?

23 A. Maximum Wiznitzer.

24 Q. And you are a pediatric neurologist. Is that
25 correct?

FINCUN-MANCINI - - THE COURT REPORTERS

1 A. Yes.

2 Q. I meant to ask you before we went on the
3 record, you don't happen to have a CV that I
4 could peruse at some point.

5 A. No. I would be happy to provide you with
6 anything you want.

7 Q. Give me a thumbnail sketch of your medical
8 education?

9 A. I went to undergraduate school and to medical
10 school at Northwestern University. I attended
11 the honors program in medical education there
12 from 1971 through 1977, graduating in 1975 with
13 a Bachelor of Science in Medicine and with my
14 M.D. degree in 1977. And from 1977 through
15 1980, I did a pediatrics residency at the
16 Children's Hospital Medical Center in
17 Cincinnati, Ohio. From 1980 through 1981, I
18 did a fellowship in developmental disabilities
19 at the Cincinnati Center for Developmental
20 Disabilities. 1981 through 1984, I did my
21 pediatric neurology fellowship at the
22 Children's Hospital of Philadelphia. And from
23 1984 through 1986, I was a National Institutes
24 of Health fellow in disorders of higher
25 cognitive dysfunction of children at the Albert

FINCUN-MANCINI - - THE COURT REPORTERS

1 Einstein Medical College in the Bronx, in
2 New York.

3 Q. And since that time, you have been in private
4 practice?

5 A. Since that time, I have been on the full-time
6 faculty at Case Western Reserve University
7 School of Medicine.

8 Q. You have a clinical practice --

9 A. Yes.

10 Q. -- in that role?

11 A. Yes.

12 Q. Can you give me just a brief description of
13 what types of things you do from a clinical
14 standpoint?

15 A. I do everything a child neurologist does.
16 Child neurology is a specialty that deals with
17 disorders of the nervous system in children,
18 medical, the medical treatment. So I take care
19 of seizures, headaches, mental retardation,
20 cerebral palsy, stroke, learning disabilities,
21 autism; probably name a section of child
22 neurology, I have taken care of patients in.

23 Q. You don't have a particular subspecialty in
24 that?

25 A. Yes, I do.

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 Q. What would that be?
- 2 A. I specialize in disorders of higher cognitive
3 dysfunction, primarily autistic, and attention
4 deficit hyperactivity disorder. I also have an
5 interest in stroke in childhood and the
6 neuroimaging of stroke and cerebral vascular
7 disorders of childhood.
- 8 Q. This has taken advantage of some of your very
9 specialized training at the NIH?
- 10 A. The NIH paid for me to be at the
11 Albert Einstein College of Medicine, yes.
- 12 Q. Do you consider yourself competent to interpret
13 plain films of children, I guess?
- 14 A. What kind of plain films?
- 15 Q. Well, plain films.
- 16 In this case, we are talking about CT
17 scans and MRIs, and I guess plain films don't
18 come into play. Do you have a substantial
19 degree of competency in the interpretation of
20 those types of studies?
- 21 A. Yes.
- 22 Q. Would you think that your level of expertise
23 there is the equivalent of someone who
24 specializes only in pediatric neuroradiology?
- 25 A. Very well may be.

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 Q. Can you tell me how it was, if you know, that
2 Chelsea Davis came under your care?
- 3 A. Mr. Lancione called me and asked me to look at
4 her.
- 5 Q. What would you describe as the relationship you
6 have had with her? Are you her primary
7 treating neurologist?
- 8 A. No.
- 9 Q. What was the purpose for your examination of
10 her? Was it to render medical care, or was it
11 to ascertain her status?
- 12 A. To ascertain her status.
- 13 Q. How many times have you seen her, do you know?
- 14 A. Once.
- 15 Q. That was prior to the time that the MRI study
16 was done?
- 17 A. Yes.
- 18 Q. You have not seen her since then?
- 19 A. No.
- 20 Q. You have seen that study, though?
- 21 A. Yes.
- 22 Q. Do you know how you were selected by
23 Mr. Lancione to serve in that role?
- 24 A. No.
- 25 Q. Have you had any relationship with him in other

FINCUN-MANCINI - - THE COURT REPORTERS

1 litigative matters or with other patients of
2 yours?

3 A. I have had a relationship with many, with other
4 patients of mine, and in one -- I served as an
5 expert on one case if I am not mistaken. That
6 was not a patient of mine.

7 Q. Have you served in the role of a medical
8 consultant in litigative matters for other
9 lawyers in his present or previous law firm?

10 A. No.

11 Q. Have you ever undertaken medical-legal
12 consultation on behalf of a defendant who is a
13 defendant in a lawsuit?

14 A. Excuse me? I don't understand the question.
15 It is too complicated.

16 Q. What I am trying to find out is whether you
17 have ever acted as an expert in a lawsuit
18 having been retained by the defendant physician
19 as opposed to the patient?

20 A. I have never been retained by a physician. I
21 have been retained by defendant lawyers.

22 Is that what you mean?

23 Q. I suspect so.

24 You have testified as an expert for
25 defendant doctors?

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 A. On behalf of?
- 2 Q. Yes.
- 3 A. Yes.
- 4 Q. Any idea of the number of times you have acted
5 as a medical legal consultant?
- 6 A. People always ask me that question. My primary
7 job is my research and my clinical care of
8 patients. I may do this on a 10, 15 times a
9 year basis. That's it.
- 10 Q. Okay. I would like to ascertain your specific
11 role in this case. You have been identified as
12 an expert on behalf of Chelsea Davis. You
13 understand that to be true?
- 14 A. Yes.
- 15 Q. Would I be correct in assuming that it is not
16 your intention to offer any opinions concerning
17 the obstetrical management of this patient and
18 whether or not it was in accordance with
19 accepted obstetrical opinions?
- 20 A. I have no opinion about the obstetrical care.
- 21 Q. Do you have any opinions concerning whether
22 different management of the patient during
23 labor and delivery by Dr. Kramer may have
24 resulted in a different outcome as far as
25 Chelsea is concerned?

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 A. If you are talking about obstetrical
2 decision-making --
- 3 Q. Yes.
- 4 A. -- I have no opinions in terms of making
5 comments in that area.
- 6 Q. Will you be rendering any opinions concerning
7 the timing of the onset of Chelsea's brain
8 lesion?
- 9 A. Yes.
- 10 Q. Will you be rendering opinions concerning her
11 medical prognosis?
- 12 A. Yes.
- 13 Q. And I suspect you will be testifying concerning
14 the findings of your examination of her?
- 15 A. Yes.
- 16 Q. Will you render opinions concerning the
17 etiology of the medical problems that she
18 presented with?
- 19 A. Yes.
- 20 Q. We are obviously speaking just in general
21 terms, and we have some more detailed questions
22 in 'chosed areas. Are there any other general
23 areas that you anticipate that you will be
24 rendering opinions in?
- 25 A. No. Not at least that I can recollect.

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 Q. You have authored a report to Mr. Lancione,
2 correct?
- 3 A. Yes.
- 4 Q. Is that the only report that you have prepared
5 in this case?
- 6 A. Yes.
- 7 Q. You conclude in that report -- my notes may not
8 have the exact language. I think it is
9 accurate -- that you talked about the
10 intercranial injury occurring as a result of
11 her perinatal encephalopathy?
- 12 A. Yes.
- 13 Q. That is your opinion, that her intercranial
14 injury was the result of perinatal
15 encephalopathy?
- 16 A. Yes.
- 17 Q. Can you define for me, Doctor, what you mean by
18 perinatal?
- 19 A. At the time that I wrote this or at the present
20 time?
- 21 I am very serious about my question.
- 22 Q. Well, how about both?
- 23 A. At that time, we had two issues. There was no
24 doubt that according to the medical records,
25 this child had had a hypoxic ischemic

FINCUN-MANCINI - - THE COURT REPORTERS

1 encephalopathy. However, I had a report
2 here -- that report -- that I had a CT scan
3 that showed calcification in the basal ganglia.
4 I needed to delineate better in my own mind
5 what that meant.

6 As a consequence, I needed to
7 determine, one, was that calcium or was it not,
8 and, number two, if it was not calcium and we
9 noted the child with neurologic dysfunction,
10 what pattern of brain injury was present on
11 neuroimaging study, which is why I asked for
12 the MRI.

13 Because of the fact there might have
14 been calcium, I basically used the term
15 "perinatal" to include the time prior to onset
16 of labor since I was not sure of what was
17 happening until we could better delineate
18 things through neuroimaging studies.

19 Q. My perception was that was your opinion then.
20 Since then you reviewed the MRI and have a more
21 closely defined opinion of the onset of the
22 encephalopathy?

23 A. Yes.

24 Q. And what is that opinion?

25 A. The onset of the encephalopathy was during the

FINCUN-MANCINI ~ ~ THE COURT REPORTERS

1 course of labor.

2 Q. When you wrote your report and included the
3 adjective "perinatal," what did you mean by
4 that?

5 A. I just answered. What I was saying was that I
6 was not -- at that time, I was not certain if
7 the problem had happened right at the time,
8 because of the CT report of what was written
9 there, that the problem --

10 Q. Can I interrupt? Here is all I am trying to
11 find out. When you say "perinatal," how far
12 prior to delivery were you contemplating that
13 term to represent?

14 A. Could be a few months.

15 Q. When you say now that you believe that it was
16 during the course of labor when the onset
17 occurred, my perception of that is that it is
18 your opinion that this happened subsequent to
19 the beginning of her induction on the morning
20 of the 23rd.

21 A. Yes.

22 Q. This is probably too broad a question. I am
23 going to try it anyhow, Doctor: Can you
24 explain for me your rationale in determining
25 that any intercranial injury had no earlier

FINCUN-MANCINI - - THE COURT REPORTERS

1 onset than that point in time?

2 A. I guess it is not too broad a question.

3 MR. LANCIONE: As long as you
4 think the question is okay, that's fine. I
5 mean, I am not --

6 Q. I am sure it would require a lengthy answer,
7 and I don't have a problem with that.

8 A. Okay. What you are asking is that in my
9 opinion, the irreversible insult to her brain
10 occurred during the course of labor.

11 Q. Right.

12 A. Okay. Number one, we subsequently repeated a
13 head CT scan.

14 Q. That was in '95, correct?

15 A. Yes. The head CT scan showed no evidence of
16 calcification. That meant that the initial
17 findings or the findings on the initial CT scan
18 of 5-5-92 did not represent calcium. It either
19 represented blood or represented acute ischemic
20 changes in the basal ganglia.

21 Number two, her clinical course after
22 birth is consistent with an acute hypoxic
23 ischemic event.

24 Number three, findings on MRI are
25 consistent with an event that would have

FINCUN-MANCINI - - THE COURT REPORTERS

1 occurred, that would have occurred at her age
2 of gestation.

3 Q. You are done?

4 A. I am done.

5 Q. Number three, when you talk about the MRI
6 findings being consistent with an event at her
7 age of gestation, my perception is they would
8 also be consistent with an event occurring a
9 day before the onset of labor.

10 A. Yes.

11 Q. Would the same be true as far as the findings
12 on the '95 scan?

13 A. Since the '95 scan was normal, the answer is
14 yes.

15 Q. Are there clinical findings in your opinion
16 that then prompt you to believe that the insult
17 occurred during labor as opposed to a day
18 before the onset of labor?

19 A. Yes.

20 Q. And what would they include?

21 A. Those would include, number one, the timing of
22 her seizures. If she truly had an insult that
23 occurred the day before labor with her -- that
24 would have made her seizures starting at 48
25 hours after the insult, which would not be the

FINCUN-MANCINI - - THE COURT REPORTERS

1 usual course that I see since they normally
2 happen within the first 24 hours after
3 delivery, which is what her timing was for the
4 onset of seizures.

5 Q. Do you know whether she may have had seizures
6 in utero?

7 A. There is no report of seizures in utero. There
8 is no comment of seizures in utero. So I have
9 to assume there were no seizures in utero, and
10 I would expect seizures right after birth, and
11 none were reported. And people were keeping a
12 close eye on her.

13 Q. Have you answered my question completely
14 concerning the clinical presentation?

15 A. No.

16 Number two, she showed several
17 biochemical disturbances, including, according
18 to the medical records, the onset of the -- of
19 SIADH, syndrome of inappropriate ADH, which
20 seems to have been identified -- it was
21 identified for the first time on April 25th,
22 about a day and a half after birth.

23 If something had happened in utero that
24 was already kicking off that event, I would
25 have expected the timing to have been a little

FINCUN-MANCINI - - THE COURT REPORTERS

1 earlier. In other words, it should have been
2 present from almost after birth.

3 Number three, if an event had occurred
4 in utero, I would have expected the drop in her
5 calcium level, which occurred -- again which
6 was noted on the 25th, not the 24th, when she
7 was born.

8 Another point is if a child suffers a
9 significant irreversible brain injury, in my
10 experience, many times when you start the fetal
11 monitoring, abnormalities on fetal monitoring
12 are noted almost from the get-go, especially if
13 it was an insult that occurred the day before.
14 Abnormalities are found quickly when the fetal
15 monitoring are noted. Those are some of the
16 salient features.

17 Q. What is your understanding of the first
18 abnormalities noted on the monitor tracings?

19 A. There are two notes in the nursing notes. Some
20 were about 10:30, and there is something about
21 a fetal heart rate acceleration for about two
22 minutes and some were around 11:30. There is a
23 comment of a prolonged fetal heart rate
24 deceleration that lasted for about four
25 minutes.

FINCUN-MANCINI - - THE COURT REPORTERS

1 Q. What is your understanding of when labor was
2 induced?

3 A. Labor was induced a little after 8:00 o'clock
4 that morning.

5 Q. And you would have expected abnormalities
6 earlier than two and a half hours after
7 induction?

8 A. Definitely. I also would have expected
9 somebody to come along and say that there were
10 changes in the variability patterns of the
11 fetal heart tracing, especially if you have an
12 acute brain insult. You can't regulate your
13 autonomic system as well. All the comments
14 made was "Background looks good. There is good
15 variability," and then some of these prolonged
16 decelerations come out of the clear blue sky.

17 Q. How soon after induction would you anticipate
18 abnormalities in the tracings had there been an
19 earlier insult?

20 A. In my clinical experience -- and I have seen
21 quite a few of these kids -- within the hour
22 people start reporting. As soon as they put
23 the fetal heart monitor strip on, many times
24 people will notice that. Again, you are giving
25 me the timing the day before, so I am using

FINCUN-MANCINI - - THE COURT REPORTERS

1 your wording.

2 Q. In your experience, Dr. Wiznitzer, would an
3 infant who had suffered cerebral injury show
4 evidence of edema for some period after the
5 time of that insult?

6 A. Not always.

7 Q. Generally?

8 A. No. You can't use the word "generally."
9 Either it happens or it doesn't. It depends on
10 some degree of severity of the injury, the
11 pattern and the cause, in other words, the
12 reason the injury occurred.

13 I have had patients who we have easily
14 been able to date exactly when the injury
15 occurs, the irreversible HIE and time it. When
16 we follow with CT scans, we see no edema. Yet,
17 when you do an MRI later on, you can see a
18 classic ischemic pattern that would fit with
19 the history. So that's a well known fact.

20 Q. Is it true that more often than not that
21 infants who sustain cerebral injuries have
22 accompanying cerebral edema?

23 A. It depends why the insult occurs. I can't play
24 more often than not games here. It is what the
25 kids do. Either they do or they don't. It is

FINCUN-MANCINI - - THE COURT REPORTERS

1 not one way or the other. I understand what
2 you are asking. It is not a simple answer.

3 Q. You cannot agree then with the general
4 statement that infants with cerebral insults
5 generally show evidence of cerebral edema on
6 subsequent studies. You do not agree with
7 that?

8 A. I am not saying I don't agree. I am saying it
9 depends on the reason why the insult occurs.

10 Do you understand what I am answering?
11 Maybe you don't. You are trying to get me to
12 say, "Gee, there should have been cerebral
13 edema" and later on say, "See, Dr. Wiznitzer,
14 you said that." It is not a yes or no answer
15 to your kind of a question.

16 Q. That's not the question I am asking?

17 A. It is. If you are going to say my clinical
18 practice and kids that come in with HIE, do I
19 usually see cerebral edema on the screen
20 acutely, the answer would be yes. Do I need to
21 see cerebral edema in order to make the
22 diagnosis of acute HIE, the answer is no.

23 Q. Doctor, do you view your role in this case as a
24 medical expert to present informed opinions and
25 analysis, or do you view your role here as an

FINCUN-MANCINI - - THE COURT REPORTERS

1 advocate for the patient's position in this
2 lawsuit?

3 A. You have to ask Mr. Lancione. He asked me to
4 review this as an expert.

5 Q. Well, I am just asking you: Do you view your
6 role as an advocate for the plaintiff's
7 position?

8 A. No. I view my role as an expert witness to
9 evaluate the information that is there and to
10 give my opinion.

11 Q. Good. I am hopeful that that's what your plan
12 was because -- and you can correct me if my
13 perception is incorrect -- I view the role of
14 the expert to answer questions accurately and
15 not to give speeches as to why it is a dumb
16 question or can't answer it that way.

17 My question is, isn't it generally true
18 that infants who suffer an insult show evidence
19 of edema on radiology studies?

20 A. I answered that question.

21 Q. And that answer is yes?

22 A. Yes. I did answer that question.

23 Off the record.

24 (Discussion off the record.)

25 Q. When you reviewed the scan on May 12th of 1992,

FINCUN-MANCINI - - THE COURT REPORTERS

1 did you see evidence of edema?

2 A. There was no scan of May 12th, 1992. It was
3 May 5th, 1992.

4 Q. I am sorry. I thought -- I thought if you
5 added up the 12th day of life is where my
6 confusion rests perhaps. That's the scan I am
7 referring to.

8 A. The answer is no.

9 Q. Okay. Were you able to identify the lesion
10 that had been interpreted as a calcification?

11 A. Yes.

12 Q. And where did you note that to be?

13 A. In the Basal ganglia, specifically in the area
14 of the putamen and caudate nucleus.

15 Q. If you had not had the benefit of subsequent
16 studies, would your interpretation of that film
17 had been the same as it was interpreted at the
18 time?

19 A. Not necessarily.

20 Q. Looking at that study independently of any
21 follow-up studies, what was your interpretation
22 of that lesion?

23 A. I said what we have is an area that is brighter
24 or more hyperintense than the tissue around it,
25 and I mentioned before that there are three

FINCUN-MANCINI - - THE COURT REPORTERS

1 major reasons why things like that can be
2 there. One is calcium, two is blood, and three
3 is changes in the white matter in that matter
4 that basically make it look -- because there is
5 white matter in the basal ganglia that make it
6 brighter than it is.

7 Q. Without studies you would not be able to
8 distinguish among those three potential
9 causes --

10 A. You could by some degree perhaps by trying to
11 look at the films and the actual -- the images
12 on the scanner itself and try to modify those
13 settings and see exactly what the density of
14 that hyperintense signal is. In the absence of
15 that, I don't think you can. I don't think you
16 can differentiate.

17 Q. Did you note any other significant findings on
18 that study?

19 A. No.

20 Q. That was the only film available for your
21 review at the time that you examined
22 Chelsea Davis as I understand it?

23 A. Yes.

24 Q. Subsequently, you had the benefit of the MRI
25 and the '95 scan?

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 A. Yes. The '95 CT scan.
- 2 Q. Tell me your interpretation of the '95 CT scan.
- 3 A. The '95 CT scan basically looked normal.
- 4 Q. Were there any evidences at all of
- 5 abnormalities on that study based on your
- 6 review?
- 7 A. Not to my recollection.
- 8 Q. How about the MRI study?
- 9 A. The MRI scan was abnormal.
- 10 Q. What findings did you note on the MRI?
- 11 A. On the T2 weighted imaging study, there was
- 12 abnormal signal from the left basal ganglia.
- 13 There was also abnormal signal from the white
- 14 matter in the upper parietal region, and there
- 15 was atrophy cortex in the area of that white
- 16 matter, the abnormal signal from the white
- 17 matter.
- 18 Q. Are any of those findings correlated to the
- 19 lesion from the 1992 CT scan that had
- 20 originally been interpreted as a calcification?
- 21 A. Yes.
- 22 Q. Which?
- 23 A. The abnormal signal from the left basal
- 24 ganglia. If I am not mistaken, it was in the
- 25 left putamen.

FINCUN-MANCINI - - THE COURT REPORTERS

1 Q. Do you have any explanation for the presence of
2 that finding on the MRI and its absence on the
3 '95 CT scan?

4 A. Yes.

5 Q. What would that be?

6 A. CT was not sufficient or did not have adequate
7 resolution to identify that it is not just
8 something you would see on the CT scan.

9 Q. You would expect that that evidence would still
10 be there today if an additional MRI were done?

11 A. Yes.

12 Q. It is not that that lesion had been there when
13 the MRI was done and had disappeared by the
14 time the '95 scan had come along.

15 A. Excuse me?

16 Q. I will withdraw that question.

17 Q. When you talk about the abnormal signals from
18 the white matter, were you able to go back and
19 compare that to the 1992 CT scan to see whether
20 there was any evidence of that phenomena that
21 was undetected when that first CT scan was
22 interpreted?

23 A. I did not go back and look. I did not compare
24 after the fact. I would be happy to do that if
25 you would like me to. I have no problem.

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 Q. Do you have an opinion as to whether or not
2 that finding in '94 -- the MRI was in '94,
3 right?
- 4 A. Yes.
- 5 Q. -- do you have an opinion as to whether the
6 findings on the '94 MRI concerning the white
7 matter abnormal signal represented a lesion
8 that developed after the time that the '92 CT
9 scan was taken?
- 10 A. Yes, I did.
- 11 Q. And what is that opinion?
- 12 A. This lesion did not develop after the '92 CT
13 scan was taken.
- 14 Q. It developed beforehand?
- 15 A. It was present before, yes.
- 16 Q. And had an MRI been done rather than the CT
17 scan in '92, it probably would have been
18 evidenced at that time as well.
- 19 A. It may have been evidenced. It depends on how
20 you did the MRI and everything else.
- 21 Q. Tell me your opinions, Doctor, concerning the
22 significance of those findings on MRI.
- 23 A. The MRI findings are consistent with an
24 ischemic insult.
- 25 Q. Do you believe that they are inconsistent with

FINCUN-MANCINI - - THE COURT REPORTERS

1 the original interpretation of the '92 CT scan
2 as evidencing calcifications?

3 A. Yes.

4 Q. Explain for me how you reach that conclusion.

5 A. The major reason -- there are two major
6 reasons: One is there is no calcium on the CT
7 scan we did in 1995. Once calcium is present
8 in my experience, it stays there, especially
9 that amount of calcium. It doesn't go away.
10 That's probably the main reason.

11 Number two, you have already made the
12 inherited assumption that that was calcium in
13 the 1992 scan, and I already argued there were
14 several options, and the calcium option is
15 obviously discredited by the subsequent
16 findings on the '95 CT. So since there was no
17 calcium there to start with, it was an abnormal
18 signal from other reasons.

19 Q. Have you reached a conclusion as to what you
20 believe the reason was for the abnormal signal
21 in the '92 scan?

22 A. Yes.

23 Q. And what is that?

24 A. That was due to an ischemic insult. If you
25 want to use the broader terms, hypoxic ischemic

FINCUN-MANCINI - - THE COURT REPORTERS

1 insult to the brain.

2 Q. What specifically do you think you were viewing

3 on that scan, hemorrhage to the brain?

4 A. It is hard to say. More than likely

5 hemorrhage. If you came and said, "Could it be

6 the ischemic insult to the putamen and the

7 globus pallidus," I wouldn't argue with you.

8 Do you understand what I am answering?

9 Q. Yes.

10 Q. Do you hold any opinions as to the timing of

11 the onset of that insult?

12 A. Yes.

13 Q. More specifically than post induction?

14 A. Yes.

15 Q. Tell me those opinions, please.

16 A. You are talking about the hypoxic ischemic

17 insult?

18 Q. Right.

19 A. The timing more likely than not occurred after

20 about 1:05, 1:15 in the afternoon. There was a

21 second prolonged deceleration at that time, and

22 then subsequently, there were other changes

23 that occurred on the fetal heart rate

24 monitoring strips that were suggestive of

25 ongoing problems in terms of how the child was

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 able to regulate the autonomic nervous
2 function, which were not present beforehand.
3 Beforehand was a clean tracing except for these
4 areas of deceleration, so it had to have
5 happened after that event.
- 6 Q. That event being the event at 1:05 you noted?
- 7 A. Yes.
- 8 Q. Do you know how long after 1:05?
- 9 A. Probably at least an hour after, hour and a
10 half at the minimum.
- 11 Q. Would it be a correct statement that Chelsea
12 suffers from cerebral palsy?
- 13 A. Yes.
- 14 Q. Do you believe that that medical condition is
15 secondary to that lesion that we have been
16 discussing?
- 17 A. To the insult that occurred or the
18 abnormalities under neuroimaging?
- 19 Q. Yes.
- 20 A. Yes.
- 21 Q. Is the one abnormality that I am talking about
22 in the basal ganglia because I am going to then
23 ask you about the other abnormalities on the
24 1994 MRI, or can you make that determination?
- 25 A. If you came to me and showed me a child who had

FINCUN-MANCINI - - THE COURT REPORTERS

1 definite abnormalities of the basal ganglia of
2 that type and showed me the physical
3 examination, I would argue that, yes, that can
4 be associated with it. I know you don't want
5 me to give lectures. I have to qualify my
6 answer. Very simply, the MRI doesn't show
7 everything that is wrong with the brain.

8 Q. Are you speaking generally or in this instance?

9 A. Generally. Also in this instance because you
10 see abnormal signal from white matter in one
11 area, some areas of cortical atrophy, some
12 abnormal signal from the basal ganglia.

13 It doesn't mean that's the only areas
14 that aren't working right. That just means
15 that's the only area that the neuroimaging area
16 is demonstrating. I would argue that there is
17 probably something more diffuse, so I just
18 can't play pure basal ganglia games or pure
19 white matter games or comments just like that.
20 You have to take the picture as a whole.

21 Q. Have you also reviewed the child's EEGs?

22 A. Yes.

23 Q. And they have been interpreted as being normal.

24 Do you agree with that assessment?

25 A. No.

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 Q. Tell me, how many EEGs have you seen?
- 2 A. Excuse me?
- 3 Q. How many EEGs have you seen?
- 4 A. Per year?
- 5 Q. No, no. Of Chelsea Davis.
- 6 A. One.
- 7 Q. Do you know the date of that?
- 8 A. 4-27-92.
- 9 Q. You have not seen any since that time?
- 10 A. No.
- 11 Q. What is your interpretation of the 4-27-92 EEG?
- 12 A. It is abnormal.
- 13 Q. In what respect?
- 14 A. I think the background is too slow. I think
- 15 that we would not expect to see the normal
- 16 physiologic changes in background activity that
- 17 children of her age should manifest. In other
- 18 words, I don't see real well-defined
- 19 identifiable sleep states in the way that we
- 20 define new born child or new born baby sleep
- 21 states.
- 22 It shows some relative invariance
- 23 because most of it looks about the same. It
- 24 shows about the same kind of patterns, little
- 25 burrs of some moderate amplitude activity

FINCUN-MANCINI - - THE COURT REPORTERS

1 followed by some lowering of the background
2 amplitude, which in my opinion was too low for
3 a child of this age.

4 Q. Do you find the EEG findings consistent with
5 the imaging studies?

6 A. In what way? I mean, this is definitely not a
7 yes-no question. The only way they are
8 consistent with the imaging studies is that the
9 abnormalities on the imaging studies are due to
10 HIE. The abnormality on the EEG can be seen in
11 children with HIE.

12 Q. I guess what I am wondering is whether or not
13 children showing the type of lesion indicated
14 on the MRI study in this case customarily
15 present with general category of EEG tracings.

16 A. No. You can't be that specific.

17 Q. Is there anything about your interpretive
18 findings of the EEG that are inconsistent with
19 the conclusions you have reached concerning the
20 imaging studies?

21 A. I really don't understand. I am sorry. I am
22 not playing games. I don't understand your
23 question,

24 Q. When you look at the EEG, is there anything
25 about it that you find unexpected because of

FINCUN-MANCINI - - THE COURT REPORTERS

1 what you know of the condition of the patient's
2 brain from the imaging study?

3 A. Are you talking about from the MRI that I
4 looked at subsequently?

5 Q. Correct.

6 A. No.

7 Q. You don't believe that a patient with the
8 degree of lesion that you have talked about on
9 MRI would present with more of an abnormal
10 finding on EEG?

11 A. That's a good question. It is a good question,
12 and I asked myself that same question. In my
13 clinical experience, just to answer your
14 question, which is really the only way I can do
15 it, it has been variable. I really -- the only
16 real way ■ can answer your question is
17 sometimes yes and sometimes no. And I am not
18 playing games with you.

19 Q. I assume you would decline to answer a
20 more-often-than-not question.

21 All I am trying to find out, Doctor,
22 isn't it surprising that this EEG would be as
23 close to normal as it is compared to the
24 lesions that you have identified on your
25 subsequent imaging studies?

FINCUN-MANCINI - - THE COURT REPORTERS

1 A. May I answer -- no lecture, a quick answer -- I
2 will not answer that exact question. The EEG
3 was consistent with the child's clinical
4 picture. I thought the EEG was moderately
5 abnormal. At the time, I thought the child had
6 a moderate encephalopathy, so it fit quite
7 consistently in that regard.

8 The findings on the imaging study are
9 just evidence of some ischemia, nothing more,
10 and it doesn't tell you the severity if that's
11 what you are asking, the severity of what had
12 been going on.

13 Unfortunately, what I don't have and I
14 would loved to have -- and I normally would
15 have done it -- is an EEG done the day of birth
16 or the day after birth. I would then be able
17 to answer your question.

18 Q. I believe that I had digressed a little and had
19 exhausted my questions on the basal ganglia
20 lesion. Do you hold any opinions concerning
21 the abnormal signals from the white matter? Is
22 there anything about them inconsistent with
23 your HIE theory?

24 A. No.

25 Q. Do you believe they are secondary to an

FINCUN-MANCINI - - THE COURT REPORTERS

1 encephalopathy?

2 A. They are secondary to a hypoxic ischemic

3 insult, yes.

4 Q. Is it your opinion that they developed in the

5 same manner as the basal ganglia lesion?

6 A. Yes.

7 Q. We have talked about attempting to time the

8 insult here. Are you familiar with any studies

9 that attempt to accomplish that through an

10 analysis of blood studies?

11 A. Yes.

12 Q. Have you made any independent attempt to

13 investigate the timing of the issue through --

14 down that avenue?

15 A. I try. There is not enough information

16 regarding blood tests that really would help me

17 in this regard. That's number one.

18 Number two -- and what kind of blood

19 studies are we talking about by the way? Let's

20 be exact. There are different kinds of blood

21 studies.

22 Q. You tell me your opinion concerning whether or

23 not findings in the following areas can be

24 pertinent to the timing of an event: Number of

25 normoblasts.

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 A. It can be helpful.
- 2 Q. Platelet count.
- 3 A. It can be helpful.
- 4 Q. Lymphocytes.
- 5 A. I am not sure about that.
- 6 Q. Are you familiar with studies which purpose is
- 7 to demonstrate that there is that correlation?
- 8 A. Yes.
- 9 Q. What studies are those that you are familiar
- 10 with?
- 11 A. I have read a paper by Dr. Noya on that
- 12 subject.
- 13 Q. Was that read independently of this litigation?
- 14 A. Yes.
- 15 Q. Do you find his conclusions to be well
- 16 documented?
- 17 A. No. I have questions about his research.
- 18 Q. Explain your answer.
- 19 A. In his paper, he doesn't really explain how he
- 20 gets to the timing of when the events occurred.
- 21 So what he has is a lot of blood study results,
- 22 and at the very beginning of the paper, he
- 23 basically wants us to take us on faith that he
- 24 is able to time it accurately. I don't take
- 25 anything to faith when it comes to research. I

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 want to see everything on the table.
- 2 Q. Are you familiar with Dr. Noya and other of his
3 publications?
- 4 A. Yes.
- 5 Q. Do you find him to be a credible researcher in
6 this area?
- 7 A. I know nothing about whether he is credible or
8 not as a researcher.
- 9 Q. You read his publications?
- 10 A. I have read some of his papers, yes.
- 11 Q. How about neutrophils, do you think they are a
12 reliable component with regard to timing of the
13 insults?
- 14 A. I don't know.
- 15 Q. Nucleated blood cells?
- 16 A. It is the same thing as normoblast.
- 17 Q. You agree that --
- 18 A. So the answer is yes, they can be helpful.
- 19 Q. What were your findings concerning the
20 normoblast counts in relationship to trying to
21 time the event?
- 22 A. There was one normoblast count that was 18 that
23 was done on the first blood count that was
24 present, and that was it.
- 25 Q. Can you draw any conclusions from -- I mean,

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 that's an abnormally high normoblast level,
2 isn't it?
- 3 A. Yes.
- 4 Q. Can you draw any conclusions from that
5 concerning the timing of the event?
- 6 A. No.
- 7 Q. What additional information would you need for
8 that to be helpful in the timing?
- 9 A. If you look at perhaps the sequence of what the
10 normoblast count would be, what it would have
11 been like afterwards, the first blood count
12 that is done is done at 8:30 in the evening of
13 birth. The next one is not done for two and a
14 half days. I would like to know what numbers
15 would fill in the gap.
- 16 Q. Do you hold an opinion as to whether or not
17 values for the nucleated red blood cells at
18 the time that it was recorded in the study at
19 7:30 p.m. was rising or declining?
- 20 A. Can't comment. It is only one count.
- 21 Q. Well, if you claim to know exactly or
22 approximately when this insult occurred, what
23 would you expect as far as normoblast findings?
- 24 A. In terms of the nucleated red blood cells?
- 25 Q. Yes.

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 A. I would probably expect it to keep rising after
2 that time.
- 3 Q. Would you hold an opinion as to how high in all
4 likelihood it would have risen?
- 5 A. No.
- 6 Q. Did you investigate the findings of lymphocytes
7 on that same study?
- 8 A. I just saw the lymphocyte count.
- 9 Q. Do you attach any significance to that in
10 regard to the timing of the event?
- 11 A. No. Not one way or the other, no.
- 12 Q. Do you hold any opinions, Dr. Wiznitzer,
13 concerning the etiology of the hypoxic event?
- 14 A. As to a more-likely-than-not scenario or just
15 in general?
- 16 Q. I am asking whether you hold an opinion.
- 17 A. I am serious about my question: Is it one of
18 these things like what do you think the
19 possibilities are, or do I have a definite
20 conclusion as to what it was?
- 21 Q. Well, I guess I would like to know both. Do
22 you have a definite conclusion as to what it
23 was?
- 24 A. No. I don't think any of us do.
- 25 Q. What are the possibilities, the most likely

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 possibility there would have been some sort of
2 a cord compression? Do you have any idea how
3 mechanically that occurred?
- 4 A. The baby got stuck. The cord got between the
5 baby and the bony process, actually the uterine
6 wall, so it got compressed somehow to give you
7 some possibilities.
- 8 Q. What other possibilities exist?
- 9 A. Those to me are the -- that really is the most
10 likely one. I can't evidence anything else.
11 If someone came along and said to me that this
12 was a grossly abnormal placenta not able to
13 supply oxygenation during labor, I can say that
14 that's a possibility; that it could have been;
15 that the placenta wasn't able to supply
16 adequate nutrients to the baby.
- 17 Q. Would you agree that had there been
18 interruption of blood supply to
19 vasoconstriction of umbilical vessels, that the
20 same type of results would be evidenced as if
21 an HIE event occurred secondary to cord
22 compression?
- 23 A. Yes. If what you mean is that there wasn't
24 good flow through the umbilical vessels --
- 25 Q. Right.

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 A. -- due to a vasoconstriction, yes.
- 2 Q. Would you agree that there is nothing in any of
- 3 the radiology studies or the patient's clinical
- 4 presentation that would be inconsistent with
- 5 her insult having been caused by interruption
- 6 of umbilical supply? Never mind. I am going
- 7 to withdraw that question.
- 8 You believe the likely scenario is that
- 9 there was an interruption of umbilical blood
- 10 supply to the fetus?
- 11 A. Interruption of the umbilical blood supply.
- 12 Q. The mechanics that would have caused that is
- 13 not something that you know.
- 14 A. No.
- 15 Q. That was poorly phrased.
- 16 A. To a more likely than not conclusion, no.
- 17 Q. My question was not well phrased. I know you
- 18 mean you agree, you don't know mechanically
- 19 what caused it.
- 20 A. More likely than not, no, I don't know.
- 21 Q. Do you know whether meconium can cause
- 22 vasoconstriction of the umbilical vessels?
- 23 A. Yes, I know.
- 24 Q. That it does?
- 25 A. I know that it does it in an in vitro

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 preparation.
- 2 Q. Do you believe that translates then also to an
- 3 in vivo?
- 4 A. Not necessarily.
- 5 Q. Well, the reason we do in vitro studies is to
- 6 postulate what would happen in vivo. Isn't
- 7 that right?
- 8 A. No doubt. If I can give you a quick example,
- 9 there was a recent treatment for AIDS that
- 10 were created in vitro and didn't do diddly in
- 11 in vivo. Because of one, you don't necessarily
- 12 get the other.
- 13 Q. Would it be a correct statement that you don't
- 14 know that in vivo can cause any more
- 15 vasoconstriction?
- 16 A. I don't think there is enough research to tell
- 17 you that, and in my mind, unless you can prove
- 18 to me that something does do it, I have to
- 19 assume that it doesn't.
- 20 Q. Do you know whether or not there can be an
- 21 interruption of blood supply through the
- 22 umbilical cord caused by chorioamnionitis?
- 23 A. Are you saying to me if there is an
- 24 inflammatory process in the chorioamnionic
- 25 region that includes the amnionic fluid and

FINCUN-MANCINI ~ ~ THE COURT REPORTERS

1 involving also the umbilical cord? Is that
2 what you mean?

3 Q. Well, I am asking -- that's a subpart of my
4 question. I am asking in general whether an
5 infection of that nature can result in a
6 hypoxic ischemic event?

7 A. Yes, it can.

8 Q. Do you know whether or not there was evidence
9 of such an infection in this patient?

10 A. To my knowledge, there was no evidence of such
11 an infection.

12 Q. If there had been evidence presented to you of
13 such an infection, would that impact upon the
14 opinions that you hold in the case?

15 A. No.

16 Q. Okay. Doctor, I really apologize if this is
17 repetitive. I just want to make sure I am
18 thinking clearly here.

19 As far as the timing of the event, the
20 reason you believe you know that is because of
21 the clinical presentation as opposed to any of
22 the EEG studies, the CT scans, the MRI studies.

23 A. The clinical presentation and the defined
24 abnormalities as represented on the fetal heart
25 rate monitoring.

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 Q. Do you interpret fetal heart rate tracings
2 yourself?
- 3 A. Not clinically.
- 4 Q. Do you believe that you have the capability to
5 interpret them?
- 6 A. Can I identify deceleration or something like
7 that? Sure. Do I --
- 8 Q. I can even do that?
- 9 A. I am serious.
- 10 Q. As far as their clinical significance from
11 looking at the tracing, do you have sufficient
12 expertise?
- 13 A. No. I leave that up to the obstetricians.
- 14 Q. Well, then, what is your basis of understanding
15 concerning the findings of the tracings?
- 16 A. My basis of the tracings, the initial tracings
17 as I mentioned appeared normal.
- 18 Q. I am sorry to interrupt. I am not ask asking
19 you to repeat again what you understand those
20 tracings to represent; I am asking you how is
21 it that you have that knowledge? Were you told
22 that to be true? Did you study them yourself?
23 Did you rely on the nursing notes, or what is
24 your basis for understanding there was normal
25 tracing upon induction?

FINCUN-MANCINI - - THE COURT REPORTERS

1 A. I relied on the nursing notes and the doctor's
2 notes in the medical records.

3 Q. The timing of the seizures was a factor that
4 you cited. Tell me your understanding of the
5 number of and timing of seizures that this
6 child presented with.

7 A. Chelsea had at least two or three well-defined
8 seizures. There were subsequent events that
9 occurred after the initial presentation of
10 seizures on April 24th that I think are too
11 vague that, from my reading of the medical
12 records, to definitely state that they were or
13 were not seizures.

14 If you are asking about what were
15 definitely seizures, at least the first two
16 things recorded in the nursing notes describing
17 some generalized convulsive activity were
18 seizure activity. I guess I will keep it at
19 that.

20 Q. And these were the evening of the 24th?

21 A. Yes.

22 Q. Can you tell me what time you believe those to
23 have occurred. The only reason I am asking,
24 Doctor, while you look -- I mean, I can read
25 the nursing notes as well -- it seems to me

FINCUN-MANCINI - - THE COURT REPORTERS

1 they describe events, and I want to make sure
2 which of those events you are interpreting as
3 being true seizures.

4 A. On the 24th of April at 1920, there is a
5 nursing note that says, "Tonic-clonic movement
6 of upper extremities, accompanied lip smacking,
7 color dusky" and then skipping along "Episode
8 lasted one minute."

9 Q. I am sorry. What time was that again?

10 A. That was 1920. At 1937 there is a note that
11 says, "Tonic-clonic movements of both
12 extremities" and then 1940, "Phenobarbital
13 infusion was finished." That was the
14 beginning. I am sorry. I am done.

15 Q. I am sorry. I didn't understand that. The
16 subsequent tonic-clonic activity may or may not
17 have been true seizures?

18 A. That's what I am looking for. I can't read
19 some of the notes. I apologize. There are
20 other notes that are written later on
21 describing some episodes. Unless you can read
22 this better than I can, I can only comment on
23 what I can read.

24 Q. Okay. As a general principle, what is the
25 anticipated timing of seizure activity

FINCUN-MANCINI - - THE COURT REPORTERS

1 intrapartum of a hypoxic ischemic event?

2 A. Generally, I would say that it starts -- I am
3 trying to think of the right word. I normally
4 would not expect to see a seizure before about
5 six hours after the insult. It is not an
6 inviolate rule.

7 As a general rule, I normally would
8 expect seizure activity to be present sometime
9 in the next 24 to 48 hours. Numbers quoted are
10 usually within 48 hours after the insult. In
11 my experience, it is closer to 24 hours or 30
12 hours after the insult.

13 Q. I don't want to put words in your mouth,
14 Doctor, from what you are telling me, you
15 conclude that based on seizure activity alone,
16 the insult in your judgment occurred within the
17 30 hours prior to the onset of that activity?

18 A. Within that time window, yes, the onset of the
19 clinical seizures. That's only one factor that
20 I had mentioned before.

21 Q. Yes,

22 A. And that's one of my factors.

23 Q. The fact of the matter is the patient has
24 remained seizure free to the best of your
25 knowledge.

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 A. Yes, to the best of my knowledge.
- 2 Q. Would it be your feeling that she would remain
3 seizure free?
- 4 A. It is too early to tell.
- 5 Q. How old would she have to be before you could
6 make that determination?
- 7 A. I am going to have to give you a rough number.
8 This is based on clinical experience. Probably
9 I would have more information within the next
10 five, six years.
- 11 Q. Do you have any way of predicting whether or
12 not she will ever have to undergo any further
13 regimen of phenobarbital or other medications?
- 14 A. Is this a more-likely-than-not question?
- 15 Q. Sure.
- 16 A. The difficulty here is that numbers that are
17 quoted are about 50 percent of the kids can go
18 on later to develop epilepsy. So we are right
19 smack dab in the middle, and that's what makes
20 it so difficult. You have literally hit one of
21 the few numbers.
- 22 Q. It is clear that you would be able to state
23 with reasonable certainty that this child will
24 have further seizure activity.
- 25 A. No doubt about that. I agree with that.

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 Q. Are there other potential causes for seizure
2 activity in a newborn other than an hypoxic
3 ischemic event?
- 4 A. Yes.
- 5 Q. What would some of those be?
- 6 A. Common ones?
- 7 Q. Correct.
- 8 A. Low blood sugar; brain malformation, infection,
9 and that's central nervous system infection if
10 there is significant marked drop in the sodium
11 levels.
- 12 Q. Bulls eye.
- 13 A. I knew you were asking that.
- 14 Q. Are you familiar with her sodium values?
- 15 A. Yes.
- 16 Q. In the neonatal period?
- 17 A. Yes.
- 18 Q. Do you find them to be consistent with the
19 potential cause of seizure activity?
- 20 A. With the numbers that are available to me, I
21 would say no;
- 22 Q. What numbers are those that you are relying on?
- 23 A. The morning of the 24th, we have a sodium of
24 136. The morning of the 25th, we have a sodium
25 of 125.

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 Q. Do you consider that to be a precipitous drop?
- 2 A. Depends how -- over one day, no. Is it enough
- 3 to cause seizure activity in this child? I
- 4 would expect more likely than not.
- 5 Q. What do you consider to be normal sodium values
- 6 for a child of this?
- 7 A. 134, 135. It depends what the lab has as its
- 8 values.
- 9 Q. For a baby with a seizure, would it be typical
- 10 to check her electrolytes?
- 11 A. Yes.
- 12 Q. Would a serum --
- 13 A. Excuse me. Can I qualify that, please?
- 14 Q. Sure.
- 15 A. Yes and in the appropriate clinical context.
- 16 Q. Would a sodium level of 123 or 125 be of
- 17 concern to you in a newborn as potential cause
- 18 of seizure activity?
- 19 A. Possibly as a cause. If you gave me levels of
- 20 110, I would say yes, no doubt about that.
- 21 This baby had levels of 123 and 128 and in my
- 22 interpretation of the medical records didn't
- 23 have obvious clinical seizures of the type that
- 24 had occurred on April 24th at about 1920.
- 25 Since the numbers dropped lower and we

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 didn't see the same kind of seizures that were
2 really occurring, I would argue that more
3 likely than not this was not the cause.
- 4 Q. Can you attribute a cause to the drop in her
5 levels?
- 6 A. Levels of --
- 7 Q. Sodium?
- 8 A. Yes.
- 9 Q. What would that be?
- 10 A. According to the medical records, the syndrome
11 of inappropriate ADH secretion.
- 12 Q. You agree with that?
- 13 A. With my quick review of the information that is
14 there, yes.
- 15 Q. Is there any distinction of the nature and
16 duration of seizures caused by sodium depletion
17 from those secondary to an HIE insult?
- 18 A. Ask that again.
- 19 Q. Just looking at the substance of the seizure
20 itself, can you tell a more likely scenario as
21 to its etiology?
- 22 A. Not necessarily, no.
- 23 Q. If these seizures were the result of low sodium
24 levels -- first of all, are you aware as to
25 whether or not any treatment was effected

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 because of her sodium values?
- 2 A. I can't tell from the notes. I know that --
- 3 because I can't read the handwriting -- it
- 4 looks like there was fluid restriction in terms
- 5 of how fast they were running the IV.
- 6 Q. Did her sodium values return to a normal range?
- 7 A. Yes, they did.
- 8 Q. Was there any seizure activity of any sort or
- 9 any tonic or clonic activity noted after her
- 10 sodium was returned to the normal range?
- 11 A. No.
- 12 Q. And your opinion is that her sodium values had
- 13 nothing to do with her seizures or her tonic
- 14 activity because it wasn't low enough. Is that
- 15 what I understand you to say?
- 16 A. In this whole clinical context, yes.
- 17 Q. You commented upon the drop in her calcium
- 18 levels.
- 19 A. Yes.
- 20 Q. Tell me why you believe that to be significant
- 21 in regard to the timing of the event.
- 22 A. Calcium levels drop on an acute hypoxic
- 23 ischemic incident. It is one of the metabolic
- 24 derangements that will transiently occur.
- 25 Q. How often was the calcium level checked

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 for her?
- 2 A. Daily. Sometimes a few times a day.
- 3 Q. When did the drop in her levels begin?
- 4 A. It looks like the real dropdown occurred into
- 5 the abnormal range in the labs 4-25-92 at 0620,
- 6 that blood test.
- 7 Q. Yes.
- 8 Q. They had already begun to go down before that,
- 9 hadn't they?
- 10 A. How can you tell? They had to have started to
- 11 go down before then, yes.
- 12 Q. I guess that's all I am trying to find out.
- 13 When you rely upon this information to support
- 14 your contention in regard to the timing of the
- 15 event, how do you know that I guess is my
- 16 question.
- 17 A. Oh, I understand what you mean, sir. Okay.
- 18 if this child had had an insult, just
- 19 to use a round number if I may --
- 20 Q. Please.
- 21 A. -- the day prior to delivery, let's assume it
- 22 was 24 to 30 hours prior to delivery, more
- 23 likely than not I would have expected the child
- 24 to have the initial calcium determination to be
- 25 definitely abnormal by that time in which it

FINCUN-MANCINI - - THE COURT REPORTERS

1 wasn't here.

2 Q. You commented earlier that you believe that it
3 was 4-25 when they first identified the
4 biochemical disturbance, the SIADH. What notes
5 do you base that conclusion on?

6 A. I base that on the conclusion that that was the
7 first time they identified a low sodium level,
8 and there is a note on 4-26-92 that says she
9 had hyponatremia due to the basis of SIADH. If
10 the sodium was low on 4-26, which it was and
11 they considered that due to SIADH and if it is
12 low on 4-25, that's also due to SIADH.

13 Q. That's fair enough.

14 Are there findings in your view,
15 Doctor, that are inconsistent in this baby's
16 presentation from an HIE insult?

17 A. No.

18 Q. How many of her organs do you believe sustained
19 some type of damage?

20 A. Only one organ. I mean, outside of the
21 metabolic derangements that were present, let's
22 ignore those, there was only one real organ
23 that was tested, and that was the kidney, and
24 that showed dysfunction.

25 Q. What evidences of dysfunction?

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 A. Two. Number one, initially the baby had
2 decreased urine output despite the fact she was
3 being given sufficient fluids to encourage good
4 urine output.
- 5 Number two, for days after delivery,
6 she showed blood -- initially large amounts of
7 blood in terms of the dipstick in her urine.
8 There was also some protein, both of these
9 consistent with an acute renal insult.
- 10 Q. In any of the examinations of Chelsea that you
11 are way of, has there ever been demonstration
12 of evidence of injury to any other organ?
- 13 A. Can I ask you to be more specific? Name the
14 organ, and I can address the issue.
- 15 Q. Well, how about the heart?
- 16 A. Outside of auscultation and chest X-rays, no
17 other testing was done. What was reported in
18 the medical records reported no abnormality.
- 19 Q. And you have no reason to disagree with that.
20 I mean you auscultated, I am sure.
- 21 A. Yes. I listen to hearts.
- 22 Q. To the best of your knowledge, her heart is
23 fine?
- 24 A. Presently?
- 25 Q. Right.

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 A. Yes.
- 2 Q. I suppose I could go through organ by organ.
- 3 Wouldn't you agree generally there has been no
- 4 evidence of any other organ injury in this?
- 5 A. I understand your question, sir. May I
- 6 rephrase it?
- 7 Q. Please.
- 8 A. Are you asking me if there is evidence of any
- 9 other organ system that shows some sort of
- 10 permanent dysfunction that is clinically
- 11 evident?
- 12 Q. Yes. Thank you.
- 13 A. The answer is no. I also wouldn't expect it in
- 14 the manner of a hypoxic ischemic insult. The
- 15 hepatic pattern dysfunction is transient.
- 16 Unfortunately, in this situation, no one ever
- 17 checked the hepatic dysfunction, and no one
- 18 commented on it one way or the other.
- 19 You can go on with other organ systems.
- 20 The muscles can show transient dysfunction. No
- 21 one ever checked and I can't answer that.
- 22 There is no evidence of any other permanent
- 23 injury except for what happened to her brain.
- 24 Q. Isn't it often true that patients or infants
- 25 who have suffered brain injury will also suffer

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 permanent injuries to other organ systems?
- 2 A. No.
- 3 Q. You are familiar with the term "auto
- 4 regulation"?
- 5 A. Yes.
- 6 Q. I am not a doctor. My understanding is that
- 7 the body's regulatory system will direct the
- 8 available blood to the brain and deprive other
- 9 organs before the brain gets deprived because
- 10 they know the brain is a pretty valuable thing
- 11 to remain intact. Is that an over
- 12 simplification of that concept?
- 13 A. With enough due warning for the body for the
- 14 brain, the answer is yes.
- 15 Q. Isn't it unusual that the brain would be first
- 16 injured from lack of blood supply before any
- 17 other organ system?
- 18 A. I am not saying that the brain was first
- 19 injured, sir.
- 20 Q. Well, what do you believe was the first
- 21 evidence of injury?
- 22 A. I think, as I mentioned to you, we have
- 23 evidence of multi-organ dysfunction. In her
- 24 situation, we have kidney and brain.
- 25 Unfortunately, other organ systems weren't

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 checked. I can't comment on that.
- 2 Q. Do you know whether or not auto regulation
- 3 occurred properly in this infant?
- 4 A. No.
- 5 Q. No, you do not know, or no, it did not?
- 6 A. I don't think anyone knows.
- 7 Q. I guess what I am wondering is, was the part of
- 8 the brain that governs the auto regulatory
- 9 system damaged?
- 10 A. I don't understand your question. I am sorry.
- 11 Q. Okay. Well, what part of the brain is
- 12 important to keep the auto regulation
- 13 phenomenon appropriately acting?
- 14 A. I can't tell you what the deep-seated center
- 15 ultimately is in the brain itself. It works
- 16 its way to a large measure of degree through
- 17 the autonomic nervous system. Is that what you
- 18 mean?
- 19 Q. All I am trying to find out is, is it your
- 20 contention that this patient's auto regulation
- 21 was somehow impaired due to some physiologic
- 22 finding or event, or did she have a normal auto
- 23 regulation system intact?
- 24 A. When? I am sorry. When did she --
- 25 Q. At the time of the ongoing stress?

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 A. I find no evidence that there was something
2 wrong with it beforehand. Is that what you
3 mean?
- 4 Q. Exactly.
- 5 A. No. I don't find any evidence that there was
6 anything wrong with it beforehand.
- 7 Q. Do you know whether the infant's hearing was
8 checked? Well, I am not going to ask you to
9 review. There was hearing checked.
- 10 A. I remember that there was a hearing check done,
11 but that's about it. I didn't see any evidence
12 of hearing aids or anything after that fact, so
13 I assume that the hearing was normal.
- 14 Q. Is impaired hearing often a secondary finding
15 for someone who has had an HIE insult?
- 16 A. It may be present, yes.
- 17 Q. Do you know her visual state?
- 18 A. Yes.
- 19 Q. Satisfactory?
- 20 A. Grossly, it seems to be satisfactory, yes.
- 21 Q. Would you consider yourself, Doctor, to be an
22 expert on cerebral palsy generally?
- 23 A. Yes.
- 24 Q. Do you believe that obstetricians can prevent
25 cerebral palsy?

FINCUN-MANCINI - - THE COURT REPORTERS

1 I take that as a no, but you don't like
2 to say it.

3 A. In a small number, yes. I think that's the
4 best way to answer you.

5 Q. Are you familiar statistically with how many
6 cerebral palsy patients there are per live
7 birth today in the United States?

8 A. I don't know the statistics. No, I don't know
9 the exact numbers.

10 Q. Are you familiar with the etiology of cerebral
11 palsy victims generally?

12 A. Yes.

13 Q. Are there -- what is your understanding of the
14 accepted causes of cerebral palsy?

15 A. About 10 percent are related to the birth
16 process. In other words, they are due to -- I
17 guess the right word would be intrapartum
18 events. Unfortunately, that's the only
19 statistic that sticks in my mind.

20 There is a percentage that are due to
21 prematurity, percentage that are due to brain
22 malformations, a percentage that are due to
23 in utero vascular events, a percentage that are
24 due to infections, and a sizable percentage
25 that we don't know why it happened.

FINCUN-MANCINI - - THE COURT REPORTERS

1 Q. Well, the number I am most interested in, do
2 you have any idea if there is absolutely no
3 known explanation?

4 A. It is greater than 50 percent.

5 Q. Do you agree with that to be an accurate
6 assessment?

7 A. Presently. Though, I think as we march on with
8 our knowledge base in medicine, that number
9 will diminish.

10 Q. How is it that you know that cerebral palsy
11 from which this child suffers from cannot be
12 one of the majority of those cases for which
13 there is not an explanation?

14 A. Because the majority of the causes from which
15 there is no explanation are due to events that
16 occurred during the pregnancy. Here we have a
17 child with an acute neurologic syndrome showing
18 evidence of multi-organ system dysfunction,
19 having an MRI scan that dates the event to have
20 occurred in a term child showing acute
21 abnormalities on EEG. This is not an event
22 that occurred prior to the birth process.

23 In other words, this is not something
24 that happened at age 28 weeks, 26 weeks, 32
25 weeks, 36 weeks. It didn't happen then. All

FINCUN-MANCINI - - THE COURT REPORTERS

1 the evidence points to an event that occurred
2 during the birth process.

3 Q. Or at least by time of full maturation of the
4 fetus?

5 A. During the birth process.

6 Q. Well, I am not going to go over that aspect
7 again.

8 Some questions about Chelsea: Do you
9 claim to be able to predict with any degree of
10 certainty how she will develop starting from an
11 orthopedic standpoint?

12 A. I think I can address general questions, yes.

13 Q. Tell me your view of her anticipated course of
14 physical health?

15 A. She is going to be left with permanent
16 neuromotor abnormalities. In other words, she
17 has evidence of a spastic quadriplegia. That's
18 going to be there even as she gets older. I am
19 concerned if she is going to be able to walk.

20 Q. Do you know whether she will be able to walk or
21 not?

22 A. I can't comment at this point in time. If she
23 is not walking now, I would argue more likely
24 than not she won't.

25 Q. Do you know whether she will be able to be

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 employed in the future?
- 2 A. It depends on her cognition.
- 3 Q. Do you have any information concerning her
- 4 cognition status?
- 5 A. General.
- 6 Q. And what is your understanding?
- 7 A. Her cognition is much better than her motor
- 8 function.
- 9 Q. On what do you base that understanding?
- 10 A. When she was younger, she had language
- 11 evaluations. Round numbers, when she was at
- 12 about 30 months of age, it was stated that her
- 13 receptive language skills were approximately at
- 14 a 20-month level.
- 15 Actually, at 30 months of age -- I am
- 16 sorry -- three years of age, she had receptive
- 17 language skills of about two years. That's not
- 18 bad. It is much better than what her motor
- 19 function was at the time. I don't have any
- 20 information after that time with any reliable
- 21 sort. I really can't comment further.
- 22 Q. Do you know whether or not her motor behavior
- 23 has improved since the time that you saw her?
- 24 A. Yes.
- 25 Q. Yes, you know, or yes, it has improved?

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 A. To both.
- 2 Q. Do you expect that it will continue to improve?
- 3 A. Yes.
- 4 Q. Would you agree that it is uncertain as to how
5 far she will continue to improve?
- 6 A. Could you define "uncertain"?
- 7 Q. We don't know.
- 8 A. Is she going to walk normally? No.
9 Might she be able to walk slowly,
10 independently **if** according to the record from
11 November of '95 she is using a walker and able
12 to ambulate with a walker, the answer is yes.
- 13 Will she have a normal gait and be able
14 to run? The answer is no.
- 15 Is that the kind of questions you are
16 asking?
- 17 Q. She will be able to live by herself as an
18 adult.
- 19 A. No. I don't think you can say that at this
20 point in time.
- 21 Q. Can you say that she will not be able to?
- 22 A. Depends on her level of motor function and her
23 level of self-help skills. So I can't comment
24 at this point in time.
- 25 Q. She will be able to have some type of

FINCUN-MANCINI - - THE COURT REPORTERS

1 employment based on your understanding of her
2 cognitive abilities.

3 A. If she has adequate cognitive abilities, she
4 might be able to do something. Will she be
5 able to do what she was destined to do? I
6 don't know.

7 Even if her motor function is markedly
8 impaired, she might be able to do some sorting,
9 activities of that type, but I don't -- it is
10 very difficult to make that kind of a
11 statement. I do know she won't be able to hold
12 the job she would have been able to hold if
13 that's what you are asking.

14 Q. And what job?

15 A. She doesn't have the motor dexterity or
16 communication abilities. She is at five years
17 talking in phrases when I would expect her to
18 be talking in complete sentences, so, you know,
19 she has impairments of sufficient degree to
20 interfere with her functioning in the future.

21 Q. Doctor, what have you reviewed in anticipation
22 of your deposition today?

23 A. The medical records.

24 Q. I mean, what -- all? You probably don't know
25 if it is all.

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 A. I will tell you what I did review. I reviewed
2 the obstetrician's office chart on Chelsea's
3 mother. I reviewed the labor and delivery
4 records for Chelsea. I reviewed the 4-23-92
5 admission to the hospital for Chelsea,
6 including what was provided to me within that
7 grouping. I reviewed Dr. Kolovsky's records
8 from 4-24-92 to 11-6-95. I reviewed
9 Dr. Spirotos' records. And I reviewed my note,
10 and I had previously reviewed the X-ray studies
11 that had been done, the original CT scan that
12 we discussed before, the consent CT scan, and
13 the subsequent MRI.
- 14 Q. Did you make any kind of notes or anything when
15 you were reviewing these various records?
- 16 A. Yes.
- 17 Q. Do you have those with you?
- 18 A. Yes.
- 19 Q. Could I take a look at them?
- 20 A. You are welcome to.
- 21 Q. Will I be able to read them?
- 22 A. You tell me.
- 23 Q. I think they are surprisingly legible.
- 24 (Pause)
- 25 Other than those records and these

FINCUN-MANCINI - - THE COURT REPORTERS

- 1 notes, have you been provided with any other
2 summaries of the case or letters setting forth
3 the facts of the case that you used?
- 4 A. None.
- 5 Q. Do you have any file in this case other than
6 the notebook with all the records there?
- 7 A. I have a hospital chart that contains the
8 letter that you know about --
- 9 Q. Your letter?
- 10 A. Yes, my letter, the CT scan report from 1995
11 and the MRI report from 1994.
- 12 Q. Have you kept track of how much time you spent
13 reviewing these records or authoring your
14 report?
- 15 A. Just broadly.
- 16 Q. I mean in writing.
- 17 A. No.
- 18 Q. How much time would you say you have spent?
- 19 A. Total?
- 20 Q. Excluding the deposition this evening.
- 21 A. In terms of going through the records and
22 everything else like that?
- 23 Q. Yes, and formulating your opinions.
- 24 A. Formulating, I don't know, four hours.
- 25 Q. Have you rendered any billing statements for

FINCUN-MANCINI - - THE COURT REPORTERS

1 the services you have rendered yet in this
2 case?
3 A. No.
4 Q. And that will be done on an hourly basis I
5 assume then?
6 A. Yes.
7 Q. And I forget, what is your standard charge?
8 A. You didn't ask.
9 Q. I think I was told by Mr. Lancione's office.
10 A. \$250 an hour for review of records and \$350 an
11 hour for deposition and trial testimony.
12 Q. I doubt that we are going to have the
13 opportunity to meet to discuss this case again
14 between now and the time that the trial starts.
15 Would it be a correct statement,
16 Doctor, that we have had the opportunity to
17 discuss those opinions which you will be
18 rendering at this trial during the course of
19 the deposition?
20 A. Yes.
21 Q. I mean, are there other things that you
22 anticipate you will be telling the jury about
23 that I have neglected to ask you?
24 A. Not that I can recollect at this point in time.
25 Q. Okay. Then I am done. Thank you.

FINCUN-MANCINI - - THE COURT REPORTERS

1 MR. LANCIONE: Do you want to read
2 it?

3 THE WITNESS: Yes.

4 (Signature not waived.)

5 (Deposition concluded at 8:35 p.m.)

6 - - -

7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

FINCUN-MANCINI - - THE COURT REPORTERS

1 I have read the foregoing transcript from
 2 page 1 through 70 and note the following corrections:

3 PAGE LINE REQUESTED CHANGE

4

5

6

7

8

9

10

11

12

13

14

15

 Max Wiznitzer, M.D.

16

17 Subscribed and sworn to before me this ____ day

18 of _____, 1996.

19

20

 Notary Public

21

22 My commission expires: _____

23

- - -

24

25

FINCUN-MANCINI - - THE COURT REPORTERS

1 State of Ohio,)
) SS: CERTIFICATE
 2 County of Cuyahoga.)

3 I, George J. Staiduhar, a Court Reporter
 4 in and for the State of Ohio, duly commissioned
 5 and qualified, do hereby certify that the within
 6 named witness, Max Wiznitzer, M.D., was by
 7 me first duly sworn to testify the truth, the whole
 8 truth, and nothing but the truth in the cause
 9 aforesaid; that the testimony then given by him was
 10 by me reduced to stenotypy/computer in the presence
 11 of said witness, afterward transcribed by me, and
 12 that the foregoing is a true and correct transcript
 13 of the testimony so given by him as aforesaid.

14 I do further certify that this deposition was
 15 taken at the time and place in the foregoing caption
 16 specified, and was completed without adjournment.

17 I do further certify that I am not a relative,
 18 counsel, or attorney of either party, or otherwise
 19 interested in the event of this action.

20 IN WITNESS WHEREOF, I have hereunto set my hand
 21 and affixed my seal of office at Cleveland, Ohio, on
 22 this 14th day of October, 1996.

23

24 _____
 George J. Staiduhar, Notary Public in and for the
 25 State of Ohio. My commission expires July 3, 1997.

FINCUN-MANCINI - - THE COURT REPORTERS