

1 IN THE COURT OF COMMON PLEAS
2 OF RICHLAND COUNTY, OHIO

3 - - - - -
4 Christopher Toon , :
5 et al., :
6 Plaintiffs, :
7 vs. Case No. 482-H
8 :
9 Bhat and Padival,
10 M.D.'s, Inc., et al., :
11 Defendants. :
12 - - - - -

11 Deposition of ROBERT C. WOSKOBNICK,
12 D.O., an Expert Witness herein, called by
13 the Plaintiffs for cross-examination under
14 the statute, taken before me, Adele L.
15 Helmick, a Registered Professional Reporter
16 and Notary Public in and for the State of
17 Ohio, pursuant to notice and stipulations of
18 counsel, at the offices of Robert C.
19 Woskobnick, D.O., 1327 Cameron Avenue,
20 Columbus, Ohio, on Monday, February 24,
21 2003, at 10:32 o'clock a.m.
22 - - - - -
23
24

1 APPEARANCES:

2 Friedman, Domiano and Smith
3 Sixth Floor
4 Standard Building
5 1370 Ontario Street
6 Cleveland, Ohio 44113-1704
7 By Thomas E. Conway, Esq.,

8 On behalf of the Plaintiffs.

9 Hammond and Sowards
10 556 East Town Street
11 Columbus, Ohio 43215
12 By James M. McGovern, Esq.,

13 On behalf of the Defendants.

14

15

16

17

18

19

20

21

22

23

24

25

26

27

28

Monday Morning Session

February 24, 2003

10:32 o'clock a.m.

- - - - -

It is stipulated by and between counsel for the respective parties that the deposition of ROBERT C. WOSKOBNICK, D.O., an Expert Witness herein, called by the Plaintiffs for cross-examination under the statute, may be taken at this time by the Notary, pursuant to notice and stipulations of counsel; that said deposition may be reduced to writing in stenotype by the Notary, whose notes may thereafter be transcribed out of the presence of the witness; that proof of the official character and qualification of the Notary is waived; that the signature of the said ROBERT C. WOSKOBNICK, D.O. to the transcript of his deposition is expressly waived by counsel and the witness; said deposition to have the same force and effect as though signed by the said ROBERT C. WOSKOBNICK, D.O.

INDEX

<u>Deposition Exhibit</u>	<u>Page No.</u>
A - Expert Witness Report	5
B - Curriculum Vitae	106

<u>Examination By</u>	<u>Page No.</u>
Mr. Conway - Cross	5

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

- - - - -

Thereupon, Deposition
Exhibit A was marked for
purposes of identification.

- - - - -

ROBERT C. WOSKOBNICK, D.O.
by me first duly sworn, as hereinafter
certified, deposes and says as follows:

- - - - -

CROSS-EXAMINATION

BY MR. CONWAY:

Q. Doctor, my name is Tom Conway. I
represent Chris Toon in this case. I'm
going to be taking your deposition.

I'd like to go over just a few
ground rules with you, so that --

A. Sure.

Q. -- we can move this thing along.
I'm going to be asking you your
knowledge of the case, as well as your
opinion.

Everything you say is being taken
down by the court reporter. It has the same
legal significance as if you were in front

1 of a judge and jury.

2 Do you understand that?

3 A. Yes.

4 Q. Please, if you can, answer any
5 questions with a verbal answer as opposed to
6 a nod of the head so that the court reporter
7 can take it down.

8 And, obviously, only one of us can
9 speak at the same time.

10 Okay?

11 A. Yes.

12 Q. I don't want you to answer any
13 question that you don't understand. If you
14 don't understand a question that I ask you,
15 somehow indicate that to me. I'll be glad
16 to rephrase it or repeat it for you.

17 If you do answer a question, I'm
18 going to assume and rely upon the fact that
19 you understood it.

20 Is that fair?

21 A. Yes.

22 Q. At any time you want to take a
23 break, feel free to do so.

24 At any time that you want to go

1 back and add, subtract, delete, amend, any
2 part of your previously given testimony,
3 feel free to do so.

4 Okay?

5 A. Yes.

6 Q. I want to leave here today knowing
7 what your thoughts on this case are so that
8 I'm not surprised at trial.

9 All right?

10 A. Okay. Yes.

11 Q. Doctor, would you agree that
12 appendicitis can be a life-threatening
13 medical emergency?

14 A. Yes.

15 Q. Do you agree that appendicitis can
16 cause a ruptured appendix, which can cause
17 serious illness or even death?

18 A. Can you -- well, can you rephrase
19 that?

20 Q. Sure.

21 MR. CONWAY: Can you --

22 THE WITNESS: It doesn't always
23 rupture.

24 MR. CONWAY: Right.

1 Could you repeat the question?

2 (The record is read back as
3 requested.)

4 THE WITNESS: Appendicitis can be
5 ruptured, but not always.

6 But, sure, that can cause serious
7 illness or death.

8 BY MR. CONWAY:

9 Q. A treating physician has to be,
10 obviously, aware of the potential danger of
11 appendicitis leading to a ruptured appendix,
12 correct?

13 A. Yes.

14 Q. Doctor, have you ever written a
15 note for one of your patients excusing them
16 from work?

17 A. Yes, all the time.

18 Q. What are your reasons for writing
19 the note excusing a patient from work?

20 A. A lot of times, they need a few
21 days off to recover, to get better, then go
22 back.

23 Some jobs, if you're in a labor
24 position, you just simply can't work, where

1 if you're more of a white-collar, perhaps
2 you could work.

3 But some patients request it off.
4 They'll say, well, can I have off so-and-so
5 days, and if it's reasonable, I say yes.

6 We usually never deny them a
7 request, because, then obviously, they're
8 unhappy.

9 And sometimes, I tell patients,
10 you need to take several days off, whatever
11 amount of time, depending on the condition,
12 because I think you won't get better unless
13 you rest, take care of yourself.

14 Q. I assume that you've had cases
15 where a patient has come in, you've
16 diagnosed them with a certain sickness, and
17 you've made a determination that they're
18 going to need a certain number of days off
19 work; would that be correct?

20 A. Yes.

21 Q. Do you write that note for the
22 time period which you feel the patient will
23 need to take work off?

24 A. It depends. Sometimes, you have

1 to fill out an FMLA form with the
2 government.

3 Q. Let's assume there's no FMLA form.

4 A. Okay. Sometimes, we do write out
5 specific requests, but we usually ask them.
6 Sometimes, they'll just say, well, I'll take
7 it off. I don't have any -- depends on
8 their boss and their work situation. Some
9 situations, you don't need a note, and some
10 you do.

11 Q. It depends upon the patient and
12 the type of job he has, correct?

13 A. And the employer.

14 If you're self-employed, you
15 don't, obviously. Or if you're a higher
16 level, you usually don't need a note.

17 Usually, lower level, in my
18 experience, lower-level workers who have
19 less -- what's the word I want?

20 Say somebody at McDonald's would
21 need a note and somebody --

22 Q. Somebody with no economic
23 bargaining position needs a note?

24 A. I agree. I mean, I think that's

1 fair.

2 Q. I assume you read Billie Jean
3 Toon's deposition transcript?

4 A. Yes.

5 Q. Did you read in there where Chris
6 Toon was given a note to take off work for
7 five days?

8 A. Yes.

9 Q. Did you read in Billie Jean Toon's
10 deposition transcript that Chris called his
11 employer and told the employer that he would
12 not be able to work for five days?

13 A. I remember the first statement you
14 made rather than the second, but I'm
15 assuming he would have called so that they
16 would know.

17 Q. Would it be -- was it your --
18 strike that.

19 Was it your observation or your
20 impression from reading Billie Jean Toon's
21 deposition transcript that both Chris Toon
22 and Billie Jean Toon were under the
23 impression that he was going to be off work
24 for five days due to his illness?

1 A. Yes.

2 MR. McGOVERN: Objection.

3 Tom, can we go off the record for
4 a second?

5 (A discussion is held off the
6 record.)

7 BY MR. CONWAY:

8 Q. Did you read in Billie Jean
9 Toon's deposition transcript that she
10 testified that she observed Dr. Padival only
11 palpating Chris's lower right side?

12 A. Yes. I recall that.

13 Q. All right. If her observations
14 are correct, would Dr. Padival have been
15 below the standard of care for a physician
16 evaluating a patient with complaints of
17 abdominal pain?

18 A. Yes. If that's the truth, sure.

19 Q. From your reading of this, the
20 depositions, both Chris's and Billie Jean
21 Toon's, were you under the impression that
22 Chris was experiencing severe pain?

23 MR. McGOVERN: Objection.

24 THE WITNESS: Answer or --

1 MR. CONWAY: Yes. You can answer.

2 MR. McGOVERN: Yeah. Go ahead.

3 THE WITNESS: Yes.

4 BY MR. CONWAY:

5 Q. In fact, I think at one point, do
6 you recall it being rated as a 10 out of 10?

7 A. I don't recall that specifically.

8 Q. Did you have an opportunity to
9 read Dr. Padival's deposition?

10 A. Yes.

11 Q. Did you read at page 59 where
12 Dr. Padival agreed with the statement that,
13 quote, any patient presenting with the signs
14 and symptoms such as manifested by Mr. Toon
15 on February 21st, 1999 must have
16 appendicitis considered in the differential
17 diagnosis?

18 MR. McGOVERN: Objection. That is
19 not an accurate recollection of -- or
20 accurate description of the deposition
21 testimony based on the errata sheet.

22 If you're saying absent the errata
23 sheet --

24 MR. CONWAY: I'm saying his

1 deposition transcript.

2 MR. McGOVERN: You can answer the
3 question. I'm just putting objections on
4 the record.

5 THE WITNESS: Okay.

6 MR. McGOVERN: I'm sorry to
7 confuse you.

8 THE WITNESS: I would say yes.

9 MR. McGOVERN: And just so that I
10 understand, can you read back his question?

11 (The record is read back as
12 requested.)

13 THE WITNESS: Yes.

14 BY MR. CONWAY:

15 Q. And that would be the standard of
16 care to include appendicitis in the
17 differential diagnosis, correct?

18 A. That's correct. Sure.

19 Q. Doctor, did you read Dr. Padival's
20 deposition where he agreed that, quote, a
21 patient such as Mr. Toon must be told that
22 he has to return to a doctor for
23 reevaluation in eight to 12 hours, in the
24 particular situation that we had in this

1 case?

2 MR. McGOVERN: Are you just asking
3 him whether he read that part of the
4 deposition?

5 BY MR. CONWAY:

6 Q. I'm asking, do you recall reading
7 that part of the deposition, number one?

8 A. Yes.

9 Q. All right. Do you agree with
10 that principle?

11 MR. McGOVERN: And, again, now,
12 you're asking him whether he agrees with
13 what Dr. Weihl said?

14 BY MR. CONWAY:

15 Q. I'm asking, Dr. Padival, in his
16 deposition, I'm not talking about the errata
17 sheet, I'm talking about the deposition,
18 agreed with the proposition that a patient
19 such as Mr. Toon must be told that he has to
20 return to a doctor for reevaluation in eight
21 to 12 hours.

22 Do you agree with that?

23 MR. McGOVERN: I'm going to object
24 that Dr. Padival didn't say that in his

1 deposition.

2 If you want to read verbatim from
3 his deposition, go ahead.

4 But you're basically asking him to
5 agree with Dr. Weihl's testimony, and we're
6 not going to go that way again.

7 If you want to read Dr. Weihl's
8 report and ask him if he agrees with that,
9 that's fine. But don't --

10 BY MR. CONWAY:

11 Q. Doctor, you have Dr. Padival's
12 deposition in front of you, don't you?

13 A. Yes.

14 Q. Okay. Let's go to that.

15 A. What page again?

16 Q. Page 61, okay, the bottom of page
17 61 to the top of page 62, question, all
18 right, Dr. Weihl is specifically giving a
19 specific period of time that, in his
20 opinion, a patient such as Mr. Toon must be
21 told that he has to return for reevaluation,
22 and the time period that Dr. Weihl believes
23 emergency medicine standard of care requires
24 be given to the patient is eight to 12

1 hours; do you agree with that?

2 You see my question there?

3 A. Mm-hmm.

4 Q. And then you have to answer out

5 loud, yes or no.

6 A. Do I agree with Dr. Weihl's?

7 Q. Do you agree that that's the

8 question, that I read it correctly from the

9 deposition transcript?

10 A. Bear with me. I'm just looking.

11 Q. Take your time.

12 A. We're talking about right here,

13 correct (indicating)?

14 Q. Correct.

15 I just read what the question was.

16 Okay. You understand what the

17 question was that was addressed to

18 Dr. Padival, right?

19 A. Mm-hmm.

20 Q. I'm just saying you have to answer

21 out loud instead of saying mm-hmm, because

22 she can't take that down.

23 A. Yes. I see that.

24 Q. All right. Do you see

1 Dr. Padival's answer?

2 It's, yes, probably?

3 A. Yes.

4 Q. Do you agree with his answer to
5 that question?

6 MR. McGOVERN: And I'm going to
7 object in the sense that Dr. Padival revised
8 his answer in his errata sheet.

9 MR. CONWAY: I'm aware of that.
10 I'm asking him the question about the
11 deposition. I'm going to ask him questions
12 about the errata sheet in a moment.

13 BY MR. CONWAY:

14 Q. Do you agree with Dr. Padival's
15 answer that he gave at the time of his
16 deposition on July 10th, 2002?

17 MR. McGOVERN: And I don't want
18 there to be any confusion.

19 Essentially, you're asking him if
20 he agrees with Dr. Weihl's opinion.

21 MR. CONWAY: Jim, I haven't had to
22 do this in a number of months.

23 If you want to object, fine.

24 If the doctor needs me to explain

1 a question, I'll be glad to rephrase it.

2 I'm asking him if he agrees with
3 the answer that Dr. Padival gave to my
4 question back at the time of Dr. Padival's
5 deposition on July 10th. That's all.

6 BY MR. CONWAY:

7 Q. Do you agree with the answer that
8 Dr. Padival gave?

9 A. Do I agree that the answer is
10 there, or do I agree with --

11 Q. Do you agree with the answer that
12 Dr. Padival gave?

13 A. No.

14 Q. You don't?
15 What do you disagree with?

16 A. I typically would tell patients
17 one to two days, not eight to 12 hours.

18 I've seen thousands of abdominal
19 pains over the years.

20 It sounds like he's equivocal
21 here. He says yes, then probably.

22 Q. Okay.

23 A. Probably is a --

24 Q. What do you believe the standard

1 of care for an emergency medicine physician
2 is to require a patient such as Christopher
3 Toon to return for reevaluation?

4 A. If you're in an emergency room,
5 it's different between an emergency room and
6 urgent care, perhaps, because I've worked
7 both venues, in that --

8 Q. Okay. Go ahead. Finish your
9 answer.

10 A. In the ER, you have the ability to
11 call a surgeon in relatively easy,
12 especially if you're in a teaching hospital.

13 Right?

14 If you're in urgent care, you
15 don't have a surgeon you can call to the
16 center.

17 Q. Okay. My question, though, deals
18 with the time that a physician, an emergency
19 medicine physician --

20 A. Sure.

21 Q. -- should give a patient such as
22 Chris Toon to return for reevaluation.

23 And you're saying one to two days?

24 A. I'd say that's reasonable.

1 Q. Have you ever practiced as an
2 emergency room physician?

3 A. Yes, for five years.

4 Q. Have you ever practiced as an
5 urgent care physician?

6 A. Yeah, for 10 years off and on.

7 Q. Do you believe that there is a
8 difference between the standard of care
9 required of an emergency room physician and
10 a physician working at an urgent care
11 center?

12 A. They're different in that the
13 urgent care is open only a certain amount of
14 time during the day, and a lot of times --
15 anytime you get anything that's really bad,
16 you would have to refer it out.

17 Like, say, a heart attack comes
18 in. You have limited ability to diagnose at
19 urgent care, so you almost have to be
20 smarter than an ER doctor. An ER doctor can
21 order tests, every test known to medical
22 science, in most emergency rooms, which
23 makes your job easier to diagnose. In
24 urgent care, you have to rely more on your

1 clinical acumen, if you will.

2 Q. Doesn't the urgent care physician
3 have the ability to immediately refer a
4 patient to an emergency room --

5 A. Sure.

6 Q. -- should he suspect a diagnosis
7 of appendicitis?

8 A. Sure.

9 Q. Would you agree that -- that a
10 physician who works at an urgent care center
11 should have the same clinical expertise in
12 evaluating a patient for possible
13 appendicitis as should a physician working
14 in a regular emergency room?

15 A. That's a tricky question.

16 Most -- when you have an urgent
17 care setting, most patients that come in
18 there should be ambulatory and be able to
19 leave, in general. So they come in; they go
20 home.

21 It's rare that they leave by squad
22 or have to go to the ER, in my experience,
23 and I've worked in both venues. There's a
24 fine line. You could go months and not send

1 anyone to the ER, or you could send two or
2 three people in the same shift from the
3 urgent care to the ER.

4 Does that help you?

5 Q. No.

6 MR. CONWAY: And if you just want
7 to read back that last question I asked?

8 MR. McGOVERN: Doctor, just try to
9 listen to the question that's asked, and
10 answer that question, and things will go
11 quicker.

12 (The record is read back as
13 requested.)

14 THE WITNESS: The answer is yes.
15 That's --

16 BY MR. CONWAY:

17 Q. Doctor, you've had an opportunity
18 to read through Dr. Padival's chart from
19 Madison Urgent Care in this case, correct?

20 A. Yes.

21 Q. Would you agree that the charting
22 in this matter is deficient?

23 MR. McGOVERN: Objection --

24 THE WITNESS: Can I look at it?

1 BY MR. CONWAY:

2 Q. Look at whatever you want to.

3 Sure.

4 MR. McGOVERN: -- as to the
5 meaning of deficient.

6 MR. CONWAY: Based upon that very
7 good suggestion, I'll rephrase my question.

8 BY MR. CONWAY:

9 Q. Do you believe that the charting
10 in this case, on the part of Dr. Padival, is
11 below the standard of care?

12 A. I would say no or not necessarily.

13 Q. You want to explain your answer?

14 A. I've seen physicians write less --

15 Q. Okay.

16 A. -- over the years.

17 Q. I would agree.

18 But I'm asking you, in this
19 particular case, reviewing Dr. Padival's
20 chart, do you believe his charting in this
21 particular case is below the standard of
22 care?

23 A. I think it meets the minimal
24 standard of care.

1 Q. You do?

2 A. A dictated note would be the gold
3 standard. Like, a dictated report would be
4 the gold standard of care.

5 He certainly has abdominal exam on
6 here. He has the diagnosis. He has the
7 medications.

8 Q. Is there any medical history?

9 A. Two days of diarrhea, abdominal
10 cramps and nausea, not able to eat or drink.

11 Q. Was it your understanding when you
12 reviewed his chart, Doctor, and prepared
13 your report that Mr. Toon had experienced
14 two days of diarrhea and cramps and nausea
15 and not being able to eat or drink?

16 A. I think my recollection is two to
17 three days.

18 Q. In your report, Doctor, showing
19 what's been marked for Exhibit A, did you
20 proofread this report prior to sending it to
21 Mr. Hammond and Mr. McGovern?

22 A. Mm-hmm. Mm-hmm.

23 Here, it has a two-day history.

24 Q. All right. There would be a

1 difference in this case between a patient
2 presenting with these clinical signs for two
3 days versus three days, correct?

4 A. Sorry. Rephrase.

5 Q. Would it be significant to you
6 with a patient such as Mr. Toon that he was
7 experiencing a three-day history of these
8 different symptoms as opposed to the two-day
9 history that you have in your report?

10 A. Not necessarily.

11 Q. Why not?

12 A. Because with gastroenteritis, it
13 could be several days. I mean, there's no
14 specific -- it's not, like, 24 hours, then
15 it becomes something else, or 48 hours
16 becomes another diagnosis. There's no way
17 to quantify it.

18 Q. Is gastroenteritis the type of
19 condition that can last an indeterminate
20 time period?

21 A. It can if you have -- due to
22 infectious etiology that's not treated, like
23 traveller's diarrhea.

24 Q. What's traveller's diarrhea?

1 A. If you go to Mexico, and you
2 drink the water, and you come back, and you
3 have to have antibiotics for it to clear.
4 I've had patients come back who'd had it for
5 a month off and on.

6 Q. Off and on?

7 A. Correct, intermittently.

8 Q. I wasn't talking so much as the
9 form of the note or the charting that
10 Dr. Padival had done, but rather the
11 content. All right. Let's go through the
12 content of Dr. Padival's note.

13 Is there anywhere in the charting
14 that you saw any reference to whether or not
15 Dr. Padival asked Chris Toon as to whether
16 or not he'd previously had his appendix
17 removed?

18 A. I don't see it.

19 Q. Is that important?

20 Is that an important question to
21 ask a patient who is suffering from
22 abdominal pain such as Chris Toon was?

23 A. Well, I'm assuming if he was a new
24 patient there was a long history of things

1 he had to fill out about past surgical
2 history that should be -- you don't always
3 ask -- with any new patient, you usually
4 have a medical record that shows past
5 surgical history, past medical history, so
6 you have that on file.

7 You don't necessarily ask the
8 questions, but you review the history with
9 them, which I think there was supposed to be
10 that with this, but I don't see it.

11 Q. Would it be below the standard of
12 care if Dr. Padival was unaware of whether
13 or not Chris Toon had had a prior appendix
14 removed at the time that he discharged Chris
15 Toon?

16 MR. McGOVERN: Objection.

17 MR. CONWAY: You can answer.

18 THE WITNESS: I think it would --
19 I think it could be. Sure. I think you
20 could say that.

21 BY MR. CONWAY:

22 Q. All right. Let's go to
23 complaints. All right.

24 You'd agree with me that

1 Dr. Padival's chart says three days of
2 diarrhea and cramps and nausea?

3 A. Well, it looks like it could be a
4 two or a three, to be honest with you.

5 Q. Do you recall what Dr. Padival
6 testified to at his deposition?

7 A. I believe he said two.

8 Q. Not able to eat or drink --

9 MR. McGOVERN: Was that a
10 question?

11 MR. CONWAY: Not really.

12 MR. McGOVERN: Okay.

13 BY MR. CONWAY:

14 Q. Was there -- looking at this
15 charting, was there any guarding during the
16 examination of Mr. Toon by Dr. Padival?

17 A. I don't see where it says that.
18 It looks like it says diffusely
19 tender.

20 Q. What is the significance of a
21 patient experiencing guarding?

22 A. That means you have peritoneal
23 irritation, which would be from a ruptured
24 appendix or other entities, but peritoneal

1 irritation, meaning infection that's
2 spreading throughout the abdominal cavity.

3 Q. What is guarding, the significance
4 of guarding?

5 A. A patient -- I've seen patients
6 before that I've -- literally, if you lie
7 your hand gently, they can't -- they're
8 rigid, rock solid. They can't even tolerate
9 the lightest touch.

10 Q. And there can be degrees of
11 guarding, correct?

12 A. Certainly. Certainly.

13 Q. And guarding, whether or not there
14 is guarding, is a valuable piece of
15 information in deciding whether or not you
16 have possibly a ruptured appendix; is that
17 correct?

18 A. Sure. Yes.

19 Q. Was there any rebounding noted by
20 Dr. Padival during his examination of Chris
21 Toon?

22 A. I don't see it.

23 Q. What's rebounding, Doctor?

24 A. Rebound is when you push in, and

1 you let up, and then they get pain.

2 Q. What's the significance of that as
3 to diagnosing possible appendicitis?

4 A. Again, it shows peritoneal
5 irritation, or a possible ruptured appendix,
6 or it could be a ruptured something else.
7 But --

8 Q. So that is a significant symptom
9 that needs to be evaluated by a doctor to
10 determine whether or not there could be
11 possible appendicitis, correct?

12 A. Sure. I agree.

13 Q. Was Mr. Toon febrile?

14 Did he have a temperature at the
15 time he came in?

16 A. I believe it was 100.2.

17 Can I look at the record?

18 Q. Help yourself.

19 A. Looks like it's 100 something.

20 It's kind of cut off on my -- he has a
21 low-grade fever.

22 Q. Can a low-grade fever be
23 indicative of an infectious process that's
24 going on with a patient?

1 A. Certainly.

2 Q. All right. Is it more probable --
3 strike that.

4 What type of infectious process
5 can an elevated temperature be indicative
6 of?

7 A. Depends on how high the
8 temperature is.

9 Q. Let's deal with his temperature of
10 100.2.

11 A. Sure. This is a moderate
12 elevation.

13 I see these all the time. I don't
14 even pay attention to them as a rule until
15 it gets above 101.5, 102, 103. It is common
16 to see someone with 100 -- is it point two
17 or --

18 Q. Common in a patient that you
19 suspect has gastroenteritis?

20 A. Correct. Exactly.

21 Q. All right. What type of disease
22 process is gastroenteritis?

23 A. It could be an infectious process,
24 secondary to a viral etiology, more likely

1 this time of year.

2 Q. If it was secondary to a viral
3 etiology, would the patient experience a
4 temperature?

5 A. Not always, depends on their age.
6 If they're very elderly, they might not.
7 Very elderly don't have a temperature
8 usually. Very young have a high
9 temperature. Young adults can have a
10 temperature and not even have a
11 temperature.

12 Everyone's different. There's no
13 way to -- it's not cut and dry, like
14 everybody thinks.

15 Q. Is -- would a person who's
16 experiencing appendicitis have an elevated
17 temperature?

18 A. Usually be much higher.

19 Q. Would a patient experiencing a
20 ruptured appendix have a higher temperature?

21 A. Usually, they'd have a real high
22 temperature, although -- can I continue?

23 Q. Yes. You may.

24 A. In medicine, my observation

1 practicing the last 13 years is you can see
2 anything. I've seen patients very sick who
3 don't have a temperature and end up having
4 pneumonia, for instance. It's not always
5 hard and fast, like everybody thinks outside
6 of medicine.

7 Q. Doctor, what are the signs and
8 symptoms of appendicitis?

9 A. How I perceive it?

10 Q. I want to know, yeah, what your
11 knowledge of the signs and symptoms of
12 appendicitis are.

13 A. Usually, the patient presents.
14 They look deathly ill. A lot of times, with
15 patients, you look at them, and you --
16 that's my first thing, is I look at them as
17 a whole, check their vital signs, is their
18 pulse --

19 Q. What vital signs?

20 A. I would check their temperature,
21 obviously; pulse; respiratory rate; blood
22 pressure --

23 Q. All right.

24 A. -- if they've had any weight loss

1 over the last several days.

2 Q. Pulse, respiratory rate, blood
3 pressure, and what else?

4 Weight loss?

5 A. Weight loss.

6 Q. What else?

7 A. I would get a history.
8 Are we looking at what I would do?

9 Q. Yeah. I want to know what you
10 would do.

11 A. I would get a thorough history,
12 ask them how long they've been sick, what
13 they've eaten, have they traveled out of the
14 country, have they drank well water.

15 Q. Why would well water --

16 A. Because you can have things, like
17 Giardia lamblia hang out in wells, and you
18 get sick that way, or salmonella.

19 I had a nurse friend, her son got
20 salmonella from a well, and he ended up in
21 the intensive care and had kidney damage.

22 So --

23 Q. All right. What else?

24 A. As far as history or physical

1 exam?

2 Q. Just whatever, I want --

3 A. If they're taking any medications,
4 if you're on long-term antibiotics you can
5 get gastroenteritis from Clostridium
6 difficile.

7 Q. That's pretty serious, isn't it?

8 A. Could be. It could kill you.
9 It's an iatrogenic etiology, then.
10 I'm trying to think historywise.

11 MR. McGOVERN: Are you asking him
12 appendicitis or gastroenteritis?

13 MR. CONWAY: I'm asking
14 appendicitis.

15 He's giving me the things he's
16 looking at in determining whether someone
17 has appendicitis, correct?

18 THE WITNESS: Oh, I was thinking
19 we were looking at abdominal pain, but I can
20 specify.

21 BY MR. CONWAY:

22 Q. All right. Fine. Yeah. What I
23 was asking, what were the signs and symptoms
24 that you would look for in --

1 A. Narrowing it to appendicitis?

2 Q. Yeah.

3 A. Okay. If they've had nausea,

4 vomiting, diarrhea, if they had a fever,

5 chills, if they measured their fever at

6 home, how long they've been sick is an

7 important thing, I think.

8 Q. Would you agree that the pulse,

9 respiratory rate, blood pressure, weight

10 loss, are also factors that you would -- you

11 would weigh in determining whether someone

12 has appendicitis?

13 A. Especially a temperature.

14 Q. Anything else that you would look

15 for?

16 A. If they've had an appendectomy

17 before, if they had abdominal surgery

18 before.

19 Are we talking, now, physical

20 exam?

21 Q. Let's go to physicals. Well,

22 yeah, let's go to physical exam.

23 What are you looking for then?

24 A. I've diagnosed them -- I'm not

1 trying to be egoistical. I've diagnosed
2 them before just by looking at them, how
3 they walk in the room, if they are walking
4 or if they're in a wheelchair.

5 Usually, somebody that's really
6 sick will have to be brought in with a
7 wheelchair. They can't walk.

8 So if they ambulate, that tells me
9 that they're not that sick. If they can
10 move their legs, there's not enough
11 peritoneal irritation, that they can walk on
12 their own.

13 Does that make any sense?

14 Q. What else about your physical exam
15 would you look at?

16 A. Check to see if they're sweating,
17 they're perspiring, see if they're
18 dehydrated, look in their mouth, if they
19 have saliva. That will give you an
20 indication that they're dehydrated. I
21 usually look at the patient's -- I do eyes,
22 ears, nose, throat, check their neck. There
23 could always be meningitis. Listen to the
24 heart and lungs.

1 Then in the abdominal exam, check
2 the bowel sounds with the stethoscope. You
3 know, a lot of times, you start your
4 abdominal exam with four quadrants, and if I
5 do think they have appendicitis I usually
6 wait for the right lower quadrant last.

7 Q. Why is that?

8 A. Because if they're really
9 irritated, I don't want to make them
10 apprehensive by pushing their -- like, I
11 don't want them to jam -- some doctors are
12 heavy-handed. I have a real light touch. I
13 think it serves you well.

14 Q. Anything else come to mind?

15 A. When I'm looking for appendicitis?

16 Q. Or to rule in or to rule out
17 appendicitis?

18 A. If I had the ability to do blood
19 work, that would be helpful, although,
20 although, you could have a normal white
21 count and still have appendicitis.

22 If I had the ability to get a CAT
23 scan or x-rays, abdominal films, that might
24 be useful, although a lot of appendicitis is

1 on clinical exam, in my experience. I can
2 usually tell.

3 If I had to say the three main
4 things I would look at to narrow it down
5 would be fever; if they can ambulate or not,
6 if they can't ambulate then they're really
7 definitely sick with their abdominal
8 complaint; and then right lower quadrant
9 tenderness that's localized with rebound or
10 guarding.

11 Some patients are so sick they
12 don't even want you to touch the lightest
13 touch.

14 Q. Obviously, when a patient comes in
15 and you're looking to rule in or rule out
16 appendicitis, there can be different stages
17 of the disease process --

18 A. Sure.

19 Q. -- a patient is at?

20 A. Of course.

21 Q. They may be at a very early stage
22 where some of the symptoms and signs aren't
23 as -- aren't as serious as later on?

24 A. Sure.

1 Q. Okay.

2 A. Of course.

3 Q. Do you believe that you're a
4 reasonable and prudent physician?

5 A. Yes.

6 Q. Let's go through some things.
7 In reading from the chart here,
8 was there any indication of whether or not
9 Chris Toon was evaluated for whether he was
10 sweating?

11 A. Let me just check.
12 I don't see that.

13 Q. Was there any indication that
14 Chris Toon was evaluated for whether he
15 could be dehydrated?

16 A. I don't see that.

17 Q. What's the significance of a
18 patient who's dehydrated as it relates to
19 possible appendicitis?

20 A. It means that they're so sick that
21 they can't take oral fluids -- in two ways:
22 Their fever is high, so they're perspiring;
23 or they're having diarrhea or vomiting,
24 losing fluids that way; and then they can't

1 take fluids in, they're so sick.

2 Q. Is there such a concept as third
3 spacing with regard to an abdominal
4 inspection?

5 A. Yes.

6 Q. Do you want to explain your
7 knowledge of how that can possibly cause
8 dehydration?

9 A. If you have fluid in a localized
10 infection in the abdomen, say, you get a
11 fluid buildup there. It's taking fluid away
12 from the intravascular space.

13 I'm not sure if that's what you're
14 --

15 Q. Is that your understanding of what
16 third spacing is as it relates to an
17 abdominal infection and how dehydration can
18 occur?

19 A. Yes.

20 Q. Were Chris Toon's eyes, ears,
21 nose, and throat ever evaluated by
22 Dr. Padival?

23 MR. McGOVERN: Objection.

24 THE WITNESS: Not that I see.

1 BY MR. CONWAY:

2 Q. Were Chris Toon's heart or lungs
3 checked or listened to by Dr. Padival?

4 A. Not that I see --

5 MR. McGOVERN: Objection.

6 THE WITNESS: -- in the record.

7 BY MR. CONWAY:

8 Q. Have you when you were a -- strike
9 that.

10 When you were an urgent care
11 physician, and you suspected that a patient
12 came in and would need a blood test to help
13 rule in or rule out a condition, would you
14 order that for a patient?

15 A. Not always.

16 Some patients, if they're
17 self-pays, they don't want you to run up
18 their bill. So they'll tell you, well, I
19 don't want to get a blood test, or, I don't
20 want an x-ray, I can't afford it.

21 Q. If that was the case, Doctor,
22 would you chart the fact that you
23 recommended that the patient have that
24 certain diagnostic test and that the patient

1 refused?

2 A. Yes.

3 Q. That's elemental to cover
4 yourself, correct?

5 A. Yes. Yes.

6 Q. All right. Is there any
7 indication that it was suggested to Chris
8 Toon that he needed blood work in this case
9 and that he refused to have the blood work
10 done?

11 A. I don't see that.

12 Q. Once again, and I would assume
13 this answer would be similar to your answer
14 on blood tests, if you had -- excuse me.

15 I'm sorry.

16 A. That's all right.

17 Q. If you had a situation when you
18 were an urgent care physician in which you
19 determined that potentially the patient had
20 a condition that x-rays would help in
21 diagnosing, have you ordered x-rays for
22 urgent care patients?

23 A. Sure. Yes.

24 Q. And you can refer them to a

1 hospital emergency room to have it done,
2 correct?

3 A. Yes. Certainly.

4 Q. If you were -- well, strike that.
5 When you were at an urgent care
6 center, obviously you're working less hours
7 than an emergency room, correct?

8 Or did you work 24 hours at your
9 urgent care?

10 A. Do you mean my shift or how --

11 Q. Yeah, when you were working as an
12 urgent care physician.

13 A. I worked 12-hour shifts at the
14 urgent care. I worked 12-hour shifts at the
15 ER, or sometimes it's 10-hour shifts at the
16 ER. It just depends. They're all
17 different.

18 Q. I didn't phrase myself correctly.
19 Are most urgent care centers that
20 you worked at open 24 hours?

21 A. No, not at all. Usually, it's 12
22 hours.

23 Q. That's --

24 A. Okay.

1 Q. I was not saying this properly.
2 So an urgent care center has
3 limited hours that it's open, correct?
4 A. Correct.
5 Q. You've worked at those type of
6 urgent care centers?
7 A. Yes.
8 Q. If you felt that a patient needed
9 to be seen within a time period in which the
10 urgent care center where you were working
11 was not open, would you refer the patient to
12 the emergency room?
13 A. I've done that before. Sure.
14 Q. That's reasonable and prudent,
15 correct?
16 A. Sure.
17 Q. What was Chris Toon's blood
18 pressure when he came in to be evaluated by
19 Dr. Padival?
20 A. Can I look at the --
21 Q. Sure.
22 A. I mean, I don't remember that.
23 Q. Sure. Look at whatever you want.
24 A. 122 over 88.

1 Q. What was his respiration?

2 A. Well, I have -- it's cut off. It
3 looks like it's a two, and then I can't see
4 the other number on my -- so I'm assuming
5 it's 20 something, not two. Two is not
6 compatible with living.

7 Q. Not for long, at least.

8 A. Right.

9 MR. McGOVERN: Maybe if you were
10 Houdini.

11 BY MR. CONWAY:

12 Q. What about his heart rate?

13 A. Eighty-four.

14 Q. Was there any history taken from
15 Chris Toon regarding whether or not he had
16 experienced a weight loss?

17 A. I don't see that.

18 I do see his weight documented,
19 though.

20 Q. But that doesn't really help.

21 That wouldn't help you if you're
22 trying to determine whether or not the
23 patient has lost weight, correct?

24 You need to know what he started

1 with?

2 A. Correct.

3 Q. Was Chris Toon experiencing any
4 chills when he came in to be seen by
5 Dr. Padival?

6 A. I don't see where it's listed.

7 Q. What other conditions, other than
8 appendicitis, cause the type of abdominal
9 pain that Mr. Toon, a 21-year-old male, was
10 experiencing?

11 A. We're just going to limit this to
12 males, correct?

13 Q. Right. We're not going to include
14 females. Yes. Just to clarify, we're
15 dealing with a 21-year-old male.

16 A. Abdominal pain?

17 Q. Abdominal pain to the significance
18 that Chris Toon and his wife have indicated
19 they were experiencing -- or he was
20 experiencing.

21 MR. McGOVERN: So you're asking --

22 MR. CONWAY: Strike that. I want
23 to start over.

24 BY MR. CONWAY:

1 Q. Doctor, we're going to talk about
2 abdominal pain in a 21-year-old male such as
3 Chris Toon.

4 A. Okay.

5 Q. I would like to know what other
6 conditions, other than appendicitis, could
7 cause the type of pain that a 21-year-old
8 male such as Chris Toon was experiencing?

9 A. The most obvious and prevalent
10 would be gastroenteritis, especially the
11 time of year he was seen. He could
12 conceptually have a urinary tract infection,
13 which would be kind of rare in a male; a
14 kidney stone. He could have irritable
15 bowel syndrome. It could be initial onset
16 of Crohn's disease. He could have
17 perforated gastric or a peptic ulcer. It
18 would be rare to see in a male at that age,
19 but he could have gallstones with an
20 infection of the gallbladder. He could have
21 hepatitis of any variety, A, B, or C.

22 You want me to continue?

23 I mean, I could keep --

24 Q. How about appendicitis?

1 A. Well, sure.
2 I thought you said not to say that
3 one.
4 Q. No.
5 A. Sure. Obviously, that's in there.
6 Q. How about small bowel obstruction?
7 A. Certainly. That's usually in
8 older folks, though. It would be unusual in
9 a young person.
10 Q. Urinary tract infection can be
11 diagnosed through blood work, correct?
12 A. Urine.
13 Q. Okay. I'm sorry.
14 Urinalysis?
15 A. Sure, urinalysis.
16 Q. Have you ever, when you were an
17 urgent care physician, ordered a urine test
18 or urinalysis?
19 A. Lots of times.
20 Q. Kidney stones, how would you
21 diagnose that, doctor?
22 A. Urine, check for blood, and then
23 you could get a KUB or flat plate of the
24 kidneys.

1 Q. That's an x-ray?

2 A. Right.

3 But an IVP is a definitive test.
4 You have to send somebody to the hospital
5 for that. That's a test with dye that goes
6 through the kidney.

7 Q. How about how do you diagnose the
8 irritable bowel?

9 A. That's diagnosed as an exclusion.
10 You have to rule out everything else.

11 Q. How about Crohn's disease?

12 A. Again, you would probably need a
13 scope of the colon to check. I mean --

14 Q. An endoscope?

15 A. Correct.

16 Q. Colonoscopy?

17 A. Correct.

18 Q. What about a perforated ulcer?

19 A. Again, that would be an emergent
20 condition, certainly. You know, you get
21 blood work, probably get a flat abdominal
22 series of the abdomen to see if you could
23 see anything perforated.

24 Although, plates don't show much,

1 like people think.

2 A CAT scan would be best for that,
3 if you were in an ER setting.

4 Q. How about gallstones?

5 A. Ultrasound.

6 Q. Hepatitis?

7 A. Blood test.

8 Q. Small bowel obstruction?

9 A. Probably, you could get a flat
10 plate of the abdomen, just to see, because
11 you would see the gas building up on the
12 proximal side of the obstruction, but
13 probably a CAT scan would be the best
14 choice.

15 Q. Doctor, how is appendicitis
16 definitively diagnosed?

17 A. Well, with surgery, and the
18 appendix goes to the pathologist, if you
19 want definitively.

20 Q. If a doctor suspects that a
21 patient is suffering from appendicitis, what
22 does the standard of care require him to do
23 as far as diagnosing the patient?

24 MR. McGOVERN: You're asking if he

1 suspects, right?

2 MR. CONWAY: Yes.

3 THE WITNESS: So I'm thinking if
4 this patient really has appendicitis versus
5 anything else?

6 I'm narrowing it down?

7 BY MR. CONWAY:

8 Q. Yes.

9 A. I would get blood work. I might
10 get an abdominal x-ray, but I'm not a big
11 fan of those, per se. I would probably call
12 in a surgeon.

13 Q. That's called a surgical consult,
14 correct?

15 A. Correct.

16 Q. And why would you call in a
17 surgeon?

18 A. Well, you want the honest to God
19 truth?

20 Q. That's why I drove in this
21 blizzard down here to Columbus. So you tell
22 me what you think the honest truth is.

23 A. A lot of times, when I worked in
24 the ER, for medical-legal reasons, just to

1 bless the patient and send them on their
2 way, so to speak. I knew they didn't have
3 appendicitis, but to make sure.

4 Q. But sometimes it might be nice to
5 send them to a surgical consult if they do
6 have appendicitis, right?

7 A. Well, certainly. Of course.

8 Q. Why is a surgical consult an
9 appropriate referral if you suspect a
10 patient is suffering from appendicitis?

11 A. Because you want to get them
12 operated on as soon as possible so they
13 don't have a bad outcome.

14 Q. What is the surgeon going to be
15 able to do that an internist is not going to
16 be able to do as far as evaluating a patient
17 for possible appendicitis?

18 A. Well, he or she can do the
19 surgery, obviously.

20 But they select out those cases.
21 They see -- that's a routine case for them
22 to see, because surgeons are -- cases are
23 already selected out, pretty much, by the
24 time they get to the surgeon, so they're

1 used to seeing that presentation. They'd be
2 able to recognize it usually right away,
3 usually. I mean --

4 Q. And the surgeon -- I guess what
5 I'm getting at is the physician that you
6 want to have making the -- at the end of the
7 algorithm, so to speak, with regards to
8 appendicitis is a general surgeon, correct?

9 A. Assuming the patient has to go to
10 surgery, sure.

11 Q. All right. Are there ever cases
12 of appendicitis where the patient doesn't
13 have to go to surgery?

14 A. Yes. I've had several over the
15 years where I thought for sure they were
16 going to be operated, and they weren't.
17 They put them on IV antibiotics and sent
18 them home.

19 In my mind, I was thinking, my
20 gosh, you know, maybe they should have
21 operated on them, because they could flare
22 up at another point.

23 Q. But in those cases where there was
24 a decision, for whatever reason, not to

1 operate in a particular case, a general
2 surgeon had, in fact, seen?

3 A. Sure. Yes.

4 Q. So going back to my question, if
5 an internist suspects that a patient is
6 suffering from appendicitis, eventually the
7 internist wants to get that patient into the
8 position where the patient is seen and
9 evaluated by a surgeon, correct?

10 A. Sure. Of course.

11 Q. Then it's the general surgeon that
12 can decide whether or not surgery has to be
13 performed or whether or not you can treat
14 the patient more conservatively, correct?

15 A. Correct.

16 Q. When you were working at an urgent
17 care center, did you ever have the occasion
18 to refer a patient for a surgical consult to
19 a general surgeon?

20 A. On occasion.

21 Q. What type of cases would you refer
22 patients to a general surgeon for?

23 A. If I thought they had an aortic
24 aneurysm, appendicitis, kidney stones to a

1 urologist.

2 Are we just pertaining to males?

3 Q. Yes, 21-year-old young males with
4 abdominal pain.

5 A. Otherwise, we have a whole other
6 ballpark here.

7 Hernia, testicular torsion, can
8 cause abdominal pain.

9 Q. That's another -- I was wondering
10 why you didn't mention that.

11 Testicular torsion can cause
12 severe abdominal pain, correct?

13 A. Right. It means his testicles
14 were hurting. A patient would say that.

15 Q. Do we have any evidence that the
16 patient was asked whether or not his
17 testicles hurt in this case?

18 A. None that I see.

19 Q. With abdominal pain should --
20 strike that.

21 In an urgent care setting, or an
22 emergency care setting, does the standard of
23 care require a patient who's suffering
24 abdominal pain such as Mr. Toon was

1 suffering to have an examination of his
2 genital area?

3 A. I don't think so.

4 Q. Why not?

5 A. Because a patient will come in
6 complaining of that area.

7 Lots of times, guys don't want to
8 be examined down there, as a rule.

9 Q. A lot of times guys can be
10 embarrassed to mention that their testicles
11 hurt, correct?

12 A. I'll tell you, my observation is
13 when they really hurt, they tell you.
14 Actually, with that, with the genital area,
15 in general, when guys really have a problem,
16 they do come in, and they do tell you. They
17 want you to take a look, because they don't
18 want any problems down there.

19 But if they're in for an abdominal
20 complaint, they don't prefer that you check
21 that area out, usually, in my observation
22 over the years.

23 Q. Can testicular torsion at a
24 certain stage in the process present as

1 abdominal pain --

2 A. Sure.

3 Q. -- without testicular pain?

4 A. It probably could, but it would
5 probably be unlikely.

6 Q. Is it significant in evaluating a
7 patient for possible appendicitis that there
8 is severe pain in the right lower quadrant?

9 A. It definitely rules it in more if
10 they have right lower quadrant pain.

11 Q. How about if they have more pain
12 in the right lower quadrant than the other
13 quadrants?

14 A. I definitely would be more prone
15 to looking at appendicitis as a diagnosis.

16 Q. Have you ever diagnosed
17 appendicitis?

18 A. Yes.

19 Q. Approximately how many times?

20 A. Well, when I worked in the ER, I'd
21 say 20 times.

22 It's not as common as people
23 think. It's one case all laypeople know
24 about, appendicitis. The right lower, you

1 know -- people even know the side and all
2 that. But it's not as common as
3 gastroenteritis, which is you see thousands
4 of cases.

5 Q. Gastroenteritis isn't immediately
6 life-threatening, is it?

7 A. It could be.

8 Q. How?

9 A. If somebody was septic or is
10 dehydrated, they need to have IV fluids.
11 So, I mean, it could be. Anything could be.

12 Q. Doctor, based upon your experience
13 in emergency rooms and urgent care centers,
14 is appendicitis with the potential for
15 ruptured appendix more of a severe condition
16 than gastroenteritis?

17 A. I would say yes. Sure.

18 Q. And you're familiar with what a
19 differential diagnosis is?

20 A. Sure.

21 Q. Differential diagnosis is you want
22 to list in your mind or on paper what's the
23 various possibilities that a patient could
24 be suffering from, correct?

1 A. Yes.

2 Q. The different conditions he could
3 be suffering from?

4 A. (Nods head affirmatively.)

5 Q. Did you see any indication here
6 that Dr. Padival had constructed a
7 differential diagnosis in assessing
8 Mr. Toon?

9 A. I don't see that. No.

10 Q. Would you -- when you were an
11 urgent care physician, would you put a
12 differential diagnosis down, Doctor?

13 A. Almost never.

14 Q. Well, let's assume that you were
15 putting together a mental differential
16 diagnosis as opposed to writing something
17 down.

18 A. Okay.

19 Q. The conditions you put at the top
20 of that differential diagnosis are the
21 conditions that can be acutely
22 life-threatening, correct?

23 A. Sure.

24 Q. For instance, with regard to chest

1 pain --

2 A. Heart attack, myocardial
3 infarction.

4 Q. Right.

5 It's probably a lot more likely if
6 the person is a laborer or athletic that
7 he's suffering from some type of
8 musculoskeletal problem with a presentation
9 of chest pain, but the ramifications of
10 having an MI are so much more significant
11 you would put that at the top of your
12 differential diagnosis?

13 A. Sure, always.

14 Q. And even though a condition may be
15 much more common, you want to rule out that
16 more serious --

17 A. Correct.

18 Q. Okay. If Mr. Colon had had
19 surgery done and his appendicitis -- strike
20 that.

21 See, I need some coffee.

22 Let's start over.

23 MR. MCGOVERN: I thought that was
24 some new character, Mr. Colon.

1 MR. CONWAY: No.

2 BY MR. CONWAY:

3 Q. If Mr. Toon had had his appendix
4 surgically removed prior to its rupturing,
5 do you have an opinion as to how long he
6 would have been in the hospital?

7 A. It would have been a
8 noncomplicated or uncomplicated course,
9 three, four days, at the most.

10 Q. Could it have been one to two
11 days?

12 A. Sure.

13 Nowadays, they kick you out maybe
14 the same day, depending on his insurance.

15 Q. If Mr. Toon's surgery had been
16 done before the appendicitis ruptured, he
17 would not have suffered peritonitis or
18 sepsis, correct?

19 A. Sure, most likely.

20 Q. Most likely he would not have?

21 A. Would not have, correct.

22 Q. Did you come across any indication
23 in the medical records that Mr. Toon had
24 suffered an incisional hernia as a result of

1 the surgery?

2 A. Yes.

3 Q. Is an incisional hernia more
4 probable a complication with the type of
5 surgery he had to have done in this
6 particular case versus a routine
7 appendectomy?

8 A. Sure, because the incision is
9 larger.

10 Q. How much larger is the incision?

11 A. I don't know. It's hard to say,
12 depends on what the surgeon -- how -- I
13 mean, I don't know that it was documented
14 specifically in centimeters or inches.

15 An appendectomy scar is obviously
16 less.

17 Q. So you would agree that the
18 increased risk of -- or excuse me, that the
19 risk of sustaining an incisional hernia was
20 increased for Mr. Toon with the operation he
21 was required to undergo in this particular
22 case, correct?

23 MR. McGOVERN: Objection.

24 You can answer, if you know.

1 THE WITNESS: Can you rephrase
2 that again?

3 BY MR. CONWAY:

4 Q. Yeah. That was very poorly
5 phrased.

6 The fact that Mr. Toon had to
7 undergo the type of surgery he did in this
8 particular case because his appendix had
9 perforated increased the risk of sustaining
10 an incisional hernia as opposed to if he had
11 undergone the more simpler procedure to
12 remove the appendix before it ruptured,
13 correct?

14 MR. McGOVERN: Objection.

15 THE WITNESS: I would say that's
16 mostly correct.

17 BY MR. CONWAY:

18 Q. What's not correct about it?

19 A. Well, he's a cigarette smoker, so
20 he's going to have impaired wound healing.

21 There's evidence he's noncompliant
22 with follow-up care. At least he didn't
23 show up for two appointments.

24 Q. Would the noncompliance have

1 anything to do with the incisional hernia he
2 developed?

3 A. Sure. If the surgeon said, I
4 don't want you lifting anything over, say,
5 two pounds until I see you back in whatever
6 amount of weeks, and the guy was out messing
7 around with his truck or something, or
8 lifting whatever, like his child, that could
9 have provoked it.

10 Q. Do you have any opinion as to what
11 provoked the incisional hernia in this case?

12 A. I definitely think that it's hard
13 -- it's hard to say what exactly provoked
14 it. We don't know.

15 If he lifted anything, he's
16 probably not going to tell us that,
17 certainly at this stage in the game.

18 But I do know that patients who
19 smoke two packs of cigarettes a day have
20 definitely impaired wound healing and, as a
21 rule, tend to be more noncompliant. The
22 very fact that he smokes two packs a day
23 tells us what he thinks about his health.

24 Q. Or it could tell us that he's

1 addicted to nicotine?

2 A. Well, that's obvious if you're
3 smoking that much, but he's not a health
4 seeker.

5 Q. He's not?

6 How do you know that?

7 A. Because anyone who smokes two
8 packs of cigarettes a day is not seeking
9 health or they have little regard for
10 health. It's not on their important things.

11 Q. Do you have an opinion as to when
12 Mr. Toon's appendix ruptured?

13 A. Based on reading the record and
14 the deposition, he was well enough to go to
15 the court date he had, which is 100 miles
16 from his home, because he had a traffic
17 ticket, so he had his mother-in-law drive
18 him.

19 Usually, somebody with
20 appendicitis is really ill. They just --
21 they can't even sit up. They're toxic.

22 To go 100 miles, based on that, he
23 either ruptured that morning or shortly
24 thereafter on that trip.

1 Q. What morning did he rupture?

2 A. The Friday that he went to the
3 court trial, like, I don't know the date
4 offhand.

5 Q. Was that -- was that the 26th?

6 A. I don't know.

7 I know he was seen by Dr. Padival
8 on the 21st, so it would have been the
9 following Friday, so 26th sounds right for
10 Friday.

11 Q. What time on Friday do you believe
12 that the appendix probably ruptured?

13 A. Well, the judge said that he --
14 said something to the fact that he should go
15 to the hospital or go to the ER. The judge
16 noted something.

17 So this is only an opinion. Of
18 course, we don't know the truth. It's hard
19 to know exactly when it happened.

20 But perhaps the car trip, maybe
21 they hit a pothole. Maybe they hit a bump.
22 Maybe the car trip in and of itself, the
23 jostling around, was enough to rupture it at
24 that time. Obviously, we know he had

1 appendicitis and a perforated appendix, so
2 perhaps the car trip was enough to push him
3 over the edge.

4 Of course, we can't prove that.

5 But then subsequently, he did go
6 to the ER that day and was operated.

7 Q. Do you recall reviewing any
8 medical records or deposition transcripts
9 which indicated as to whether or not Chris
10 Toon had become jaundiced?

11 A. I read in his record that he said
12 that he did not notice that he was, but that
13 I think his mother-in-law made note of it
14 that Friday morning, and I believe his wife
15 may have noticed it.

16 I can't be certain, though.

17 Q. How would appendicitis cause a
18 person to become jaundice?

19 A. I've frankly never seen a case
20 that caused anyone to become jaundice,
21 unless they were really sick from
22 peritonitis, perhaps. Then you get maybe
23 liver failure and maybe kidney failure.

24 But as a rule, you don't get

1 jaundice from appendicitis.

2 If you had a gallbladder problem
3 that was infected, you could get jaundiced.

4 Q. Could you get jaundice from a
5 ruptured appendix?

6 A. I'm sure it's possible.

7 Q. Do you have any idea how long it
8 would take for a person to develop jaundice
9 after --

10 A. After they ruptured?

11 Q. Yes.

12 A. I would say within 12 to 24 hours.

13 Q. What causes jaundice?

14 A. It's when the liver starts failing
15 or is inflamed, could be infectious
16 etiology, like hepatitis, or it could be an
17 obstruction.

18 Q. Let's deal with the situation
19 where there's a ruptured appendix.

20 A. Probably, because of, quote,
21 unquote, blood poisoning or septicemia, the
22 liver could become affected.

23 We don't know if he had any
24 underlying condition, like hepatitis, that

1 was undiagnosed that could have caused this
2 to be aggravated, for instance. I don't
3 have any knowledge if the guy is a heavy
4 alcohol user or if he drinks at all.

5 Q. Would that be something that you
6 would have asked Mr. Toon as part of your
7 differential diagnosis if you were treating
8 him in an urgent care center?

9 A. Not necessarily.

10 MR. MCGOVERN: Objection.

11 THE WITNESS: If he came in
12 jaundice to the urgent care, I would.

13 But if he came in nonjaundice, I
14 wouldn't necessarily make an issue out of
15 that.

16 BY MR. CONWAY:

17 Q. And if you don't know the answer,
18 that's fine.

19 How long does it take, usually,
20 after the liver becomes impaired for
21 jaundice to occur in an individual?

22 A. If you want an exact textbook
23 answer, I can't give you that, because you
24 can always look up here, there, and

1 everywhere and find whatever suits you.

2 But I would say certainly 24 to 48
3 hours.

4 Q. What's diffusely tender mean?

5 A. Diffusely tender is the whole
6 abdomen is, in my opinion, minimally
7 irritated. You can't localize one spot that
8 provokes pain or tenderness.

9 Common with patients with
10 gastroenteritis, that's a real common --
11 they have nonlocalizing diffuse tenderness,
12 is how I always describe it. You can push
13 anywhere, and it doesn't cause any great
14 amount of pain, but anywhere is a little bit
15 sensitive, perhaps.

16 Q. So, Doctor, you believe that
17 Chris's appendix ruptured sometime the
18 morning of the 26th, right?

19 A. Possibly, or it could have been
20 Thursday.

21 Q. When Chris was evaluated by
22 Dr. Padival on February 21st, 1999, do you
23 believe that he had appendicitis at that
24 time?

1 A. Not based on the vital signs and
2 the medical records that I see.

3 Q. Do you have an opinion as to when
4 Mr. Toon first would have developed
5 appendicitis?

6 A. Reading his deposition, it seemed
7 like he was eating meat and potatoes after
8 he saw Dr. Padival, in the middle of the
9 week, say Tuesday, Wednesday, Thursday. We
10 could find it somewhere, if you wanted to
11 look.

12 So when I see that, I'm thinking
13 he's not that sick from the gastroenteritis
14 at this point if he's eating that kind of
15 heavy diet, quote, unquote.

16 Then he changes his diet again
17 later in the week, I think, to a lighter
18 diet, quote, unquote, like vegetable soup,
19 something of this nature.

20 So I would have to say maybe from
21 Thursday on, based upon his -- it would be
22 unlikely somebody would want to eat a heavy
23 meal if they had appendicitis, in my
24 opinion. Because if you're that sick, you

1 don't want to even see food.

2 Q. So it's your belief that from
3 sometime Thursday on he began suffering from
4 appendicitis?

5 A. Based on what I can tell.

6 Of course, I don't know.

7 Q. Can an appendix rupture that
8 quickly?

9 A. Oh --

10 Q. Let me put that question in
11 context.

12 You're stating that you believe
13 that the appendicitis maybe started
14 Thursday, and yet you're also saying that
15 the rupture may have occurred on possibly
16 Thursday.

17 Can an appendix that's inflamed,
18 appendicitis, rupture that quickly?

19 A. I believe it could if it was -- if
20 he was sick enough. Sure.

21 Q. What are the mechanics for an
22 appendix that has appendicitis to rupture?

23 A. If it gets inflamed and filled
24 with pus, gets red, it swells up, it

1 ruptures.

2 I mean, I'm not sure what you're
3 trying to --

4 Q. I'm just saying so it can happen
5 rather quickly, then?

6 A. I think it could. Sure. It
7 could.

8 Anything could or could not happen
9 in medicine. There's no -- medicine, it's
10 like a bell curve. A lot of things fall
11 within, but sometimes there's outliers on
12 either end.

13 Q. In this particular case, it's
14 obvious that you feel that the appendicitis
15 started on about Thursday.

16 Then, within a very short period
17 of time, possibly even that same day, the
18 appendix ruptured?

19 A. Or Friday.

20 Q. Okay. About Thursday or Friday
21 it ruptured?

22 A. Approximately. It's hard to say.

23 Q. Doctor, should Dr. Padival have
24 given written discharge instructions under

1 the standard of care?

2 A. If you look at the gold standard
3 of care, most emergency rooms that are joint
4 commissioned with the hospital do do that,
5 or even typewritten.

6 A free-standing urgent care, the
7 minimal standard would be to give verbal
8 instructions.

9 Q. You don't believe that an urgent
10 care physician has the duty under the
11 standard of care to give written discharge
12 instructions?

13 MR. McGOVERN: Objection.

14 MR. CONWAY: You can answer.

15 THE WITNESS: Okay.

16 MR. McGOVERN: Asked and answered.

17 THE WITNESS: I think if you have
18 a -- gastroenteritis would be a mild or
19 minor illness. If you gave verbal
20 instructions, it would probably be adequate.

21 I've done that before to patients.
22 I do it here all the time. I give them
23 verbal instructions.

24 I've worked in a -- the emergency

1 room, there's more of a gold standard,
2 because hospitals have to be joint
3 commissioned. They have to have certain
4 criteria to be standardized. So it's common
5 to give written instructions.

6 BY MR. CONWAY:

7 Q. What do you mean, joint
8 commissioned?

9 A. To be accredited, the emergency
10 department would have to be accredited, the
11 whole hospital would be accredited to be in
12 business.

13 Q. There's a governmental body that
14 issues different --

15 A. Correct.

16 Q. -- procedures and protocols that
17 the hospital must follow to receive
18 accreditation, correct?

19 A. Correct, like to receive Medicare
20 payments and things like that.

21 Q. And those different guidelines
22 which are issued by the joint commission are
23 medically prudent and reasonable guidelines,
24 have you found?

1 MR. McGOVERN: Objection.

2 THE WITNESS: Yes. I mean, I
3 have.

4 BY MR. CONWAY:

5 Q. Let's go to your report, Doctor.
6 That's Exhibit A.

7 By the way, if you -- strike that.
8 You've read Chris Toon and Billie
9 Jean Toon's deposition transcripts, correct?

10 A. Mm-hmm.

11 Q. Specifically Billie Jean Toon's
12 deposition transcript indicates that the
13 only place that Dr. Padival found pain on
14 palpation was the right lower quadrant,
15 would you agree, according to Billie Jean
16 Toon?

17 A. According to her.

18 Can I --

19 Q. Sure.

20 A. I don't know how she could know
21 what he was feeling though, the physician.
22 I mean, her hands weren't on the abdomen.
23 It was his.

24 Q. But she was watching where he was

1 palpating, correct, according to her
2 testimony?

3 A. According to her testimony.

4 Q. If you believe her testimony, and
5 I want you to assume that what she testified
6 to is accurate, that the only place that
7 Chris was feeling pain was the right lower
8 quadrant --

9 A. If I --

10 Q. -- if you believed that --

11 MR. McGOVERN: You're asking him
12 to assume if the only place Chris was
13 experiencing pain was in his right lower
14 quadrant?

15 You're asking him to assume that
16 that is the case?

17 MR. CONWAY: Yes. Yes.

18 BY MR. CONWAY:

19 Q. Would that be a strong indication
20 that Chris Toon was suffering from
21 appendicitis?

22 MR. McGOVERN: Objection.

23 THE WITNESS: I can answer this on
24 many different -- I don't believe her

1 testimony after reviewing it.

2 BY MR. CONWAY:

3 Q. You don't believe her testimony?

4 A. No. I don't.

5 Q. Why not?

6 A. It's hard for me to believe her
7 testimony when I see that she may have been
8 having an affair behind his back; and they
9 recently separated and are still married;
10 and has a girlfriend, he, and she has a
11 boyfriend. Her testimony to me is not
12 credible.

13 Q. All right. What about Chris
14 Toon's testimony?

15 Do you find his testimony to be
16 credible, Doctor?

17 A. I probably find his testimony more
18 credible than hers.

19 MR. McGOVERN: I'm going to
20 object, object to that question.

21 BY MR. CONWAY:

22 Q. Is there any particular part of
23 Chris Toon's testimony that you specifically
24 find not credible?

1 MR. McGOVERN: Objection.

2 THE WITNESS: Not credible?

3 BY MR. CONWAY:

4 Q. Yes.

5 A. Just regarding the physical exam,
6 he said that the doctor listened to his
7 heart and lungs and all that in the body of
8 the deposition. So he's telling us that the
9 doctor did do that much, and then the
10 abdominal exam, obviously, for I believe he
11 said a minute or more, palpated the abdomen.
12 That's a long time to palpate an abdomen.
13 So the patient is telling us the doctor did
14 give him a good exam.

15 So there's discrepancy between the
16 patient's perception of reality and the
17 wife's perception of reality.

18 Q. I'm just asking if you can point
19 to a specific part of Chris Toon's
20 deposition that you find unbelievable?

21 MR. McGOVERN: Objection.

22 THE WITNESS: It would be hard --
23 I'd have to review it again and go over it.
24 You know, it's a long deposition.

1 BY MR. CONWAY:

2 Q. But you think he's more credible
3 than his wife?

4 MR. McGOVERN: Objection.

5 THE WITNESS: I think that when --
6 why would he tell us that the doctor did a
7 complete exam, and then she would tell us
8 the doctor did not do a complete exam?

9 I find that curious. That's all.

10 BY MR. CONWAY:

11 Q. All right.

12 A. It would not be in his vested
13 interest to make the doctor look like he did
14 a better exam, I guess.

15 Q. All right. Well, I don't want to
16 beat a dead horse, but I'm trying to find
17 out.

18 We got three different versions of
19 what occurred here, am I correct?

20 We got Dr. Padival version,
21 correct?

22 A. Right.

23 Q. You've got Billie Jean Toon's
24 version, correct?

1 A. Right.

2 Q. And you got Chris Toon's version,
3 correct?

4 A. Correct.

5 Q. And I guess my question is,
6 without pinning you down or going back to
7 specifics, did you find Christopher Toon's
8 version of what occurred to be more credible
9 than Billie Jean Toon's version?

10 MR. McGOVERN: Objection.

11 THE WITNESS: Do I answer?

12 MR. CONWAY: You may answer.

13 MR. McGOVERN: Yes.

14 THE WITNESS: I guess inasmuch as
15 he's the patient, and he knows how he feels,
16 I believe him more than her, who's not with
17 him 24 hours a day, if we look at it from
18 that point of view.

19 BY MR. CONWAY:

20 Q. Let's go through your report.

21 MR. McGOVERN: You know, I'm going
22 to have to take just a couple minute break
23 to use the rest room.

24 MR. CONWAY: Sure. That's fine.

1 (A short break in proceeding
2 occurs.)

3 BY MR. CONWAY:

4 Q. We're going through your report
5 real quick, Doctor.

6 MR. MCGOVERN: You're looking at
7 his report; is that right?

8 MR. CONWAY: Yes.

9 BY MR. CONWAY:

10 Q. On the third line, you indicate
11 that, quote, with a two-day history of loose
12 bowel movements, not able to eat or drink --

13 A. Uh-huh.

14 Q. -- so forth, so on.

15 As we sit here today, is it your
16 understanding that that was a two-day or
17 three-day history?

18 A. Two-day history.

19 Q. Based on Dr. Padival's chart?

20 A. Right. I mean, that's what I
21 wrote.

22 Q. Were you wrong when you wrote
23 that, Doctor?

24 A. I don't believe so. I mean, I

1 wrote -- I wouldn't put it down in the
2 report if I didn't think it was correct.

3 Q. What are you basing the two-day
4 figure on, then?

5 A. Well, I didn't interview the
6 patient. It would have to be in the medical
7 record, obviously. That's all I have to go
8 on.

9 Q. You indicate, then, going through,
10 no current meds, no past medical history, no
11 past surgical history.

12 On what do you base your statement
13 that this patient had no past medical
14 history?

15 A. A lot of times, we just put down
16 pertinent positives. So if it's -- I know
17 we put down pertinent positives. So if he
18 had a past medical history that is negative,
19 no past surgical history -- and I believe he
20 was supposed to have filled out some sort of
21 patient history form.

22 Am I right, or is that not --

23 MR. McGOVERN: Doctor, you have to
24 go on what is before you.

1 THE WITNESS: Right.

2 MR. McGOVERN: Okay. Use the
3 records that are before you. That's what I
4 sent you.

5 BY MR. CONWAY:

6 Q. I'm just interested in finding out
7 how you came to the conclusion that Chris
8 Toon had no past medical history or no past
9 surgical history, what you based that on.

10 MR. McGOVERN: I think he answered
11 that.

12 THE WITNESS: We would put down
13 pertinent positives.

14 For instance, if he had past
15 surgical history of a heart valve
16 replacement, he would have probably
17 mentioned that to the doctor.

18 BY MR. CONWAY:

19 Q. Are you basing this on your review
20 of Dr. Padival's chart?

21 A. Correct.

22 Q. Lomotil and Tylenol?

23 A. Uh-huh.

24 Q. What was your understanding as to

1 why those two medications were prescribed
2 for Mr. Toon?

3 A. Tylenol for the fever, Lomotil to
4 slow down the diarrhea.

5 Q. Then it goes, based upon your
6 representation to me.

7 Who's the "your" that you're
8 referring to?

9 A. I believe I had discussed this
10 with Mr. McGovern, because I had -- I did
11 not have the deposition in front of me at
12 that point in time. That's why that's
13 worded as such.

14 Q. What specific representation did
15 Mr. McGovern make to you?

16 A. That Dr. Padival had verbally told
17 the patient to return in one to two days'
18 time to the urgent care, if it was open, or
19 the ER, if the urgent care was not open.

20 Q. Anything else you remember
21 Mr. McGovern representing to you?

22 A. I believe it was fairly
23 straightforward.

24 MR. MCGOVERN: I think you need to

1 ask him specifics, if you want it to be
2 particular, very particular, whatever I
3 represented to him regarding --

4 BY MR. CONWAY:

5 Q. Well, you put together this
6 report, Doctor, right?

7 A. Mm-hmm.

8 Q. You based your report on various
9 things, including your review of the medical
10 records, correct?

11 A. That's correct.

12 Q. And I assume, from reading this
13 particular sentence, there was -- there were
14 things that were told to you by Mr. McGovern
15 which you took into consideration in
16 rendering your expert witness report,
17 correct?

18 A. Correct.

19 Q. And I'm just wondering what the
20 specific things he told you were that you
21 incorporated into your analysis. That's
22 all.

23 A. It's hard to remember some months
24 later.

1 Q. Was it -- were these oral
2 representations over the phone; or was it
3 written, something written, he sent you?

4 A. It would be oral, because the
5 written I had.

6 But I did not have the depositions
7 at this point when this was made from the --
8 for several people.

9 Q. You've got, then, in your final
10 paragraph, several facts point to the
11 patient's own negligence for the outcome of
12 his health. You say, patient waited five
13 days before reporting to the emergency
14 room.

15 How was the -- how was Chris Toon
16 negligent?

17 A. Can I back up a second --

18 Q. Sure.

19 A. -- regarding the past medical and
20 past surgical history?

21 Q. Sure.

22 A. I think I got that from the
23 hospital record or reviewed it from the ER
24 physician or the surgeon. Okay. There was

1 a -- I believe that's where I got it, not
2 necessarily Mr. McGovern telling me.

3 Q. The emergency room physicians, in
4 fact, when Mr. Toon presented to them on
5 February 26th, asked him what his medical
6 history was, correct?

7 A. Family history of asthma, as I
8 recall.

9 Q. But they asked him his medical
10 history, correct?

11 A. Right.

12 Q. And they documented that history,
13 correct?

14 A. I'm assuming. I don't have -- we
15 can look it up. I don't -- it's not right
16 --

17 Q. And they asked his surgical
18 history, as well, correct?

19 A. I would believe so.

20 Q. Would that be the standard of care
21 for an emergency room physician to do?

22 MR. MCGOVERN: Objection.

23 THE WITNESS: Sure.

24 BY MR. CONWAY:

1 Q. Okay. Let's go now to the third
2 paragraph. Patient waited five days before
3 reporting to the emergency room.

4 How was the patient negligent in
5 doing that?

6 A. I think any -- I think any
7 reasonable person, when they're that ill,
8 and they're not getting better, and they're
9 told verbally to follow up, and they choose
10 not to, it goes back to showing a disregard
11 for health in general.

12 This gentleman smokes two packs of
13 cigarettes a day. I'm sure he's been told
14 at some point in this life by some
15 physician, although we can't prove this, to
16 not do that. It's obviously not
17 advantageous. No one would disagree with
18 that.

19 In his wife's testimony, or her
20 deposition, there's an area where she says
21 that it's hard to get him to go to the
22 doctor, or he doesn't ever go, or something
23 of that nature, which we could find if you
24 wanted to glean through it all, that I

1 remember reading it. So right there we have
2 her having a statement regarding his
3 compliance.

4 I mean, I think everyone's
5 responsible for their own health care,
6 really. It's not -- the physician is a
7 consultant. He or she can make
8 recommendations. If you choose not to
9 follow them, and you have a bad outcome,
10 it's not always the physician's fault.
11 That's part of what's going in this country
12 right now.

13 Q. When should Chris Toon have
14 returned to the emergency room or to
15 Dr. Padival, in your opinion, Doctor?

16 A. I would say within two days, would
17 be a reasonable thing. He should have at
18 least called, perhaps, or had his wife call,
19 say, hey, I'm not better; do you have any
20 recommendations?

21 Certainly, to wait until five days
22 out until he had a ruptured appendix puts
23 his life in jeopardy with a ruptured
24 appendix.

1 He appears to me to be the kind of
2 person that he'll drive to his court date
3 100 miles. And we're not talking about a
4 five-minute drive, but 100-mile drive on a
5 bumpy road. We're going to assume wherever
6 he's going there's at least one pothole. It
7 could have caused him to rupture or
8 certainly aggravated his situation.

9 But if he was that sick, why
10 didn't he call the doctor?

11 The fact that he doesn't have a
12 family doctor, he doesn't have a personal
13 physician, that's why they go to urgent
14 care, he doesn't believe in health care. He
15 doesn't have -- he didn't seek out a family
16 doctor for himself.

17 Q. Do you know -- do you have any
18 idea what his reasoning could have been in
19 not having a family doctor?

20 A. I don't know. I mean, I'm not
21 him. I can't say.

22 I can make the assumption that
23 health care is not a priority for him.

24 Q. All right. Then you say, any

1 reasonable person would have followed
2 Dr. Padival's verbal instructions to return
3 for follow-up sooner, either to the urgent
4 care or to the emergency room, at which
5 point the patient would have had a
6 noncomplicated appendectomy?

7 A. Assuming he had come in before it
8 ruptured.

9 Q. And I guess that's what I'm asking
10 you: What is your opinion as to what date
11 he should have come in for follow-up medical
12 care in order to avoid having his appendix
13 rupture?

14 A. He was seen on Sunday, correct?

15 Probably Tuesday, Wednesday, which
16 would have definitely been well before
17 Friday.

18 Q. And do you have an opinion as to
19 what would have -- what his condition would
20 have been on Wednesday upon presentation to
21 a physician?

22 A. It's hard to say.

23 But I can remember reading in his
24 deposition it sounded like he was eating in

1 the midweek, meat and potatoes at some
2 point. Then, he shifted later in the week
3 to vegetable soup or less hardy meals.

4 Appendicitis is an evolving
5 diagnosis. Had he presented one, two, three
6 days later, even to Dr. Padival, maybe he
7 would have had the right lower quadrant
8 tenderness localized, and he would have sent
9 him right over.

10 Q. If you believe Billie Jean Toon's
11 deposition transcript, should Chris Toon
12 have been referred to a surgeon on February
13 21st?

14 A. I don't believe her deposition.

15 Q. It's a hypothetical. Okay.

16 If you believe Billie Jean Toon's
17 testimony in her deposition --

18 A. Right.

19 Q. -- should Dr. Padival have sent
20 Mr. Toon either to the emergency room or
21 gotten him a surgical consult on February
22 21st?

23 MR. McGOVERN: Objection.

24 But you can answer.

1 He's just simply asking you, if
2 you assume that her testimony is correct and
3 accurate, and Dr. Padival perceived exactly
4 what Mrs. Toon was perceiving -- is that
5 what you're asking him?

6 MR. CONWAY: Yes.

7 BY MR. CONWAY:

8 Q. I thought my question -- it's not
9 a trick question.

10 You're looking over at him.

11 Believe me, it's a hypothetical.

12 Okay. I'm asking you, if doctor
13 -- excuse me. Strike that.

14 If you believe the accuracy of
15 Billie Jean Toon's deposition testimony
16 regarding Chris's visit to Dr. Padival on
17 February 21st, would you agree that
18 Dr. Padival should have referred Chris Toon
19 to an emergency room or referred him for a
20 surgical consult?

21 A. No. She's a layperson. She's a
22 nursing --

23 Q. We'll try it one more time.

24 A. She's a nursing aide or something

1 of this nature. She's not a physician.

2 Q. All right. We'll try one more
3 time.

4 A. Okay.

5 Q. All right.

6 MR. McGOVERN: Why don't you just
7 ask the question in the sense of, if
8 Dr. Padival noticed extreme pain by Mr. Toon
9 --

10 MR. CONWAY: Well, I guess we'll
11 have to do it that way.

12 I thought it was self-evident
13 anyway.

14 BY MR. CONWAY:

15 Q. You're aware of what Billie Jean
16 Toon testified to regarding her observations
17 of Chris's physical examination, correct?

18 A. Correct.

19 Q. I want you to assume that what she
20 observed was accurate, and, furthermore,
21 that Dr. Padival observed the same thing
22 during his examination that she testified to
23 observing herself, okay?

24 A. Okay, if that's possible.

1 Q. All right.

2 A. Then, yes.

3 Q. All right. So let's go, now,
4 since that is so convoluted, the question
5 and answer, if Dr. Padival had while --
6 strike that.

7 If Chris Toon, during his
8 examination by Dr. Padival, had exhibited
9 severe lower right quadrant pain, such as
10 Billie Jean Toon has described in her
11 testimony --

12 A. Okay.

13 Q. -- would you agree that the
14 standard of care would have required
15 Dr. Padival to refer Chris Toon to either an
16 emergency room or to a general surgeon for a
17 surgical consult?

18 A. Sure. Yes.

19 Q. Patient's laboratory on 2-27-99
20 showed a glucose of 237.

21 Can that be consistent with
22 sepsis?

23 A. If you're diabetic.

24 Q. Do you have any reason to believe

1 that Chris Toon is diabetic?

2 A. I don't know. I mean, a blood
3 sugar that high could indicate diabetes.

4 Perhaps he's not a forthcoming
5 historian. Maybe he had undiagnosed
6 diabetes, which would have masked the
7 abdominal pain.

8 Q. Do you have any evidence that
9 Chris Toon was ever diagnosed with diabetes?

10 A. No, not except for a blood sugar
11 of 237.

12 Q. At Mansfield Central Hospital --
13 or Mansfield Med Central Hospital where
14 Chris Toon was admitted for surgical
15 treatment of his ruptured appendix, was
16 there any diagnosis given by any physician
17 that he was suffering from diabetes?

18 A. None.

19 Q. Is there any evidence you have,
20 and if there is let me know, that he's
21 somehow misrepresenting his medical history
22 to any doctor?

23 A. None at all.

24 Q. You say, the most glaring example

1 of this patient's noncompliance is after
2 going through this ordeal he did not show up
3 for two follow-up appointments on March
4 17th, 1999 and April 15th, 1999?

5 A. Correct.

6 Q. And I believe you're referring to
7 Dr. Golbus's chart showing that he did not
8 show up on March 17th; is that correct?

9 A. As I recall, he was a no-show for
10 the appointment.

11 Q. Do you have those medical records?

12 A. Somewhere here. I just saw it
13 again yesterday.

14 3-17-99, patient was a no-show,
15 called, left message to reschedule. I mean,
16 he didn't even call to reschedule. He just
17 didn't show up. I mean, that shows --

18 Q. What does the entry under that
19 line, written presumably by the same nurse,
20 say?

21 A. Patient scheduled to see Doctor,
22 looks like, Fahmy today, 3-17-99.

23 Q. Who's Dr. Faime, do you know,
24 Doctor?

1 A. I believe the infectious disease
2 -- I don't know.

3 I know Dr. Golbus was the surgeon.

4 Q. So it appears from at least this
5 note that you're citing to that Mr. Toon had
6 another appointment on the same day to see
7 another doctor; is that correct?

8 A. Well, I'm assuming his appointment
9 is not for the whole eight-hour day.

10 Q. I'm just asking, it appears that
11 there's another doctor that he was supposed
12 to see on that date; is that correct?

13 A. It does say that, doesn't say if
14 this Dr. Golbus's office scheduled it or
15 what.

16 Q. Also, on that 3-17-99 entry,
17 there's handwriting by another individual
18 underneath that, as well, correct?

19 Can you read what that note says?

20 A. Looks like, complained of
21 discomfort from retention sutures, eating
22 well, denies fever-chills, abdomen soft,
23 wound granulation with no evidence of
24 infection.

1 Q. What does it say below that?

2 A. Retention sutures, clips, drain
3 removed. Return to center in one week for
4 wound check.

5 But there's no date on that note.

6 Q. It says return -- what does it
7 say, return to what?

8 A. Center.

9 That's a common medical lingo.

10 Q. In one week for wound check?

11 A. Correct.

12 Q. So they would want him to return
13 -- whenever this note was written, they'd
14 want him to return in one week to have that
15 wound checked, correct?

16 A. Correct.

17 Q. All right. And going to March
18 24th, 1999, what does the note for March
19 24th, 1999 show, Doctor?

20 A. Well, it's kind of hard to read
21 it, to be honest with you.

22 Q. Does the first two words say,
23 doing well?

24 A. Could be.

1 Q. Without problem?

2 A. I guess you could interpret it --
3 I mean, I'm not being facetious. I'm just
4 saying it's hard to read.

5 Q. I'm not the doctor.

6 A. Right.

7 Q. And underneath there it says -- I
8 don't know.

9 Healing very well, period; does
10 that look like what that is?

11 A. I guess it could be. I mean --

12 Q. Then it says, return to center in
13 two weeks, recommendation, correct?

14 A. Yes.

15 Q. And it appears that two weeks
16 later, he comes back in there on 4-7-99,
17 correct?

18 A. Yes.

19 Q. Doctor, you then cite to April
20 15th of 1999 for him missing an office
21 visit.

22 Are you referring to Dr. Vaidya's
23 chart?

24 A. I don't know, to be honest. I

1 mean, I have to look at the --

2 Q. Well, it says 4-15-99, in
3 Dr. Vaidya's chart, patient didn't come to
4 the 11:00 o'clock appointment?

5 A. That must be it, then.

6 Q. Do you know what Chris Toon was
7 doing on April 15th, 1999?

8 A. I don't know.

9 Q. Do you know what his occupation
10 was, Doctor?

11 A. He's a truck driver.

12 Q. Do you know if he was treating
13 with any other physician other than the
14 physicians which you have medical records
15 for?

16 A. I'm not -- I don't know. I
17 couldn't honestly --

18 Q. All right. Doctor, are all your
19 opinions -- do you have anything -- strike
20 that.

21 Do you have any opinions you want
22 to add to your expert witness report of
23 December 2nd, 2002?

24 A. Not at this time.

1 Q. Now, off the record your attorney
2 had indicated that you at one point had
3 written a report, sent a handwritten draft
4 to him?

5 A. Correct.

6 Q. He then had his secretary put it
7 into writing --

8 A. Correct.

9 Q. -- and sent it back to you?

10 A. Correct.

11 Q. Did he send back your handwritten
12 draft?

13 A. No.

14 Q. Did you ask for your handwritten
15 draft back?

16 A. No.

17 I read this report, and I had the
18 medical record, and I reconciled it, and I
19 thought it was reasonable.

20 Q. Okay. Did you keep a copy of that
21 handwritten report that you sent to him?

22 A. No. I didn't.

23 I'm assuming he must have it.

24 Q. Why didn't you just give a

1 dictated one to --

2 A. Because I don't dictate my notes.
3 I handwrite them.

4 MR. CONWAY: We can just mark
5 this?

6 - - - - -

7 Thereupon, Deposition
8 Exhibit B was marked for
9 purposes of identification.

10 - - - - -

11 BY MR. CONWAY:

12 Q. Doctor, is this your current CV?

13 A. Yes.

14 Q. Are you board-certified in any
15 area of medicine?

16 A. Family medicine.

17 Q. Any board certification in
18 emergency medicine?

19 A. No, sir.

20 Q. Have you attempted to get a board
21 certification in emergency medicine?

22 A. Never wanted one.

23 Q. Okay. All right. So I take it
24 you have not attempted to get a board

1 certification in emergency medicine?

2 A. No.

3 Q. Have you published any type of
4 articles on any area of medicine?

5 A. I may have published one when I
6 was a resident, but it was so long ago I
7 don't even have a copy of it, but no. I'm
8 not a published -- it's not my interest.

9 Q. Do you teach at all?

10 A. No.

11 Q. Doctor, do you have a board
12 certification in family medicine?

13 A. Yes, sir.

14 Q. Are you a member of an
15 organization that -- strike that.

16 Are you a member of any type of
17 medical organization?

18 A. I'm a diplomat of the American
19 Board of Family Practice.

20 Q. Do they publish any type of
21 journal?

22 A. Oh, yes, every month.

23 Q. What's the name of that journal?

24 A. I believe it's the American Family

1 Physician.

2 Q. That sounds right.

3 A. I get so many magazines. It's
4 hard to keep the names straight sometimes.

5 Q. Have you ever published in that?

6 A. No, sir.

7 Q. Have you ever edited that?

8 A. No.

9 Q. Do you read it?

10 A. Oh, yes. Sure.

11 Q. Do you find it to be reliable?

12 A. It take everything I read with a
13 grain of salt. Nobody's 100 percent correct
14 and perfect.

15 Q. Are there any emergency medicine
16 textbooks that you own?

17 A. I have some at my house.

18 I don't work in the ER currently.

19 Q. When you did work in the ER, were
20 there any?

21 A. I would use Harrison's Internal
22 Medicine. I would use Washington Manual a
23 lot, Harriet Lane Pediatrics.

24 Q. How about Barkin and Rosen?

1 A. I may have had that to refer to in
2 the ER, but I haven't worked in the ER for
3 --

4 Q. Do you find Harrison's to be a
5 reliable textbook?

6 MR. McGOVERN: Objection.

7 THE WITNESS: Again, I take it
8 with a grain of salt.

9 Yeah. It's one of the gold
10 standards, certainly.

11 BY MR. CONWAY:

12 Q. All right. How many expert
13 medical reviews have you performed?

14 A. This is the first one.

15 Q. Do you know how you were contacted
16 to perform this one?

17 A. I believe I was working at the
18 urgent care, and I was the medical director
19 there, and the guy that was over me,
20 Dr. Zeeb, asked me if I'd be interested in
21 doing this, and they referred me to
22 Mr. McGovern, or we somehow got in contact
23 with each other.

24 Q. What urgent care facility were you

1 working at?

2 A. It was on the West Side. It was
3 Health South at the time, and it's gotten
4 bought by somebody else. I think it's US
5 Health Works. I'm not sure.

6 Q. Health South?

7 A. Correct, the big conglomerate in
8 the South, mostly.

9 Q. Where was your actual urgent care
10 facility?

11 A. On Phillipi Road on the West Side.
12 I'm not sure the exact number.

13 Q. What were your hours there?

14 A. Oh, I'd work --

15 Q. No. I'm sorry.

16 What was the urgent care hours?

17 A. 8:00 a.m. to 8:00 p.m.

18 Q. Were you open on Sundays?

19 A. Yes, initially, and then, I think,
20 subsequent, I think, they deleted that. But
21 when I was there, we were.

22 Q. What was the doctor that referred
23 you to Mr. McGovern?

24 A. I think his name was Dr. Zeeb,

1 Z-E-E-B.

2 Q. Z-E-E --

3 A. B.

4 Q. -- B?

5 What type of doctor was he?

6 A. Family practice, but he's worked
7 in the ER a lot and urgent care.

8 Q. Do you have admitting privileges
9 at any hospitals?

10 A. I'm on staff at St. Ann's,
11 Riverside, and Doctors.

12 I don't admit, though. I choose
13 not to do that.

14 Q. Why not?

15 A. Just don't have any interest in
16 it.

17 Q. Have you ever had your license
18 suspended or disciplined?

19 A. Never.

20 Q. Ever received any kind of
21 disciplinary action from a hospital?

22 A. Never.

23 Q. You ever been sued for medical
24 malpractice?

1 A. Well, I've had 180-day letters
2 twice, but I've never been to trial or even
3 a deposition for them.

4 Q. Was there ever a lawsuit filed
5 against you, other than the 180-day letters?

6 A. No.

7 You mean, like, a medical
8 malpractice lawsuit?

9 Q. Yeah.

10 A. No.

11 Q. Well, you turned the 180-day
12 letters over to your insurance carrier,
13 right?

14 A. Right.

15 Q. Were you ever contacted by a
16 defense attorney to help you out?

17 A. No, never talked about it further
18 than the letter.

19 Q. Who's your insurance carrier?

20 A. You asked me -- it's G.E., General
21 Electric.

22 Q. Medical Mutual?

23 A. It was something like that. They
24 took the policy.

1 Q. I'm sorry.

2 Med Pro?

3 A. Something like that. Yes. I'm
4 sorry. I don't know exactly.

5 It changed, because they bought
6 out the other one I had, and like that.

7 Q. Have you ever testified before?

8 A. No, sir.

9 Well, let me correct that.

10 Q. Please do.

11 A. When I worked in the ER one time,
12 there was a patient that came in for spouse
13 abuse. I testified on her behalf as the ER
14 physician of record, but it wasn't a
15 malpractice. It was, you know, a criminal
16 trial.

17 Q. Did you have an opportunity to
18 review the deposition --

19 MR. CONWAY: Have we gotten the
20 deposition transcript of Dr. Weihl?

21 MR. MCGOVERN: Yeah.

22 MR. CONWAY: We have?

23 MR. MCGOVERN: Yes.

24 BY MR. CONWAY:

1 Q. Have you had an opportunity to
2 review the deposition transcript of
3 Dr. Weihl?

4 A. I looked at that.

5 Q. That was provided to you?

6 A. Mm-hmm.

7 Q. I don't see that that's in your
8 stack of --

9 MR. McGOVERN: And, Tom, I
10 apologize.

11 When I said he reviewed all three
12 of the depositions, I'm pretty sure I said
13 that, there would be four, not three.

14 THE WITNESS: I'm positive I
15 remember reading his. I think it was
16 attached to the back of it.

17 There it is.

18 BY MR. CONWAY:

19 Q. Can I see that just for a second?

20 You have a few things underlined
21 by Dr. Weihl?

22 A. Mm-hmm.

23 Q. Basically, on page 61 and page 64,
24 why do you have those items underlined,

1 Doctor?

2 A. Well --

3 Q. What page are you referring to,
4 first?

5 A. Looks like 64, line nine, I'm
6 assuming this is Dr. Weihl giving the answer
7 that patients can have an appendix rupture,
8 and this happened to my boss. This was
9 diagnosed months later. The quality of
10 ruptured appendix range from catastrophic
11 rapid deterioration with sepsis onset within
12 hours to gradual deterioration with
13 peritonitis and sepsis over days.

14 Q. Do you agree with that statement?

15 A. Mm-hmm.

16 Q. You do?

17 A. Mm-hmm.

18 Q. You got to answer yes or no.

19 A. I'm sorry.

20 Yes.

21 Q. Yes.

22 All right. Anything else you
23 underlined?

24 A. Under page 61, line 14, again, I'm

1 assuming this is Dr. Weihl answering it, at
2 a certain stage of the clinical presentation
3 of appendicitis the diagnosis may not be
4 immediately made, especially if one is not
5 utilizing abdominal imaging and CAT scans,
6 which, as I said, in 1999 was done to some
7 extent, but certainly not to the extent it
8 is done now.

9 Q. Okay. And do you agree with
10 that?

11 I'm just wondering why you
12 underlined those two different parts.

13 A. I believe he was saying that it's
14 not always easy to diagnose this, is what
15 the two things were showing me that his
16 opinion was.

17 Q. Do you agree that the standard of
18 care requires a physician to instruct a
19 patient to return within 12 hours to a
20 doctor for a serial evaluation of his
21 abdominal complaints?

22 A. That -- it depends on what you're
23 thinking they have.

24 If it's gastroenteritis, it could

1 be two, three days.

2 Q. How about if -- let's go to his
3 report, because you have Dr. Weihl's report,
4 Weihl's report, somewhere here.

5 Here it is. This will be easier.

6 All right. And you have
7 something underlined in his report, too, on
8 the second page, right?

9 A. I just underlined, allows the
10 diagnosis which may not be immediately
11 apparent on first presentation. That's all
12 I underlined.

13 Q. All right. And the language
14 preceding that was, any patient presenting
15 with signs and symptoms such as manifested
16 by Mr. Toon on February 21st, 1999 must have
17 appendicitis considered in the differential
18 diagnosis and must be told to return for
19 reevaluation within eight to 12 hours after
20 discharge if his symptoms do not improve.
21 Reevaluation within this time frame allows
22 the diagnosis which may not be immediately
23 apparent on first presentation to be made
24 much earlier in the clinical course and

1 allow us earlier surgical treatment.

2 Do you agree with what I just
3 indicated?

4 A. No.

5 MR. McGOVERN: Objection.

6 BY MR. CONWAY:

7 Q. What do you disagree with?

8 A. If he thought he had appendicitis,
9 he should have sent him right to the ER then
10 and not be lollygagging around.

11 Q. Okay.

12 A. If he thought he had
13 gastroenteritis, there's no need to come
14 back in eight to 12 hours if his vital signs
15 were stable, which they were.

16 Q. Mr. Toon is going to be
17 susceptible to possible long-range problems,
18 medical problems, as a result of this
19 ruptured appendix, would you agree?

20 MR. McGOVERN: Objection.

21 THE WITNESS: That's certainly
22 possible.

23 Again, if you're health-seeking
24 and want to get better, you can do things to

1 strengthen your abdominal walls, sit-ups,
2 not smoke, pursue health excellence.

3 If you disregard your health,
4 sure, he'll probably have health problems.

5 BY MR. CONWAY:

6 Q. Even if he was taking care of his
7 health to the extent that you want him to
8 take care of his health, doesn't the fact
9 that he had sepsis and a rupture, ruptured
10 appendix, doesn't that make it more likely
11 that he's going to have future medical
12 problems associated with his abdomen?

13 MR. McGOVERN: Objection.

14 BY MR. CONWAY:

15 Q. Am I asking the question properly
16 or not?

17 A. Yeah.

18 I don't believe that that's
19 necessarily true. I really don't.

20 He's young.

21 Q. Is he more susceptible -- because
22 of having this ruptured appendix, is he more
23 susceptible to adhesions?

24 A. That's a possibility.

1 Q. So he's more susceptible than if
2 he had not had the appendix rupture,
3 correct?

4 A. All things considered equal, sure,
5 based on that.

6 Q. Is he more susceptible to having a
7 small bowel obstruction in the future --

8 MR. McGOVERN: Objection.

9 BY MR. CONWAY:

10 Q. -- because he had the ruptured
11 appendix?

12 A. If he had adhesions.

13 Q. Because the adhesions will affect
14 the --

15 A. Peritoneum.

16 Q. -- peritoneum, correct, which may
17 make him more susceptible to small bowel
18 obstruction, correct?

19 A. Could.

20 Q. What are some other common
21 sequelae of a ruptured appendix to the
22 extent that Mr. Toon had?

23 A. Well, death would be a
24 possibility.

1 Q. Okay. Thankfully, he did not die
2 in this case.

3 A. Right. Of course.

4 Q. All right. Let's deal with some
5 medical issues that he's looking at as a
6 result of having a perforated --

7 A. He could have incisional hernia,
8 and they can repair it, and it can
9 reherniate. That's a possibility.

10 He could have chronic abdominal
11 pain or intermittent abdominal pain.

12 Q. What would be causing that?

13 A. Could be scar tissue, or
14 adhesions, or if they used mesh to repair it
15 sometimes that could cause inflammation or
16 irritation.

17 Q. Doctor, have we covered all your
18 opinions in this case?

19 A. I think fairly certainly, for the
20 most part.

21 Q. Now, that's, like, the most
22 ambiguous answer I've ever heard in my life.

23 A. I'm sure that I'll think of things
24 later when I go home.

1 MR. McGOVERN: That's the purpose
2 of this here. You have to tell him.

3 BY MR. CONWAY:

4 Q. Right.

5 You've given your report, correct?

6 A. Right.

7 Q. I've come in here, and I've asked
8 you about your report, and I've asked you
9 about other opinions.

10 And I want to make sure when I
11 leave here today, I know what your opinions
12 are, so that I'm not surprised at trial.

13 A. I see.

14 Q. Have we covered everything?

15 A. As far as I know, yes. I'm not
16 holding anything back, no big secret.

17 MR. CONWAY: All right. I don't
18 have anything further.

19 Thanks, Doctor.

20 Do you want to explain to him his
21 right --

22 THE WITNESS: Thank you.

23 MR. CONWAY: -- to review?

24 MR. McGOVERN: Yeah. You have a

1 right to review the deposition testimony
2 that you've given today after it's been
3 transcribed.

4 I suggest that you waive that
5 right.

6 THE WITNESS: Okay.

7 MR. McGOVERN: So say, I waive.

8 THE WITNESS: I waive.

9 - - - - -

10 Thereupon, the deposition was
11 concluded at 12:40 o'clock p.m.

12 - - - - -

13

14

15

16

17

18

19

20

21

22

23

24

1 CERTIFICATE

2 STATE OF OHIO :

3 COUNTY OF FRANKLIN : SS.

4 I, Adele L. Helmick, a Registered
5 Professional Reporter and Notary Public in
6 and for the State of Ohio duly commissioned
7 and qualified, do hereby certify that ROBERT
8 C. WOSKOBNICK, D.O. was by me first duly
9 sworn to testify to the truth, the whole
10 truth, and nothing but the truth in the
11 cause aforesaid; that the testimony then
12 given by him was by me reduced to stenotype
13 in the presence of said witness, afterwards
14 transcribed by means of computer; that the
15 foregoing is a true and correct transcript
16 of the testimony so given by him as
17 aforesaid; and that this deposition was
18 taken at the time and place in the foregoing
19 caption specified, and was completed without
20 adjournment.

21 I do further certify that I am not a
22 relative, counsel or attorney of either
23 party herein, or otherwise interested in the
24 outcome of this action.

1 IN WITNESS WHEREOF, I have hereunto set
2 my hand and affixed my seal of office at
3 Columbus, Ohio, on this 27th day of
4 February, 2003.

5 Adele L. Helmick
6 ADELE L. HELMICK, Notary Public -
7 State of Ohio.

8 My commission expires November 15, 2004.
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

December 2, 2002

Gary Hammond
James McGovern
1323 Dublin Road, 4th Flr.
Columbus, OH 43215

Re: Christopher B. Toon

Dear Mr. Hammond and Mr. McGovern:

I have reviewed all medical records including urgent care and hospital records regarding the above named Patient and submit my summary of this case. Christopher Toon ("Patient") presented to Madison Urgent Care on 2/21/99 at 3:05 p.m. with a 2 day history of loose BM's, not able to eat or drink, stomach pain, nausea, red rash on chest and abdomen. No current meds, no past medical history, no past surgical history. Social history, married, smokes 2 packs of cigarettes daily. Patient had oral temperature of 100° F. Blood pressure was 122/88. Pulse was 84. Height 5'4". Weight 190 lbs. Physical exam showed diffusely tender abdomen with burn mark from heating pad. Bowel sounds were increased. Patient was diagnosed by Dr. Padival with gastroenteritis, which is very common in the winter months. Patient was prescribed Lomotil and Tylenol. Based upon your representation to me, Dr. Padival verbally told the Patient to return to urgent care or the emergency room if his symptoms did not resolve or became worse. Patient reported to the emergency room five days later and was operated on for ruptured appendix with peritonitis.


It is my opinion that Dr. Padival met the standard of care in evaluating the Patient diagnosing gastroenteritis, prescribing Lomotil and Tylenol and informing him to return for further treatment if his symptoms did not resolve or become worse.

Several facts point to the Patient's own negligence for the outcome of his health. Patient waited five days before reporting to the emergency room. Any reasonable person would have followed Dr. Padival's verbal instructions to return for follow up sooner either to the urgent care or the emergency room at which point the Patient would have had a non-complicated appendectomy. The Patient's laboratory on 2/27/99 showed a glucose of 237. If the Patient is diabetic (and withheld this part of his health history from Dr. Padival) he may have presented with atypical abdominal pain. Patient smokes 2 packs of cigarettes daily. This shows he has little regard for his own health, especially with a family history of asthma. The most glaring example of this Patient's noncompliance is after going through this ordeal he did not show up for 2 follow-up appointments on 3/17/99 and 4/15/99. This is consistent with his pattern of not following physician's recommendations and noncompliance.

Professionally yours,

Robert C. Woskobnick D.O.

Robert C. Woskobnick, D.O.
Diplomatic American Board Family Practice
woskobnick@msn.com



Curriculum Vitae
Robert C. Woskobnick, D. O.
577 Cardinal Hill Lane
Powell, OH 43065
Phone: (614)846-2237



Primary Specialty Family Medicine

Other areas of interest Emergency medicine and Urgent Care, Occupational medicine, Rheumatology, Pediatrics, Dermatology

Work Experience

2000-2001	U.S. Healthworks	Columbus, OH
Urgent Care and Occupational Medicine Medical Director		
1995-2000	Ohio Health	Columbus, OH
Family Practice Staff Physician		
1992-present (part-time)	Riverside Hospital	Columbus, OH
Patient Early Registration System - Assistant Medical Director		
1992-1995	Olentangy Emergency Physicians	Columbus, OH
Emergency Room Physician		
1990-1991	Dublin Medical Clinic	Dublin, OH
Staff Physician		
1989-1990	MedOhio	Columbus, OH
Urgent Care Physician		

Education and Training

1987-1990	Ohio State University Family Medicine Residency	Columbus, OH
1983-1987	Ohio University College of Osteopathic Medicine Doctor of Osteopathy degree	Athens, OH
1977-1983	Ohio University Bachelor of Science	Athens, OH

Interests Guitars and music, bicycling, weight lifting, travel.

Personal Married, three children ages 12, 7 and 5.