

1 The State of Ohio)
2 County of Franklin.) SS:
3 IN THE COURT OF COMMON PLEAS
4 OHIO CIVIL DIVISION
5 LINDA K. MAULLER, Individually)
6 and on behalf of the Estate of)
7 DALE MAULLER,)
8 Plaintiffs,)
9 vs.) Case No.
10 STEVEN J. YAKUBOV, M.D.,) 95CVA-11-7855
11 et al.,)
12 Defendants.)
13 - - - -
14 Videotaped deposition of STEVEN J.
15 YAKUBOV, M.D., a Defendant herein, called by
16 the Plaintiffs as if upon cross-examination,
17 and taken before Lynn A. Regovich, Notary
18 Public within and for the State of Ohio,
19 pursuant to agreement of counsel, and
20 pursuant to the further stipulations of
21 counsel herein contained, on Tuesday, the
22 8th day of April, 1997, at 3:00 p.m., at the
23 offices of Mid-Ohio Cardiology Consultants,
24 3545 Olentangy River Road, City of Columbus,
25 County of Franklin and the State of Ohio.

1 APPEARANCES:

2

3 On Behalf of the Plaintiffs:

4 Becker & Mishkind, by:

5 MICHAEL F. BECKER, ESQ.

6 JEANNE M. TOSTI, ESQ.

7 and

8 AMY SUE TAYLOR, ESQ.

9

10 On Behalf of the Defendants

11 Steven J. Yakubov, M.D. and

12 Barry S. George, M.D.:

13 Jacobson, Maynard, Tuschman & Kalur,

14 by:

15 MAURICE N. MILINE, ESQ.

16

17 On Behalf of the Defendant

18 Riverside Memorial Hospital:

19 Lane, Alton & Horst, by:

20 THOMAS A. DILLON, ESQ.

21

22 - - - -

23

24

25

1 P R O C E E D I N G S

2 - - - -

3 STEVEN J. YAKUBOV, M.D., of lawful
4 age, having been first duly sworn,
5 as hereinafter certified, was
6 examined and testified as follows:

7 - - - -

8 (Plaintiff's Exhibit 1 marked for
9 identification purposes.)

10 MR. BECKER: Good afternoon,
11 Doctor. I'm Michael Becker. We met a few
12 minutes ago.

13 - - - -

14 CROSS-EXAMINATION

15 BY MR. BECKER:

16 Q I'm going to hand you -- first of all,
17 tell us your name, please.

18 A Steven Joseph Yakubov.

19 Q Okay. Dr. Yakubov, I'm handing you
20 what's been marked as Plaintiff's Exhibit 1.
21 Will you identify that for us, please?

22 A This is my curriculum vitae.

23 Q Okay. Is it current?

24 A It is -- there's probably been
25 additional publications that I've authored

that may not quite be on here yet.

Q Okay. As to what is contained on Exhibit 1, is everything accurate thereon?

A Yes.

Q Okay.

A I believe this to be accurate. I've looked at my CV before.

8 a Okay. What -- what publications are
there that you have authored or co-authored
10 that are not reflected on Exhibit 1?

11 A There have been additional studies that
12 have occurred within the last year. The
13 heart meetings were just two weeks ago and I
14 was part of a couple of multi-center trials,
15 mostly dealing with thrombolytic therapy or
16 anti-platelet agents.

17 Q Okay. Have you authored or co-authored
18 any articles that deal with complications of
19 catheterizations?

20 A Specifically complications?

21 Q Yes.

22 A No, not specifically complications.

23 Q Okay. Now, based on your understanding
24 of what actually occurred to Mr. Mauller,
25 have you authored anything that in any way,

1 shape or form might be relevant to his
2 medical subject matter?

3 A Well, all of the -- all of my
4 publications deal, with cardiology.

5 Q Right.

6 A And since he was a cardiac case, I
7 would suspect that there may be some
8 relevance to all of those publications.

9 Q Okay. Nothing that you can think of
10 that would be very specific to him off the
11 top of your head? And take your time and
12 look through your publications, if you
13 would.

14 A I did author a chapter in a
15 interventional coronary text on coronary
16 intervention, via the arm approach. We
17 talked about different types of coronary
18 interventions. That would pertain. I did
19 talk about agents for coronary stenting,
20 which was title number 13 under abstracts
21 and presentations at scientific meetings.
22 There are other -- there are other
23 publications such as number 12 that deal --
24 that dealt with coronary atherectomy. There
25 are numerous patients within publication

1 number 11, Integrelin and Unstable Angina,
2 many of those patients went on to have
3 coronary intervention, directional
4 atherectomy, rotational atherectomy, et
5 cetera. A8 you can see, number 10 was
6 another abstract presented at American Heart
7 Association meetings regarding intracoronary
8 stent usage. Number 9, again on
9 intracoronary stent usage and coronary
10 intervention. And then there are numerous
11 articles on thrombolytic therapy for direct
12 -- for during angioplasty. Another
13 atherectomy, article number 3. So I think
14 that there are several articles here that
15 talk about our experience with atherectomy
16 and angioplasty that may pertain to his
17 case.

18 Q What is your opinion as to the reason
19 that Mr. Mauller had an arrest?

20 A I assume that you're talking about the
21 first arrest that he had; is that correct?

22 Q Well --

23 A Could you be --

24 Q Sure.

25 A Could you be more specific?

1 a Absolutely. Sure. On the 28th.

2 A The 28th, was that the Saturday of his
3 hospitalization? Was that the day after I
4 had completed his --

5 Q Yes.

6 A -- intervention?

7 a Yes.

8 A I suspect that the cause of his arrest
9 was hypotension, a heart rate that had
10 decreased, perhaps even an arrhythmia,
11 probably from closure of at least one of his
12 coronary arteries.

13 a Okay. Do you think it's likely that
14 all his coronary arteries were closed at the
15 time that he arrested?

16 A They may have been. It's hard to
17 tell. You don't have to have all of your
18 coronary arteries closed to have an arrest.

19 Q I see.

20 A It can be one or even a small branch.

21 Q I understand that. What is your
22 opinion as to the likely reason that one or
23 more of his arteries closed down that
24 precipitated his arrest?

25 A There can be many reasons why a

1 coronary artery closes. There can be a
2 dissection.

3 Q In this case.

4 A It's hard to tell. You can't be
5 absolutely certain.

6 Q Do you have an opinion more likely than
7 not as to what the reason was in this case
8 as to why his artery or arteries closed
9 down?

10 A I have an opinion.

11 Q What is it?

12 A My opinion is that I can't be
13 absolutely certain.

14 Q Okay. Listen to my question.

15 A I am.

16 Q Have you had your deposition taken
17 before?

18 A Yes, sir.

19 Q Okay. There's some standard caveats.
20 This is a question answer session. It's
21 important that you understand the question I
22 ask you. If you don't understand the
23 question, you tell me so and I'll attempt to
24 rephrase --

25 A Okay.

1 Q -- or restate the question. Unless you
2 indicate otherwise to me, I'm going to
3 assume that you fully understood the
4 question that has been posed; okay?

5 A That's fine.

6 Q Now listen, my question utilized the
7 word likely, more likely than not, not
8 certainty. Do you have an opinion more
9 likely than not as to what the reason was
10 for the vessel or vessels closure that
11 precipitated his arrest?

12 MR. MILINE: Objection. Go
13 ahead.

14 A I can't be absolutely certain. There's
15 two very highly likely causes.

16 Q Okay.

17 A And it is as an angiographer, as an
18 angioplaster, it is -- often they occur
19 together. It is hard for me to be
20 absolutely certain, or even likely, that one
21 caused it excluding the other causes.

22 Q Okay. Tell me what those two are.

23 A I think the most likely causes are
24 dissection of the artery that ultimately
25 closed down, or thrombus of the blockage

1 site.

2 Q Okay. And if it was a dissection of
3 the artery, what was the likely reason or
4 precipitating factor for the dissection?

5 A Dissections can occur as a normal --
6 they're a normal process during coronary
7 intervention. If they don't heal normally,
8 they can't -- the dissection flap can fall
9 into the lumen, occluding the artery, ~€ten
10 accompanied by thrombus, and there's several
11 mitigating factors that can contribute to
12 that dissection flap, helping to close the
13 artery.

14 Q Right. But in this case, bringing you
15 back to his case.

16 A Yes.

17 Q Do you have an opinion more likely than
18 not as to what the likely cause of the
19 dissection was?

20 A The dissection is a normal process of
21 coronary intervention. Dissection planes
22 occur with balloon angioplasty.

23 Q Okay.

24 A They can occur with rotational
25 atherectomy, directional atherectomy,

1 intracoronary ~tenting, all of those. Every
2 intervention we do --

3 Q Okay.

4 A -- can cause it.

5 Q Which artery closed down that you're
6 certain of that precipitated the arrest?

7 A When he was taken back to the
8 catheterization lab by Dr. George, both the
9 LAD and the right coronary artery were both
10 closed.

11 Q Okay. And do you feel that a
12 dissection occurred in both of those? That
13 is likely dissection occurred in both of
14 them?

15 A Yes.

16 MR. MILINE: Objection.

17 A Clearly dissection happened in both of
18 those.

19 Q Okay. And now, do you feel there was
20 any evidence of a thrombus in either of
21 those arteries?

22 A There may have been.

23 Q Okay.

24 A You can't always see that
25 angiographically.

1 Q Did you see any evidence of it
2 angiographically of a thrombus?
3 A When I was doing his procedure?
4 Q Did you see any evidence of a thrombus
5 angiographically at the time of caths done
6 subsequent to his arrest?
7 A Well, all I have is the films to review
8 after his arrest. I wasn't in the room when
9 he did that.
10 Q And have you looked at those films?
11 A Yes, I have.
12 Q Is there any evidence of a thrombus?
13 A There may have been. Again, it's very
14 difficult to see all thrombus --
15 Q All right.
16 A -- angiographically.
17 Q Let me ask you this.
18 A I'll even reference one of my
19 publications if you'd like to look at that
20 further.
21 Q No. That's okay.
22 A All right.
23 Q I don't mean to be cute, but I'm
24 mindful of the time factor here and I want
25 to move things along.

8

MR. M

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 procedure. There's dilution of the
2 hemoglobin, hematocrit that occur with
3 giving volume during the procedure. He had
4 two different days worth of procedure. We
5 expect some decrease in his hemoglobin.

6 Q Okay. And did that expected -- was
7 that expected range exceeded by his H and H
8 numbers?

9 A I don't recall. I'd have to look at
10 his laboratory values to tell you that for
11 sure.

12 Q Okay. Would it be safe for me to say
13 right now that during the time, up until the
14 time of his arrest you never had within your
15 differential any type of a bleed going on in
16 Mr. Mauller's case?

17 A I think -- you're -- I'd like to know
18 what you mean by bleed and --

19 Q Okay. Good. And what I mean by bleed
20 is something other than normal amount of
21 blood loss,

22 A Please repeat the question. I'm sorry.

23 Q Did you ever have any type of a bleed,
24 for example, a retroperitoneal bleed within
25 your differential while you were rendering

1 hands-on care to this patient up until the
2 time of his arrest?

3 A The only way to suspect a
4 retroperitoneal --

5 Q Doctor, yes or no, did you ever have it
6 within your differential?

7 A I --

8 MR. MILINE: Objection.

9 A I think --

10 MR. MILINE: He can answer
11 the question any way he wants.

12 A I think of a retroperitoneal bleed in
13 every patient I treat with Heparin. That is
14 always in the back of my mind. I don't
15 always document that on the chart, but we
16 have -- I've done so many angioplasties. We
17 give Heparin to every angioplasty. It's a
18 known complication of Heparin use. It's
19 always in the back of my mind.

20 Q Let me ask the question a different
21 way. Can we agree that prudence and the
22 standard of care demands that if you have
23 retroperitoneal bleed within your
24 differential, you have a duty as a physician
25 to take steps to rule that out?

1 MR. MILINE: Objection.

2 Q Does that make sense?

3 A That's not necessarily true.

4 Q That you don't have a duty to rule out

5 a suspected retroperitoneal bleed?

6 A Well, sometimes you treat it as if it's

7 a retroperitoneal bleed if you have a high

8 index of suspicion.

9 Q How do you treat it?

10 A You stop Heparin.

11 Q Okay.

12 A You support the patient with

13 intravenous fluids. Sometimes they need

14 blood product replacement.

15 Q Okay.

16 A Now --

17 Q Let me -- let me word the question

18 different.

19 MR. MILINE: You've cut him

20 off twice now.

21 A That's okay. I have nothing further to

22 add.

23 Q I don't mean to cut you off, Doctor.

24 A I understand.

25 Q So can we agree that if you have a

1 retroperitoneal bleed within your
2 differential, you have a responsibility as
3 an attending physician to do one of two
4 things, either take steps to rule it out or
5 take steps to treat it as if it were there?

6 MR. MILINE: Objection.

7 Q Is that fair?

8 A It all depends. You know, we treat
9 patients, we don't treat it as a disease
10 entity. Retroperitoneal bleeds come in
11 various contexts. Our decision on how we
12 treat a patient depends on the patient's
13 vital signs, their clinical condition, their
14 laboratory data. It is not purely based on
15 one diagnostic entity as you state.

16 MR. BECKER: Okay. Could I
17 have my question back? Listen to the
18 question, Doctor. See if you can respond
19 directly to the question.

20 (Question read.)

21 MR. MILINE: Objection.

22 A I think that if you have a -- if you
23 are considering a retroperitoneal bleed, you
24 interpret that diagnostic entity within the
25 patient's clinical condition and you treat

1 it accordingly.

2 Q So that would be a yes to my answer?

3 A It may be a yes. There's a lot of
4 conditions. It's not as simple as your
5 question is. If you could word your
6 question differently, I might be able to
7 answer it a little more clearly.

8 a All right. What is the name of your
9 professional group here?

P0 A Mid-Ohio Cardiology Consultants.

11 a Okay. And I see by your vitae that
12 since you finished your Fellowships, you
13 have been an employee of that group; is that
14 correct?

15 A I am a partner of the group now.

16 Q You're also an employee of the group?

17 A I don't -- yes.

18 Q Okay. And at the time that you
19 rendered care to Dale Mauller you were an
20 employee of the group; correct?

21 A That is correct.

22 a And I'm gathering that at the time you
23 rendered care to him you were not a partner?

24 A That's correct.

25 Q Okay. All right. You've had your

1 deposition taken before. What were the
2 circumstances?

3 A I was involved in a prior court case
4 and I've given a deposition as an expert
5 witness once. Just once before.

6 Q Were you named -- the first matter you
7 referenced, were you named as a party
8 defendant?

9 A They were -- they --

10 MR. BECKER: You can have a
11 continuing objection.

12 MR. MILINE: Thank you very
13 much. So I don't interrupt.

14 A Our group was named.

15 Q Okay. You weren't individually named?

16 A You know, it became very confusing. I
17 think they named several physicians and then
18 they changed it to the group.

19 Q Okay. Do you remember what the name of
20 the plaintiff was?

21 A Jean Koehl.

22 Q Was that a case in Franklin County?

23 A Yes.

24 Q Okay. And to your knowledge what was
25 the alleged wrongdoing, if you can

1 generalize for us?

2 MR. MILINE: Continuing
3 objection.

4 MR. BECKER: Sure.

5 A Mrs. Koehl presented with an acute
6 myocardial infarction --

7 a All right.

8 A -- to Riverside. She was hypotensive.
9 We took her to the catheterization lab, one
10 of my partners did. He did direct
11 angioplasty in her artery. He initially did
12 a heart catheterization, put her on Heparin,
13 next day came back and did a balloon
14 angioplasty procedure on her, and she really
15 -- he really did a great job on her. Her --
16 it was the large right coronary artery. It
17 had significantly affected her. She was
18 bradycardic, hypotensive. Good chance of
19 dying. And she had a wonderful result, went
20 home and stated that we had given -- that we
21 had given her a knee infection.

22 Q All right. Let me stop you right
23 there. All right. You gave -- you acted as
24 an expert before?

25 A Yes, sir.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A It was a -- it was an attorney for the
defendant.

Q Okay. And you gave a deposition?

A No. Oh, I'm sorry. You're talking
about the one I actually did give a
deposition?

Q Yes.

A Yes.

1 Q And that was for the defendant?

2 A Yes.

3 Q And what was the defendant's name, do
4 you remember?

5 A Huh-uh. I don't recall.

6 Q Was it a case locally here in Mid Ohio?

7 A Yes. It was Toledo.

8 Q Okay. And did you in fact -- you gave
9 a deposition. What was the medical subject
10 matter of that case?

11 MR. MILINE: Continuing
12 objection, please.

13 A Let me think. It was a patient who
14 came into the emergency room with chest
15 pain, was treated by an emergency room
16 physician, and then care was taken over by
17 the cardiologist.

18 Q Okay.

19 A And they asked me about the -- was the
20 care appropriate. That's what I was --

21 Q What did the cardiologist do?

22 A Well, the cardiologist took care of the
23 patient while he was in the hospital.

24 Q Okay. Was there any intervention?

25 A And then he sent him off to the

1 Cleveland Clinic afterwards. No, he did not
2 do an intervention on the patient.

3 Q Okay. So your role was simply acting
4 as an expert for the attending
5 cardiologist --

6 A Yes.

7 Q -- that took care of him in this
8 community hospital, outside hospital that
9 the patient was there a short time and
10 ultimately transferred to the Clinic?

11 A That's correct.

12 Q Okay. Doctor, I want you to know
13 throughout the balance of this deposition
14 you're more than free to look at the
15 chart --

16 A Okay.

17 Q -- at any time before responding to a
18 question. This is not a memory contest. So
19 in the event that you feel more comfortable
20 looking at the chart before responding, I
21 want you to know you're more than free to do
22 so. Okay?

23 A I should probably have the chart in
24 front of me then, most likely.

25 Q All right. During the course of your

1 career, you've never had your privileges
2 called into question by any hospital?

3 A No, sir.

4 MR. MILINE: Objection.

5 A I've never had my privileges called
6 into question.

7 Q Have you ever had your license called
8 into question by any state?

9 A No, sir.

10 a Have you ever been licensed in any
11 state other than Ohio?

12 A I did my Fellowship in Michigan and I'm
13 sure that I had temporary licensure in
14 Michigan then.

15 Q And your Board certified; correct?

16 A That's correct.

17 Q In internal medicine, also, as well as
18 cardiovascular disease?

19 A That's correct.

20 Q And I assume that you passed those
21 exams, whether verbal or in writing at first
22 attempt?

23 A You bet.

24 a Okay. Is there another Board
25 certification that I've missed, or is that

1 it, internal medicine and cardiovascular
2 disease?

3 A Right.

4 Q Okay.

5 A I just took another exam in
6 electrocardiograms sponsored by our college,
7 passed that the first time too.

8 Q Have you ever lectured, given a formal
9 presentation on cardiac catheterization or
10 atherectomies?

11 A I lecture all the time.

12 Q To whom do you lecture?

13 A Mostly community physicians. Sometimes
14 at national meetings.

15 Q Have any of those lectures been reduced
16 to videotape or audiotape recordings?

17 A Not to my knowledge.

18 Q Okay. What have you reviewed in
19 preparation for this deposition?

20 A I have looked through this chart as
21 much as I could read it.

22 Q Okay.

23 A And then I reviewed my cine angiograms.

24 Q Okay. And are those the originals?

25 A The cines?

Q Yes.

A That's all there are.

Q Okay. Your counsel is shaking his head no and you're answering the affirmative.

MR. MIEINE: Excuse me. I thought you were talking about these are my records.

8 MR. BECKER: I'm up to the films already.

10 MR. MIEINE: You skipped a
11 question. Okay. Good.

12 Q Did you look at Br. George's films as
13 well?

14 A Yes.

15 Q How recently did you look at those
16 films?

17 A Well, I think this was originally
18 scheduled to go in February.

19 Q Right.

20 A And it was cancelled. So it probably
21 was back.

22 Q Okay. Have you had an opportunity
23 recently to look at those films again?

24 A No. In fact, I didn't even know this
25 deposition was happening until this morning,

1 so I didn't have a chance to review the
2 chart again or the films.

3 Q Okay. And I understand that we do have
4 the original cine films here. Okay. And
5 what I'd like you to do, Doctor, after I
6 finish these preliminary questions is we're
7 going to go off the record and get set up
8 somehow so that we can view your
9 interpretation of that first catheterization
10 of Dale: okay?

11 A That would be no problem.

12 Q Okay. We talked -- I want to cover
13 what you reviewed for this deposition. What
14 about any textbooks or journal articles, did
15 you look at any of those?

16 A No, sir.

17 Q Okay. Was this case subject to a cath
18 committee review?

19 MR. MIEPNE: Objection as to
20 any peer review.

21 Q I'm not talking about peer review. I'm
22 talking about your group gets together,
23 looks at a cath film, says this is how we
24 should manage it.

25 MR. MILINE: Objection as to

1 it breaches their -- goes to any peer review
2 from any method. I don't want you to
3 respond to that question.

4 Q Well, prior to your recommending
5 intervention to Mr. Mauller, did you consult
6 with any other cardiologist?

7 A The heart catheterization was done and
8 after discussion with Mr. Mauller the
9 angioplasty was done. So there wasn't
10 really time to --

11 Q The answer would be no then; right? My
12 question -- my question is very simple. Did
13 you consult with any other cardiologists
14 prior to intervention of Mr. Mauller?

15 A There were two days of intervention, so
16 I --

17 Q During the first day of intervention
18 did you consult with anybody?

19 A No.

20 Q Okay. And between the first and second
21 intervention do you have any specific
22 recollection of whether you consulted with
23 anybody?

24 A I don't know.

25 Q Okay.

1 A I don't recall.

2 Q Okay. Do you have any personal notes
3 or personal files you keep on your cases
4 outside of the medical -- the hospital chart
5 or the office chart?

6 A On this case?

7 Q Yes.

8 A No, sir.

9 Q All right. Which textbooks in
10 interventional cardiology would you consider
11 the most reliable?

12 MR. MILINE: Objection.

13 A I think there are many textbooks of
14 cardiology, some of which I've contributed
15 to that are helpful sources of information.

16 Q All right. Any of them that you
17 consider authoritative?

18 A No.

19 MR. MILINE: Objection.

20 Q In May of '94, did Riverside have a
21 standby emergency CABG surgical team as a
22 backup for failed coronary angioplasty
23 procedures?

24 A There is always a -- the ability to
25 take somebody immediately from the

1 catheterization lab to open heart surgery.

2 It varies -- it may vary from time of day to
3 what day of the week, but there is always
4 the ability to take somebody directly from
5 the cath lab over to open heart surgery.

6 Q I appreciate there's the ability to do
7 that, but are there surgeons on standby?

8 A They're always considered to be on
9 standby.

10 Q Within the hospital?

11 A Virtually -- sometimes at night we do
12 direct angioplasty and there are not
13 surgeons in the house that I specifically
14 know of.

15 Q All right. You joined this group in
16 what month in 1992?

17 A July.

18 Q Okay. And from July of '92 until May
19 of '94, can you tell me how many
20 angioplasties you were averaging a month?

21 A Probably at least 40.

22 Q And when did you begin doing
23 atherectomies?

24 A Well, I did directional atherectomy in
25 my Fellowship training. I don't recall

1 exactly when I started doing rotational
2 atherectomy.

3 Q Okay. Did you get special training for
4 rotational atherectomy?

5 A I had to be certified in that. Yes, I
6 did.

7 Q When did you become certified in that?

8 A I -- I am not sure. I'm sure I have a
9 plaque on the wall saying that I went
10 through the required --

11 Q Who was the certifying body?

12 A I don't recall. It's been a long time.

13 Q But it was prior to '92?

14 A No, I didn't say that.

15 Q Okay. When -- can you tell me
16 approximately how many months or years it
17 was prior to the time you rendered care to
18 Dale Mauller?

19 A I don't -- I don't recall.

20 Q Okay. Is that something you could
21 simply look at a plaque and give your
22 counsel notice of the date and he can get
23 back to me so we can move along?

24 A Well, I can't be absolutely certain
25 that that's the first time that I became

1 trained in that. I may have been doing
2 rotational atherectomy for some time until
3 the plaque was given. You know, you don't
4 just walk into somewhere and get a plaque.

5 Q Right.

6 A You have to demonstrate or expertise.

7 Q You have to complete an application
8 form to that certifying body; correct?

9 A Yeah, most likely. You probably have
10 to -- since it's an interventional technique
11 you probably would have to do the procedure.

12 a Okay. You said that there's two
13 different -- you implied that there's two
14 different types of atherectomy. One is
15 rotational. What's the other one call?

16 A There's directional, there's
17 extraction. There's probably more than
18 that.

19 Q What type did you use on Dale Mauller?

20 A Rotational atherectomy.

21 Q And prior to the time that you rendered
22 care to him, can you estimate how many
23 rotational atherectomies you were doing a
24 month?

25 A I don't recall.

1 Q Can you just give me a ballpark? Are
2 we talking one or two, or are we talking at
3 least 10 or 151

4 MR. MILINE: Objection.

5 A Again, I can't be specific. I was
6 doing a lot of -- I mean, a lot. That's a
7 relative term, obviously, but I did many
8 rotational atherectomy procedures a month at
9 that time.

10 Q Bo you keep -- some physicians keep
11 their own data spread sheet on number of
12 procedures performed, complications,
13 outcome. Do you do that for yourself, sir?

14 A The hospital does that.

15 Q The hospital does that?

16 A Yes.

17 Q Okay.

18 A Unfortunately they don't always release
19 the statistics to us, but we have to fill
20 out a form at the end of every intervention
21 that lists that.

22 Q Okay. And to your knowledge how long
23 has the hospital been requesting that?

24 A I -- I remember doing -- filling out
25 those forms after every procedure ever since

1 I was a Fellow.

2 Q Okay.

3 A So that would have been back in '92,
4 '91.

5 Q Now, to your knowledge are those forms
6 kind of tabulated and analyzed and then
7 reports sent to the various cardiology
8 groups?

9 A They -- right, To some extent. They
10 don't always put out everything on the form
11 and they don't put them out every month, but
12 they -- they try to get them out quarterly
13 or twice a year.

14 Q All right. Dale underwent a thallium
15 stress on May 9th, 1994; correct?

16 A I don't know that for sure.

17 Q Okay. I'll represent to you that it's
18 my understanding that he did. That test
19 showed that he had anterior apical area of
20 decreased perfusion with stress.

21 A Okay.

22 Q What does that mean?

23 A That means that when he was -- they did
24 a thallium scan on him both in a rest and
25 exercise state. I presume that he had an

1 exercise treadmill test --

2 Q Right.

3 A -- as part of that thallium, and there
4 was a difference in perfusion of his heart
5 both at rest and at peak exertion.

6 Q In a specific area?

7 A Yes.

8 Q All right. Now, what blood vessel of
9 the heart usually supplies the anterior
10 apical portion of the heart?

11 A Usually?

12 A Yes.

13 A Usually it is the LAD.

14 Q Okay. Was it something different in
15 him?

16 A I don't recall. It's been awhile since
17 I looked at his film.

18 Q Do you have an opinion -- strike that.

19 Would you agree that it is likely
20 that blockage in his LAD was likely the
21 cause of ischemia in his anterior apical
22 portion of the heart?

23 MR. MILINE: Objection.

24 A According to the stress test, it may
25 have been the LAD since the LAD most often

1 supplies the anterior apical portion of the
2 heart.

3 *A* Now, when a patient has multi vessel
4 disease, how do you determine which vessel
5 will undergo intervention first? What is
6 the rule of prudence?

7 *A* There is no rule. It is a judgment
8 call. There are multiple reasons for
9 choosing which artery to go after first.
10 The part of it -- there is no hard and fast
11 rule to tell you which vessel to perform
12 angioplasty on first.

13 *Q* If someone were to suggest that the
14 general rule is to attack the quote culprit
15 artery, would you disagree with that?

16 MR. MILINE: Objection.

17 *A* Who is someone?

18 *Q* I don't know.

19 *A* Oh, okay. I think especially in acute
20 MI, that's the general rule. I think in a
21 patient who has angina there's other factors
22 that come into play.

23 *Q* Okay. Would another factor be which
24 vessel would appear to be the most
25 challenging to do?

1 A That could perhaps be a reason.

2 Q Okay. To do that one first as well?

3 A And there are other reasons. You know,
4 for instance, just one that I'll offer to
5 you is that when a vessel may supply a large
6 distribution, you want to have everything
7 going for you before you go after that.

8 a I'm sorry. Would you repeat that?

9 A Well, I think that in some instances if
10 a vessel, if you feel it may be the culprit,
11 yet there are multi vessel diseases, you may
12 want to take care of some of the other
13 vessels if it is supplying a very large
14 distribution first.

15 Q All right. Let's -- let's take a break
16 and just set up so we can go right to the
17 cine films or the cath films of Dale
18 Mauller, and before I go off, this is so you
19 understand what I'm going to be asking you
20 to do, Doctor, is start from the first cath
21 film you did on him, this is obviously prior
22 to the first intervention, and take each
23 artery and identify it by a pointer, and
24 what I'm interested in is your assessment of
25 the degree of narrowing or blockage and the

1 severity within that particular vessel.

2 A Okay.

3 Q And I'd ask you to go through that
4 slowly; okay?

5 A Okay.

6 Q Because I'm going to have to watch from
7 here and I want to be able to see it and
8 we're going to have to zoom in on that cine
9 film, re-focus it, so it's going to take
10 some time, so please do it slowly.

11 MR. BECKER: All right.

12 Let's take a short break, set that up and
13 let's do that.

14 (Discussion had off the record.)

15 (Plaintiff's Exhibits 2-A, 2-B and 2-C
16 marked for identification purposes.)

17 BY MR. BECKER:

18 Q Doctor, at my request, are you willing
19 to review the original cine film of Dale
20 Mauller and describe in detail your
21 assessment?

22 A Sure.

23 MR. MILINE: Objection.

24 Q And would you -- can we agree and
25 stipulate that you have taken that cine film

1 out of a container that's been marked as
2 Exhibit 2-A, entitled Riverside Heart
3 Institute, with a lab number 943287; is that
4 fair?

5 A Yes.

6 Q Okay, Doctor. What I'd like you to do
7 is start the film on Dale Mauller and go
8 through your assessment of his diseases
9 within his artery. The disease within his
10 artery, if you would.

11 A Sure. The first picture is just the
12 name plate and the time of day that it was
13 taken.

14 Next we -- the first part of the
15 procedure is what we call the left
16 ventricular gram. It is a pigtail catheter
17 that sits in the ventricle after crossing
18 the aortic valve. It is -- I don't remember
19 quite what size catheters were being used
20 back then. It is an injection of the
21 ventricle to determine the heart muscle
22 motion.

23 Q Okay.

24 A This is an RAO view, which stands for
25 right anterior oblique. The view of the

1 ventricle is then taken in the left oblique
2 view also, and it demonstrates the wall
3 motion in the left anterior oblique view.

4 a Can you tell whether the LV function is
5 good based on that?

6 A It's good.

7 Q Okay. That's a good sign for
8 prognosis; correct?

9 A That's correct,

10 The next pictures that were taken
11 were of the left coronary system. This is a
12 Judkins left catheter that comes from the
13 groin. It is the catheter is introduced in
14 the left main coronary artery and that's
15 where it sits here. This is the left main
16 coronary artery. The LAD comes down in
17 front and the circumflex artery travels
18 posteriorly. As you can see here there is a
19 severe lesion in the proximal left anterior
20 descending artery. There are other lesions
21 in the mid LAD, there are diagonal branches
22 and septal perforator branches that come off
23 of this vessel.

24 a Can you estimate the length of that
25 lesion for me?

1 A It's hard --

2 MR. MILINE: Which lesion?

3 A It's hard to be absolutely certain --

4 Q The severe lesion in the LAD.

5 A It's always hard to be, you know,
6 precise about lengths of lesions in any
7 coronary artery, and often when you see
8 disease segments such as this., there's often
9 disease segments in either the artery before
10 or after. I can't be absolutely certain,
11 but I would say it's less than 15
12 millimeters in length this first proximal
13 LAD stenosis.

14 Q Okay.

15 A And it looks like the second LAD lesion
16 the severe lesion is probably less than 15
17 millimeters in length also.

18 Q What's the degree of narrowing of the
19 most severe one?

20 A In varying views it looks about 90
21 percent stenosed in the proximal LAD and
22 about 80 to 90 percent in the mid LAD. It
23 may be 95 percent in the proximal LAD.

24 Q Hold on one second. Go ahead, Doctor.

25 MR. MILINE: What question do

1 you want him to answer?

2 Q I want him to continue to find the

3 coronary --

4 A To find the coronary --

5 Q Correct.

6 A Okay. Is it okay if I go on from

7 this?

8 Q Yes.

9 A Okay.

10 8 We've now talked about the left

11 anterior descending; correct?

12 A Yes.

13 Q Let's speak to the circumflex.

14 A Well, the circumflex artery is somewhat

15 difficult to -- the LEO cranial view, which

16 is the first view taken, is not the best

17 view to determine severity of stenosis in

18 the circumflex artery and we'll get to other

19 views that show the circumflex even better.

20 Q Okay.

21 A The next view is typically a PA cranial

22 view or an RAO cranial view. Again, these

23 are shots that are mostly useful to

24 determine severity of the LAD stenosis, and

25 again, this is the left anterior descending

1 artery traveling down to the apex of the
2 heart. This is the left main coronary
3 artery. There is a septal perforator branch
4 for which there is a lesion of the LAB. In
5 this view it doesn't look quite as severe as
6 it did in the LAO cranial view. There is
7 another stenosis in the mid LAD, again, it
8 looks like a much smaller in length lesion.

9 Q What would you estimate based on this
10 view the size of the narrowing?

11 A Well, here it looks maybe 80 percent
12 proximal LAD and maybe 70 percent, 80
13 percent of the apical LAD. Apical portion
14 of the LAD. Distal portion of the LAD.

15 a Okay.

16 A Again, this view is not a very good
17 view to see the circumflex system, but at
18 the very end of the picture you can see some
19 collateral branches to the right coronary
20 artery. Indicating that some of the
21 branches of the right coronary artery were
22 probably occluded.

23 Now, this is the RAO cranial view.

24 Q I'm sorry?

25 A This is the RAO cranial view.

1 Q Okay.

2 A Again, continuing diagnostic
3 angiography. The LAD shows again the severe
4 lesion at the proximal LAD, and usually I
5 refer to proximal as anything before the
6 first septal perforator branch, and this
7 involves the first septal perforator branch,
8 begins just beyond it. Here, again, you
9 have a significant lesion of the LAD. And
10 again, a more severe lesion of the mid to
11 distal LAD. Again, collateral branches to
12 the occluded branch of the right coronary
13 artery and the circumflex artery is still
14 not well seen in these cranial views.

15 Q Okay.

16 A Is this okay so far?

17 Q Yeah. You're doing fine.

18 A Here you can see the circumflex system
19 since this is an RAO caudal view, and the
20 circumflex system contains the two branches,
21 one is the first obtuse marginal branch
22 which has diffuse disease in the proximal
23 portion of it, and then there's a severe
24 lesion of the proximal portion of the
25 circumflex artery on a very significant bend

1 and some diffuse disease of the second
2 obtuse marginal branch and at the
3 bifurcation of the distal circumflex artery.

4 Q What would you -- how would you
5 describe the percentage of narrowing of the
6 most severe lesion?

7 A Of the circumflex artery? Of the
8 circumflex artery?

9 Q Right.

10 A It's probably close to 90 percent in
11 that view.

12 Q Okay. Would you point to where you see
13 closest to 90?

14 A Right here in the proximal -- in this
15 area of the circumflex artery.

16 Q Go ahead.

17 A This is an LAO caudal view. Again, due
18 to shadowing of patient it's hard to
19 absolutely determine -- this is not the best
20 view to see lesions in either because the
21 picture wasn't as clear, however, again, you
22 can tell that there's a severe lesion of the
23 proximal circumflex artery and disease of
24 the second obtuse marginal branch.

25 Q Go ahead.

1 A Just one more view in the LAO caudal
2 view showing the lesion of the proximal
3 circumflex artery, That basically -- that's
4 what that view is for,

5 Q All right.

6 A It looks already better.

7 Again, you can see that there are
8 collaterals to the distal right.

9 Q How do you know there are two distal
10 right rather than from the distal, rather
11 than from the right?

12 A Well, they couldn't be from the right
13 because you're injecting the left system.

14 Q Okay. So you're seeing --

15 A So the left system has to fill the
16 right system.

17 Q Okay.

18 A If I was filling the right system and I
19 saw right collaterals, it would be right to
20 right collaterals.

21 Q I'm with you.

22 A And this is the right coronary artery,
23 there is a severe lesion in the mid portion
24 of the right coronary thought to be probably
25 70 percent, some more diffuse disease

1 throughout the entire length of the mid
2 right coronary artery, 70, 80 percent, and
3 then a distal lesion of the right coronary
4 artery.

5 a I want you to slow up on this one a
6 minute.

7 A Okay.

8 a And I guess my view is not so great.
9 Maybe, Doctor, if you could just move back
10 about two inches.

11 A No problem.

12 Q And I'll look at the big screen. It's
13 a much better picture for me than this.

14 Now, we are on the right coronary
15 artery?

16 A Yes.

17 Q I wonder if you could just take
18 starting at the top and go down as to where
19 you see the first lesion and point it out to
20 us?

21 A There is disease right before the right
22 ventricular branch comes off in here. There
23 is significant disease within the -- or just
24 distal to that right ventricular branch and
25 then there's another lesion here. I believe

1 this whole artery to have diffuse disease in
2 it. There's a significant change in caliber
3 of the vessel from the proximal portion
4 toward the mid and distal parts of the LAD
5 without any significant branches other than
6 this right ventricular branch coming off.
7 And I already know from looking at the left
8 pictures that there's disease in his system
9 because he has collaterals coming from the
10 left system.

11 Q And where is the severe narrowing?

12 A Here, here and here (indicating).

13 Q Okay. And --

14 A Those are the most severe of
15 probably --

16 Q Diffuse disease. And how did you come
17 to the conclusion that there was 90 -- did
18 you say 90 percent?

19 A No, I said 70, maybe 80 percent
20 stenoses in some of these. This may be 90
21 percent there.

22 Q So 70 and 80 the upper two and 90 at
23 the bottom?

24 A Right.

25 Q Okay. Go ahead.

1 A Again, you can see the severe lesions
2 of the mid right coronary artery, two
3 locations. More disease in again the mid to
4 distal right coronary artery and then that
5 other severe lesion in the distal right
6 coronary artery also. You can also see that
7 the right coronary artery is totally
8 occluded in its posterior lateral branch
9 right here, and this is the vessel that is
10 receiving collaterals from the LAD or
11 circumflex artery. It's hard really to tell
12 always where collaterals are coming from. I
13 know that they're coming from the left
14 side.

15 This is an RAO view, and again,
16 you can see that this vessel has significant
17 disease in this area of the right coronary
18 artery before the right ventricular branch
19 right in here. Again, significant disease
20 here, and then you can't really tell the
21 distal vessel very well just because of the
22 way the artery comes off and angulation of
23 the camera. So I can't see the distal right
24 coronary artery real well in the RAO view.

25 Q Okay.

1 A But it helps to point out how
2 significantly different this mid portion
3 right coronary artery is compared to the
4 proximal.

5 One other thing was there may be a
6 trace of distal late filling in that
7 posterior lateral branch, but it's not real
8 clear. I think most of the filling of that
9 posterior lateral branch is coming from the
10 left side,

11 MR. MIEINE: Doctor, just
12 listen to his question and answer that.

13 A Okay.

14 Q Have you finished the first cine film?

15 A I'm done with all of the angiograms.

16 Q Okay. What's next?

17 A The coronary intervention.

18 Q Okay. Is that on a separate film?

19 A No.

20 Q Okay.

21 MR. MILINE: That's Dr.
22 George. Can we go off the record for a
23 minute?

24 MR. BECKER: Sure. It looks
25 like he wants to talk to you.

1 (Discussion had off the record.)

2 A I'll just run through the film if
3 that's what you'd like. This is the
4 rotational atherectomy bur in the right
5 coronary artery. An injection after I
6 presume that the bur has been passed.
7 Further bur runs, maybe with a different
8 size bur.

9 Q What size burrs did you use?

10 A I would have to look at the records to
11 know exactly.

12 Q Okay. What -- what determines what
13 size bur you utilize?

14 A How severe the lesion is, the length of
15 the lesion and the size of the artery.

16 Q Okay. Go ahead.

17 A This is a balloon inflated within the
18 mid to distal right coronary artery. And
19 then a balloon inflation in the mid right
20 coronary artery. Again, an angiogram to
21 assess the result after all of that has been
22 completed. Both in the LAO and the RAO
23 view.

24 After I was satisfied with the
25 right system result, went over to the

1 circumflex artery, Took another guiding
2 shot of the circumflex system, both in the
3 RAO caudal and LAO caudal views. Wired the
4 distal circumflex vessel. Put the wire
5 where I wanted it. Angioplastied the second
6 obtuse marginal branch, the area of the
7 circumflex artery just beyond the
8 intermediate or obtuse marginal branch.
9 Injection of that vessel following the angio
10 -- following balloon dilitation, both in the
11 RAO and LAO views. That's it.

12 Q Okay. Were the results good on the
13 circumflex intervention?

14 A Yes. Results were very good.
15 Actually, the results were very good in all
16 arteries that I had done.

17 Q Okay. And that finishes --

18 A It's about to run out.

19 Q -- 2-A. Okay. Let's go on to 2-B.

20 MR. BECKER: We can go off
21 the record.

22 (Discussion had off the record.)

23 A This is a cine angiogram of the
24 following day. Pacemaker in place,
25 injection in the left anterior descending

1 artery. Rotational atherectomy bur passed
2 through the -- into the LAD, balloon
3 angioplasty performed at both sites of the
4 LAD.

5 Q So you did a balloon and an atherectomy
6 in the same vessel?

7 A We typically follow atherectomy
8 procedures with balloons.

9 Q Okay.

10 A And that's what that shows.

11 Q Go ahead.

12 A Would you like me to continue?

13 Further balloon angioplasty at the
14 lesion sites. And then that was -- those
15 are the pictures of the -- just the final
16 angiographic results of the LAD.

17 Q So you did an atherectomy of all three
18 vessels and followed that with a balloon?

19 A No, I don't -- I don't -- I don't
20 recall doing an atherectomy of the --

21 Q Circumflex?

22 A Yeah.

23 Q Okay.

24 A I don't recall that happening. I
25 thought that was just the angioplasty alone.

1 Q Okay.

2 A I'd have to look at the record to be
3 certain.

4 Q All right. That's fine.

5 A Do you need to see anything more with
6 this? I'm done with this film.

7 Q Okay. Let's just finish the film up so
8 any expert that looks at this can analyze
9 it.

10 A The last film is Dr. George's film. Do
11 you want to see that also with me or --

12 Q Yeah, let's take a look at it right
13 now. Obviously you were not present?

14 A No, I wasn't. I wasn't there on this
15 film day.

16 MR. BECKER: Can we get a
17 stipulation that the film that was just
18 played was from a canister marked 2-B?

19 MR. MILINE: Yeah. If that's
20 what you marked, sure.

21 MR. BECKER: Yeah. And can
22 we get a stipulation that the film the
23 Doctor is about to play was taken during Dr.
24 George's procedure is marked as 2-C?

25 MR. DILLON: Yes.

1 MR. MILINE: Just note the
2 objection that he wasn't here during that
3 period of time,

4 MR. BECKER: "He" being Dr.
5 Yakubov?

6 MR. MPLINE: Correct.

7 A Are we on the record still?

8 Q Yes.

9 A Okay. Do you want me to run through
10 this film?

11 Q Yes. Run through it and based -- I
12 appreciate the fact that you were not there,
13 but what is your understanding as to what
14 you're seeing.

15 A Okay.

16 Q That's what I'd like you to speak to.

17 MR. MILINE: And if he has
18 any questions for you, Doctor, he'll ask
19 you.

20 A There's a catheter in the right
21 coronary artery. There is slow flow and
22 total occlusion of the right coronary artery
23 in the proximal portion. Diagnostic
24 angiography of the left system shows a
25 certain large intermediate branch of the

1 circumflex artery to be open. Slow flow in
2 the LAD. Here you can see that beyond the
3 first septal perforator branch and the first
4 diagonal branch the LAD is totally
5 occluded. There's faint distal filling of
6 the apical portion of the LAD.

7 Q Now, can you tell whether or not that's
8 a dissection or just simply an occlusion?

9 A There's some features of dissection,
10 some features of thrombus. Probably a
11 combination of both.

12 Q Of course once you have a dissection,
13 that sets the system up for a thrombus
14 formation?

15 A It can be contributory to formation
16 thrombus.

17 Q Okay. Go ahead.

18 A There's also occlusion of the distal
19 circumflex artery. The intermediate branch
20 or first obtuse marginal branch is still
21 widely patent. Just further angiogram
22 showing that.

23 Here's a guide catheter placed in
24 the right coronary artery. A wire is
25 through the right coronary artery. Balloon

1 angioplasty was performed in the right
2 coronary artery, flows re-established.
3 Further diagnostic angiograms, I presume
4 after balloon inflation such as that. Still
5 in the right coronary artery. Intracoronary
6 stenting of the right coronary artery.

7 Now, to the left anterior
8 descending artery a wire is placed to the
9 distal part of the LAD, guide catheter is in
10 the left main coronary artery. Balloon
11 angioplasty is performed of the proximal
12 LAD, and then the mid to distal LAD. Flow
13 re-established in the LAD. Further balloon
14 angioplasty in the LAD, different views.
15 Again, the angiographic results of the
16 balloon angioplasty in the LAD.
17 Intracoronary stenting of the LAD followed
18 by balloon dilitation. That's about it.

19 Q Okay.

20 A That's the final -- there might be
21 something after it. No, that's it.

22 Q Okay.

23 A That's the final angiograph.

24 MR. BECKER: Let's go off the
25 record just for a moment.

1 (Discussion had off the record.)

2 BY MR. BECKER:

3 Q Doctor, when it came to the time to
4 make some recommendations to Mr. Mauller,
5 you had those discussions with Mr. Mauller
6 and his wife?

7 A I don't recall if I had the discussions
8 with his wife.

9 Q Okay. Do you recall any specifics or
10 any specifics of the conversation you had
11 with Mr. Mauller relative to your
12 recommendations?

13 A I recall speaking with him about
14 options of what he is -- he can have done.

15 Q Okay. And was CABG one of the options?

16 A Yes, it was.

17 Q Okay. And did you have a
18 recommendation to him?

19 A I -- you know, based on his clinical
20 scenario, I felt that multi vessel
21 angioplasty was a reasonable option. I felt
22 that coronary bypass grafting is an option.
23 I usually allow the patients to make their
24 choice, trying to lay out the success rate
25 and the complications of each.

1 Q Okay. What likely would you have told
2 him since you don't recall the specifics?

3 A I likely would have told him that the
4 right coronary artery had several lesions.
5 I think the success rate with rotational
6 atherectomy and balloon angioplasty in that
7 case was probably 98 percent. Complication
8 rates are as -- probably no greater than
9 other direct or rotational atherectomy
10 cases, incidents of myocardial infarction of
11 2 to 4 percent, incidents of death of about
12 one-half to one percent, risk of stroke of
13 somewhere around one-half to one percent.
14 And then I probably went through the same
15 thing with the circumflex artery with less
16 chance of death with that artery.

17 Q Okay. Same statistics, same risk of
18 complications?

19 A Right.

20 Q And with the --

21 A And with the LAD, I would have told him
22 that here are the -- this is the presumed
23 success rate, knowing his lesions now in
24 each of those vessels, here are the risks of
25 complications with each of those, with

1 angioplasty, and then give him the usual
2 statistics for coronary bypass grafting.

3 Q All right, Now, did you come to the
4 point either in your mind or to the point of
5 documenting a grading of his lesions?

6 A I'm not real clear on what you mean by
7 that.

8 Q Okay. Are you familiar with grading of
9 lesions as A, B, C or D, of the type of
10 lesion, severity of the lesion?

11 A For directional atherectomy -- or for
12 coronary intervention.

13 Q Coronary intervention.

14 A I don't recall explaining that to him.

15 Q Okay. But did you come in your mind as
16 to what grade his lesions likely were in?

17 A I probably automatically do that in my
18 own mind.

19 Q Okay.

20 A When I -- when I think about the case
21 and try to present as objectively as
22 possible what my success rate with that
23 intervention would be to the patient.

24 Q Okay. And what grades would you call
25 his lesions?

1 A I would probably say the lesions in the
2 right coronary artery -- the total occlusion
3 is certainly a grade C lesion, and I'm using
4 the A, B, C classification.

5 Q Uh-huh.

6 A The lesions in the mid and distal right
7 coronary arteries are either B-1 or B-2.
8 Circumflex artery lesion was certainly a B
9 or a C lesion. Intermediate branch was
10 probably an A lesion and the LAD stenoses
11 were A or B.

12 Q Okay. And as you get lower in the
13 alphabet towards the C, the greater the
14 severity of the lesion and the less likely
15 chance of success?

16 MR. MILINE: Objection.

17 A More -- well, statistically that is
18 true. The way an angiographer interprets
19 that is kind of the complexity of the
20 lesion.

21 a Okay. I appreciate you laying out the
22 options, but my experience with
23 interventionalists is they usually make a
24 recommendation one way or the other. Did
25 you specifically make a recommendation after

1 you'd laid out the options?

2 A I'm not your typical interventionafist
3 and I always explain to patients and I try
4 to let them make the decision all the time.

5 Q So the answer to my question is that
6 you did not make a specific recommendation?

7 A Unless the patient said what would you
8 do to your brother or to yourself, if this
9 was you on the table, then I may have done
10 that.

11 Q Okay. You don't know if you did that
12 or not?

13 A I don't recall that.

14 Q Okay. Now, you made the decision to do
15 a multi-staged intervention over two days?

16 A Yes, sir.

17 Q Okay. And what was the reason for
18 that?

19 A Well, it's very common that if you have
20 multi-vessel cases., that they're done in a
21 stage fashion. I don't recall the exact
22 reason at the time that it was going through
23 my mind.

24 Q Okay. And what was the basis for your
25 decision to do the RCA and the circumflex

1 vessels first?

2 A The right coronary artery had a totally
3 occluded distal vessel. So I felt that if
4 we can open the distal occlusion first, that
5 it may be helpful in supplying collateral to
6 the left side if I got into trouble during
7 that part of the procedure.

8 Q All right. What are the indications
9 for doing an atherectomy versus a -- merely
10 a balloon angioplasty?

11 A Rotational atherectomy I presume you're
12 talking about; is that correct?

13 Q Right.

14 A Rotational atherectomy is useful in
15 long lesions, patients that have diffuse
16 disease through the coronary anteriors.
17 It's also useful in vessels that have
18 calcified lesions. On the other hand, it's
19 also very good for what we would term soft
20 plaque, and at that -- and that time of
21 interventional cardiology it was felt like
22 that was a very reasonable option for the
23 LAD and the right coronary artery.

24 Q Has that viewpoint changed?

25 A I think that, you know, there's a lot

1 of different opinions about rotational
2 atherectomy and interventional cardiology.
3 I think that it's very, very useful for
4 calcific plaque. We know that it is very
5 good for soft plaque also, but it can
6 sometimes lead to higher re-stenosis rates.

7 Q Did he have soft plaque or hard plaque?

8 A You don't absolutely know until you get
9 in there. I would --

10 Q Can you tell by sensation, or you tell
11 by what you see?

12 A Well, there's two -- two things.
13 Number one is you can often see
14 calcification on the cine angiograms. I
15 didn't see much of that. Often though when
16 you're putting a balloon into a coronary
17 artery and it won't dilate easily, then you
18 know that the plaque is much harder. As you
19 know, the right coronary artery had
20 rotational atherectomy done first, so I
21 can't tell you that answer.

22 Q Had Mr. Mauller's left anterior
23 descending not closed down on the 28th of
24 May, do you have an opinion whether or not
25 he would have arrested?

1 MR. MILINE: Objection,

2 A He had two arteries that closed down.
3 Actually three. He had the LAD, the right
4 coronary artery and distal circumflex
5 artery, so that's truly speculation on my
6 part, if he would have arrested based on one
7 artery.

8 8 Do you have an opinion whether Mr.
9 Mauller sustained any type of brain injury
10 during his resuscitation in the cath lab on
11 the 28th of May?

12 MR. MILINE: Objection.

13 A I -- I'm not sure of that answer.

14 Q You don't have an opinion one way or
15 another?

16 A Well, I have an opinion you can't be
17 certain during the procedure.

18 Q Do you have an opinion more likely than
19 not as to whether he sustained any brain
20 damage during his time in the cath lab on
21 May 28th, 1994?

22 A I have an opinion.

23 8 What is that?

24 A And I am not certain.

25 8 Do you have an opinion as to whether he

1 likely sustained brain damage?

2 A Well, the reason I can't be certain
3 about that question is because I wasn't
4 there to talk to the patient or, you know,
5 he could have been neurologically fine up
6 until the time of coronary intervention. He
7 could have awakened during the
8 intervention. I don't know the events that
9 happened during the case.

10 Q Okay, You don't have an opinion; is
11 that correct?

12 A I think there's a lot -- there's a lot
13 of possibilities that could have happened in
14 there. I'm not sure I understand the
15 question real well.

16 Q Would you defer to Dr. George as to
17 whether or not Mr. Mauller sustained brain
18 injury, brain damage during that -- his time
19 in the cath lab on May 28th, 1994?

20 MR. MILINE: Objection.

21 A Yes.

22 Q Now, what does the term asystole mean?

23 A That means the absence of a pulse.

24 Q Okay.

25 A No -- no electrical activity.

1 Q Okay. And someone who undergoes
2 intervention like Bale Mauller, he is
3 monitored to pick up any evidence of
4 asystole?

5 A During the procedure?

6 Q No. Post-intervention. I suppose
7 during the procedure as well.

8 A Sure.

9 Q And between procedures he's monitored
10 for that as well; correct?

11 A That's correct,

12 Q Now, is a sinus node the primary
13 pacemaker of the heart?

14 A It is the primary pacemaker.

15 Q If a patient develops an episode of
16 asystole after intervention of the right
17 coronary artery, wouldn't you agree that it
18 is highly suspicious for a problem in the
19 right coronary artery?

20 MR. MILINE: Objection.

21 A Not necessarily.

22 Q Okay. Are you aware that there is
23 evidence of asystole between your first and
24 second interventions?

25 A There may have been -- I am not

1 absolutely aware. I'd have to check the
2 record for that.

3 Q If nurses had brought to your attention
4 evidence of asystole between the first and
5 second intervention, is it likely that you
6 would have re-shot the right coronary artery
7 during the second intervention to see how it
8 looked?

9 A That's not necessarily true. What I'd
10 like to know is how long the asystole was
11 and did the temporary pacemaker have to kick
12 in. There was a temporary pacemaker in
13 there the whole time.

14 Q After the first intervention?

15 A Between the first and the second
16 intervention.

17 Q Okay.

18 A The temporary pacemaker is clearly
19 seen.

20 (Plaintiff's Exhibit 3 marked for
21 identification purposes,)

22 Q I'm going to hand you what's marked as
23 Plaintiff's Exhibit 3, which is evidence of
24 the chart which I suspect is asystole.
25 Would you tell us whether or not that

1 reflects asystole?

2 MR. BILLON: What are we
3 looking at first, please?

4 MR. BECKER: It's part of
5 your hospital chart, I can't tell you what
6 page.

7 MR. DILLON: Well, it's a big
8 chart, so.

9 MR. BECKER: It's nursing --
10 it's dated 5-26 1994.

11 A It looks like one episode of asystole
12 during --

13 a Yeah. How long?

14 A I'd have to get calipers. I'll trust
15 your judgment that it's about six seconds.

16 a Okay. Is that significant for someone
17 that's just undergone intervention?

18 A There are many things that I would
19 consider in here, and it said very clearly
20 here that he was straining to urinate.
21 That's a well-known cause of delayed sinus
22 function.

23 a Okay.

24 A There's a very good explanation for
25 this.

1
2
3
4
5
6
7
8
9
10
11
12 A It sure can happen.
13 a Okay. Is it likely?
14 A I don't know what the likelihood is.
15 Q Okay.
16 A You'd have --
17 Q Okay. The fact that asystole occurred
18 after your first intervention, would you
19 have wanted to know that? Would you have
20 wanted the nurses at Riverside Memorial
21 Hospital to bring that to your attention?
22 A Well, there's a very high likelihood
23 that I probably saw that. I mean I --
24 Q Okay. Listen to my question.
25 A Yes.

1 Q I didn't ask you if you saw it or you
2 didn't saw it. Would you have expected the
3 nurses to bring this evidence of asystole to
4 your attention?

5 A Yes.

6 Q Okay. And would you have expected them
7 to immediately bring it to your attention,
8 shortly within minutes after it occurred?

9 A No.

10 Q When would you have expected them to
11 bring it to your attention?

12 A It depends on what time of day that it
13 happened. I don't believe that it is an
14 emergency, so I don't believe that they need
15 to call me immediately or run over and find
16 me in the cath lab.

17 Q Okay.

18 A Because straining to urinate and having
19 temporary asystole is not unusual.

20 Q Okay. Would you have -- would you
21 have wanted to rule out any closure of
22 vessels if --

23 A Based on this finding alone?

24 Q Right.

25 A I don't feel that you need to re-squirt

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

be brought to my attention.

Q Okay. And if it's brought to your attention, what action likely would you take?

A None.

Q Okay. All right. He comes in with a normal hematocrit of what? Is -- I'm going to represent to you that pre-intervention he had a hematocrit of 15.8.

A Okay.

(Plaintiff's Exhibits 4-A, 4-B and 4-C marked for identification purposes.)

Q And I'm going to hand you what's been

1 marked as Plaintiff's Exhibit -- that -- all
2 right. What number are we up to? 4? 4-A.

3 MR. BECKER: And counsel, we
4 can agree to leave all exhibits with the
5 court reporter, she can attach them to the
6 depositions?

7 MR. DILLON: Yeah, that's
8 fine.

9 a 4-B, 4-C, and I'm going to represent to
10 you that these are the lab printouts from
11 Riverside Memorial Hospital on Mr. Mauller.
12 I want you to take a look at the
13 hematocrits, the levels of them and the
14 timing of the --

15 MR. MILINE: May I take a
16 look at those? Thank you.

17 a And before I ask you some specific
18 questions off of that, we can agree that the
19 hemoglobin is the oxygen carrying component
20 of the blood?

21 A Correct.

22 Q And as a person's hemoglobin count goes
23 down, the oxygen carrying capability of the
24 blood also goes down?

25 MR. MILINE: Objection.

1 Q Correct?

2 A That's correct.

3 Q Would you agree that oxygen supply to
4 the heart muscle is dependent upon oxygen
5 carrying capacity of the blood?

6 A It's -- it depends on many things.

7 Would you please say that again? I'm sorry.

8 Q The oxygen supply to the heart
9 muscle --

10 A Okay.

11 Q -- is dependent upon the oxygen
12 carrying capacity of the blood?

13 A Not only that. That's one of the
14 components.

15 Q Okay. What other components are there?

16 A Cardiac output.

17 Q Okay. Would you agree that heart
18 muscle that is deprived of adequate oxygen
19 is more likely to generate a ventricular
20 arrhythmia than heart muscle that receives
21 adequate oxygen supply?

22 MR. MILINE: Objection.

23 A Again, generation of -- that's quite
24 not specific enough. If you can make the
25 question more specific, I'd be happy to

1 answer that.

2 a Well, as oxygen delivery is reduced,
3 such increases the risk of an arrhythmia in
4 the heart?

5 A Assuming -- I think if you have two
6 hearts that are comparable ejection
7 fractions and one has less oxygen delivered
8 to it, significantly less, that statement
9 may be correct.

10 Q Okay. Would you agree that a patient
11 with ischemic heart disease who has a drop
12 of 7 points in hemoglobin within a matter of
13 approximately 30 or 36 hours, that would
14 place the patient at increase risk for a
15 ventricular arrhythmia?

16 MR. MILINE: Objection.

17 A It may.

18 Q Okay.

19 A That of course doesn't apply here
20 because 7 grams of hemoglobin didn't fall
21 within 36 hours it appears, and much of the
22 decrease in the initial hemoglobin may be
23 due to delusion, et cetera, because I'm sure
24 the patient came in without food or drink at
25 the time.

1 Q Doctor, excuse me, I didn't mean to cut
2 you off. Are you done?

3 A 36 hours certainly is the time.

4 Q Take a look at those hemoglobin numbers
5 and tell me if there's anything that would
6 be concerning to you as an attending
7 interventionalist.

8 A Sure. There is a drop in the
9 hemoglobin.

10 Q Okay. And was that brought to your
11 attention as the attending
12 interventionalist?

13 A I don't recall.

14 Q Okay. Would you consider that drop in
15 hemoglobin given the time frame within which
16 that drop occurred to be alarming?

17 A Yes.

18 Q What action, if any, did you take as a
19 result of that drop in hemoglobin?

20 A I don't recall.

21 Q If you took any action as a result of
22 drop in hemoglobin, minimally that should be
23 documented; correct?

24 A Not necessarily. There are many things
25 that go through my mind that I don't

1 actually document on the chart. I apologize
2 for that. I may be considering a
3 retroperitoneal bleed, I may be observing a
4 groin hematoma site, There may be other
5 reasons for this. Maybe I know that there
6 was a tremendous amount of blood loss during
7 the procedure. There are many things that
8 go through my mind. I don't document them
9 all on the chart.

10 Q If there was a tremendous amount of
11 blood loss during the initial procedure, you
12 wouldn't see a gradual drop in hemoglobin,
13 you would see a sudden drop; correct?

14 A That's not true. It takes awhile for
15 the blood to equilibrate.

16 Q Is there any evidence in this chart
17 that there was a tremendous amount of blood
18 lost in the procedure?

19 A I didn't --

20 Q In the chart?

21 A I don't know for sure.

22 Q Do you want to take a look at the
23 chart? Again, Doctor, you're more than free
24 to look at the chart before responding to my
25 questions.

1
2
3
4
5
6
7
a
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

considering that he had had a rotational
atherectomy, multiple catheters exchanged at

1 the time of the heart catheterization, burs
2 were passed in and out of the guide catheter
3 and balloons were passed out -- passed
4 through there. On the 28th of May I did not
5 see the patient. So do I find that there is
6 tremendous alarm between 13.3 hemoglobin on
7 the first day that I took care of him and
8 11.6 on the second day? The answer to your
9 question is that is not tremendously
10 alarming to me.

11 Q Okay.

12 A And I don't feel that that required
13 documentation by me.

14 Q All right. Don't you want to know what
15 he was pre-procedure to make a full and fair
16 assessment of whether that drop is
17 significant?

18 A Well, you know, the --

19 Q Is that -- listen to my question.

20 A I'm listening to you.

21 Q Okay.

22 A You have --

23 MR. BECKER: Answer, would
24 you, Miss Reporter, would you read that
25 question back? Just listen to the question.

1 MR. MILINE: I'd appreciate
2 you not being argumentative and giving him
3 the opportunity to respond, Mr. Becker.

4 (Question read.)

5 A Yes.

6 Q Thank you.

7 Now, I want you to assume it's
8 true that pre-intervention his hemoglobin
9 was 15.8. Okay. Assuming that to be true,
10 Doctor, is your answer the same relative to
11 the drop after the first procedure?

12 A Can I ask you a question where that
13 15.8 came from?

14 Q Sure. It's a -- it's part of the
15 chart. I do not have a page though. I'd be
16 happy to show you the page, but I don't have
17 a page number.

18 A Why wasn't it part of the Riverside
19 documents that I would have typical access
20 to? Did that come from an outside source,
21 not the same time?

22 Q Well, let me just read it to you for
23 the record and I'll happy to show it to you.

24 A All right.

25 Q It says, "Cardiovascular Lab, Cardiac

1 Catheterization Flow Sheet." I'll show it
2 to defense counsel as to what it looks like.

3 MR. DILLON: What's the date
4 on it? Okay.

5 Q Let's mark this. We're up to 5.

6 (Plaintiff's Exhibit 5 marked for
7 identification purposes.)

8 Q Doctor, I'm going to hand you what's
9 marked as Exhibit 5.

10 A Okay.

11 Q Which I represent to you is part of
12 Dale Mauller's, chart and in the upper
13 section of that it reflects a hematocrit --
14 I'm sorry, a hemoglobin of 15.8; is that --
15 is that accurate?

16 MR. MILINE: Objection.

17 Q Is that accurate that that documents
18 reflects that?

19 A That's correct.

20 Q Okay. And do you have some reason to
21 believe that that pre-intervention
22 hemoglobin is inaccurate? Do you have any
23 reason to --

24 A No.

25 Q -- question that?

1 A No, but I also don't know exactly when
2 that -- where that information was derived
3 from because it's not part of the laboratory
4 work at Riverside during the time I was
5 working on him.

6 Q Okay. Well, do we -- based on your
7 familiarity with the chart, is there any
8 evidence as to what his pre-hemoglobin was
9 prior to your first intervention?

10 A That is -- his hemoglobin was probably
11 15.8 at sometime prior to my intervention.
12 It could have been a day, two days, who
13 knows.

14 Q I'm gathering that -- are you
15 suggesting that maybe his hemoglobin wasn't
16 15.8, that it dropped prior to the
17 procedure?

18 A No. What I'm saying is I don't know
19 exactly what time this was done.

20 Q Okay. But what's the significance of
21 what time it was done, whether it was done
22 in the morning before the intervention, or
23 the evening before his intervention? What's
24 the significance of that towards your
25 ultimate assessment as to whether this drop

1 in hemoglobin was concerning and alarming?

2 A This is the first --

3 MR. MILINE: Objection.

4 A -- hemoglobin that I have a record of,
5 so I don't really know where that came from.

6 Q Yeah, I mean, wouldn't you want to
7 know what his hemoglobin was before you
8 proceeded with intervention of this patient?

9 A I may have known what his hemoglobin
10 was. I usually know what the hemoglobin is
11 before I proceed with --

12 Q Okay.

13 A -- intervention of the patient.

14 Q It's your duty and responsibility to
15 know that?

16 A Exactly.

17 Q I want you to assume it's true that his
18 hemoglobin was 15.8.

19 A Okay.

20 Q Okay? Prior to your intervention.

21 A Yes.

22 Q And it dropped to 15.3.

23 A Okay.

24 Q Would you consider that an anticipated
25 normal drop, or would you consider that

1 something outside the realm of anticipated
2 normal drop?

3 MR. MILINE: Objection.

4 A Now, assuming that the 14:42 on the
5 26th was after the coronary intervention.

6 Q We gotta help the record. What are you
7 referring to as 14:42. The CBC?

8 A The time. The time that it was drawn.

9 Q Excuse me one second. I'm not sure
10 that's --

11 MR. DILLON: Is that the
12 13.8?

13 A Yeah. 13.3 I think.

14 a I'm sorry, Doctor. You are correct,
15 and I don't know what this CBC over here
16 means.

17 A I think they just have them offset.

18 Q Okay. You are correct. So that is
19 2:42?

20 A Okay. Now I would -- going from 15.8,
21 assuming that this is his hemoglobin before
22 the procedure.

23 Q Right.

24 A Probably in a dehydrated state,
25 consider the amount of fluids that we give

him, this is not an alarming drop --

Q Well, wait a minute.

A -- after -- after the procedure.

Q What's this -- what did you say about dehydration?

A Yes. Patients are asked not to eat or drink before they come into the cath lab.

Q Okay, so --

A He was an outpatient. I would have
10 expected him not to have eaten or drink
11 anything from the previous night.

12 Q so --

13 A So your hemoglobin would automatically
14 go up.

15 a Well, if he was in a dehydrated state,
16 would you expect that to be reflected on his
17 chemistry?

18 A Do I expect that to be consistent?

19 a Yeah.

20 A No. He's well hydrated by this point.

21 a Okay.

22 A So there's delusional affects of his
23 hemoglobin --

24 Q Okay.

25 A -- that occurred during the procedure.

1 That was not a short procedure.

2 Q Okay. What is your belief that he --
3 is it your claim here that he was dehydrated
4 at the time that this first hemoglobin was
5 taken; is that accurate?

6 MR. MILINE: Objection,
7 Argumentative.

8 A My claim is that most patients that
9 come in as outpatient to the catheterization
10 lab as Mr. Mauller did, are dehydrated
11 because they're not allowed to eat or drink.

12 Q For how long?

13 A Usually before midnight of the day of
14 the procedure.

15 Q And what time was his procedure?

16 A The day preceding the procedure.

17 Q What time was his procedure?

18 A Well, the second hemoglobin was done at
19 least 14 hours after midnight, so there's a
20 least probably 14 hours difference.

21 Q Well, let's stick with the first
22 hemoglobin. This is the one -- you're
23 implying, sir, that this was inaccurate due
24 to some state of dehydration.

25 MR. MILINE: Objection to

1 characterization.

2 A No, I'm saying it's accurate. I'm
3 saying it's the state of the patient.

4 Q Okay.

5 A I didn't say that it was an inaccurate
6 blood draw.

7 Q If it was drawn before he got into the
8 hospital, is it likely that that would be
9 his true reading and normal hemoglobin?

10 MR. MILINE: Objection.

11 A Again, it depends on if -- you know,
12 often times when patients go to the
13 physician to get blood drawn they are asked
14 to fasting --

15 Q Okay.

16 A -- for a variety of reasons. So he
17 could have been fasting.

18 Q Okay. I'm with you.

19 A Got it.

20 a Let's move along.

21 A Good.

22 Q All right? I want you to assume it's
23 true that this is an accurate hemoglobin,
24 that it is accurate and not reflective of a
25 dehydration state for the purposes of the

1 balance of my questions. Just assume
2 that --

3 A That's a big assumption.

4 MR. DILLON: You're talking
5 15.8?

6 MR. BECKER: Yes, sir.

7 MR. MILINE: Can I have a
8 continuing objection?

9 MR. BECKER: Absolutely.

10 MR. MILINE: Thank you.

11 Q Are you with me? Do you understand
12 what I want you to do?

13 A Yeah. I think that's a huge assumption
14 though.

15 Q Well --

16 A All right.

17 Q We talked about why you think it's a
18 huge assumption.

19 A Okay.

20 Q Is there any other reason why you feel
21 it's a huge assumption other than what
22 you've already talked about?

23 A Well, because I don't have
24 documentation of this, so this came from
25 somewhere else.

1 Q Okay. I want to make sure I explore
2 each and every bases for your conclusion
3 that this is a huge assumption.
4 A Okay.
5 Q Have I left anything out?
6 A I don't believe so.
7 Q All right.
8 A Maybe something will come to mind.
9 Q If it does, let me know, or let your
10 counsel know.
11 A I'm sure I will.
12 Q Okay. Now, let's assume that that 15.8
13 is an accurate hemoglobin and not reflected
14 -- not reflective of a true dehydration
15 state.
16 A Uh-huh.
17 Q Now, if you go from 15.8 to 13.3 after
18 the first procedure, is that within the
19 normal expected range or outside of the
20 normal expected range?
21 A I think that that's -- that can be
22 construed as being a very reasonable drop.
23 Q Okay. Same question on the next
24 drawing, which is at 1:58?
25 A 13 -- okay. P.m.

1 Q Okay. Now we have a drop from 13.3 to
2 11.6.

3 A Uh-huh.

4 Q You still think that's within a normal
5 range?

6 A Considering the procedures that he had
7 done, the length of the procedures, the type
8 of the procedures, the amount of fluid that
9 he had gotten for both procedures, I think
10 that that is still not truly alarming.

11 Q Does it become alarming, sir, on the
12 28th?

13 A It starts to become concerning.

14 Q And because it continues to drop from
15 the 27th to the 28th, does that reflect
16 whether or not this continued drop was due
17 to normal expected blood loss, or likely due
18 to some other source of blood loss?

19 MR. MILINE: Objection.

20 A It can be due to multiple reasons. I
21 think part of it is normal expected blood
22 loss. The rotational atherectomy procedures
23 are not real clean procedures, so there's
24 more blood loss at that than plain
25 angioplasty. There's delusional effects

1 that occur from giving patients fluid, and
2 so although this is a significant blood
3 drop, it has to be interpreted in light of
4 the whole patient's scenario.

5 Q Okay. Now, have you ever had a patient
6 develop a retroperitoneal bleed?

7 A Yes.

8 Q Okay. How often has that happened to
9 you, sir?

10 A I'd probably say, you know, I don't
11 know the usual statistics. Often I explain
12 to patients, any time I'm putting them on
13 Heparin, the risks of bleeding from the
14 Heparin are anywhere from 1 to 3 percent.
15 And retroperitoneal hemorrhage, which is the
16 concerning type of bleeding, can be as high
17 as one-half to one percent I would suspect.
18 I don't know the exact instance.

19 Q It's a known complication --

20 A Yes.

21 Q -- from the procedure he had undergone?

22 A No doubt about it.

23 Q No question about that?

24 A No doubt.

25 Q Did you tell him about that?

1 A I may have. I take a lot of time to
2 explain to patients all the complications of
3 the procedure.

4 a Okay. What are the signs and symptoms
5 of a retroperitoneal bleed?

6 A Hypotension.

7 a Hypotension?

8 A Yes.

9 a Anything else?

10 A It can be back pain.

11 Q Back pain?

12 A But not always. Many times
13 retroperitoneal hemorrhaging can be silent.

14 Q Okay.

15 A There can be leg pain.

16 a How about abdominal pain? Can there be
17 abdominal pain?

18 A Usually when it's abdominal pain we
19 don't think as much of retroperitoneal
20 bleeding. We may think of rectasheath
21 hematomas.

22 a Abdominal pain is consistent with a
23 hematoma, it's just not consistent with a
24 retroperitoneal hematoma?

25 A It can be if there's radiation, but

1 it's not --

2 Q Okay.

3 A It's certainly not the first sign that
4 we look for.

5 Q Any other signs and symptoms?

6 A Oh, those are the major signs and
7 symptoms.

8 Q Okay. And of course by definition a
9 falling H and H?

10 A Yes. Yes.

11 Q Okay.

12 A But that's not a sign or --

13 Q That's a sign I guess?

14 A Well, a laboratory eval. You're right.

15 Q Okay. Now, when you have a
16 retroperitoneal bleed, when you suspect it,
17 there -- we got into this discussion earlier
18 on, there's things you can do to rule it out
19 or you just assume it's there and you treat
20 it; right?

21 MR. MILINE: Objection.

22 A It depends on the clinical -- you
23 remember you're treating a patient --

24 Q Absolutely.

25 A -- and not just a laboratory value.

1 Q Right. And some of the ways to
2 diagnose a retroperitoneal bleed would be
3 what?

4 A CT scan of the abdomen.

5 Q Okay. Anything else? Would that be
6 your first line of approach?

7 A Yes.

8 Q Okay. Did you order one of those for
9 Mr. Mauller?

10 A No. Again, I -- when I saw this
11 patient, his hemoglobin was in a relatively
12 acceptable range.

13 Q But if you take these numbers, Doctor,
14 and you add on complaints of back and
15 abdominal pain, doesn't that send out big
16 red flags to you as an attending
17 interventionalist?

18 MR. MILINE: Objection.
19 Argumentative.

20 A About what?

21 Q That there's something going on with
22 this patient.

23 A Well, I'm concerned about all
24 complaints the patient has.

25 Q Okay.

1 A With a hemoglobin of 11.6 over, you
2 know, 24 hours or more after the two
3 procedures that have significant amount of
4 blood loss and a patient's been down for a
5 couple of days, it's easy to understand he
6 has back pain. Mot all back pain is
7 retroperitoneal hematoma.

8 Q I'm sorry. Why is it easy to figure
9 that he's going to have back pain?

10 A Patient's lying flat on their back for
11 many hours --

12 Q Okay.

13 A -- after the procedure.

14 Q And it's your experience that patients
15 usually -- they're rolling in their bed from
16 back pain just because of the procedure?

17 A There's some patients that are rolling
18 on the cath lab table after being only on
19 there for half an hour. It's a very
20 uncomfortable bed.

21 Q Can we agree, Doctor, that if you take
22 a drop in H and H like we have here, and you
23 add some symptoms Pike back pain, abdominal
24 pain, that takes -- that takes that -- your
25 index of suspicion for any kind of a bleed

1 much higher?

2 A I always have that index of suspicion.

3 Q But doesn't it raise it much higher?

4 MR. MILINE: Objection.

5 A I don't know how much higher you're
6 referring to.

7 Q Well --

8 A Are you -- do YOU want to tell me how
9 much higher?

10 Q Is it high enough to the point where
11 you want to undertake some diagnostic tests?

12 A Such as?

13 Q Ultrasound, CT scan.

14 A I don't do ultrasounds for
15 retroperitoneal hematomas.

16 Q Okay. How about CT scans?

17 A Given this clinical scenario I would
18 not have ordered a CT scan of his abdomen to
19 rule out retroperitoneal bleed.

20 Q You stated that in light of his
21 complaints of back and abdominal pain?

22 A In light of the fact that -- back pain
23 and abdominal pain are very common after
24 procedures, and his hemoglobin drop was not
25 so alarming that I would have suspected

1 retroperitoneal hematoma at the time that I
2 had seen him.

3 Q Okay. Do you routinely order pain
4 medication for your patients for back pain?

5 A Often. Yes,

6 Q Okay. What medication do you generally
7 order?

8 A The most common drug that's used at
9 Riverside is Dilaudid.

10 Q Okay. And what's the source of the
11 back pain if they don't have a bleed going
12 on, why would they have --

13 A It's usually muscular pain.

14 Q If a patient had a drop in H and H like
15 we see here on Exhibit 4-A, and I guess 4-B
16 and 4-C, would you expect the nurses at
17 Riverside Hospital to bring these drops in
18 these laboratory values to your attention
19 or --

20 A The nurses bring the hemoglobin to my
21 attention virtually every time that it
22 goes --

23 Q The answer to my question would be yes?

24 A Yes, because that's routine.

25 Q So you would expect them to bring those

1 drops to your attention; correct?

2 A Yes.

3 Q Now, if a patient on top of these drops
4 in hemoglobin also had complaints of severe
5 abdominal or back pain, you would expect the
6 nurses at Riverside Memorial Hospital to
7 bring those to your attention; correct?

8 A Sever abdominal pain? Is that the way
9 you characterized it?

10 Q Yes.

11 A Yes.

12 a Okay. And if the nurses at Riverside
13 Memorial Hospital failed to bring to your
14 attention severe abdominal and/or severe
15 back pain in light of these H and H numbers
16 that we just talked about, that would be
17 substandard care by the Riverside Memorial
18 Hospital nurses; correct?

19 MR. DILLON: Objection.

20 A You know, you're using a term severe,
21 and I don't know -- that is a word that's
22 subject to interpretation. If it is -- if
23 it is severe abdominal pain, severe back
24 pain that's out of the ordinary for patients
25 that have rotational atherectomy, balloon

1 angioplasty, they bring it to my attention.

2 Q That's their duty to bring it to your
3 attention?

4 A That's correct,

5 Q And if they don't, that would be
6 substandard care on their part?

7 A That is correct.

8 Q Okay. Now -- okay. All right. Okay.

9 Now, when you think you have a
10 retroperitoneal bleed and you want to -- you
11 want to treat it, one of the first things
12 you want to do is remove the sheaths?

13 MR. MILINE: Objection.

14 Q What would be one of the first things
15 you want to do?

16 A I would think you want to get rid of
17 the Heparin.

18 Q Okay. Stop the Heparin?

19 A Yeah.

20 Q Anything else?

21 A It's usually Heparin induced bleeding
22 in the retroperitoneum. The treatment is --

23 Q Stop the Heparin?

24 A Stopping the Heparin and fluid support.

25 Q Was there any contradiction to stopping

1 the Heparin after the first procedure?

2 A Not -- contraindication?

3 Q Yes.

4 A What do you mean by that?

5 Q Was there any danger to him by stopping
6 the Heparin?

7 A Sure.

8 Q After the first procedure?

9 A Sure.

10 Q What was the danger?

11 A He may have abrupt vessel closure.

12 Q Okay. Weighing the risks and benefits
13 when you suspect a retroperitoneal bleed,
14 which option do you choose?

15 MR. MILINE: Objection.

16 A Well, you know, considering, between
17 the first and second procedure I wasn't
18 alarmed at the hemoglobin drop, so there is
19 absolutely no reason to stop the Heparin.

20 Q Okay.

21 A Stopping the Heparin was not what I
22 would have done in that circumstance.

23 Q Okay.

24 A And I didn't do it.

25 Q I think my question -- I forgot what my

1 Q Right.

2 A The number one thing to do is to stop
3 the Heparin.

4 Q Right.

5 A And then probably since there is
6 nothing to keep the sheaths from clotting
7 off, you would have to pull the sheath.

8 Q Okay.

9 A But it's not because of a
10 retroperitoneal bleed.

11 Q Okay. What criteria do you use to
12 determine if blood products should be given
13 to a patient who has fallen hemoglobin and
14 hematocrit following cardiac
15 catheterization?

16 A One --

17 MR. MILINE: Objection.

18 A One of the criteria is the absolute
19 blood count.

20 Q Any others?

21 A Well, I think that you have to take
22 into account patients normal hemoglobin,
23 underlying medical conditions, condition of
24 the left ventricle and the performance of
25 the left ventricle, ability to tolerate

1 fluids, coronary disease status. I think I
2 hit the highlights.

3 MIS. BECKER: Okay. We're
4 going to have to take a short break and let
5 one of the lawyers out of here.

6 (Discussion had off the record.)

7 (Ms. Taylor exits proceedings.)

8 BY MR. BECKER:

9 Q Doctor, you indicated that you wanted
10 to know what the absolute blood count would
11 be before you would administer blood
12 products, also look at the patient's
13 clinical state as well; correct?

14 A That's correct.

15 Q At what number would you have
16 administered, what number of hemoglobin
17 would you have administered blood products
18 to a patient who has a drop as demonstrated
19 in Mr. Mauller who has complaints of severe
20 back pain?

21 MR. MILINE: Objection.

22 Q Who has multiple complaints of severe
23 back pain.

24 MR. MILINE: Objection.

25 **A** I don't -- I don't think that the back

1 pain would enter in the issue of whether I
2 would transfuse blood products.

3 Q Fine. At what number hemoglobin would
4 you have transfused?

5 A You know, it's all conjecture, not
6 seeing the patient at the time of the
7 significant blood drop, but you know,
8 patients with coronary disease, especially
9 if it's not fixed, hemoglobins of around 8
10 or lower are certainly a consideration to
11 receive blood.

12 Q I want you to assume it's true that
13 Dale's hemoglobin was 15.8 and had a
14 hematocrit of 46.1 prior to procedure,
15 within a day prior to your procedure. Would
16 you agree that would be a normal range for a
17 39 year old male?

18 A I think that's at the upper limits of
19 normal.

20 Q Would you agree that based on this
21 chart that's been marked 4-A, B and C, that
22 he, he being Dale, had a progressive fall in
23 hemoglobin and hematocrit while under your
24 care and Dr. George?

25 A That's correct.

1 Q And would you agree, Doctor, that 5-28,
2 that's on May 28, 1994, at 10:36 p.m., he
3 had lost over half of his blood cells?

4 MR. MILINE: Objection.

5 MR. DILLON: I'm sorry.
6 Would you read that again, Mike?

7 Q On May 28th, 1994, by 10:36 p.m., he
8 had lost over half of his blood cells?

9 A Where do you find that? Where is that
10 on here?

11 Q I want you to assume -- to move things
12 along, I want you to assume it's true that
13 his hemoglobin was 6.8 and his hematocrit
14 was 19.3. Assuming those to be true and
15 reflective of his status by roughly 10:00
16 p.m. on May 28th, would you agree that he
17 lost over -- Dale had lost over half his
18 blood cells?

19 MR. MILINE: Objection.

20 A Assuming that those laboratory numbers
21 are true, I would agree, except I don't see
22 those laboratory --

23 Q May be on another page, Doctor.

24 A Okay. Because there's nothing to
25 suggest here, at least the chart in front of

1 me that -- oh, here we go. 22:36. If those
2 are collected in the same fashion, I would
3 say that that's a possibility that he may
4 have lost half of his blood volume.

5 Q And that's clearly a life threatening
6 circumstance; correct?

7 A It may be. You know, life threatening
8 is dependent upon the patient's clinical
9 condition.

10 a What, Doctor, as you sit here now and
11 have refreshed your memory as to the drop of
12 Dale's H and H and have been reminded about
13 his complaints of severe back pain, do you
14 feel is the likely reason for the drop in H
15 and H over the approximate three day, three
16 and a half day period?

17 A Well, certainly 5-26 it's easily --
18 easy to account that drop in delusional
19 effects as well as blood loss at the time of
20 the procedure. On 5-27 I think the same
21 holds to be true. Now, on 5-28 the decrease
22 to 9.1 is probably -- it could be more than
23 just the delusional effects or the blood
24 loss at the time of the procedure. Maybe
25 he's had multiple blood draws, maybe he's

1 had groin hematomas that contributed to
2 that. Maybe he's had a retroperitoneal
3 bleed. All things would be considered at
4 that point in time. Maybe he's having
5 bleeding from his stool or upper G.I.
6 bleeding. It's hard to tell.

7 Q Would you agree that giving Heparin
8 after coronary intervention does not reduce
9 the chance of vessel closure?

10 A There have been articles that have been
11 published suggesting that you don't always
12 have to give Heparin, but as, you know,
13 every case isn't the same, and I think when
14 you -- there is tremendous amount of
15 interpretive variability on the result of
16 the angioplasty and sometimes we feel that
17 Heparin is necessary and for a long time
18 Heparin was standard to be given after all
19 coronary interventions for up to 24 hours
20 after the procedure or longer.

21 Q Would you agree, Doctor, that untreated
22 anemia, sudden untreated anemia from blood
23 loss increases the risk of closure of
24 vessels after coronary angioplasty?

25 MR. MILINE: Objection.

1 A If the sudden drop in hemoglobin can
2 contribute to thrombus formation or
3 hypotension, it's enough to close the
4 artery, then I think I would agree with that
5 statement.

6 Q Have you heard of the term "Shear
7 force"?

8 A Sure.

9 Q What does it mean?

10 A I think it's probably a physics term.

11 Q Relative to your specialty, how is it
12 utilized, if you know?

13 A I don't know.

14 Q Can we agree, Doctor, that a
15 significant drop in H and H that occurs over
16 a short period of time will cause the heart
17 to beat more forcefully when it contracts?

18 MR. MILINE: Objection.

19 A It may.

20 Q Would you agree, Doctor, that a
21 significant drop in H and H that occurs over
22 a short period of time can actually increase
23 the shear force that is exerted against the
24 interior components of the coronary
25 arteries?

1 MR. MILINE: Objection.

2 A I don't know that for sure.

3 Q Bo you have an opinion on that one way
4 or the other?

5 A I'm not -- I'm not sure. I have no
6 opinion.

7 Q And can we agree, Doctor, that if a
8 dissection is occurring or is present in a
9 coronary artery, an increase in shear force
10 increases the risk for closure of that
11 artery?

12 MR. MILINE: Objection.

13 A Would you please repeat that. I'm
14 sorry.

15 Q Would you agree that if we have a
16 dissection occurring or present in an artery
17 and we have concurrently an increase in
18 shear force, would that increase the risk
19 for closure of that artery?

20 MR. MILINE: Objection.

21 A I certainly can't answer that question
22 because I'm not sure what you mean by shear
23 force.

24 MR. BECKER: Off the record a
25 minute.

1 (Discussion had off the record.)

2 BY MR. BECKER:

3 Q For purposes of the balance of this
4 deposition, when I utilize the word shear
5 force, I'm assuming -- I'm meaning that it
6 is internal pressure exerted on the internal
7 wall of the artery.

8 A By?

9 a By blood flow.

10 A So blood flow is exerting the pressure
11 on the artery?

12 Q Right. Okay? Have you heard of that
13 concept before?

14 A No, I guess I can't -- I can't say that
15 I absolutely understand that concept of
16 shear force. You're not using shear as a
17 descriptive; right? You're not saying it's
18 the shear force of the --

19 Q Correct. S-H-E-A-R.

20 A I obviously don't understand that term
21 very well.

22 Q Okay. Now, Doctor, does an acute
23 systemic infection increase the risk for
24 vessel closure after coronary angioplasty?

25 A Only if it would make you acutely

1 hypotensive.

2 Q Was there any sign of infection in Dale
3 Mauller between -- on the 27th of May and
4 the 28th of May?

5 A The signs of infection would be noted
6 by significantly increased white cell
7 counts, significantly increased
8 temperatures. I don't know for sure what
9 his temperature was.

10 Q Okay. I want you to assume that his
11 temperature was 101 degrees at 11:30 p.m. on
12 May 27th and his WBC was 15.8 at 1:36 a.m.

13 A That's on a different day; correct?

14 Q On the next day. The 28th. Correct.

15 A Do you know what his temperature was?
16 I mean, we typically, again, treat patients,
17 not one single number, and I'd like to know
18 what the trend of his -- his --

19 Q WBC is more important than temp?

20 A No. I'd like to take all of that into
21 consideration. You know, what does the
22 patient look like clinically. There's many
23 reasons why you could have stress
24 demargination of white cell counts and they
25 can go up. I'd like to know if his

1 temperature was a steady climb, was it just
2 one spike in temperature. What exactly was
3 the whole clinical picture rather than just
4 one isolated event.

5 a Would you agree, Doctor, that if
6 sheaths are in for longer than 24 hours, any
7 potential. sign of infection, including
8 elevated WBC and temperature, warrant the
9 discontinuation of the sheaths or the
10 removal of the sheaths and administering
11 antibiotics?

12 MR. MILINE: Objection.

13 A Again, you have to look at the whole
14 patient. Sometimes it's not reasonable to
15 remove the sheaths. You don't treat one
16 number or one laboratory eval. You have to
17 treat the patient.

18 Q Do you recall whether or not the nurses
19 at Riverside Memorial Hospital brought to
20 your attention the temperature of 101 and
21 his -- on the late evening of the 27th and
22 his WBC of 15.8 on the early morning of the
23 28th?

24 A I don't recall.

25 a Okay. Would you have expected them to

1 do that?

2 A Well, it depends if I was the physician
3 on call that night, As I recall the 27th
4 was a weekend and there's a chance they may
5 have called ft to another physician.

6 Q Okay. Would you have expected them to
7 call that into the physician on call, that
8 data?

9 A I think if it was a new significant
10 finding, yes.

11 Q What evidence would you likely have
12 taken or expect a prudent interventionalist
13 to take in someone like Dale post two
14 interventions and with a sheath in for
15 longer than 24 hours, with those potential
16 signs of infection?

17 MR. MILINE: Objection.

18 A Well, there is -- you know, I'm truly
19 speculating on the patient's clinical
20 condition. I would like to know if they're
21 showing blood pressure changes, heart rate
22 changes consistent with an infection, if it
23 was truly just one isolated temperature
24 change. I think consideration of
25 antibiotics is reasonable. I think

1 consideration taking out the sheaths if the
2 angioplasty result is adequate in stopping
3 the anticoagulation is an option. Those are
4 all options. It's up to the physician to
5 take in all that information and choose.

6 Q Who was on call? Excuse me.

7 A But I -- typically our group has one
8 physician on call.

9 Q Okay.

10 A Every night.

11 Q Do you have an opinion whether Dale
12 Mauller's cardiac arrest was preventable?

13 MR. MILINE: Objection.

14 A Can you be more clear by what you mean
15 by preventable?

16 Q Well --

17 A Preventable by what?

18 Q Well, for example, administering blood
19 products to this patient when there is --
20 when we began a -- to see a pattern of drop
21 in H and H. That's just one example.

22 A I think to answer your question then I
23 would have to presume that the fall in
24 hemoglobin was what precipitated his
25 arterial closure, and it's not all -- that

1 doesn't always happen that you can prevent a
2 cardiac or a vessel from closing purely by
3 administering blood products.

4 Q I appreciate that, Doctor, but if you
5 look at the whole big picture here, what is
6 the likelihood of three arteries you work on
7 all at the same time closing down absent
8 some external force having an effect upon
9 those arteries?

10 A I don't know for sure what the
11 likelihood is. It would be low.

12 Q If someone suggested that it would be
13 one in 10,000 odds of that occurring, would
14 you disagree with it?

15 A It's pure speculation. There's many
16 reasons that may happen.

17 Q Well, I understand that there's a risk
18 of closure of one percent in each artery
19 after a closing down?

20 A And sometimes it's higher depending on
21 how many vessels or how many lesions there
22 are in the artery, the length of the lesion,
23 et cetera. One percent is a standard number
24 for any given artery.

25 Q Do you have any criticism of any of the

1 medical providers that rendered care to Dale
2 Mauler, including nurses and fellow
3 physicians?

4 A I do not.

5 Q Do you recall what you said to the
6 family member after Dale's arrest?

7 A I don't recall.

8 Q Do you recall any conversations that
9 you had with Dale and/or his wife subsequent
10 to his arrest?

11 A None.

12 Q In specifics or in generalities.

13 A I don't recall specifically what I said
14 to them.

15 Q Do you recall any generalities?

16 MR. MILINE: At what point in
17 time?

18 Q Either while Dale was still alive or
19 post-arrest.

20 MR. MILINE: Objection,

21 A I do know that there was some -- that
22 between the first and second night there was
23 some concerns about his cooperativity, and I
24 think we may have addressed that. I'm not
25 absolutely sure. I can't tell you

1 specifically what was addressed.

2 Q Do you generate an entry or a note, a
3 progress note every time you see a patient?

4 A Not every time.

5 Q Okay.

6 A I do that at least once a day when I
7 see patients.

8 Q Okay. Do you have any reason to
9 believe that you have more contact with Dale
10 Mauller than -- physical contact with Dale
11 Mauller other than what's reflected in the
12 chart?

13 A That would not be unusual since I see
14 patients in the IRU since it's very close to
15 the cath lab often several times a day.

16 Q Are you aware that he sustained a
17 cardiac arrest and there was 17 minutes of
18 CPR while in the cath lab on the 28th?

19 A I am aware of that.

20 Q Okay. And do you agree, do you
21 recognize that that event and the 17 minutes
22 of CPR of and by itself creates a risk of
23 brain injury secondary to hypoxic
24 deprivation?

25 MR. MILINE: Objection.

1 A It's a possibility.

2 Q Now, he -- Dale suffered a V fib in the
3 cath lab when Dr. George was present?

4 A You know, I don't know exactly all the
5 events that occurred in the cath lab.

6 Q Okay. Do you agree that, assuming he
7 did suffer a V fib, that the likely reason
8 he went into V fib is all his arteries had
9 closed down?

10 MR. MILINE: Objection.

11 A Well, not all the arteries closed
12 down. There were several arteries that
13 closed down.

14 Q The three main arteries that closed
15 down?

16 A Well, actually the intermediate artery
17 in the back did not close down. That's much
18 bigger than the other main branch of the
19 circumflex artery.

20 Q Was he amenable to a CABG based on his
21 disease process and his arteries?

22 MR. MILINE: Objection.

23 A Do you mean -- do I mean that --
24 please --

25 Q Let me help you.

1 A Yeah.

2 Q Let me restate it.

3 A Okay.

4 Q After looking at the cath films --

5 A Yes.

6 Q -- for the first time, then you went
a back and made some recommendations to him.

8 A Yeah.

9 Q Did you give him an option for a CABG?

10 A As I usually do, yes.

11 Q Okay. So by giving him the option, it
12 would have meant that you concluded that he
13 was likely amenable to a CABG?

14 A Was he a candidate --

15 Q Yes.

16 A -- for a bypass? Yes, he was a
17 candidate for a coronary artery bypass.

18 Q Why was a candidate for a coronary
19 artery bypass?

20 A Because he had blockages in locations
21 that surgeons could obviously put bypass
22 graphs past to help ameliorate his symptoms
23 of angina.

24 Q Can we agree that prior to
25 intervention, be it angioplasty or

1 atherectomy, the degree of blockage should
2 be at least 75 percent?

3 A On visual interpretation?

4 Q Yes.

5 A Probably 70, 75 percent. As *you* can
6 tell, it's hard to tell exactly how bad the
7 blockage is on the angiogram. It's not so
8 easy to tell immediately.

9 Q Okay. You don't say roter blading
10 simply for severe, very severe blockage;
11 correct?

12 A At that time we did not.

13 Q Okay.

14 VIDEOGRAPHER: Go off the
15 record?

16 MR. BECKER: Yeah.

17 (Discussion had off the record.)

18 BY MR. BECKER:

19 Q Doctor, we were talking earlier about
20 what recommendations you would have made to
21 Dale, and I think you indicated that, well,
22 if someone asked me if it was my brother, or
23 if you were I kind of thing, I would give
24 them my recommendation. What was Dale's
25 best treatment option based on your opinion,

1 based on his total pathological and clinical
2 status?

3 MR. MILINE: Objection.

4 A As I probably would explain to him and
5 my own personal opinion is that I think that
6 he was a good candidate for either
7 procedure. Multi-vessel angioplasty or
8 coronary bypass grafting. I don't think
9 that you can say absolutely that one
10 treatment option was better than another
11 when you look at the patient's clinical
12 status and his long-term prognosis.

13 Q Okay. Are you suggesting that you
14 didn't recommend angioplasty and atherectomy
15 to him?

16 A No. I didn't say that.

17 Q Okay. If you did recommend angioplasty
18 and atherectomy to him, would that have
19 been, at least at that time, of your advice,
20 your view as to the best treatment option
21 for him?

22 A Well, if he would have asked me
23 specifically, you know, Doctor, if I were
24 your dad or this were you on the table,
25 which option would you have chosen, probably

1 I would have chosen multi-vessel coronary
2 intervention rather than bypass.

3 Q And the reason?

4 A Because I think that his -- most of his
5 lesions were very amenable to angioplasty.

6 I thought that we could get a very good
7 result and I wasn't not pleased with his
8 results at the time of the intervention.

9 And also, the way the option that bypass
10 surgery has some complications associated
11 with it, especially long-term

12 complications. You can't put arterial
13 graphs to every artery in a 39 year old.

14 Q Well, would there have been sufficient
15 mammary arteries to graft him? Would there
16 have been sufficient length of the mammary
17 artery to graft him?

18 A Completely? That's an important
19 question.

20 Q Okay.

21 A Completely or incompletely.

22 Q To take care of his major lesions.

23 A No.

24 Q Okay. So then if you have to utilize
25 mammary arteries, then you need more when

1 you resort to grafting his veins?

2 A Typically at Riverside where he would
3 have been referred for a bypass surgery,
4 it's not common that they use two mammary
5 arteries, but it does happen. He had a
6 complicated lesion of his LAD, which I don't
7 believe one graft alone would be able to
8 fix.

9 Q Well --

10 A so --

11 a Excuse me.

12 A Go ahead.

13 Q Maybe I misunderstood or you
14 misunderstood me. Would the two mammary
15 arteries have been sufficient to completely
16 bypass it?

17 A No. No.

18 Q Okay. So had he been -- it's your
19 opinion, Doctor, that had it been necessary
20 -- had he elected a CABG, they would have
21 had to have utilized the shaft in his veins
22 as well as the two mammary arteries?

23 A That's correct.

24 Q He was not a diabetic; correct?

25 A As far as I know he was not a diabetic.

1 Q Okay. Now, you were contacted on
2 midnight on May 27th?

3 A Okay.

4 Q I want you to pull the nurses note, and
5 they received an order from you on May 27th
6 at midnight.

7 A Okay.

8 Q And I want to talk about that order a
9 little bit.

10 MR. MILINE: To save some
11 time, could you show us the order?

12 Q Well, we couldn't find the order, but
13 we could find the nurses notes reflecting --

14 MR. MILINE: Whatever you
15 want to refer to, why don't you show it to
16 him.

17 A I'd be happy to look at it.

18 Q This is called a critical care flow
19 sheet, and I want to draw your attention,
20 Doctor, this goes from the late evening of
21 the 27th through the early morning of the
22 28th, and it looks like the day *you* were
23 contacted on the 27th between 11:00 and
24 twelve o'clock, and it says here --

25 A 11:00 p.m. and 12:00 midnight?

1 Q 12:00 midnight.

2 A All right.

3 Q And if you could just -- I know it's
4 not in your handwriting, but it looks like
5 that's some medication, the highlighted line
6 at 23:45. What does it say?

7 MR. MILINE: Objection. He
8 didn't write that document.

9 MR. BECRER: I know,
10 counsel.

11 Q What is your interpretation of that
12 document?

13 A Dilaudid 1 milligram for, an arrow
14 pointing down, abdominal pain, back pain,
15 IV.

16 a Okay.

17 A I think it says IV.

18 Q So in other words you -- at least the
19 nurse has charted that she gave more pain
20 medication to reduce his back pain and
21 abdominal pain?

22 A Yeah, that's what it looks like.

23 Q Okay. And then the next Pine?

24 A The next line says, Dr. Yakubov updated
25 on temperature, anxiety, low abdominal

1 pain. I can't read the rest.

2 Q I see a word "ordered" there.

3 A Orders.

4 Q Orders. Now, if you give orders, would
5 you have expected the nurses of Riverside
6 Memorial Hospital to chart somewhere
7 specifically what the orders were since they
8 would have been telephone orders?

9 A That's typically the case.

10 Q Is it possible, Doctor, that you
11 ordered a CBC on him at that time, because
12 we do see one being undertaken within the
13 next hour?

14 A I think that's a stretch from -- you
15 don't know what I ordered. I don't know
16 what I ordered.

17 a All right.

18 A Because it's not stated what was
19 ordered.

20 Q All right.

21 A And she was telling me about
22 temperature, anxiety and abdominal pain.
23 There's a possibility I ordered it. There's
24 a chance that it could have been routine
25 after the angioplasty too.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

MR. MILINE: Objection.

A It's a possibility. I don't know if it's likely. I guess it all depends on the patient's clinical scenario.

Q Did you have any discussion with Mrs. Mauller after Dale's death?

A I don't recall.

Q Okay. Is that something you make a point of doing, is talking to your patient's survivors?

A Often I do.

Q Okay. Do you recall what you said to her?

A I don't recall. After his death?

Q Yes.

A I don't recall what I said.

Q Okay. Have you talked to Dr. George about this case?

A No.

1 Q You have no idea what Dr. George's
2 opinion is as to why Dale had a drop in H
3 and H?

4 A No. I've never discussed that with
5 him.

6 Q Okay. And is it practice at this
7 office that if there's a death, to review
8 the cath films again?

9 MR. MPLINE: Objection.

10 A As a routine?

11 Q Yeah.

12 A No, sir.

13 Q Okay. Have you done that in the past
14 when there's been a death of the patient,
15 you get the original films out that -- prior
16 to intervention to review the films within
17 the office?

18 A After someone has died?

19 Q Yes.

20 A Someone that I treated died?

21 Q Yes.

22 A It's often that I do that.

23 Q And is it often that some other
24 physicians within this group would review
25 them with you?

1 A That may be the case.

2 a Okay. Do you know if that was done in
3 this case?

4 A Not that I'm aware of. That may have
5 been. It's been several years.

6 MR. BECKER: Okay. That's
7 all I have.

8 MR. BILLON: I have no
9 questions.

10 MR. MILINE: We'd like to
11 read and we'd like to have 28 days.

12 (Discussion had off the record.)

13 MR. MILINE: Any problem
14 with 28 days?

15 MR. BECKER: No.

16 MR. MILINE: Thank you.

17 VIDEOGRAPHER: Doctor, do you
18 waive the viewing of the videotape? You
19 don't want to see this, do you? The video.

20 THE WITNESS: Sure.

21 MR. BECKER: You want to see
22 how you look on TV?

23 THE WITNESS: Is that
24 reasonable?

25 MR. MILINE: I'll order a

1 COPY.

2 VIDEOGRAPHER: Okay. Because
3 usually they show it to them now.

4 MR. MIEINE: Okay. How about
5 we get a copy.

6 VIDEOGRAPHER: Okay.

7 (Deposition concluded at 5:54 p.m.)

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

C E R T I F I C A T E


The State of Ohio,)
County of Cuyahoga.) SS:

I, Lynn A. Regovich, Notary Public
within and for the State of Ohio, duly
commissioned and qualified, do hereby
certify that the within-named witness,
STEVEN J. YAKUBOV, M.D., was by me first
duly sworn to testify to the truth, the
whole truth and nothing but the truth in the
cause aforesaid; that the testimony then
given by the above-referenced witness was by
me reduced to stenotype in the presence of
said witness; afterwards transcribed, and
that the foregoing is a true and correct
transcription of the testimony so given by
the above-referenced witness.

I do further certify that this
deposition was taken at the time and place
in the foregoing caption specified, and
was completed without adjournment.

1 I do further certify that I am not
2 a relative, counsel or attorney for either
3 party, or otherwise interested in the event
4 of this action.

5
6 IN WITNESS WHEREOF, I have hereunto
7 set my hand and affixed my seal of office at
8 Cleveland, Ohio, this 3rd day of June, 1997.

9
10 
11
12 Lynn A. Redovich.

13 Notary Public/State of Ohio.

14 My commission expires: 6-14-98.

CURRICULUM VITAE

Steven J. Yakubov, M.D., F.A.C.C.

WORK ADDRESS

Mid-Ohio Cardiology Consultants
3545 Olentangy River Road
Suite 325
Columbus, Ohio 43214
(614) 262-6772

HOME ADDRESS

797 Robbins Way
Worthington, Ohio 43085
(614) 846-3992

PERSONAL DATA

Born: February 8, 1961
Marital Status: Married
Social Security #: 296-64-3291

EDUCATION

Undergraduate: Youngstown State University
Youngstown, Ohio 1979-1981
Degree: B.S.
Major: Combined Sciences
Graduated: Summa Cum Laude

Medical School: Northeastern Ohio Universities College of Medicine
Rootstown, Ohio 1981-1985
Degree: M.D.
Graduated: 6th in class
Alpha Omega Alpha Member

POSTDOCTORAL TRAINING

Internship: Internal Medicine
Riverside Methodist Hospitals
Columbus, Ohio
1985 - 1986



Residency: Internal Medicine
Riverside Methodist Hospitals
Columbus, Ohio
1986 - 1988

Chief Residency: Internal Medicine
Riverside Methodist Hospitals
Columbus, Ohio
1988 - 1989

Fellowship: Fellow in Cardiovascular Medicine
University of Michigan Hospitals
Ann Arbor, Michigan
1989 - 1991

Interventional Fellow
Cardiovascular Medicine
Riverside Methodist Hospitals
Columbus, Ohio
1991 - 1992

CERTIFICATION AND LICENSURE

Medical License: Ohio, 1991 #53291

Board Certification: Diplomate, National Board of Medical Examiners, 1986
Diplomate, American Board of Internal Medicine, 1989
Diplomate, Board of Cardiovascular Disease, 1991

HONORS AND AWARDS

Undergraduate: Scholastic Achievement Award
Phi Beta Kappa

Medical School: Alpha Omega Alpha (1985)
Ideal Physician Award (1985)

Residency: Resident Teacher of the Year (1987)

PROFESSIONAL SOCIETIES

Diplomate, American Board of Cardiovascular Disease
 Member, American Medical Association
 Ohio Academy of Medicine
 Ohio State Medical Association
 Member, American Heart Association

BIBLIOGRAPHY

Articles Accepted for Publication:

1. Rosenschein U, Ellis SG, **Yakubov SJ**, Haudenschild CC, Dick RJ, Topol EJ: Histopathologic Correlates of Coronary Lesion Angiographic Morphology: Lessons from a Directional Atherectomy Experience. *Coronary Artery Disease* 1992,3:953-961.
2. Rosenschein U, **Yakubov SJ**, Guberinich D, Bach DS, Sonda PL, Abrams G, Topol EJ: Shock-Wave Thrombus Ablation, A New Method for Non-invasive Mechanical Thrombolysis. *American Journal of Cardiology* 1992; 70: 1358-61.
3. **Yakubov SJ**, Nappi **JF**, Candela RJ, George BS: Successful Prolonged Local Infusion of Urokinase of the Hypothenar Syndrome. *Catheterization and Cardiovascular Diagnosis* 1993: 29:301-303.
4. Fu GY, Candela RJ, Mishkind M, Obarski T, **Yakubov SJ**. Bilateral Renal Artery Occlusion: An Unusual Presentation of Atrial Fibrillation and Hypertrophic Cardiomyopathy. *Clinical Cardiology* 17, 631-633, 1994.
5. Gordon BM, Mohan V, Chapekis AT, Kander **NH**, Elwell J, Antalis G, **Yakubov SJ**. An Analysis of the Safety of Performing Dobutamine Stress Echocardiography in an Ambulatory Setting. (Accepted *Journal American Society Echocardiography*).
6. Schulman SP, Goldschmidt-Clermont PJ, Topor EJ, Califf RM, Navetta FI, Willerson JT, Chandra NC, **Yakubov SJ**, Guerci AD, Ferguson JJ: The Effects of Integrelin, A Platelet Glycoprotein IIb-IIIa Receptor Antagonist, in Unstable Angina: A Randomized Multicenter Placebo-Controlled Trial. (Submitted *American Journal of Cardiology*).
7. Laird JR, Popma JJ, Knopf WD, **Yakubov SJ**, Satler L, White H, Bergelson B, Hennecken J, Lewis S, Parks JM, Holmes DR: Angiographic and Procedural Outcome after Coronary Angioplasty in High-Risk Subsets Using a Decremental Diameter (Tapered) Balloon Catheter. *American Journal of Cardiology*, Vol. 77, pp. 561-568, 1996

8. Ohman EM, Kleiman NS, Gacioch G, Worley SJ, Navetta FI, Talley JD, Anderson HV, Ellis SG, Cohen MD, Spriggs D, Miller M, Kereiakes D, **Yakubov SJ**, Kitt MM, Sigmon KN, Calif RM, Krucoff MW, Topol EJ: Combined Integrillin and t-PA for AMI. (Accepted for publication in Circulation.)

Abstracts and Presentations at Scientific Meetings:

1. **Yakubov SJ**, Starling M: Limitation of M-mode echocardiographic criteria for predicting the response of left ventricular size and performance to aortic or mitral valve replacement. (Presented at American Federation Clinical Research, 1990.)
2. **Yakubov SJ**, Kalbfleisch SJ, Pinto IMF, Williamson PR, DeBoe SF, Flaherty JT, Mancini GBJ: Optimizing detection of myocardial salvage by modification of the traditional centerline method: Implications of clinical trials. Circulation 1990; 82:888-280. (Presented at the American Heart Association 63rd Scientific Session, 1990.)
3. **Yakubov SJ**, Dick RJ, Haudenschild CC, Rosenschein U: Deep Tissue Retrieval with Coronary Atherectomy is Paradoxically Associated with Less Restenosis. (Accepted for presentation 11/91, American Heart Association 64th Scientific Session.)
4. Rosenschein U, Guberinich D, **Yakubov SJ**, Bach D, Sonda P, Abrams G, Topol E: Shock Wave Thrombus Ablation: A Promising Non-invasive Method for Mechanical Thrombolysis. (Accepted for presentation 11/91, American Heart association 64th Scientific Session.)
5. Gonzalez MS, **Uakubov SJ**, Engleman D, Kurian T, Kander NH, Chapekis AT, Candela RJ, George BS: Percutaneous Transluminal Renal Angiography Technique in Patients with Atherosclerotic Renovascular Hypertension. (Presented at the American Federation of Clinical Research, 1992.)
6. **Yakubov, SJ**, Gonzalez MS, Engleman D, Zimmerman C, Kander NH, Candela RJ, George BS, Chapekis AT: Continuous Infusion of Urokinase through an Intracoronary Perfusion Catheter: Overnight Thrombolytic Infusion Improves Angiographic Outcome. (Presented at the American College of Cardiology Conference, 1992.)
7. Millhon JS, **Yakubov SJ**, Gonzalez MS, Engleman D, Kander NH, Candela RJ, George BS, Chagekis AT: Intracoronary Infusion of Urokinase for Angiographic thrombus: Comparison of Prolonged Versus Burst Infusion. Journal of American College of C'ardiology. Volume 21, No. 2, Feb. 1993, 230A)
8. Engleman D, **Yakubov SJ**, Gonzalez NH, Candela RJ, George BS, Chapekis AT: Prolonged Continuous Ifision of Urokinase through an Intracoronary Perfusion Catheter following PTCA: Clinical and Angiographic Outcome. (Journal of American College of Cardiology. Volume 21, No. 2, Feb. 1993, 231A)

9. Ellis SJ, **Yakubov SJ**, Lincoff AM, Tuzcu M, George BS: When to Stent for Threatened and True Closure after Intracoronary Intervention - Analysis of 343 Patients in the Immediate Pre-Stent Era. *Circulation* 1993; Vol 88, No. 4, Part 2: 3 145. (Presented at the American Heart Association 66th Scientific Session, 1993)
10. **Yakubov SJ**, George BS, Roubin GS, Pinkerton CA, Raizner AE, Chapekis AT: Intracoronary Cook Stent Placement for Threatened or Abrupt Reclosure Following Recanalization of Totally Occluded Coronary Arteries: Improved 6-Month Patency. *Circulation* 1993; Vol. 88, No. 4, Part 2: 3 149. (Presented at the American Heart Association 66th Scientific Session, 1993)
- 11 Schulman SP, Goldschmidt-Clermont PJ, Navetta FL, Chandra NC, Guerri AD, Califf RM, Ferguson JJ, Willerson JT, Wolfe RB, **Uakubov SJ**, Nygaard TW, Mason SJ, Brashears L, Charo I, DuMee C, Kitt MM, Gerstenblith G: Integrelin in Unstable Angina: A Double-Blind Randomized Trial. *Circulation* 1993; Vol. 88, No. 4, Part 2: 3272. (Presented at the American Heart Association 66th Scientific Session, 1993)
12. Teirstein PS, **Yakubov SJ**, Thel MC, Wildermann N, Kereiakes DJ, Popma JJ, Lincoff **MA**, Tchong **E**, Califf RM, Topol EJ, Scripps Clinic and Research Foundation, LaJolla, CA: Platelet IIb/IIIa Blockade with Integrelin: Atherectomy Patients. (Presented at American College of Cardiology 45th Annual Scientific Session, 1996.)
13. Zidar JP, Kruse KR, Thel MC, Kereiakes D, Muhlestein JB, Davidson CJ, Teirstein PS, Tenaglia **A**, **Yakubov SJ**, Popma JJ, Tanguay JF, Kitt MM, Lorenz, TJ, Tchong **JE**, Lincoff AM, Califf RM, Topol EJ: Integrelin for Emergency Coronary Artery Stenting. (Presented at American College of Cardiology 45th Annual Scientific Session, 1996.)

Book Chapters Accepted for Publication:

1. **Yakubov SJ**, George BS: Coronary Intervention: Brachial Approach: In *Interventional Cardiovascular Medicine: Principles and Practice*. Roubin, GS ed. 1994, 4510-64.
2. **Yakubov SJ**, George BS: Adjunctive Thrombolytic Agents with Coronary Interventional Techniques. In *Cardiology Clinics*. Dean LS, Roubin GS ed. Vol. 12, No. 4, November 1994.
3. **Yakubov SJ**, Bope ET: Cardiovascular Disease: In *Textbook of Family Practice*, 5th Edition: Rakel ed. 1995, pp 764-801.