

IN THE COURT OF COMMON PLEAS
STARK COUNTY, OHIO

- - -

BRIAN W. GORTNEY,)
et al.,)
 Plaintiffs,)
 vs.)Case No. 2002 CVO 3755
WESTERN RESERVE CENTER,)
et al.,)
 Defendants.)

- - -

Deposition of JOHN F. ZAK, M.D., D.M.D.,
a Defendant herein, called by the Plaintiffs
for cross-examination pursuant to the Ohio
Rules of Civil Procedure, taken before me, the
undersigned, Kelley E. Spears, a Notary Public
in and for the State of Ohio, at the offices
of Reminger & Reminger, 1400 Midland Building,
Cleveland, Ohio, on Monday, the 4th day of
August, 2003, at 1:50 o'clock p.m.

BISH & ASSOCIATES, INC.
812 Key Building
Akron, Ohio 44308-1303
(330) 762-0031
(800) 332-0607
FAX (330) 762-0300
E-Mail: bishassociates@neo.rr.com

APPEARANCES:

On Behalf of the Plaintiffs:

Law Offices of J. Thomas Henretta

By: J. Thomas Henretta,

Attorney at Law

401 Quaker Square

120 E. Mill Street

Akron, Ohio 44308

On Behalf of the Defendants:

Reminger & Reminger

By: Ronald A. Mingus, Attorney at Law

1400 Midland Building

101 Prospect Avenue, West

Cleveland, Ohio 44115-1093

- - -

ALSO PRESENT:

Gerald Leb

Connie Gortney

Nicholas Diamantis, D.M.D.

- - -

I N D E X

Exhibit No.	Page:Line
Plaintiff's Dr. Zak Exhibit 1.....	32:1
Plaintiff's Dr. Zak Exhibit 2.....	40:2
Plaintiff's Exhibits Zak 3 and 4.....	120:2
Plaintiff's Exhibit Zak 5.....	121:11
Plaintiff's Exhibit Zak 6.....	133:16
Plaintiff's Exhibit Zak 7.....	152:1
Plaintiff's Exhibit Zak 8.....	157:10
Plaintiff's Exhibit Zak 9.....	161:7
Plaintiff's Exhibit Zak 10.....	168:14

- - -

1 JOHN F. ZAK, M.D., D.M.D.
2 of lawful age, a Defendant herein, called for
3 examination, as provided by the Ohio Rules of
4 Civil Procedure, being by me first duly sworn,
5 as hereinafter certified, deposed and said as
6 follows:

7 CROSS-EXAMINATION

8 BY MR. HENRETTA:

9 Q. All right. Tell us your name.

10 A. John Zak, Z-a-k.

11 Q. All right. I met you informally a
12 moment ago. I'm Tom Henretta. I represent
13 the Gortneys in this case.

14 I'm going to ask you some
15 questions in a general way, two areas, real
16 broad, and that is you are a party in this
17 action so you're a defendant in this action so
18 I've got to ask you questions, you know, along
19 those lines that I would of any defendant or
20 any party in a lawsuit.

21 And this is a discovery
22 deposition, and it's not your trial
23 deposition, at least at this point it's not.
24 And also you're an expert in this case.
25 You've been identified as an expert so you'll

1 put that hat on for a while.

2 A. Sure.

3 Q. And I'll be inquiring of you as an
4 expert who's going to give expert opinions in
5 this case. So those are two different
6 capacities.

7 I talk sometimes fast. That's just
8 my nature. I'm trying to slow it down as I age
9 on this journey, but I still seem to fly and
10 court reporters tell me that from time to time.

11 I will try not to talk while you're
12 talking and I would ask the same courtesy of
13 you. And the reason we do that is so that
14 there is a clear record of the proceedings
15 here. Court reporters don't like it when
16 people step on each other's sentences.

17 I would ask you to, when the
18 question requires an answer, and most of them
19 will, that you give it audibly. Now, I know --
20 I see you over there shaking your head and, you
21 know, in common conversation amongst people we
22 know what that means, but for this purpose, we
23 need to give audible answers and if it's a yes
24 it would be a yes --

25 A. Sure.

1 Q. -- no, no, you know what I'm saying?

2 A. Understood.

3 Q. So that we can get -- so I know what the
4 answer is so somebody reading this some day
5 will say I don't know what he said, he was
6 nodding his head, but we don't know which way,
7 okay, so if you could do that.

8 And then if you don't understand a
9 question or want me to -- better put it -- if
10 you want me to rephrase it or state it again,
11 please ask me to do that and I will attempt to
12 do that.

13 I would like, however, so we have an
14 understanding, that if you do answer the
15 question, I'm going to rely upon the fact that
16 you understood the question or you wouldn't be
17 answering it, fair enough?

18 A. Reasonable.

19 Q. Okay. All right. I think that's most
20 of the ground rules. All right. Okay.

21 What is your residence address?

22 A. 7960 Darby's Run, Chagrin Falls, Ohio
23 44023.

24 Q. And what about your business address?

25 A. I have multiple business addresses.

1 Q. Okay. If you could please --

2 A. Would you like all of them?

3 Q. Yes.

4 A. 14700 Detroit, Lakewood, Ohio 44107;
5 3215 Cleveland Avenue N.W., Canton, Ohio
6 44079, I believe; and Professional Plaza South,
7 7057 West 130th Street, Parma Heights, Ohio,
8 and I'm not sure of the Zip Code there.

9 Q. Where -- for the time that we're talking
10 about in this case, it's going to be '98, '99,
11 or the period of time you treated Brian
12 Gortney, those business addresses you just
13 gave, were those also the addresses at that
14 time?

15 A. The Lakewood address was the same. The
16 Canton address, we were at a different
17 location. It was at 13th -- excuse me -- 12th
18 and -- no, it was 13th, 13th and Cleveland
19 Avenue.

20 Q. All right. Now, what is your
21 profession?

22 A. Surgeon.

23 Q. Okay. Do you have a -- tell me about
24 your degrees. What sort of degrees do you
25 have?

1 A. I'm doubled degreed. I have a degree in
2 ~~dental~~ medicine as well as an M.D., went and
3 completed both dental school as well as medical
4 school.

5 Q. Okay. Give me some dates and places and
6 -- you know what I mean.

7 A. Where would you like me to start?

8 [REDACTED] Well, where did you go to medical
9 school?

10 A. Case Western Reserve University --

11 [REDACTED] All right.

12 A. -- Cleveland, Ohio.

13 Q. All right. And you got an M.D. from
14 there?

15 A. Uh-huh.

16 [REDACTED] And when was that?

17 A. Well, I finished in the spring of '96
18 and got my license to practice medicine shortly
19 thereafter.

20 Q. When did you receive a dental degree
21 before that?

22 A. Yes, before that.

23 [REDACTED] Okay. And that was at the University of
24 Pennsylvania?

25 A. That's correct.

1 Q. And you were -- now are you -- tell me
2 about your licensure.

3 A. What would you like to know about it?

4 Q. Where you are licensed, which states,
5 what licenses do you hold?

6 A. I currently hold a dental license in the
7 state of Ohio as well as a medical license in
8 the state of Ohio as well I did at the time of
9 treating Mr. Gortney.

10 Q. Thank you. Okay. What sort of
11 continuing -- let's go with medical first --
12 medical education do you participate in? Do
13 you have so many seminar hours you're required
14 to attend?

15 A. Yes. I think the state stipulations are
16 a hundred credit hours in a two year period.
17 We well exceed that. Especially having dual
18 licensure, I think I exceed that for dentistry
19 as well as medicine.

20 Q. And for how long have you exceeded that?

21 A. Ever since my residency. Continuing
22 education is something I value and take
23 seriously.

24 Q. Okay. Tell me about publications that
25 you have written, circulated, distributed, that

1 sort of thing. Any books, articles?

2 A. Yeah. My most recent is a text chapter
3 in a seven volume series by -- edited by Ray
4 Fonseca who is a very respected individual
5 within the field of maxillofacial surgery and I
6 coauthored a chapter on facial implants for him
7 on that.

8 Q. Do you -- do you teach?

9 A. Do I teach? I have a teaching
10 assistant's position at Case Western Reserve
11 University School of Dentistry. I, however,
12 don't actively at this time teach there. I
13 devote most of my time to private practice.

14 Q. And what would the -- besides your
15 clinical practice, would you say your clinical
16 practice is now a hundred percent?

17 A. Of my time?

18 Q. Yes.

19 A. 99 -- well, outside of family, yeah.

20 Q. Well, I mean personal. Now, do you have
21 hospital privileges in the area?

22 A. I do.

23 Q. And where would those be?

24 A. University Hospitals of Cleveland,
25 Lakewood Hospital and Mt. Sinai Medical Center.

1 Q. How about at the time of 1998 and 1999,
2 which hospitals?

3 A. Those same, and I also held privileges
4 at Aultman Hospital which is also located in
5 Canton.

6 Q. All right. And since '99 and the
7 present, any additional hospital privileges
8 that you didn't mention?

9 A. '99 to present, initially in '99 I held
10 privileges at Robinson Memorial Hospital as --
11 I was a house surgical physician for them. I
12 had held that position towards the end of my
13 residency and I think I stayed on staff for
14 about a year in private practice and then
15 resigned from there as well.

16 Q. Have your privileges at any hospital
17 ever been suspended?

18 A. Suspended not for any other reason than
19 timely chart completion.

20 Q. Well, explain when you mean by that.

21 A. Well, it's a common problem that
22 fraughts many surgeons is signing and/or
23 dictating charts on a timely fashion. Due to
24 current government stipulations, the hospital
25 must insist that timely chart completion is

1 upheld and therefore, the only way to, I guess,
2 insure that the surgical staff is getting that
3 done on time is to suspend privileges if not
4 done within 30 days. That's standard for most
5 hospitals.

6 Q. But, I mean, did they -- did you
7 actually receive a suspension for that?

8 A. Yeah. You get a letter saying that
9 you're -- until you complete your charts, be
10 they signatures or dictations, be they op
11 reports or clinical resumT, or merely just
12 signing an order that was given over the
13 telephone, you're told that your privileges are
14 suspended until you get those charts completed.

15 Q. Do you know how many times you've
16 received those?

17 A. No recollection of how many times.

18 Q. Are those a matter of public record?

19 A. I'm not sure of your question.

20 Q. Well, if I wanted to find out and you
21 didn't give me an authorization to get it,
22 would I be able to determine that, how many
23 times it's happened, if you know?

24 A. I have no idea.

25 Q. Okay. Are you presently taking any

1 medication for any reason?

2 A. Presently, yes.

3 Q. What are you taking?

4 A. I take Propecia for male pattern
5 baldness and Celexa for mild depression.

5 Q. Who prescribed the Celexa?

7 MR. MINGUS: Objection. His medical
8 condition is not an issue in this case.

9 MR. HENRETTA: Well, all right.

10 MR. MINGUS: I actually let you go
11 farther than I think you were entitled to go
12 with that last question.

13 MR. HENRETTA: Well, all right. If
14 you want to instruct him, then we'll -- I don't
15 know what he wants to do with it and I don't
16 know if I'm going to need it later --

17 MR. MINGUS: Sure.

18 MR. HENRETTA: -- but I think -- I
19 would ask -- if you instruct him not to answer,
20 I will ask the court reporter to tell him to
21 answer and then we'll ask Lee what he wants --
22 when I say Lee, Lee the judge. Sorry.

23 BY MR. HENRETTA:

24 Q. Okay. You're presently taking -- well,
25 for how long have you been taking this mild

1 depressant -- I guess mild depressant?

2 MR. MINGUS: Objection. I don't
3 believe that's a proper area of inquiry.

4 MR. HENRETTA: Okay.

5 BY MR. HENRETTA:

6 Q. Well, let's put it this way: I think it
7 might be. Were you taking this medicine as a
8 result of a diagnosis?

9 MR. MINGUS: Objection. Not a
10 proper area of inquiry. What basis is this?

11 MR. HENRETTA: Well, I want to know
12 if he had a diagnosis back in 1998 or 1999.

13 THE WITNESS: No. I've only been
14 taking the medication for about a year.

15 BY MR. HENRETTA:

16 Q. Okay. When did you get the diagnosis?

17 MR. MINGUS: Objection.

18 THE WITNESS: Do you want me to
19 answer?

20 MR. MINGUS: Was it a year ago?

21 THE WITNESS: Approximately a
22 year. It certainly wasn't when I was treating
23 Mr. Gortney if that's what you're implying
24 there.

25 BY MR. KENRETTA:

1 Q. Okay. You were diagnosed with mild
2 depression a year ago?

3 A. Approximately.

4 Q. Okay. Who is your treating physician?

5 MR. MINGUS: Objection. You're not
6 entitled to find that out, Tom.

7 MR. HENRETTA: Well, I just --
8 again, I want to go back in that area so Lee --
9 Judge Sinclair will have to tell us.

10 Will you instruct the witness to
11 answer that question?

12 THE NOTARY: You need to answer the
13 question.

14 MR. MINGUS: And I would instruct
15 you not to answer that question. I don't
16 believe it's a proper area of inquiry.

17 THE WITNESS: Under the
18 advisement of my attorney, I'm not going to
19 answer the question.

20 BY MR. HENRETTA:

21 Q. Okay. You're -- let's see. For how
22 long had you -- let me ask you this: Did you
23 see a counselor before the psychiatrist who
24 presumably prescribed the medicine --

25 MR. MINGUS: Objection.

1 BY MR. HENRETTA:

2 Q. -- at any time prior to your treatment
3 with -- first of all, prior to your treatment
4 of Brian Gortney?

5 I'll stop there. He's instructing
6 you not to answer. I'm asking the court
7 reporter to tell you to answer the question.

8 THE NOTARY: Sir, please answer the
9 question.

10 MR. MINGUS: I'm instructing you not
11 to answer.

12 MR. HENRETTA: In all of these I'm
13 asking the court reporter to instruct the
14 witness to answer and counsel is indicating for
15 him not to answer, we're just going to ask the
16 Court. We're going to certify these questions
17 to the Court so I want to make sure we get
18 enough of them to take up his time --

19 THE WITNESS: Sure.

20 MR. HENRETTA: -- and we have the
21 right inquiries.

22 BY MR. HENRETTA:

23 Q. During the period of time you treated
24 Brian Gortney, were you taking any medicine for
25 mild depression?

1 THE WITNESS: Do you want me to
2 answer?

3 MR. MINGUS: May I have a moment
4 with my client, Tom?

5 (Short break had.)

6 MR. HENRETTA: I don't know if we
7 were in the middle of a question or what.
8 Maybe you can read the last question because I
9 can't recall what it was now.

10 (The last question was read back.)

11 MR. MINGUS: Objection.

12 Go ahead and answer.

13 THE WITNESS: No, I was not.

14 BY MR. HENRETTA:

15 Q. Okay.

16 A. Nor was I under the care of anyone's
17 treatment for mild depression.

18 Q. Did you have any alcoholic beverages
19 today prior to this deposition?

20 A. None.

21 Q. Take any, drink any?

22 A. None.

23 Q. Okay. Have you ever been treated for
24 alcoholism?

25 A. No.

1 Q. Have you ever been assessed for
2 alcoholism?

3 A. No.

4 Q. Tell me about your certifications, first
5 medically, medical profession, Doctor, and then
6 the dental profession.

7 A. Certifications? Would you elaborate?

8 Q. Well, tell me about your -- why don't we
9 do it this way: Tell me about your specialty
10 within the field of medicine. For your medical
11 degree, what specialty do you or -- do you --
12 I'll ask you this: Do you hold yourself out as
13 a specialist within the medical field?

14 A. I hold myself out within the specialist
15 of the medical field of what is called a
16 maxillofacial surgeon. Maxillofacial surgeons,
17 by definition, would be in charge of the care
18 of anything in the head and neck, of that
19 nature, or rather face and neck more
20 specifically.

21 And I also concentrate my skills in
22 cosmetic facial plastic surgery. I don't hold
23 myself out as a plastic surgeon, I don't hold
24 myself out as an otolaryngologist.

25 Q. As a what?

1 A. Otolaryngologist; ENT surgeon.

2 Q. Okay. Are there certifications issued
3 by the state of Ohio for -- for the
4 subspecialty you just mentioned?

5 A. Are there certifications?

6 Q. Well, you have a certification through
7 the state of Ohio, I guess, the medical board
8 for maxo- --

9 A. Maxillofacial surgeon.

10 Q. Yes.

11 A. Yes. No. To my knowledge, nothing like
12 that exists.

13 Q. All right. Now, how about in the
14 dental, your D.D.S., do you have any
15 certification or subspecialty?

16 A. It would be oral and maxillofacial
17 surgery.

18 Q. And is there a certification process for
19 that in Ohio?

20 A. Certification other than completing a --
21 a qualified residency program which is what I
22 did.

23 Q. You did that? Okay.

24 A. Yeah.

25 Q. You did that in '98?

1 A. Finished.

2 Q. When?

3 A. I'm sorry?

4 Q. When did you complete that?

5 A. I think it was end of April, beginning
6 of May was when I finally left there.

7 Q. Well, your CV says post-residency 6/98
8 to present. What does that mean?

9 A. Where are you looking at? I'm sorry.

10 Q. The very first page.

11 A. No, that's just in facial plastics, not
12 specifically procedures devoted to cosmetic
13 surgery. That's not the residency itself. The
14 residency comes prior to that, 6/93 to 5/98.

15 Q. I see it. Okay. Now, did you at any
16 time -- well, how did you hold yourself out to
17 the public in terms of your specialty?

18 A. As an oral maxillofacial surgeon.

19 Q. Okay. And how did you do that, in what
20 medium?

21 A. Um-m, in terms of -- are you referring
22 --

23 Q. Signage, telephone book?

24 A. Both.

25 Q. Both?

1 A. Yeah.

2 Q. Any websites?

3 A. At that time of treating Mr. Gortney
4 there was no website.

5 Q. Do you do that now?

6 A. Yeah, we do have a website now.

7 Q. And what does your website say and
8 what's the site if you will?

9 A. Um-m --

10 Q. First of all, what does it say?

11 A. Sure. I believe it's the title of our
12 practice which is Western Reserve Center for
13 Oral, Facial and Cosmetic Surgery.

14 Q. Okay. And what is that website?

15 A. Can I refer to my partner? He knows it.

16 Q. Sure.

17 THE WITNESS: Do you know what that
18 is?

19 DR. DIAMANTIS: WRC -- no, I'm
20 sorry. Westernreserve@aol.com.

21 THE WITNESS: Just recently came on
22 line within the past year.

23 BY MR. HENRETTA:

24 Q. All right.

25 A. I don't visit it too often.

1 Q. Did you develop that or did somebody
2 else develop that?

3 A. I had minimal input into it.

4 Q. Okay. Now, let's see. Have you, in
5 connection with your practice as a -- first as
6 a physician or as an M.D., have you ever been
7 sued for professional malpractice or
8 professional negligence in the past other than
9 this suit?

10 MR. MINGUS: Objection.

11 Go ahead.

12 Can I have a continuing objection to
13 other suits, Tom?

14 MR. HENRETTA: Sure.

15 THE WITNESS: I'm sorry. So
16 repeat the question.

17 BY MR. HENRETTA:

18 Q. Did anybody ever sue you for malpractice
19 before Brian Gortney?

20 A. Before Brian Gortney?

21 Q. Yes.

22 A. Well, I have a suit that was recently
23 dismissed. We were let go of the case. We
24 were a minor attachment to the case. Lakewood
25 Hospital was the major player in it. They took

1 a deposition and determined that we had no
2 guilt in the case and let us out of the case.

3 Q. Sure. Now, was that suit filed before
4 this one?

5 A. I don't recall which one came first. I
6 know Mr. Gortney's initial lawsuit which was
7 filed a number of years ago was first and how
8 your 180-day things go, it was dropped and then
9 it was picked up again.

10 I'm not exactly sure of the timing,
11 but I know his first initial suit against us
12 which was later dropped came first.

13 Q. And the lawsuit that you're talking
14 about, is that the one that Peter Weinberger
15 brought on behalf of a patient?

16 A. Who's Peter Weinberger?

17 Q. He's a lawyer. Maybe you don't recall.
18 Do you recall who filed that on behalf of the
19 plaintiff?

20 MR. MINGUS: If you recall it.

21 THE WITNESS: I'm not sure who Peter
22 Weinberger is.

23 BY MR. HENRETTA:

24 Q. Well, let me just ask you this: Do you
25 recall who filed the case against you on behalf

1 of the plaintiff, the patient?

2 A. On behalf of the plaintiff?

3 Q. Yes. Somebody sued you --

4 A. Right.

5 Q. -- and they probably had a lawyer.

6 A. I don't recall who the law firm was.

7 Q. Okay.

8 MR. MINGUS: Tom, he doesn't recall
9 who it is, but I'll tell you that Pete
10 Weinberger was the attorney.

11 MR. HENRETTA: That's what I
12 thought. Okay.

13 THE WITNESS: I didn't pay attention
14 to who the law firm was.

15 BY MR. HENRETTA:

16 Q. All right. And did he take your
17 deposition in that case?

18 A. Yes, he did. Yes, he did.

19 Q. And that was -- is it fair to say that
20 was last month?

21 A. Yeah, about last month.

22 Q. Was it recently?

23 A. Recently. It was recent.

24 Q. Okay.

25 A. Exactly. You got it.

1 Q. Okay. So we're talking about -- I'm
2 aware of that case. I just wanted to make sure
3 that's the case you're talking about.

4 A. Sure. That's the one.

5 Q. All right. Okay. And then you were let
6 out of that case for whatever reason. It's
7 been dismissed. Okay. All right.

8 Now, besides that one, are there any
9 others in the past where you've been sued for
10 professional negligence or malpractice as some
11 like to say --

12 A. No.

13 Q. -- as an M.D.?

14 A. No.

15 Q. Okay. And now how about since Mr.
16 Gortney?

17 A. Since Mr. Gortney, so to present day is
18 what you're asking?

19 Q. Yes.

20 A. We recently received a letter of one
21 other lawsuit by a patient in Stark County who
22 again, we are the secondary people named on the
23 case. It's a Dr. David Pavlich who's a general
24 dentist is the primary defendant.

25 Q. When you say -- Doctor, the patient or

1 the patient's attorney indicating that he or
2 she was going to bring a claim against you?

3 A. No, they've brought a claim in the last
4 -- in the last -- in the last month.

5 Q. You've been served with a suit?

6 A. Yes.

7 Q. Okay. All right. Okay. And that was
8 down in Stark --

9 A. That's in Stark County, yes.

10 Q. -- County? Okay. Now, are there any
11 others?

12 A. None.

13 Q. Then I've limited it to your medical
14 doctor status. Now, I'd ask the same question
15 for D.D.S. status.

16 A. Medical or dental, that's the only
17 issues that I'm aware of.

18 MR. MINGUS: You're referring to
19 medical malpractice?

20 MR. HENRETTA: Medical malpractice,
21 dental malpractice.

22 THE WITNESS: That's it.

23 BY MR. HENRETTA:

24 Q. Well, have you been sued in any other
25 capacity for --

1 A. No.

2 Q. -- contract breach or anything of that
3 nature?

4 A. No.

5 MR. MINGUS: The dental license
6 issue?

7 MR. HENRETTA: Well, that's a
8 separate issue. We'll talk about that. And I
9 don't need that one. That's in the matter of,
10 I think.

11 BY MR. HENRETTA:

12 Q. Okay. Have you -- besides that
13 deposition with -- we know as Peter Weinberger
14 just last month, have you given deposition
15 testimony before?

16 A. Just in a legislative case with the
17 Dental Board.

18 Q. Okay. And that's when -- that would be
19 in the matter of John F. Zak, D.M.D., M.D.,
20 brought by the Ohio -- it would be for the Ohio
21 State Dental Board?

22 A. That's correct. Right. Uh-huh.

23 Q. Okay. All right. What was that all
24 about, Dr. Zak?

25 A. Sure. My dealings with the Dental

1 Board?

2 Q. Yes. Why did they bring that action
3 against you? I guess that's what it is, an
4 action?

5 A. Yeah, sure. I'll be happy to answer
6 that.

7 MR. MINGUS: Objection.

8 Go ahead and answer.

9 THE WITNESS: I'll be pleased to
10 answer that. That is an ongoing legislative
11 case which is being -- currently being reviewed
12 by the Common Pleas Court and Appeals Court in
13 Cuyahoga County regarding the Dental Board's
14 attempt to revoke my dental license based
15 mainly in part on the theory of I'm allowing an
16 unlicensed person to practice dentistry,
17 specifically, they refer to my partner,
18 Nicholas Diamantis, who holds a medical
19 license, not a dental license which is an
20 accepted practice under AMOS which is the
21 American Association of Oral/Maxillofacial
22 Surgeons.

23 By the way, Dr. Diamantis is board
24 certified by the American Association of
25 Oral/Maxillofacial Surgeons.

1 BY MR. HENRETTA:

2 Q. He went to dental school?

3 A. He went to dental school and medical.
4 school.

5 Q. Yes, I knew that.

6 A. He completed dental school, completed
7 medical school and completed a residency in
8 oral/maxillofacial surgery.

9 Q. What did he not do that gave rise to
10 this?

11 A. He did not get a dental license. A
12 dental license is something that tests four
13 things: Making of a denture, doing a root
14 canal, doing a common filling and I think doing
15 a scaling and root planing, none of which are
16 done by oral/maxillofacial surgeons.

17 Q. Had he ever attempted to do that to your
18 knowledge?

19 A. I believe he did attempt and did not
20 pass, that's correct.

21 Q. Do you know when?

22 A. I don't recall the dates. I wasn't
23 finished answering the question.

24 Q. Sorry. Go ahead.

25 A. So it was based in theory that I was

1 allowing an unlicensed person to practice
2 dentistry. They actually brought a criminal
3 suit against my partner for removal of a tooth
4 from a patient that was thrown out in Stark
5 County Municipal Court for lack of validity and
6 then therefore Dr. Diamantis was no longer
7 under the jurisdiction of the Dental Board.

8 He has a medical license, he is a
9 licensed medical practitioner; therefore, they
10 had no jurisdiction over him. Therefore, I
11 believe, in their frustration they then pursued
12 me because I did have a dental license as well
13 as a medical license.

14 And again, it was based largely in
15 theory on that I was allowing an unlicensed
16 person to practice dentistry. The law in the
17 dental practice are quite gray and vague in
18 regards to what is dentistry and what is
19 medicine if you've ever read it.

20 BY MR. HENRETTA:

21 Q. What did the Board do? Did they issue
22 an adjudication order?

23 A. They did. Eventually they have did and
24 it was stayed by the Court of Common Pleas in
25 Cuyahoga County for further review.

1 Q. What was the order?

2 A. The order?

3 Q. Yes. What was the order?

4 A. What was the word that you said,
5 adjudication?

6 Q. Yes. Do you know what adjudication
7 held, do you recall?

8 A. I'm unsure of the legalese that you're
9 using.

10 Q. What was the upshot of their order?
11 What did they say, what did they do?

12 A. To remove my dental license.

13 Q. Okay. So they revoked it?

14 A. They revoked it and it was stayed by the
15 courts and therefore I still have a valid,
16 active dental license.

17 Q. Okay. And for what period of time, do
18 you recall -- well, looks like September 18th,
19 2002 was the adjudication order.

20 A. Yeah, that sounds correct.

21 Q. How soon after was it stayed, do you
22 recall?

23 A. I think within a month or so.

24 Q. Okay.

25 A. Almost immediately.

1 Q. And that was done by?

2 A. Once -- it's my understanding that once
3 the -- they chose not to enforce it until we
4 got a filing from a court. The court reviewed
5 it and it said that it was stayed.

6 Q. Now, who represents you in that?

7 A. Attorney by the name of David Levine for
8 Benesch, Friedlander.

9 Q. Okay.

10 A. I just wanted to state also that the --
11 the adjudication and counseling question have
12 nothing to do with patient care, strictly
13 legislative and --

14 Q. Well, you said it twice, legislative.

15 A. Yeah.

16 Q. What do you mean by that?

17 A. Well, it is our position that it is the
18 Dental Board's attempt to gain proprietorship
19 over a single procedure, specifically tooth
20 extractions, and that it has --

21 Q. All right. Now, there are how many
22 counts? Well, let me just --

23 A. Yeah, because I don't recall.

24 Q. I have them right here. Were, let me --

25 MR. HENRETTA: I think we should

1 mark that.

2 (Plaintiff's Exhibit Zak 1 was
3 marked for identification.)

4 BY MR. HENRETTA:

5 Q. All right. Here is -- Doctor, here is
6 Plaintiff's Exhibit No. 1. Now, is that the --
7 of course, there's a cover letter from Lili C.
8 Reitz. Do you know that individual?

9 A. I've met her before.

10 Q. Is she still serving in the capacity of
11 executive director?

12 A. I don't know. I don't know.

13 Q. Okay. And then the -- I guess it would
14 be page 3 and don't count blank 4 and written
15 for -- which is page 2 of the adjudication
16 order, is that where your understanding that on
17 paragraph 1 your license was revoked at that
18 time? I guess it would be on page 2 of the
19 revocation order?

20 A. Does that look right?

21 Q. Yes. At the bottom. is that what it
22 says?

23 A. That's what it says, uh-huh.

24 Q. And then -- actually, is it your
25 understanding then that the order was never in

1 effect because you immediately filed a -- for a
2 stay and was granted because this order looks
3 like it became effective 15 days after the date
4 of mailing?

5 A. Right. It was -- it's my understanding
6 that within that 15-day period that via the
7 Attorney General's office and our attorney,
8 they received written, what have you -- I'm not
9 sure of the legal terms, but an agreement to
10 not uphold the order until the appeal could be
11 presented to the court.

12 Q. Okay. And I guess it's fair to say your
13 license, there was no cessation in the
14 treatment of your patients?

15 A. There was never --

16 Q. Okay.

17 A. -- nor has there ever been.

18 Q. Okay. Do you know -- I just want to go
19 through some of these counts. Would you have
20 any idea who these patients are that they --
21 for instance, Count One, "On or about the
22 following dates, you permitted an unlicensed
23 operator to perform dentistry."

24 Now, each time they say that they
25 allege that in these counts and there are --

1 well, 30 -- looks like 39 counts?

2 A. Uh-huh.

3 Q. At least up to 38 because up to 38 it
4 keeps mentioning an unlicensed dentist.

5 A. Sure.

6 Q. Is each one of those referring to Dr.
7 Diamantis?

8 A. Yes, it is.

9 Q. Okay. Do you have any idea who these
10 patients --

11 A. No, I don't.

12 Q. -- would be? There's a reference to
13 them by number only and I -- withdraw that.
14 Okay. I don't think I need to know who they
15 are.

16 MR. MINGUS: You're inquiring into
17 potential privilege areas.

18 MR. HENRETTA: Yes, I am. We've got
19 enough problems with HIPPA today.

20 BY MR. HENRETTA:

21 Q. All right. I guess we can say this
22 though. Maybe, maybe we can't. How many
23 patients was the Board talking about or how
24 many incidents? Would it just be a simple
25 matter of doing the math from this document as

1 far as you know?

2 A. I'm not sure how the board extrapolated
3 their charges.

4 Q. Okay. For instance, they list Patient
5 No. 1, Patient No. 2 and -- I don't know. I
6 guess I would read this as -- if I go to -- if
7 you look at paragraph 39 on the -- that would
8 be page 5 at the top left-hand corner there?

9 A. Sure. Go ahead.

10 Q. They talk about or it addresses Patient
11 No. 27. Was it your understanding that there
12 were about 27, 28 patients involved in these
13 allegations or don't you know?

14 A. I believe so although I don't have a
15 clear understanding of what they were
16 alleging.

17 Q. Okay. Okay. Because they're not going
18 to mention patient's names?

19 A. Correct.

20 Q. And we know why, but they just say you
21 billed Patient No. 21's insurance carrier for
22 services that were not provided by you, but by
23 an unlicensed dentist. That seems to be the
24 theme in a lot of these allegations and they
25 have them couched in counts.

1 A. Uh-huh.

2 Q. So I would probably share your view of
3 the number of patients involved in this, at
4 least according to the Dental Board; is that
5 fair?

6 MR. MINGUS: Objection to form.
7 Go ahead.

8 MR. HENRETTA: That was a tough one.
9 Okay.

10 MR. MINGUS: Let him answer.

11 MR. HENRETTA: Yes, I know. It was
12 awkward.

13 BY MR. HENRETTA:

14 Q. Do you think that it involves -- these
15 allegations involve anywhere between 25 and 30
16 patients?

17 A. I'm not sure of the exact number of
18 patients, but it's been our contention always
19 that -- first off, that Dr. Diamantis was not
20 practicing dentistry, okay.

21 Q. Now, I'm just talking about the
22 allegations. Were you provided anything other
23 than these very broad-balled, I guess,
24 allegations -- counts, I mean --

25 A. No.

1 Q. -- you know what I mean? Did they ever
2 say Mrs. Smith, let's say --

3 A. Sure.

4 Q. -- or were you just given Patient No.
5 22? I'm just trying to get an idea how many
6 we're talking about.

7 A. I don't know the specific number of
8 patients that were included. I think for
9 patient privacy concerns, they're referred to
10 by number.

11 Q. Sure.

12 A. Again, these patients were not --
13 generally not treated by me. And again, my
14 involvement with them is little, if none, and
15 the -- it is all based on their theory, again,
16 that he was practicing dentistry and that
17 because I was associated in our partnership,
18 that I was allowing him to do so.

19 Q. Okay. I understand. I appreciate that.
20 Again, just so maybe we can leave this alone
21 for a while, do you believe that it's fair to
22 say that the Dental Board had -- was alleging
23 misconduct, if you will, involving
24 approximately 20 to 30 patients and not 50 to a
25 hundred?

1 A. That sounds correct.

2 Q. Okay. Well, it appears that every
3 allegation's the same, that you billed a
4 patient's insurance carrier for services that
5 were not provided by you, that were provided by
6 an unlicensed dentist. That seems to be the
7 theme of this whole thing. Is that your
8 understanding?

9 A. I'm not sure what they're claiming.

10 Q. Well, why don't you look at it?

11 A. I've looked at it before.

12 Q. You see the allegations?

13 A. Sure, I see the --

14 Q. I mean, they all seem to say the same
15 thing.

16 A. Right.

17 Q. So I guess if one were to develop a one
18 sentence theme, that would be it. I imagine
19 that's what they're saying?

20 A. We've disputed all their claims from the
21 beginning.

22 Q. I understand, but that's what they're
23 saying?

24 A. I think that's what the Dental Board is
25 saying.

1 Q. All right. Where are you now? Where is
2 that case, in which court?

3 A. It is in the Appeals Court of Cuyahoga
4 County or going before the Appeals Court of
5 Cuyahoga County. Has not been assigned to them
6 yet.

7 Q. All right. After the Dental Board
8 issued it adjudication order, is that where
9 this case went or did it go to the common
10 pleas --

11 A. Went to common pleas first.

12 Q. All right. And what happened there?

13 A. It was held there for a few months and
14 then a three by five card upholding the Dental
15 Board's recommendation was given with no
16 explanation of law or concern.

17 Q. And then --

18 A. And then it was filed for appeals court
19 which ultimately it keeps in effect the stay.
20 That's my understanding of it.

21 Q. Okay. Yes. All right. Yes.

22 (Discussion had off the record.)

23 BY MR. HENRETTA:

24 Q. Okay. You gave an affidavit as an
25 expert in this case. Do you recall that? I'm

1 going to mark that as Plaintiff's Exhibit No.
2 2.

3 (Plaintiff's Exhibit Zak 2 was
4 marked for identification.)

5 BY MR. HENRETTA:

6 Q. You are looking at Plaintiff's Exhibit
7 2. Is that an affidavit that you signed?

8 A. Yes. That's my signature.

9 Q. All right. Now -- and then in that --
10 now you have your expert hat on.

11 A. Okay.

12 Q. Okay. And again, you understand that
13 you, in addition to listing Dr. Armitage and
14 Dr. Hauser as experts in this case, you listed
15 yourself and Dr. Diamantis as well as experts.
16 In other words, people who will give expert
17 opinions in this case as to standards of care.
18 You understand that, don't you?

19 A. I understand it, but didn't realize we
20 were until you've enlightened me of that.

21 Q. Okay. Well, your lawyer said you are
22 so --

23 A. I would consider myself one.

24 Q. I understand, and I know it's done from
25 time to time. I haven't come across it where

1 the defendant also serves as the expert.

2 A. Sure.

3 MR. MINGUS: I just intend to have
4 him testify as to the appropriateness of his
5 own care.

6 MR. HENRETTA: Okay.

7 BY MR. HENRETTA:

8 Q. With respect to which is, I guess,
9 standard of care in this case, do you
10 understand that, that professional negligence
11 cases are about a standard of care in a
12 particular field of medicine or dentistry and a
13 breach of that standard of care which is
14 alleged to have caused harm?

15 A. Sure.

16 Q. Which is basically what we're here for?

17 A. Sure.

18 Q. Okay. Wow, No. 4, you indicate, "On
19 each occasion that I rendered care and
20 treatment to the plaintiff" -- and, of course,
21 we're talking about Brian Gortney -- "in my
22 capacity as a treating doctor, I did so in
23 accordance with the skill, care and diligence
24 required by the accepted recognized standards
25 of the medical and dental communities, given

1 the presenting conditions and circumstances."

2 Okay?

3 A. Sure.

4 Q. And earlier above that you said you --
5 under oath, you state this as being true. Now,
6 I would ask you to tell me what is the
7 recognized -- the accepted recognized standards
8 of the medical and dental community given the
9 presenting conditions and circumstances in your
10 capacity as a treating doctor when you treated
11 this man? What are those standards?

12 MR. MINGUS: Objection.

13 BY MR. HENRETTA:

14 Q. In other words, what do you base this
15 on? I want to know what your opinion is so --
16 because that's what you're going to give
17 testimony on and I have a right to know the
18 basis of it so just tell me what that means.

19 MR. MINGUS: Objection to the
20 breadth of the question.

21 Go ahead.

22 THE WITNESS: Yeah, can you
23 narrow --

24 BY MR. HENRETTA:

25 Q. Do you know what the standard of care is

1 when you treat -- first of all, why did you
2 treat him?

3 A. Which is your question, why did I treat
4 him or what is the standard of care?

5 Q. Yes, why did you treat him?

6 A. Sure. I treated Mr. Gortney because he
7 came to us with significant -- or description
8 of significant symptoms and based on some of
9 his radiologic findings and so that's where we
10 began treatment.

11 Q. What's the standard of care?

12 A. In reference to what?

13 Q. Treating him. Here's what you said:
14 "On each occasion." So I want you to tell me
15 -- first of all, I want you to tell me every
16 occasion you saw him. Let's do that. That's
17 the easiest way to do it. How many times did
18 you see him?

19 A. I don't know the specific number of
20 times.

21 Q. Do you have a file here that would tell
22 us when you saw him because you state here, "On
23 each occasion that I rendered care and
24 treatment to the Plaintiff in my capacity as a
25 treating doctor." So what I need to know is

1 what are those occasions?

2 A. Well, we have the patient's chart right
3 here.

4 Q. I want to know each time you saw him.

5 A. Sure.

6 Q. And when you rendered care and
7 treatment.

8 A. Uh-huh.

9 Q. And tell me what you base the statement
10 on, "I did so in accordance with the skill,
11 care and diligence required by the accepted
12 recognized standards of the medical and dental
13 communities." You got tell me what you mean by
14 that because I want to know.

15 A. Sure.

16 MR. MINGUS: Objection to form.

17 Go ahead.

18 Do you want him to go through --

19 MR. HENRETTA: Every time he saw
20 him, everything he did, and how that complies
21 with the standard of care to back up this No. 4
22 that you state here. That's all.

23 THE WITNESS: Okay.

24 BY MR. HENRETTA:

25 Q. That's pretty basic.

1 A. Basic, but very broad.

2 MR. MINGUS: Okay. Objection.

3 BY MR. HENRETTA:

4 Q. Just go through each one and tell me --

5 A. Okay.

6 Q. -- what you did, first of all.

7 A. Okay.

8 MR. MINGUS: My objection is just to
9 the multiple nature of your question, Tom.

10 BY MR. HENRETTA:

11 Q. Well, first of all, let's go through the
12 first time you ever saw him. We're going do it
13 just like a regular doctor depo.

14 Why did you see him, what did he
15 present with, what history did you take, what
16 physical exam did you take. And then you're
17 going to tell me why that is or is not within
18 the standard of care. That's every time you
19 saw him.

20 A. Okay.

21 Q. When did you first see him?

22 A. First record of seeing Mr. Gortney was
23 10/31/98.

24 Q. Why did you see him?

25 A. Well, he came in for an initial

1 examination for evaluation of some symptoms
2 that he presented with.

3 Q. Did he tell you what those were?

4 A. Sure.

5 Q. Did he give a history?

6 A. Am I going to be allowed to answer the
7 question?

8 Q. Did he give a history? You're going
9 right into findings. Just tell me did he give
10 a history?

11 MR. MINGUS: My objection is he was
12 still answering the question when you posed a
13 couple more.

14 BY MR. HENRETTA:

15 Q. Well, let's break it down. When he
16 called you and he came to your office, what did
17 he say on the phone, what was your first
18 recollection of a note, of a problem, why is
19 the patient here?

20 A. I didn't take the initial phone call
21 when he came to the office.

22 Q. Who did?

23 A. One of my receptionists.

24 Q. And what did you learn, why he's coming
25 in?

1 A. For initial consult regarding facial
2 pain.

3 Q. Okay. Where did you learn that
4 information?

5 A. Would have been from his history that he
6 filled out in the waiting room.

7 Q. What does it say?

8 A. Yeah, he lists under past
9 hospitalizations surgical procedures performed
10 in Mexico in '98 for cavitation procedures.

11 Q. What did you learn about those Mexican
12 procedures for cavitational -- tell me what
13 that means, first of all.

14 A. Sure. Well, Mr. Gortney's initial
15 examination was brief. I did not go into
16 detail with him. He came with multiple journal
17 articles in hand regarding cavitation syndrome
18 and a collection of some x-rays from past
19 treating doctors.

20 He described to me that he'd had
21 wisdom teeth which were removed 20-plus years
22 ago and that he suffered from unrelenting
23 facial pain and quote/unquote "drainage for
24 many years ever since." He came with a working
25 diagnosis, as I would call it, of cavitation

1 syndrome.

2 Q. Tell me about the Mexico treatment.

3 A. As described by the patient, his Mexico
4 treatments included multiple trips to Mexico
5 for what he described as curettage procedures
6 which would be consistent with removal of bone
7 -- repeated removal of bone of a certain area.

8 Q. Did you obtain the records from Mexico?

9 A. No, I did not.

10 Q. Why?

11 A. Well, it was my recommendation to --
12 actually, Brian asked me should I go back to
13 Mexico for further treatments. I said to him
14 that first off, the diagnosis was an unclear
15 one. The treatment at best can be described as
16 controversial and that I wanted a chance to
17 review his records before I could ever
18 recommend him traveling to a Third World
19 country where standard of care would certainly
20 be in question for procedures which may or may
21 not be recognized here in the United States.

22 Q. What treatment was controversial?

23 A. The multiple curettage treatments of
24 cavitation syndrome.

25 Q. Explain that. Why is it controversial?

1 What do you mean?

2 A. Well, I think that you would be able to
3 find a multitude of physicians who might
4 question the diagnosis of cavitation syndrome
5 to begin with as a real or theoretical entity.

6 Q. Well, just explain what it is and tell
7 me why it's controversial.

8 A. Sure. Well, it's thought to be a
9 necrosis of bone in a given area, again,
10 thought to be due to a prior surgical site
11 and/or trauma to an area which then results in
12 nonhealing necrotic bone.

13 Q. Why is that controversial?

14 A. Well, the reason it's controversial is
15 because the diagnosis of necrotic bone is quite
16 often not well documented.

17 Q. You did not feel it important before you
18 went on to treat Brian further to get those
19 records, I take it?

20 A. Certainly not. I would assume that was
21 because I didn't place a large amount of value
22 on what I would be obtaining from them.

23 Q. Okay. You think in general though it's
24 important to have a patient's full medical
25 history including records from the past --

1 A. Well --

2 Q. -- or not?

3 A. Well, Mr. Gortney was a -- at least
4 thought to be at the time a very good historian
5 as to what was done on him and he gave a pretty
6 in-depth description as to the procedure at
7 hand so there was not going to be a lot more
8 offered from an operative report from a foreign
9 country.

10 Q. All right. So what did you then do on
11 that first visit after you got the history?

12 A. Looked in his mouth, the area of
13 question, which was unimpressive upon
14 examination.

15 Q. What do you mean by that?

16 A. I mean that there was no signs of active
17 disease in the tissues of the mouth and the
18 areas he was talking about.

19 Q. Any diagnostic testing or is it just
20 palpation and visual?

21 A. Yeah, based on clinical signs and
22 symptoms, signs being what the doctor is
23 visually seeing and symptoms, what the patient
24 is describing.

25 Q. And next what did you do?

1 A. Next what I did was told him that we
2 would consider a CT scan to further evaluate
3 the area and that we would call him to help him
4 schedule that.

5 Q. Okay. And now had you -- was that the
6 end of that first visit?

7 A. *Yes.*

8 Q. Okay. That's the first occasion you saw
9 him and it is your opinion that what you just
10 described, that conduct you engaged in as an
11 M.D., I guess, and a D.D.S. was conduct falling
12 within the recognized standards of care --

13 A. Certainly.

14 Q. -- am I right, for this patient given
15 the presenting conditions and circumstances?
16 When was the next time you saw him?

17 A. Looks like our next visit is on
18 11/20/98.

19 Q. How soon was that? How much time in
20 between that?

21 A. Two and a half weeks.

22 Q. Okay. Tell me about that visit.

23 A. We reviewed the CT scan results and
24 discussed moving forward based on his symptoms
25 and the radiologic findings.

1 Q. All right. So who performed the CAT
2 scan?

3 A. Let me check my chart here.

4 Q. It was a hospital?

5 A. It was a hospital, specifically Mercy
6 Medical Hospital.

7 Q. What was the findings on that?

8 A. Impression: Almost complete
9 pacification of a few of the left ethmoid air
10 cells and mild to moderate diffuse mucosal
11 thickening throughout the sinuses and left
12 nasal cavity.

13 Q. What does all that mean, if you can give
14 a breakdown so somebody can understand?

15 A. Sure. It's a fair way to describe
16 chronic sinusitis which were also certainly in
17 the realm of description of his clinical
18 symptoms.

19 Q. Okay. What was done at that particular
20 day?

21 A. Which day?

22 Q. The one you just talked about you shared
23 with him -- did you share with him the results
24 of the diagnostic?

25 A. Yes.

1 Q. Did you do any further treatment that
2 day or schedule something?

3 A. I believe we talked about surgical
4 options for him as well as what potential
5 benefit that would provide.

6 Q. Okay. Tell me a little more specific
7 what did you tell him, what benefits? Just
8 explain what you just told me.

9 A. Sure. Based on the radiographic
10 findings of -- consistent with chronic
11 sinusitis and his symptoms of left-sided
12 discomfort, facial discomfort, specifically
13 atypical neuralgia, what the patient was
14 describing --

15 Q. What's that mean, atypical neuralgia?

16 A. Atypical neuralgia would be a term I
17 would use to describe a neuralgic type symptom
18 or neurologic type symptom or pain, if you
19 will, that is not typical, okay. It does not
20 hold itself to find any one certain diagnosis,
21 okay?

22 So based on the radiologic findings
23 and Mr. Gortney's symptomatology, I thought it
24 was prudent to do two things. I thought --
25 well, first off, let me back up. His repeated

1 insistence that curettage, opening the area and
2 sampling bone which is what was needed based on
3 his readings of cavitation syndrome.

4 Q. Explain cavitation syndrome again.

5 A. My understanding of it is that area of
6 necrotic bone, separative or nonseparative,
7 that is --

8 Q. What's that mean, separative?

9 A. Pus is located there, bacteria. An area
10 of dead bone which refuses to heal.

11 Q. How is that normally treated?

12 A. By -- if you read the curettage
13 literature and prescribe to that, it would be
14 by multiple episodes of curettage of which, by
15 description, Mr. Gortney had prior to our
16 treatment.

17 Q. How would you treat that?

18 A. Well, I had a long discussion with Brian
19 and his wife, I believe, stating that he has
20 gone to many different surgeons, he has had
21 multiple curettage procedures done, and there
22 appears to be unrelenting discomfort. So in
23 other words, he's not getting better.

24 And therefore, doing solely the same
25 thing did not appear to be of good surgical

1 decision to me. That we did have documentable
2 radiographic findings for sinusitis, that going
3 in and cleaning out the sinus and eliminating
4 that as a factor would allow us to do so and at
5 the same time obtain a direct biopsy from the
6 area in question. So that was reviewed in
7 detail with Brian.

8 It was discussed that -- again, the
9 diagnosis is probably still unclear whether
10 this was true cavitation syndrome, whether this
11 was simply true sinusitis with subsequent
12 neuralgia or do you have the diagnosis of just
13 a typical neuralgia with unclear causative
14 factors.

15 Q. At what point in time did you arrive at
16 the diagnosis?

17 A. I think we arrived at a working
18 diagnosis -- and again, it still was possibly
19 multiple working diagnoses throughout his care.

20 Q. How many times did you treat him?

21 A. As in an operative procedure?

22 Q. In your office, consultations, 20, 30,
23 40, 50?

24 A. No.

25 Q. How many?

1 A. Well, we can count the number of
2 consultations, most of which were probably just
3 checks or visits, discussions, nothing hands
4 on, but one, two, three, four, five, six, seven
5 eight, nine, ten, eleven, twelve, thirteen,
6 fourteen, fifteen, sixteen, seventeen,
7 eighteen, nineteen, twenty, twenty-one,
8 twenty-two. Twenty-two times it appears
9 approximately.

10 Q. Okay. All right. And it's your
11 testimony through your affidavit that each time
12 that you rendered care and treatment to Brian,
13 you did so with the skill, care and diligence
14 required by the accepted recognized standards
15 of the medical and dental community --

16 A. Certainly.

17 Q. -- given the presenting conditions and
18 circumstances? What's that mean, given the
19 presenting conditions and circumstances?

20 A. How the patient presents at the time.

21 Q. Okay. Did Brian ever ask you to perform
22 a particular procedure?

23 A. Ask me to request a particular
24 procedure?

25 Q. Yes.

1 A. Yes.

2 Q. And what was that?

3 A. Curettage of the areas.

4 Q. Do you know when he asked that?

5 A. That would be -- I've got some letters
6 from Brian and I know we've got those
7 documented in there. First one is dated
8 December 20th of 1998. He is requesting
9 procedures in that letter.

10 Q. And did you respond to him?

11 A. Sure. And again, my -- my response to
12 Brian is that his symptoms were somewhat
13 misaligned with the signs that we were seeing.
14 However, with the documentation of the
15 radiographic findings and the symptoms he was
16 describing, I thought it reasonable to perform
17 the procedures that we performed.

18 Q. And was that the curettage he was asking
19 for or something else?

20 A. No, it was the -- it was the combination
21 of biopsy of the area and Caldwell-Luc of the
22 sinus, of the left sinus cavity.

23 Q. I didn't hear that last term.

24 A. Caldwell-Luc. It's a name referring to
25 a sinus procedure.

1 Q. Tell me about the biopsy. When did you
2 have the biopsy performed?

3 A. At that time.

4 Q. What tissue -- okay.

5 A. Sure.

6 Q. Where did you send it?

7 A. We sent it two places. One would be to
8 the hospital pathology department. Biopsy of
9 tissue was sent as well as multiple cultures
10 both for fungus, microbacterium as well as
11 bacteria.

12 Q. Was that Mercy Hospital?

13 A. No, that was Lakewood Hospital.

14 Q. Okay. And what was the result of the
15 biopsy?

16 A. Sure. The hard tissue specimen showed
17 -- and I'll read you -- the microscopic
18 diagnosis was "Chronically inflamed respiratory
19 mucosa which is consistent with a patient with
20 chronic sinusitis. Fragments of bone and
21 minute portions of hypocellular debris with
22 apparent calcified material and cholesterol
23 clefts." And the stain for fungus was
24 negative.

25 Q. All right. Do you believe it was

1 sinusitis or, I guess, that's information --

2 A. Sure. Within a sinus cavity, yes. Yes,
3 we believe that.

4 Q. And what was your opinion as to the
5 etiology of that information?

6 A. Sinusitis? Very difficult to say.
7 Again, treating Brian after multiple surgeons
8 have been into his tissues, it's extremely -- I
9 would say impossible to determine what caused
10 his sinusitis.

11 Was it iatrogenic, caused by another
12 caregiver? Was it caused by the patient
13 himself? Was it just a factor of disease?
14 Hard to say.

15 Q. So then what procedure did you perform?
16 First of all, did you perform surgery on Brian?

17 A. Yes.

18 Q. Okay. On how many occasions?

19 A. Twice.

20 Q. Okay. And when? When was the first
21 one?

22 A. The first one was dated -- it was
23 December, '98. The exact date, I believe, is
24 -- tell you in a moment. Looks like 12/21/98.

25 Q. Who assisted you in that procedures?

1 A. My partner, Dr. Nicholas Diamantis.

2 Q. Did he also -- assisting as a nurse or
3 assisting as a surgeon?

4 A. Assisting as a doctor.

5 Q. Okay.

6 A. He's not a nurse.

7 Q. Okay. Well, I -- just so I understand.

8 A. Okay.

9 Q. He performed work as a surgeon same as
10 you?

11 A. Yeah.

12 Q. Okay. On both occasions?

13 A. Yes. Both operations he was assisting.

14 Q. Okay. And what was the goal of the
15 first surgery and again, the name of the first
16 surgery and the goal?

17 A. Sure. It was two part. One was the
18 Caldwell-Luc procedure which was to eradicate
19 his element of sinus disease which was
20 documented radiographically by the CT scan; and
21 two, was to obtain a biopsy specimen.

22 Q. Okay.

23 A. Biopsy specimen and/or curettage of the
24 area. They essentially are the same procedure,
25 removing bone for biopsy, removing bone because

1 you're purposely removing it.

2 Q. How do we rate success or failure in
that particular procedure?

4 A. How would I rate it?

5 Q. How do you do that?

6 A. Symptoms.

 Q. Okay.

8 A. Symptoms as well as obtaining a
9 demonstrable diagnosis from specimens and/or
10 lack of diagnosis.

11 Q. All right. So what did you learn from
12 that procedure?

13 A. Sure. What we learned from that
14 procedure -- well, clinically we learned that
15 he did have element of sinus disease. It was,
16 we felt, eradicated. Most patients do quite
17 well from that procedure. We base that on
18 their healing process as well as their
19 symptomatology afterwards.

20 It is a disease which can revert
21 back to its previous state. It's one that can
22 be eradicated forever. It's very
23 patient-dependent.

24 In terms of the success of the
25 curettage and/or biopsy, we were able to obtain

1 a specimen which was representative of the
2 tissues in the area and also free the patient
3 of any visible signs of disease.

4 We had good, healthy, bleeding bone
5 and no reason to suspect that further curettage
6 at that time was warranted.

7 Q. Okay. How would you rate the success of
8 that surgical procedure?

9 A. At the time of the surgery it seemed to
10 go just fine. The patient seemed to heal well
11 postoperatively.

12 Q. Okay. But you saw him after that?

13 A. Sure. You always see surgical patients
14 afterwards.

15 Q. Was there any difficulty that he
16 expressed to you in terms of his recovery?

17 A. No more out of the ordinary for anyone
18 who undergoes a Caldwell-Luc. The patient
19 leaves the operating room with intraantrum
20 packing which is packing within the sinus
21 cavity.

22 This is done as standard of care for
23 a few reasons, but specifically to prevent
24 hematoma and formation within the sinus antrum
25 and that's periodically advanced throughout the

1 next 7 to 10 to 14 days, depending on the
2 situation at hand.

3 And patients -- that is
4 uncomfortable for patients, both while the
5 packing is in place and as well as their
6 removal of that.

7 Q. All right. Now, do you see Brian after
8 that in the office --

9 A. Yes.

10 Q. -- for further complaints?

11 A. Uh-huh.

12 Q. Okay. What are the natures of those?

13 A. Sure. Let me just -- well, we saw him
14 -- so in -- by 1/5/99 the entire packing was
15 removed from the sinus and he was seen again on
16 1/8/99 for a check. There was no evidence of
17 drainage, no fistula. The patient was healing
18 well.

19 I had spoken with Brian in regards
20 to -- any further neurologic symptoms would
21 probably need to be addressed with intervention
22 or interruption of the nerve which is
23 responsible for the atypical neuralgia.

24 Brian expressed to me that he has
25 already had consultations with neurosurgeons

1 regarding rhizotomies and that was something --
2 it was something he was familiar with, but it
3 was not something he was interested in.

4 Q. How about patient complaints, was he
5 complaining of any neuralgia at that time, any
6 pain?

7 A. Let me just check further notes.

8 Q. Again, we're talking about after the
9 surgical procedure.

10 A. Sure. Uh-huh. Yeah. He, at the time,
11 healed nicely from the Caldwell-Luc procedure.
12 I think -- again, we have a handwritten note by
13 Brian saying that it took him a mere three days
14 to recover from that and did quite well and
15 this was in reference to a letter written
16 before his second surgery. So by the patient's
17 own admission, I take it he did quite well.

18 Q. Were there any patient complaints of
19 pain at any time after the first surgery?

20 A. Sure. He -- he complained of a
21 recurrence of the discomfort and he, by his own
22 request, wanted to seek consultation in
23 Kentucky which, to my knowledge, is a group
24 affiliated with Dr. Hussar and the Mexico
25 group.

1 Q. How many times did he complain about
2 pain after the first surgery?

3 A. Let me look at the notes here. After
4 the first surgery and before the second I
5 assume you're asking?

6 Q. Yes. I want to know what the patient's
7 complaining about, if at all --

8 A. Sure.

9 Q. — between the two surgeries.

10 A. Let's see. We saw him in January in
11 which case --

12 Q. What year is that?

13 A. It's '99, so that's after his first
14 surgery. He then chose to go off to Kentucky
15 to have a second consultation. He requested
16 his records and x-rays. They were given. He
17 went to Kentucky. "Dr. Zak indicates that we
18 should review the surgeon's recommendations
19 before having anything done."

20 Q. Okay. Now, do you know who the doctor
21 was in Kentucky?

22 A. I have no idea who the doctor was.

23 Q. When you say review the surgeon's --
24 what's that reference, review the surgeon's
25 records?

1 A. Yeah. Review his recommendations, not
2 review his records. Review his
3 recommendations.

4 Q. Which surgeon? In Kentucky?

5 A. Yeah. Again, the person in Kentucky. I
6 didn't know this individual and this was on
7 Brian's recommendation.

8 Q. All right. So then you perform another
9 surgical procedure?

10 A. Sure.

11 Q. When is that?

12 A. That was in May and the reason we
13 performed that --

14 Q. 1999 or 2000?

15 A. '99.

16 Q. Okay. Why another one?

17 A. Sure. The reason we performed another
18 one was also multi-fold. We had at the
19 conclusion of the first surgery a sample which
20 was sent to Kentucky for evaluation of possible
21 cavitation syndrome and --

22 Q. Where in Kentucky?

23 A. Let me look -- check the laboratory.
24 It's again the same group that's associated
25 with the Mexico group. It appears to be

1 University of Kentucky, Lexington, Dr. Haley, I
2 believe it is.

3 Q. Haley? All right. When you say
4 affiliated with the Mexico group, what's that
5 mean?

6 A. Sure. Well, my understanding, if you do
7 any type of research on the cavitation
8 syndrome, you will find a focus of these
9 articles, one being the doctor who's in Mexico
10 which, I believe, it's a doctor whose license
11 was banned in Colorado and therefore he
12 practices in Mexico for that reason.

13 Q. Do you know his name?

14 A. I don't know his name.

15 Q. Okay.

16 A. And then also this Kentucky group.

17 Q. And you don't know the name of the
18 Kentucky group?

19 A. I don't know the name of the Kentucky
20 group. I know that they have a -- what I would
21 describe as a journal club which focuses their
22 practice on cavitation syndrome.

23 Q. And are they at the University of
24 Kentucky?

25 A. I don't know.

1 Q. Okay. So tell me again about this
2 second procedure you're going to do and why
3 you're going to do it.

4 A. Sure. We're doing it for a couple of
5 reasons. Again, increased symptomatology. I
6 have a letter here from Brian dated in March
7 which I'm going to go ahead and read because I
8 think it does an adequate job of describing the
9 patient's words themselves.

10 "The pain in my face is getting
11 worse. It's affecting the whole left side of
12 my body and skull. I would like to move ahead
13 with the plans to clean out the necrotic bone
14 and tissue as soon as possible.

15 "John" -- he refers to me by my
16 first name -- "I spent most of this weekend on
17 an ice bag and I sleep on an ice bag every
18 night. I haven't been able to fly my airplane
19 in over four years. This has destroyed the
20 quality of life for me. I have a number of
21 concerns. Number one, could you write a short
22 letter to my insurance company explaining the
23 planned procedure and estimated costs?

24 And it goes on, "I would like to do
25 this at University Hospitals. If we need

1 replacement bone, could we go to the bone bank,
2 or is it better to use my own? Could you also
3 clean out the lower left third molar site at
4 that time, preferably do all four procedures.
5 This would preclude having to repeat these
6 treatments.

7 "All third -- all four third molar
8 sites are painful; however, the upper left is
9 by far the worst followed by the lower left.

10 He says that he's self-employed.
11 "How long do I estimate my downtime will be? I
12 was able to go back to the shop the day after
13 my triple discectomy and was only down a couple
14 of days after the Caldwell-Luc procedure.

15 "I have to have something done,
16 John. All these symptoms I described in my
17 earlier letter are still present. Please let
18 me know with your earliest convenience. Are
19 you still planning to do the curettage
20 procedure using methylene blue? Thank you for
21 your understanding and care, Brian Gortney.

22 "Also, I had root canals with both
23 of the missing upper teeth sites. They found
24 black necrotic residue in these in Mexico.
25 Should they be cleaned out and checked again?"

1 So his s--
2 recurring and sympt
3 area of pain, drain
4 and swelling was no
5 to witness or demon
6 However, erythema,
7 we were able to eli
8 examination at one

9 Q. Well --

10 A. Okay. So we
11 with recurring sympt
12 test result for cav
13 the Kentucky labor

14 Q. What was dor
15 procedure?

16 A. The Caldwell
17 and/or biopsy.

18 Q. What did you
19 can tell it didn't

20 A. Sure.

21 Q. So what did
22 sense?

23 A. Sure. It e
24 disease and it all
25 biopsy of the tiss

1 So his symptoms by the patient are
2 recurring and symptoms -- I say he describes
3 area of pain, drainage and swelling. Drainage
4 and swelling was nothing that we were ever able
5 to witness or demonstrate within the office.
6 However, erythema, which is a term for redness,
7 we were able to elicit in the site upon
8 examination at one or two occasions.

9 Q. Well --

10 A. Okay. So we have before us a patient
11 with recurring symptomatology and a positive
12 test result for cavitation syndrome by way of
13 the Kentucky laboratory.

14 Q. What was done for him in the first
15 procedure?

16 A. The Caldwell-Luc procedure, curettage
17 and/or biopsy.

18 Q. What did you do for him? From what I
19 can tell it didn't delete the pain --

20 A. Sure.

21 Q. So what did it do for him in a positive
22 sense?

23 A. Sure. It eradicated his present sinus
24 disease and it allowed us to obtain a direct
25 biopsy of the tissues. All of those, by the

1 way, with the exception of the laboratory from
2 Kentucky and the enzyme assay testing, the
3 H & E stain of the bone, the cultures of the
4 tissue, be they fungal, microbacterium and
5 bacterial, all came back negatives.

6 So I think it did a lot for us. I
7 was able to rule out all of those things. So
8 diagnostic in terms of ruling out possibilities
9 is extremely valuable.

10 Q. All right. So then we go for another
11 procedure and what is this second one called?

12 A. Sure. The second procedure is we are
13 going to go back to the same area where the
14 patient's chief complaints exist, specifically
15 the left maxilla.

16 We are going to go in under the
17 understanding by patient and doctor that we
18 will take a larger representative sample, in a
19 sense more curettage, which is recommended by
20 the cavitation -- those who support the
21 diagnosis of cavitation syndrome.

22 However, we've been back there once
23 before -- excuse me -- we've operated once
24 before. There have been multiple surgeons in
25 various countries who have operated there. If

1 we are going to create a larger defect, we
2 wanted to reconstitute that area and so we used
3 otogenous bone graft which is the patient's own
4 bone; Gold standard in bone grafting.

5 Q. Well, tell me about the surgery. Where
6 was it performed, how long did it take?

7 A. The procedure probably -- and again, I
8 don't recall specifically, but probably took on
9 the order of one to two hours.

10 Q. Was that in your office?

11 A. No, it was in the hospital.

12 Q. Okay.

13 A. It was done at University Hospitals.

14 Q. Okay.

15 A. And the procedure consisted of
16 developing a flap to expose the area in
17 question and remove a representative sample
18 down to the level of what we felt was healthy
19 bone. And we base that clinically on healthy,
20 bleeding tissue. Bleeding tissue, bleeding
21 bone is good, healthy tissue.

22 Q. Where was the -- specifically in the
23 anatomy, where are we talking about?

24 A. Probably the easiest place to describe
25 that, it would be the retromolar pad area of

1 the maxilla adjacent or rather distal to the
2 second molar.

3 Q. Was there any bone grafting done at any
4 time?

5 A. Yes.

6 Q. Which procedure?

7 A. The second procedure.

8 Q. And where was the bone obtained?

9 A. The bone was harvested from the
10 patient's -- I believe it was his right hip.

11 Q. And how successful or not was that
12 graft, do you know?

13 A. Well, you wouldn't know that unless you
14 did a core sample of that. When you do have
15 that show up on a CT scan or a bone scan,
16 healing bone is going to -- and grafted bone is
17 going to look like necrotic bone or
18 osteomyelitis.

19 And you then have to follow that
20 with a gallein scan or white cell tag scan
21 which will then only light up in areas of
22 necrosis or osteomyelitis. And to my
23 knowledge, Mr. Gortney had that done by
24 recommendation of, I think, an ENT surgeon in
25 town and that showed no evidence of

1 osteomyelitis. And that was done approximately
2 four months after our care.

3 Q. When did you last see or treat Brian
4 Gortney?

5 A. I last saw Brian on -- make sure we've
6 got our -- it appears to be in September of
7 '99, at the end of September '99. However, I
8 did have conversations his wife after that.

9 Q. In between the -- now, this surgery was
10 in what month in '99?

11 A. It was in May of '99.

12 Q. May of '99?

13 A. Yeah.

14 Q. What complaints, if any, did he present
15 with or did you learn came from him after the
16 surgery?

17 A. After the surgery? Right. Yeah. Well,
18 then let's go to the chart there and review
19 post '99. He had some minor complaints of some
20 left ear pain which we referred him to an ENT
21 surgeon for and was being treated that way.

22 We saw him in July. His oral cavity
23 was healing well. He had continued description
24 of neuralgic type symptoms and I again
25 recommended that he see the ENT surgeon as well

1 as the neurosurgeon, Dr. Tabett.

2 In July here we have a conversation
3 with Mrs. Gortney that they saw the doctor for
4 a possible inner ear infection which was being
5 treated by him and should clear up without --
6 or is uneventful.

7 We then have a letter, multiple
8 letters, from Brian that I think warrant
9 reading and I'll start with those in just a
10 moment here.

11 Q. And tell us the date.

12 A. Sure. Be happy to. The first is dated
13 September of '99. "Doc, thanks for taking the
14 time to examine me last Saturday. As you know,
15 I'm still having a problem. I can never
16 remember things when I see you so I decided to
17 write it down.

18 "Number one, the area behind the
19 left maxillary second molar was swollen for
20 some time. When I probed and punctured it last
21 week, I got a lot of bitter, thick drainage and
22 it relieved the pain somewhat and changed the
23 nature of it. The pain is localized at this
24 area now.

25 "Number two, now that the swelling

1 is down, I can feel a" -- can't read it --
2 "channel in the bone, a slot or channel in the
3 bone behind the second molar to the focal point
4 of the bad spot. Are there bone fistulas?" he
5 questions.

6 "Number three, I had pain and
7 problems in this area even prior to the third
8 molar extractions in 1980 and 1981. Number
9 four, I urinate very often with a lot of debris
10 and gas in my urine. Could it be a crypt or
11 another cavitation or both? It feels like I've
12 lost bone. Please open the area up and explore
13 the channel behind the second molar and the bad
14 spot for cavitations and necrosis, et cetera,
15 and debride it as well as possible.

16 "It is still draining a bitter
17 drainage; however, the facial and neck pain is
18 reduced. If I need another bone graft, let's
19 proceed. Your previous work has healed nicely
20 and is pain free. Thank you. Brian Gortney."

21 There's a letter dated 9/22 which
22 would be one day after. "Frequent stabbing
23 pains below naval at bladder with frequent
24 urination. Urine has a lot of gas and debris
25 for some time now, over a year. Still have a

1 bitter, burnt tasting drainage from open area
2 behind second maxillary molar. Hard pallet
3 only hurts at this site since draining. Not
4 painful all along back of pallet at this time.
5 Feel warm and fevered all the time; however,
6 only 98 degrees or so usually.

7 "It feels like something is eating
8 at the bad area, anaerobes, mycotic infection,"
9 he questions. Next note, September 28th.

10 So in other words, in the period of
11 less than a week there's a flurry of these --
12 what I would describe as at best contradicting
13 symptoms.

14 Q. Is that the last date? 9/28's the last
15 letter you got?

16 A. Yeah, last letter from Brian.

17 Q. Final letter? There's no more beyond
18 that?

19 A. Yeah. Yeah. To my knowledge, yeah.

20 Okay. "Doc, after I opened the swollen area"
21 _- after he opened the swollen are — "behind
22 the left maxillary second molar, the swelling
23 decreased as the did the pain in the neck,
24 cheek, pallet and" -- I can't read that.

25 "The hissing in the ear and stinging

1 sensation along the corraded area and the
2 debris in the urine and bladder pains have
3 decreased. I also urinated much less
4 frequently.

5 "Now that the gingival tissue is
6 nearly healed, all symptoms are increasing. I
7 believe the toxins are once again forced to
8 escape betwezn the periosteum and bone going
9 into the lymph and bloodstream instead of
10 draining into the oral cavity as they did while
11 the area was open.

12 "I had a bitter, burnt-like drainage
13 that has now stopped for the most part. It's
14 sweet tasting at this time. Brian. Could it
15 be a remote lesion draining at the tuberosity?
16 Could it be in the pterygomaxillary area? I
17 fell with a pencil in my mouth and drove it up
18 into me years ago.

19 "Could it be on the lateral wall of
20 the nasopharynx above the soft palate? It's
21 always tender right there just above the
22 zygomatic arch."

23 Okay. So what I interpreted as a
24 practitioner from this is that we have a
25 patient who is self-diagnosing, a patient who

1 is self-treating and that raises a grave
2 concern for me. I expressed that with the
3 Gortneys.

4 Q. How did you express it?

5 A. I had told them on their visit that I
6 felt that it was inappropriate for him to be
7 probing and dissecting the tissues of his
8 mouth.

9 Q. Do you have a note to that effect in
10 your chart?

11 A. No, no. Well. I have -- I do have one,
12 a phone conversation with Mrs. Gortney, which
13 again, follows that, but not at the time.

14 Q. That isn't noted in the chart?

15 A. Yeah. Says phone conversation with
16 wife. Again, reiterated zero signs of
17 infection, erythema concerns about Brian's
18 self-diagnosis, self-mutilation. Again,
19 recommend referrals to neurosurgeon, pain
20 management options and return to ENT treatment.

21 Q. What date was that document?

22 A. It was 11/99. I wasn't sure of the
23 conversation date exactly. I didn't want to
24 put down something erroneously.

25 Q. The last date you have in your chart

1 with anything with Brian would be when?

2 A. That would be 9/29/99, and that was --

3 Q. Well, is there 11/99 did you say?

4 A. That would be a phone conversation with
5 Mrs. Gortney. That's not with Brian, okay.

6 Q. Okay. I see. Well, it connects with
7 his treatment. Is November of '99 the very
8 last --

9 A. That's what we're -- yes.

10 MR. MINGUS: There's entries after
11 that related to the records, but --

12 MR. HENRETTA: Well, yeah. Aside
13 from that and payments.

14 THE WITNESS: Right.

15 BY MR. HENRETTA:

16 Q. Okay.

17 A. Okay? So beyond that, we have -- I'm
18 sorry. I lost my train of thought with that
19 question, I guess.

20 So I have a patient that is
21 admittedly self-diagnosing, admittedly
22 sel-treating. We have gone in twice and have
23 been unable to demonstrate with any accuracy
24 significant pathologic findings, i.e., a
25 positive culture, a positive bone graft.

1 And as a matter of fact, our biopsy
2 from the surgery showed no evidence of necrotic
3 bone. Showed new bone and, in essence, healing
4 bone from the first surgery.

5 So I told Brian and his wife at that
6 time I saw no benefit to Brian to go in further
7 and chat I was not able to recommend that for
8 him for treatment.

9 I recommended that he seek help for
10 multiple issues, psychiatric not alone.

11 Q. All right. Did you and Brian have a
12 discussion about so-called cavitation syndrome
13 early on in your treatment of Brian?

14 A. Yeah. As I said, on his initial visit
15 he came with multiple journal articles
16 concerning cavitation.

17 Q. Did you agree that it was a cavitational
18 syndrome?

19 A. No. I told him that I was not willing
20 to at that time state that it was definitively
21 cavitation syndrome, but at the same time I
22 wasn't willing to rule that out. Just because
23 a diagnosis is not popular, if you will, I was
24 not of the conviction that that could be --
25 that that might not be the diagnosis at hand.

1 Q. Had you had experience with cavitation
2 syndrome with other patients before Brian?

3 A. Sometimes you will have -- patients
4 don't necessarily refer to that, but it may be
5 NICO Syndrome that they refer to. Again, NICO
6 Syndrome is positively described in the
7 articles very similar to cavitation syndrome;
8 however, again, also a diagnosis that is not
9 well accepted.

10 Q. NICO again is -- explain that. That's
11 N-i-c-o; is that right, Doctor?

12 A. Yeah.

13 Q. And those letters stand for what?

14 A. I'm not a -- you'll have to get those.

15 Q. We'll find it.

16 A. Necrotizing infectious cavitation osteo
17 something or other, I believe, but you better
18 check that.

19 Q. In a sense, what does it mean?

20 A. Again, that's not a well accepted
21 diagnosis. It's not one that I care to treat
22 on a regular basis due to the lack of
23 demonstrable findings --

24 Q. All right.

25 A. — and I believe it's very similar to --

1 to that.

2 Q. I have it. I have an — I don't know.
3 It says NICO. That's a neuralgia inducing
4 cavitational osteonecrosis. I guess pain
5 causing -- forming a cavity, dead and dying
6 bone.

7 A. Very similar to cavitation syndrome
8 descriptions.

9 Q. Right. And your opinion of NICO lesions
10 is -- I mean, isn't that a malady that's
11 accepted?

12 A. Is it a malady that is accepted?

13 Q. Yes. I mean --

14 A. I suppose it depends on who you're
15 asking.

16 Q. Okay. All right. Fair enough.

17 A. I'm sure you'll find a number of
18 physicians who say it does not exist.

19 Q. Okay. Christian Bouquot, B-o-u-q-u-o-t,
20 are you familiar with that physician's name?

21 A. No, I'm not. Never heard of him.

22 Q. Okay. How about the term trigeminal
23 neuralgia?

24 A. Sure. Trigeminal neuralgia is
25 trigeminal type symptoms, shooting pain along

1 the distribution of the trigeminal nerve.

2 Q. And that's located where?

3 A. It's in the face. Applies sensory
4 feeling to teeth, face, sinus. Again, Brian's
5 neuralgia was described as atypical because it
6 cannot follow the guidelines specifically of
7 trigeminal neuralgia.

8 Q. And what are those guidelines?

9 A. In a nutshell, it's sharpshooting
10 electrical like stimulations that last just a
11 period of seconds, usually triggered by a nidus
12 of action, wisp of cotton across the face.

13 Typically a patient will describe
14 when the wind blows across their cheek they'll
15 get a shooting electrical stimulation that
16 lasts mere seconds and then goes away. That's
17 the classic trigeminal neuralgic symptoms.

18 Anything outside of those parameters
19 would probably more appropriately be described
20 as atypical neuralgia.

21 Q. My experience with Brian is that he's a
22 pretty articulate individual.

23 A. I agree.

24 Q. Is that your understanding?

25 A. Sure, which is why I recited his notes.

1 Q. Can you tell me -- Brian is -- and let
2 me know if heresy is coming out of my mouth.

3 Tell me, did Brian tell you that he
4 believed that he had cavitation syndrome?
5 Was that your understanding, that he said he
6 had that?

7 A. He came to my office with a working
8 diagnosis of cavitation syndrome. So whether
9 it was Brian's unprofessional assessment or
10 whether he was told that by the group in
11 Mexico, I can't tell you.

12 Q. Okay. I guess my question would be what
13 differential diagnosis did you then engage in
14 in order to rule that out?

15 A. Sure. Would have been cavitation
16 syndrome, neuralgia, both trigeminal as well as
17 atypical facial neuralgia. Sinusitis, common
18 odontogenic origin, of tooth origin. All of
19 those can be referred down that path. And
20 cavitation syndrome.

21 Q. And you had a biopsy check, right?

22 A. Yeah, at the time of the Caldwell-Luc,
23 that's correct.

24 Q. Did you have a conversation with --
25 well, Dr. Boyd Haley or Hacksley, it's the name

1 that -- I believe from the University of
2 Kentucky?

3 A. Sure, I did.

4 Q. Is that where the biopsy was sent or did
5 you have a conversation with this -- and I
6 believe he's a Ph.D., isn't he?

7 A. I'm not sure of his qualifications, but
8 yeah, I had both. It was sent to a laboratory
9 where he is as well as I had a phone
10 conversation sometime after the 5th of January,
11 '99. The phone conversation consisted of
12 reviewing Brian's care to date and what
13 we planned to do in the future due to Brian's
14 symptoms.

15 I think that Dr. Haley -- I can say
16 was -- I was concerned in that he was overly
17 complimentary on my acceptance of cavitation
18 syndrome and that he specifically noted that he
19 did not receive the same due diligence from a
20 lot of other physicians, specifically in the
21 University where he was, okay.

22 And I told him that -- well, I had
23 not determined as of yet that it was cavitation
24 syndrome that we were dealing with; however, I
25 wasn't quick to -- I was not quick to dismiss

1 that as a diagnosis, okay.

2 Q. You have a -- you referred to a note,
3 looks like while you were out, one of those
4 notes we used to get before voice mail?

5 A. Yeah. You got it.

6 Q. And does that reference the
7 conversation, Doctor?

8 A. No, it doesn't. I recall the
9 conversation because it was so bizarre.

10 Q. Okay. And you have some idea of the
11 date when that occurred?

12 A. Yeah, after the 5th of January.

13 Q. Okay. And before what other dates?

14 A. Before our second procedure.

15 Q. Which was in?

16 A. May.

17 Q. Okay.

18 A. So somewhere between the beginning of
19 January and the end of May.

20 Q. Okay. How did you -- well, how did you
21 leave it with Brian or how did Brian leave it
22 with you? Was he satisfied with your care and
23 treatment?

24 A. At the end of treatment?

25 Q. Yes.

1 A. Brian was -- we had a very -- what I
2 would describe as amicable relationship
3 throughout his care. We received Christmas
4 cards, we received a calendar from his
5 automotive shop. He was very complimentary,
6 very thankful of our care and I think in our
7 letter there I quoted him, "I am pain free now.
8 Thank you for your care. I have healed
9 nicely."

10 It did not become acrimonious until
11 September, late September, beginning of
12 October.

13 Q. 1999, Doctor? Excuse me.

14 A. Correct, 1999, when Brian was requesting
15 that we operate again. And I reviewed the
16 following findings with Brian stating that
17 Brian, I don't have good sound surgical reason
18 to go in and operate on you again, that our
19 last biopsy from the last procedure showed new
20 bone, not necrotic bone. We have every reason
21 to believe you're healing well. Your tissues
22 look good.

23 That, in combination with his own
24 self-admission for probing, diagnosis, and what
25 I would describe as mutilation of the tissues

1 -- let me back up. Not in the notes, and I
2 don't have a note of it, but Brian, very much
3 in detail, described -- he's a mechanic and he
4 described fashioning what he termed a
5 nasopharyngoscope.

6 Again, Brian's well versed in
7 medical terminology and he described fashioning
8 a nasopharyngoscope which he used to
9 investigate his sinuses in the area of the back
10 of his throat.

11 Q. Can you describe that apparatus?

12 A. I have no idea what he made. You'd have
13 to ask your client.

14 Q. Okay. That's the term he used?

15 A. That's the term he used, okay. There is
16 such a thing, a nasopharyngoscope, and it's a
17 camera that's used to go in through the
18 patient's nose and look through the back of
19 their throat. This was described by the
20 patient that he fashioned it, he did, okay,
21 along with the documentation of the probing
22 into the area, opening the area.

23 I felt very uncomfortable at that
24 point with a patient who was -- it was
25 documented that he was self-diagnosing, he was

1 self-treating, and I did not see a benefit to
2 him going in and operating on again. That's
3 when I recommended to him that he A, stop doing
4 those things to himself; B, seek another
5 person's care, specifically --

6 Q. Do you have a note to that effect?

7 A. Yeah.

8 Q. If you would, just tell me the date and
9 what your note says, your chart says?

10 A. Well, it was again our phone
11 conversation with his wife. The note with
12 Brian's last visit, I didn't note that.

13 Q. I think you referenced that.

14 A. However --

15 MR. MINGUS: There is a September,
16 18th note too, just so you get it all here,
17 Tom.

18 THE WITNESS: So in September I
19 did reference that he see an ENT surgeon and
20 neurosurgeon. For patient confidentiality with
21 you, I don't believe that I referenced that he
22 see a psycniatrist, but I know that was in the
23 conversation. And I unfortunately have come to
24 realize that is where the disposition of my
25 patient and my relationship with the patient

1 then turned from amicable to acrimonious.

2 BY MR. HZNRETTA:

3 Q. From that suggestion?

4 A. I think from that suggestion and my
5 refusal to treat him further. I told him
5 there's nothing more I can do for him and I
7 recommend he seek other specialists' help.

8 Q. At that time I take it then that he's
9 still complaining of pain?

10 A. He is complaining of a recurrence of
11 symptoms; however, with a complete lack of
12 clinical signs other than some mild erythema.
13 And we've got an admission of the patient
14 probing the area. Obviously that's reason
15 enough for him to have erythema or redness in
16 the area.

17 Q. All right. How different were the -- if
18 you can you characterize the difference between
19 complaints after the first surgery and the
20 complaints after the second surgery. Were they
21 the same?

22 A. I believe they were relatively the same.

23 Q. Okay.

24 A. He had periods of quiescence where we
25 operated on him. He got better by his own

1 admission and then once it -- it appears to be
2 that once a few months had gone by and care was
3 -- repeated visits into the office were not
4 occurring, Brian would research to the office
5 again seeking care and describing symptoms and
6 wanting us to operate.

7 Q. Do you have in your chart there the
8 amount of his charges or is that not with you
9 today?

10 A. There's some financial things here, but
11 I have no idea as to what the charges were.

12 Q. Is there like a bottom line, a final
13 bill?

14 A. Again, that's not something I reference
15 when I --

16 MR. MINGUS: We can try to add it up
17 for you.

18 MR. HENRETTA: Okay.

19 THE WITNESS: Patient's charges are
20 not something that I generally reference when
21 deciding their care, unless it's a --

22 BY MR. HENRETTA:

23 Q. I'm not suggesting you do. I just
24 wanted to know a rough estimate, how many times
25 you saw him. We can obtain that.

1 A. I really don't know what his complete
2 charges were.

3 Q. And do you know whether or not he still
4 owes you money or if money is due on that
5 account?

6 A. I have no idea.

7 Q. Okay.

8 A. I try to distance myself from those
9 issues.

10 Q. Smart. Very smart.

11 MR. HENRETTA: All right. We're
12 going to take a break.

13 MR. MINGUS: Maybe we can try to
14 work on these emerging piles here.

15 (Short break had.)

16 BY MR. HENRETTA:

17 Q. Doctor, also in your affidavit you
18 stated No. 5 and that would be Exhibit probably
19 1 over there -- No. 5 that you state that based
20 on your education, training and experience,
21 that while you deny that departure from the
22 accepted standards of medical practice, by
23 arguendo, if such exists, it was not a direct
24 and proximate cause of any injury or damage
25 whatsoever to the Plaintiff.

1 What do you mean by that statement
2 in your affidavit?

3 A. Let me read it again since this isn't by
4 my pen.

5 Q. Well --

6 A. Let me read it, if you don't mind.

7 Q. You signed it, right?

8 A. Sure, but let me just read it again. "I
9 further state that based upon my education,
10 training and experience that, while I deny
11 departure from the accepted standards of
12 medical practice, by arguendo, if such exists,
13 it was not a direct and proximate cause of any
14 injury or damage whatsoever to the Plaintiff."
15 Yes. Okay.

16 Q. Okay.

17 A. What I do mean by that statement? What
18 I mean by is that due to my education, training
19 and experience, I did not deviate from the
20 standards of medical practice regarding the
21 care of this patient and if he is making claims
22 that such deviation occurred, it did not cause
23 any injury or damage to the Plaintiff.

24 Q. What is the injury or damage that the
25 plaintiff has?

1 A. I'm not sure what he's claiming.

2 Q. But all you're saying is whatever it is,
3 I didn't cause it?

4 A. No. I'm basing it on the fact that
5 Brian came to us with significant symptoms. We
6 rendered treatment on two occasions. He
7 improved, albeit for a brief period of time
8 after each occasion, and throughout we referred
9 him to multiple specialists for extended care
10 and opinions.

11 Q. Do you believe you caused any injuries
12 to --

13 A. No, I don't.

14 Q. -- do you believe you caused any injury
15 to Brian Gortney as a result of your care?

16 A. No. We, if anything, improved his state
17 and then --

18 Q. Well, tell me about that. In what
19 respect did you improve it?

20 A. Well, by his own pen we have
21 documentation.

22 Q. How did you medically improve it?

23 A. We improved his symptomatology and got a
24 -- ruled out certain definitive diagnoses.

25 Q. How did you improve the symptomatology

1 that he's still complaining about?

2 A. Well, again, for a while he had gotten
3 better and we -- based on the lack of
4 demonstrable pathologic evidence, we didn't
5 feel that we could do anything further for him
6 and therefore recommended that he seek other
7 specialists.

8 Q. And you say for a while he had gotten
9 better. For how long of a while?

10 A. Well, if you look at the case, initially
11 it was just a matter of weeks after the first
12 surgery. And after the second it appeared, in
13 my judgment, to be on the order of a few
14 months.

15 Q. And then it returned again, his
16 symptoms?

17 A. And then his symptoms, as he described
18 them, returned. Again, my goal here when Brian
19 first approached me was this was, what I think,
20 a somewhat confused man in the sense that he
21 had a lot of practitioners telling him a lot of
 different things, things that I think are
 confusing to practitioners themselves --

24 Q. What --

25 A. -- and that my goal was -- he was asking

1 for help and my goal was to help him. That's
2 what I do for a living and that's my goal when
3 I treat my patients is to help them. And --
4 I'm not finished with my answer -- and my goal
5 was to help him.

6 We went about helping him in very
7 deliberate manners that were minimally
8 invasive, that were known to render success,
9 and at the very least obtain results which
10 could rule in or rule out certain maladies.

11 When we felt we could no longer help
12 Brian and that we felt that our relationship
13 was deteriorated, we, as all along, recommend
14 that he seek other opinions.

15 Q. What was it that sent you to signal that
16 the relationship had deteriorated?

17 A. Again, after Brian's insistence on
18 reoperating again and we were telling him no,
19 Brian, I did not feel that it was in his best
20 interest to operate again.

21 Q. You knew Brian from the beginning, so to
22 be a -- first of all, I guess a student of
23 medicine in the sense that he certainly read up
24 on some stuff. I mean, he knew -- at least as
25 you said earlier, he was familiar with medical

1 terms and dental terms, correct?

2 A. Whether he understands these medical
3 terms or not I'm not going to make a statement
4 on.

5 Q. Are you of the opinion that in the
6 beginning that he brought in his own diagnosis?
7 Is that when you told us that he had
8 self-diagnosed?

9 A. No, I did not tell you that. I said
10 that he came to the office with a working
11 diagnosis. Whether that was from his own hand
12 or whether it was from prior practitioners in
13 Mexico, I don't know.

14 When a patient presents in the
15 office on initial consult with journal articles
16 and x-rays, whether it's a diagnosis that you
17 see frequently or that you believe in, you have
18 to bear some credence to it.

19 Q. Why didn't you get those Mexico records?

20 A. I told you. It was a Third World
21 country done by, to my knowledge, a
22 practitioner who was questionable in his
23 approach.

24 Q. So you did not -- so I understand, you
25 did not think it appropriate to obtain those

1 records in order to assist you in not only
2 arriving at the diagnosis, but a treatment
3 plan?

4 A. Right. Brian was very articulate in his
5 complaints and his symptoms and I was not
6 treating necessarily his preexisting diagnosis,
7 I was treating his symptoms --

8 Q. I just want to make sure --

9 A. -- I was treating his symptoms.

10 Q. Okay.

11 MR. MINGUS: Did you have any luck
12 in getting them?

13 MR. HENRETTA: I was going to ask
14 you that question.

15 MR. MINGUS: I haven't had any luck
16 in getting them.

17 THE WITNESS: So five years later
18 they're still unattainable.

19 BY MR. HENRETTA:

20 Q. You referred him to, I think you said,
21 numerous physicians?

22 A. Uh-huh.

23 Q. Who?

24 A. The only specific -- excuse me. That's
25 not true. Specifically I recommended that he

1 see J.C. Tabett who's a neurosurgeon which is
2 documented in the notes. ENT surgeon. I'm not
3 sure if we gave him a specific name or not, but
4 he did receive care both for a possible inner
5 ear infection as well as place in the PD tubes
6 from an ENT surgeon and I don't recall who that
7 individual is.

8 Also, I referred him for evaluation
9 by the pulmonologist who runs the HBQ Center
10 that he went to as to the efficacy of HBO
11 treatment in a possible diagnosis of
12 osteonecrosis.

13 Q. After you treated Brian, did he have or
14 did your notes -- chart notes reflect, did he
15 have good days or bad days or were they all the
16 same, all good or all bad?

17 A. No. I would say they were good days and
18 bad days. I would say that just by his
19 letters, dated all within a week apart, he in
20 one sentence says that he's doing better with
21 no pain and the next sentence says that he has
22 pain.

23 Q. That would not be untypical? I mean, I
24 imagine a lot of your patients have good days
25 and bad days or no?

1 A. I'm not sure how to answer that
2 question.

3 Q. Okay. Well, I just heard that. Most
4 docs I talk to --

5 A. Right. I suppose it depends on the
6 diagnosis at hand.

7 Q. Sure. Who said that Brian Gortney was
8 experiencing good bone growth? Was that you or
9 did you read that?

10 A. Good bone growth?

11 Q. Yes.

12 A. No. His pathology report from the
13 second surgery, from the specimen taken from
14 the second surgery, describes it as new bone.
15 There is no evidence of osteomyelitis or
16 osteonecrosis noted.

17 Q. Does that translate into bone growth?

18 A. New bone?

19 Q. Yes.

20 A. That sound like bone formation.

21 Q. Tell me about the hyperbaric treatment.
22 What is that term?

23 A. Stands for hyperbaric oxygen which is a
24 standard procedure in evidence of osteonecrosis
25 where vascular: ingrowth is a concern. Just by

1 definition, osteonecrosis would be an avascular
2 area of bone, nonhealing. One way you can
3 promote that healing is by angiogenesis which
4 is promoted via two extras of oxygen pressure.

5 Q. Did you recommend that?

6 A. I recommended that that would be a
7 possibility and recommended that he see the
8 pulmonologist for further consult prior to
9 commencing it which we got a letter back.

10 Let me just reference that. It's
11 dated April 21st, 1999 which is prior to his
12 second surgery and I'll just read the
13 assessment: "Apparent osteonecrosis of the
14 left maxilla, reverses osteoma."

15 Q. Can you explain the difference?

16 A. Osteoma is a benign mass of the maxilla
17 and would be just that, a benign lesion that
18 would be biopsied, removed and could or could
19 not recur.

20 Plan, based upon his descriptions,
21 "Preoperative hyperbaric oxygen therapy as well
22 as postoperative therapy would be warranted to
23 support the intended grafting and hopefully
24 provide neovascularization or ingrowth of new
25 blood vessels to the surgical site. I hope to

1 speak to you personally regarding the specifics
2 of the case. Tentatively I plan for X number
3 of dies."

4 Q. Is that written to whom?

5 A. That is written to me by James Kelling,
6 M.D.

7 Q. Where is he?

8 A. Dr. Kelling runs the pulmonology and
9 critical care department at Mercy.

10 Q. And you discussed that with Brian?

11 A. Yes.

12 Q. Okay. Again, was that performed?

13 A. Was the HBO performed? Yes, it was.

14 Q. Is there a result somewhere that you can
15 share with us about the HBO?

16 A. Again, that is not something that would
17 be obtainable unless a core sample was taken
18 from the bone. However, he underwent ten dives
19 preoperatively and then during the operation we
20 biopsied bone which then showed new bone
21 growth. So the reasoning would tell you that
22 the neovascularization did occur and new bone
23 did grow.

24 Q. Okay. Okay. Who's Dr. Ossakow?

25 A. I don't know.

1 MR. MINGUS: Ossakow?

2 MR. HENRETTA: Ossakow. Excuse me.

3 THE WITNESS: I believe's an ENT
4 surgeon.

5 BY MR. HENRETTA:

6 Q. All right. What is your relationship,
7 if any, with him?

8 A. None.

9 Q. How many cavitational procedures had you
10 performed before you treated Brian?

11 A. Again, as I stated to Brian on his
12 initial exam, cavitation syndrome was not a --
13 to my knowledge, a widely accepted diagnosis as
14 was NICO not a widely accepted diagnosis. And
15 that cavitation procedure really by description
16 is a curettage of the bone. So how many
17 curettages or biopsies of the bone have I
18 performed prior to Brian? Thousands.

19 Q. How many times have you found it
20 necessary during that procedure to take the
21 bone from the iliac, chest, and put it in the
22 oral cavity?

23 A. Sure. We do it often for reconstitution
24 of the anatomy of the jawbone. This enables
25 the patient to be defect free and to obtain --

1 and possible dental work, be it removable or
2 fixed prostheses afterwards.

3 Q. Is there a --

4 A. It's a very common practice.

5 Q. Is there a -- tell me about -- and this
6 is in layman's terms.

7 A. Sure.

8 Q. Only because I've experienced this
9 myself years ago. I had what was referred to
10 as a bone implant. This was about 25 years
11 ago.

12 A. Okay.

13 Q. And it came not from my anatom , but
14 from a bone bank. And from what I was told was
15 from the hip of some --

16 A. Cadaver.

17 Q. Okay. Wonderful. My body apparently
18 said I don't want that and it rejected or
19 whatever, but it didn't take. Now, is there
20 any percentage that you're aware of when that
21 occurs? I guess what's the success rate, if
22 you will?

23 A. Sure. When discussing bone graft
24 options with Brian to reconstitute the anatomy,
25 clearly the gold standard, if you will, what is

1 most supported, is otogenous grafting which is
2 the patient's own bone.

3 And specifically in this case, where
4 we have a question of vascularization of the
5 bone, we would want healthy, living bone to be
6 transplanted, not dead, cadaveric bone. And so
7 it was my recommendation to Brian that we use
8 his own bone rather than cadaveric bone.

9 Q. Okay. What is the rate of
10 reconstitution?

11 A. What is the rate of reconstitution?

12 Q. Yes. Is it high?

13 A. For otogenous bone?

14 Q. Yes?

15 A. Very high.

16 Q. The gold standard because it was used on
17 him because it's his own anatomy?

18 A. Right. I would say very high.

19 Q. 75 to a hundred?

20 A. Certainly not a hundred. I would say
21 somewhere between 75 and 85 percent.

22 Q. Okay.

23 A. And allografts or graft from other
24 sources would be considerably less. That's
25 conservative.

1 Q. Okay. Thank you. Okay. How did you
2 meet Dr. Diamantis?

3 A. Met him in residency training.

4 Q. So you've known him --

5 A. Approximately ten years, ten plus years.

6 Q. Do you know why he has not obtained a
7 dental license?

8 A. His specific reasons?

9 Q. Yes.

10 A. You'd have to ask him.

11 Q. Well, I'm just asking. You don't know?

12 A. I don't know the specific reasons.

13 Q. Okay. The practice that you have today,
14 did you open it with Dr. Diamantis?

15 A. Yes, I did. Yes, from inception.

16 Q. When you were done treating Brian
17 Gortney, was it your opinion that he was --
18 well, I guess you've said already that you
19 believe that your surgeries were successful or
20 not.

21 A. What's your question?

22 Q. Well --

23 A. Do I believe my surgeries were
24 successful?

25 Q. Yes.

1 A. Yes, I do. Again, we had a patient --
2 significant patient symptoms, we had radiologic
3 -- specifically initially a CT scan, evidence
4 of some element of disease, whether it was
5 sinusitis or whether it was cavitation
6 syndrome. We achieved some level of relief for
7 a period of time.

8 We also obtained very valuable
9 biopsy specimens and cultures of the area. And
10 during the second surgery, we have months of
11 relief and patient admission of pain-free
12 periods. So I would describe that as
13 successful.

14 Q. Okay. Did you feel that you ascertained
15 all of the necessary facts and circumstances
16 about Brian, in particular his medical history,
17 that was necessary for you to properly treat
18 him?

19 A. Yeah. When I reviewed Brian's history
20 there was -- he was forthright. I had every
21 reason to believe he was forthright. There was
22 no reason for me to believe otherwise. He was
23 very knowledgeable regarding his previous
24 surgeries and I thought that was certainly
25 within the realm of reasonable to proceed with

1 treating the patient based on what we had and
2 documented radiologic findings and symptoms
3 versus trying to pursue charts that may or may
4 not exist in Mexico.

5 Brian was very descriptive in terms
6 of who he saw, in regards to -- he did see the
7 neurosurgeon. The neurosurgeon did recommend a
8 rhizotomy. He did not wish to pursue that
9 route.

10 Q. What -- what is that again?

11 A. A rhizotomy is a severing of a cranial
12 nerve, specifically in this case, cranial nerve
13 No. 5 at the base of the cranial base to render
14 it permanently nonfunctional or numb, in this
15 case, which would cure -- ultimately cure any
16 type of neuralgia, be it atypical or trigeminal
17 in description.

18 Q. The surgeries that were performed, were
19 they at the same hospital or different
20 hospitals?

21 A. No. The one was at Lakewood Hospital,
22 the second one was at University Hospitals.

23 To answer your last question, to go
24 back to finish my answer to your last question,
25 you asked if I helped Brian or that if I felt

1 that our care helped Brian. Well, I think that
2 our diagnosis or our biopsy of the tissue which
3 was questioned as to whether it was necrotic
4 and came back as new bone or non-necrotic or
5 not osteomyelitis, showed that we did help him.

6 We reconstituted the area that was
7 debrided and subsequently he underwent a
8 gallein scan that showed that there was no
9 evidence of necrosis or osteomyelitis.

10 So again, I think it supported that
11 we certainly helped him, left him in better
12 shape than he arrived.

13 Q. Did your final diagnosis differ from
14 your initial diagnosis? Would your records
15 reflect that or do you recall?

17 the record here. Whether the records reflect
18 it or not, we have irrefutable facts that the
19 patient has no evidence of a biopsy of necrosis
20 or osteomyelitis and so therefore I think that
21 he was helped in that manner.

22 I'm sorry. Your question also was?

23 Q. Well, I guess I just want to know if
24 your final diagnosis was --

25 A. Was consistent with cavitation syndrome?

1 Q. Well, with your initial diagnosis.

2 A. Well, my initial diagnosis, I think, was
3 undetermined and was this coming from
4 sinusitis. Was this an atypical neuralgia of
5 unbeknownst sources or was this cavitation
6 syndrome?

7 Frequently when you have a patient
8 with facial neuralgia there are a laundry list,
9 if you will, of differential diagnoses and you
10 may never know what the source is. The goal is
11 to -- like most things, is to eliminate those
12 sources which are of grave concern and move on.

13 In someone who has an atypical pain,
14 you may not find the diagnosis. You can treat
15 it, you may get rid of it. If it persists, you
16 recommend things like cryosurgery and
17 rhizotomies.

18 So is my working diagnosis at the
19 end of treatment the same as at the beginning
20 of treatment? I would have to say that I don't
21 know that we ever finalized the diagnosis. I
22 knew however that with biopsies that showed no
23 evidence of necrosis, I couldn't recommend
24 continuing further as the group in Mexico and
25 in, I believe, Nevada were recommending, to

1 continue to go in and curettage an area that
2 was showing up as new, healthy bone.

3 Q. I'm going to go to that area again
4 because earlier you had -- and I believe your
5 testimony, at least a sentence, seemed to lump
6 together, Kentucky, Mexico and Hussar.

7 A. Sure.

8 Q. Now, you said Nevada and I think we're
9 talking about the same group. What is the
10 relationship?

11 A. I don't know of their specific
12 relationship.

13 Q. Well, in general. What do you -- why do
14 you describe them as a group? What is the
15 connection?

16 A. I'm sure they're in -- they are -- I'm
17 not sure, but I assume --

18 MR. MINGUS: Don't assume. Answer
19 what you know.

20 THE WITNESS: I don't know whether
21 they're in private practice or whether they're
22 in some sort of group affiliation or not. I
23 know that all prescribe to the belief of the
24 diagnosis of NICO and/or cavitation syndrome
25 and that's why the assimilation was made.

1 BY MR. HENRETTA:

2 Q. All right. I understand. How is it
3 that you know that?

4 A. Through conversations regarding NICO and
5 cavitation syndrome amongst professionals in
6 the specialty.

7 Q. Did you know that before you met Brian
8 Gortney?

9 A. Did I know it before I met them? I
10 didn't know the specific names of these
11 doctors, but I knew that they existed.

12 Q. Okay. Have you -- well, what have you
13 learned since your treatment of Brian about
14 those doctors or those individuals who share
15 that particular group -- sorry -- that idea of
16 NICO, I think you said, and treating
17 cavitational syndrome?

18 A. What do I know about them? Nothing more
19 than hearsay and I generally don't like to
20 promote hearsay.

21 Q. I understand. And apparently, as I
22 understand you and your experts who offer
23 opinions in this case, is that there is a
24 division within the medical/dental community
25 regarding that, certain modalities of treatment

1 and certain diagnoses, and this may well fall
2 into the area.

3 A. I think that's a reasonable statement.

4 Q. Okay. Did you become aware that the
5 doctors in Mexico, if you will, had recommended
6 a further treatment for Brian Gortney after the
7 5/99 surgery?

8 A. After the 5/99 surgery? I know that
9 Brian -- I don't know specifically, but I know
10 that Brian was in contact with either Kentucky
11 or Mexico throughout our treatment.

12 As I told you, I had a phone
13 conversation with Dr. Haley and he had gone to
14 Kentucky once for additional recommendations
15 for a quote/unquote coagulation treatment which
16 I told Brian that I had known nothing about.

17 Q. What would that be?

18 A. You'd have to ask them. That they
19 wanted to do some sort of treatment of the
20 blood itself. And again, that I could -- that
21 there was none to my knowledge, no sound
22 medical documentation of that, and that there
23 was no way I could recommend that. And
24 therefore he chose, on my recommendation, not
25 to pursue that coagulation treatment for

1 cavitation syndrome. Did I answer your
2 question completely?

3 Q. That's okay. That's all right. Tell me
4 about infections of the oral cavity. Are you
5 of the opinion that they do or do not spread to
6 other parts of the body?

7 A. Am I of the opinion that they do or do
8 not -- yeah, if you have a flagrant separative
9 infection, separative meaning of bacteria
10 origin producing pus, can it spread to other
11 parts of the body? Yes. First it spreads
12 locally and then systemically and it's commonly
13 referred to as of odontogenic origin or tooth
14 origin.

15 Q. Do you order biopsies frequently in your
16 practice?

17 A. Sure.

18 Q. Do you have roughly an idea how many on
19 a monthly basis?

20 A. You're talking about interoffice
21 procedures, that type of thing?

22 Q. Well, I guess we have to go beyond that.

23 A. On an average month how many biopsies do
24 I do on patients? For various reasons being
25 hard tissue, soft tissue, of the mouth, of the

1 face, of the head and neck, I don't know.

2 Roughly probably 30 a month. Probably one a
3 day.

4 Q. How about of the mouth?

5 A. Depending on the month, most of those
6 would be in the mouth. Some of them would be
7 in the face, some of them would be in the neck,
8 but the majority of them would be within the
9 mouth or the oral cavity.

10 Q. Was there any recommendation by you at
11 or about the end of your treatment of Brian of
12 a 6th nerve block lesion or lesion creation?

13 A. I would not make a recommendation of a
14 6th nerve block to any patient.

15 Q. And what does that mean?

16 A. That you're, in a sense, blocking the
17 cranial nerve No. 6, okay, and I would not make
18 that recommendation for anyone. I would
19 reserve that for the neurosurgeon.

20 Q. Okay. So was your final diagnosis
21 atypical neuralgia or was that one of your
22 diagnoses?

23 A. Yeah. I would say that atypical
24 neuralgia, chronic sinusitis and possible
25 cavitation syndrome remained the diagnoses,

1 potential diagnoses.

2 Q. Okay. You say possible cavitation
3 syndrome?

4 A. Even though we found on both occasions
5 no evidence of necrotic bone by pathology.

6 Q. Okay.

7 A. Other than after the first surgery, the
8 Kentucky enzyme test which showed, in their
9 opinion, enzymes consistent with osteonecrosis.

10 Q. What is the Kentucky enzyme test? What
11 is that?

12 A. Again, that was a test that was brought
13 to me by Brian and Dr. Haley.

14 Q. So in other words, it was performed in
15 Kentucky?

16 A. Exactly. Sent by a biopsy specimen done
17 by us.

18 Q. Okay.

19 A. And their results I have here and came
20 back consistent with enzyme activity,
21 consistent with necrotic bone.

22 Q. Is that something we have in our --
23 okay. I see everybody nodding their head
24 affirmatively.

25 MR. HENRETTA: Jerry, do we have --

1 why don't we just get the records marked.

2 (Discussion had off the record.)

3 BY MR. HENRETTA:

4 Q. There was a coagulation study. Isn't
5 that just a term for a thrombotic state? Is
6 that why we're looking for a --

7 A. Again, I wasn't ordering it. This was
8 the Kentucky folks that ordered it and I
9 believe they termed it coagulation treatment,
10 not a coagulation study. They were
11 recommending some sort of coagulation
12 treatment.

13 Q. You have no idea what that means?

14 A. No idea what they're talking about which
15 is why I told Brian I can't recommend it.

16 Q. Okay. Because --

17 A. Can't discount it, can't recommend it.

18 Q. Okay. Because you heard treatments?

19 A. Yeah. And I believe that's what our
20 notes reflect.

21 Q. Okay. What, if any, antibiotics did you
22 prescribe or utilize during either one of those
23 surgical procedures?

24 A. Augmentin.

25 Q. What is the rate?

1 A. Augmentin was used postoperatively and
2 preoperatively at different states and
3 intraoperatively. Its comparison would have
4 been Unisom.

5 Q. Do you know what was used
6 preoperatively?

7 A. If anything was used -- well,
8 preoperatively prior to the second surgery
9 would have been Augmentin. Postsurgically we
10 chose clindamycin. Preoperatively for the
11 second, I think we chose to wait for cultures
12 so that the cultures were not interrupted.

13 At that time again, we saw no
14 evidence of swelling, we saw no evidence of
15 separation or drainage and therefore wanted to
16 obtain what we would determine as clean
17 cultures.

18 Q. Did Dr. Diamantis assist you on both
19 surgical procedures?

20 A. Yes, he did.

21 Q. Okay. Would it be proper record keeping
22 for his name to appear on both?

23 A. Sure.

24 Q. Okay. Have you seen that --

25 A. I'll be glad to look at it right now.

1 record? Okay.

2 (Short break had.)

3 (Plaintiff's Exhibits Zak 3 and 4
4 were marked for identification.)

5 BY MR. HENRETTA:

6 Q. We have -- first of all, I want to use
7 -- the next number will be the entire chart of
8 Dr. Zak. We're going put that little exhibit
9 on the top.

10 Now, we're up to 4 then and 4 would
11 be Lakewood Hospital records so I'm going to
12 show you what's marked as Exhibit 4, okay, and
13 ask you if you can look at that document?

14 A. Exhibit 4, Lakewood? Yes, I've got it.

15 Q. Tell me what that represents.

16 A. It's an operative note that was dictated
17 by me regarding the first surgery which took
18 place on 12/21/98.

19 Q. Okay. And what's generally included on
20 that -- well, I can see the preop diagnosis,
21 the postop diagnosis.

22 A. Operation performed, assisting surgeons,
23 anesthesia, fluids, blood loss.

24 Q. All right. And then I guess co-surgeon
25 on that was Dr. Diamantis?

1 A. Correct.

2 Q. All right. Was there anybody else that
3 assisted you in that?

4 A. No.

5 Q. Okay. And as far as you know, is that
6 your op note?

7 A. That is.

8 Q. Okay.

9 MR. HENRETTA: Find a home for that.
10 Know that that is -- Brian, you may want to --
11 just so you know, No. 4.

12 (Plaintiff's Exhibit Zak 5 was
13 marked for identification)

14 BY MR. HENRETTA:

15 Q. Number 5, Doctor, is what?

16 A. This is an op report from University
17 Hospitals which would be in reference to the
18 5/24/99 the second surgery.

19 Q. Okay. Did Dr. Diamantis assist in that
20 procedure?

21 A. Yes, he did.

22 Q. Okay. I just -- did they -- other than
23 at the back, I don't know if -- what is that in
24 reference to the back? Is that how UH does
25 theirs? The reason I say it, there's an

1 assistant surgeon of Dr. Bath and Khanuja --

2 A. Yeah, Ashoo Khanuja and Manraj Bath were
3 two assisting surgeons. They are residents at
4 University Hospitals of Cleveland who also
5 assisted in the surgery. Dr. Diamantis also
6 assisted in the surgery. This appears to only
7 reference the hip graft though.

8 Q. I see. Okay.

9 A. Okay?

10 Q. All right.

11 A. And this is not dictated by me, this is
12 dictated by one of the residents.

13 Q. Okay. All right. I see. I think I
14 asked you earlier about the name of Christian
15 Bouquot, all right, and I believe you said you
16 don't recognize that name?

17 A. No, I don't.

18 Q. Okay. There's an article I'm reading
19 and I guess I would just ask you whether or not
20 you know the statement is true. Dr. Bouquot is
21 by far the world's leading expert regarding
22 NICO lesions. Do you know that to be true or
23 not?

24 A. I don't know Dr. Bouquot so how could I
25 know if he was the expert?

1 Q. Okay. Well -- okay. Let's see.

2 MR. MINGUS: What are you reading
3 from, may I ask?

4 MR. HENRETTA: Something that came
5 off the -- looks like the Internet, NICO --
6 apparently his website.

7 BY MR. HENRETTA:

8 Q. Okay. You haven't heard of him so
9 that's all right. Did you consult with any
10 other surgeons before you performed the surgery
11 on the -- on Brian, the first one or the second
12 one, other than Dr. Diamantis?

13 A. No. Again we were dealing with at the
14 time a working diagnosis of sinusitis doing a
15 Caldwell-Luc procedure, something that we do
16 many times, and basically taking a glorified
17 biopsy or curettage, call it what you like,
18 both of which are minimally invasive routine
19 procedures for someone of our training and
20 didn't feel it necessary to do so.

21 Q. Did you refer to any medical literature
22 for that procedure?

23 A. To do those procedures? No. Again,
24 those are commonplace procedures in our
25 practice.

1 Q. Is there medical literature that
2 addresses the -- that -- those procedures that
3 you performed?

4 A. The Caldwell-Luc procedure? Sure there
5 is.

6 Q. Okay. And is there one that has a name
7 that stands out in your mind?

8 A. There's hundreds of them.

9 Q. Really?

10 A. Yeah.

11 Q. Do you have some in your office?

12 A. In my office? No. There's textbooks
13 that describe the procedure in my office, but
14 not journal articles.

15 Q. What are the textbooks?

16 A. That would be described in ENT textbooks
17 as -- you know, as well as frequently described
18 throughout ENT journals as well as
19 oral/maxillofacial journals, the Journal of
20 Oral Maxillofacial Surgery.

21 Q. Are there any leading authorities in
22 that field that you know of?

23 A. I don't know. No, not off the top of my
24 head.

25 Q. Now, you use antibiotics in all of your

1 surgeries?

2 A. Yes.

3 MR. MINGUS: And you're referring to
4 Caldwell-Luc surgeries?

5 MR. HENRETTA: Well, it was more
6 general than that.

7 THE WITNESS: In general, do I
8 use them in all of my surgical procedures, yes.

9 BY MR. HENRETTA:

10 Q. Preop?

11 A. Well, they're given at the time of preop
12 holding or at the commencement of the surgery.
13 Usually there's a standing order and if there's
14 any derivation from that standing order, we'll
15 tell the anesthesia team and they'll institute
16 that at the time.

17 Q. Again, I think you used some antibiotics
18 with Brian. How did you use them? Describe
19 how you administered --

20 A. What time are you referencing?

21 Q. Well, let's go with each surgery preop.

22 A. Again, that would have been given
23 intravenously by the anesthesia team.

24 Q. Okay. And who selects the antibiotic?

25 A. The surgeon.

1 Q. So did you select it?

2 A. Yeah.

3 Q. Okay.

4 A. Yeah.

5 Q. And I don't know if you told us what
6 that was or not?

7 A. I'd have to look. It's either going to
8 be Unison or Ancien.

9 MR. MINGUS: Which one do you want
10 him to look for, Tom, first, the Lakewood?

11 MR. HENRETTA: The first surgery,
12 Lakewood.

13 MR. MINGUS: Here's the Lakewood
14 records, so...

15 THE WITNESS: Beginning right
16 here? Beginning right here?

17 MR. MINGUS: What are the orders?

18 THE WITNESS: It's going to be in
19 the anesthesia a record. Yeah, I see a
20 notation for Unison denoted here.

21 BY MR. HENRETTA:

22 Q. Okay. Now, was that done preoperatively
23 or postoperatively or both?

24 A. I would imagine it's both. I'm looking
25 specifically at the postoperative order, but I

1 would imagine it was done both.

2 Q. More important question then would be
3 the second surgery. You have bone graft in
4 that one?

5 MR. MINGUS: UH, Tom?

6 MR. HENRETTA: Yes. That would be
7 No. 5.

8 THE WITNESS):: No, it's not going to
9 list it there. You have to look in the
10 hospital records.

11 BY MR. HENRETTA:

12 Q. Okay.

13 A. Here it appears we chose cephalazone
14 which again would be the preference in harvest
15 of a hip graft.

16 Q. And when was that administered?

17 A. I saw one notation for it there at --
18 looks like 21:00 hours, but I'm sure there are
19 other notations here.

20 Q. Is that before surgery?

21 A. I'll have to look. Shows up again
22 there. Wait a minute. Let's see. Yeah,
23 Keflex. It says Keflex right here.

24 Q. When was that?

25 A. It's the anesthesia record. This would

1 be in the operative report.

2 Q. Okay. So far as you can tell, Keflex
3 and is that the only one --

4 A. Yeah, that's the only one.

5 Q. -- that was done before the surgery?

6 A. We chose that due to the hip graft.

7 Q. Okay. Well, what antibiotics -- well, I
8 hate to -- I want to know what antibiotics you
9 had prescribed for Brian to take after the
10 surgery?

11 A. After the surgery? Let me check here.
12 Can we go back to UH? Sorry.

13 MR. MINGUS: Tab X.

14 THE WITNESS: I would imagine it
15 would be Keflex or possibly Augmentin, but
16 we'll verify it here by looking, if we can.

17 BY MR. HENRETTA:

18 Q. Okay.

19 (Discussion had off the record.)

20 THE WITNESS: Your question was
21 what postoperative antibiotics was the patient
22 discharged on. That would have been denoted on
23 the -- either the patient orders or the patient
24 note which seem to be missing from the
25 University Hospitals chart, so we're unable to

1 find them at the moment.

2 BY MR. HENRETTA:

3 Q. Okay. All right. So what we --

4 A. But presumably it would have been under
5 Keflex.

6 Q. And why do you say that, because --

7 A. Not required as a prophylactic. So
8 after a hip graft, that would have been
9 something that would have been done. Once the
10 patient's been given multiple intravenous
11 doses, there's really not a strong reason for
12 it. And the patient very easily could have
13 been discharged with nothing without a concern.
14 However, as a general rule, we tend to give the
15 PO antibiotics afterwards.

16 Q. All right. Well, where does Keflex
17 fall? I mean, are antibiotics in stages of
18 strength?

19 A. No, it's a cephalosporin which has good
20 coverage for bugs that would be found on the
21 skin. When you're doing the type of procedure
22 that we're doing on Brian, the mouth is already
23 contaminated with bugs so you are not
24 attempting to eradicate bugs from there. But
25 rather, the hip is a sterile procedure which

1 you would want to protect the patient for which
2 is why in that surgery Kefzol or Keflex was
3 chosen.

4 Q. All right. Now, just again, we learned
5 from one of the op notes that Dr. Diamantis did
6 not participate in the hip procedure.

7 A. Yeah.

8 Q. I believe -- you stated you think he was
9 there?

10 A. No, I know he was there.

11 Q. He was there?

12 A. Yeah. Dr. Diamantis and I were both
13 there during the entire surgery. When you go
14 to a resident-run hospital, the residents are
15 allowed to participate in the surgery. The
16 order of the procedures would have been to open
17 the patient's oral cavity and --

18 Q. Go ahead, Doctor.

19 A. -- and determine was the graft indeed
20 needed. Once that was done, one and/or both of
21 us would have gone down and taken the hip
22 graft.

23 Q. Okay. Now, on either one of the
24 procedures for -- first, of all, the May, '99
25 procedure, if we could be so general, was

1 two-fold; is that correct?

2 A. Yeah. Hip graft and curettage of the
3 area.

4 Q. Okay. And were there two separate
5 operative notes, Doctor?

6 A. There should have been. Again, these
7 were dictated by the resident. I know that
8 they went unreviewed by me for some time and
9 then when I think once there was notification
10 of a lawsuit, the hospital requested that I
11 come down and put my signature on one or both
12 of them. And at that time I read the operative
13 report.

14 I think I made a few errors -- or
15 correction of errors made by the resident or
16 which I thought were made by the resident, and
17 struck those with a line and then I believe the
18 hospital went ahead and corrected those.

19 Q. Now, the part of the procedure which was
20 the operation as debridement -- I don't know
21 how you pronounce it --

22 A. Debridement.

23 Q. -- debridement of the posterior left
24 maxilla and the posterior left mandible, did
25 Dr. Diamantis in that procedure serve as the

1 surgeon with you as an assistant?

2 A. No. It was probably more appropriately
3 described as me as the primary surgeon and he
4 as the assistant surgeon, but we were both
5 there. Our input was valued. Both of our
6 inputs was valuable to the patient.

7 Q. All right. And then Dr. Bath and
8 Khanuja, are these residents?

9 A. Those are resident doctors, that's
10 correct. So they would have been taking part
11 in the surgery as well.

12 Q. Okay. Now, I don't know -- this is
13 probably in your -- do you have a -- with page
14 11, I think you've got your hand on it. Have
15 we discussed that particular operative report
16 earlier or is that not the one we were talking
17 about?

18 MR. MINGUS: He's got page 8 in
19 front of him. Page 11, this one?

20 MR. HENRETTA: Yes, 11 and -- okay.

21 THE WITNESS: Yeah.

22 BY MR. HENRETTA:

23 Q. What is the date on that op note?

24 A. The op note itself?

25 Q. Yes.

1 A. As to when it was dictated? This was --
2 as I described to you, the initial dictation
3 was on 5/24/99 by Manraj Bath and it was not
4 reviewed by me until 8/14/2001 when we were
5 notified that there was a lawsuit. The
6 hospital would not release it until there was a
7 signature on it and I wasn't going to sign it
8 until I reviewed it.

9 Q. All right.

10 A. And that's when I made a few corrections
11 to what I deemed as the resident's error.

12 Q. All right. I want to show you -- I
13 mean, I'm just confused here, Doctor.

14 A. Okay.

15 Q. Here is a -- and we probably should mark
16 this.

17 (Plaintiff's Exhibit Zak 6 was
18 marked for identification.)

19 MR. MINGUS: I don't think this one
20 came from the UH records, I think it came from
21 their records.

22 MR. HENRETTA: Okay.

23 THE WITNESS: Maybe I can help
24 you.

25 BY MR. HENRETTA:

1 Q. Here. Let me show you what is marked as
2 Plaintiff's Exhibit 6 and tell me one, what is
3 that; two, is it a complete record. What's
4 missing --

5 A. Sure. This is -- all I can glean from
6 this -- since there is not the final page
7 behind it so it doesn't say the time and the
8 date that it was dictated -- it is a dictation
9 by the resident. As to whether this is the
10 corrected version or the one that I had a
11 chance to proofread or not, I don't know.

12 Q. Okay. And who is the surgeon on that
13 particular one?

14 A. Listed on this as the surgeon is
15 Nicholas Diamantis and assistant surgeon is
16 listed as John Zak. Now, again, this is
17 dictated by a resident. These are residents
18 that served under Nicholas and myself. They
19 don't know whose case it is, who's the primary
20 surgeon, who's doing the assisting, who's not.
21 They're merely doing a dictation based on their
22 job and that's what it's perceived as by a
23 resident doctor, a job.

24 Q. So is that not correct, that record?

25 A. It may or may not be. I'd have to read

1 it specifically and review the notes. What I'm
2 telling you -- and I'll repeat myself -- is
3 that they -- both the hip graft procedure as
4 well as the intraoral procedure were supervised
5 by both of us. They were participated in by
6 both of us. They were dictated by one of the
7 resident surgeons which I never reviewed until
8 requested to by University Hospitals which I
9 reviewed and struck in just a few spots, made
10 notations and returned that to the chart and
11 then University Hospitals retyped that.

12 Q. Do you have the third page to that?

13 A. No. It's not. -- this is what you gave
14 me.

15 Q. Do you have that in your records?

16 A. I'd be happy to look.

17 Q. Okay.

18 A. Which one are you referring to, if I
19 may --

20 Q. Well, just --

21 A. It appears to be this right here and
22 there's a third page right here. Again, this
23 is dictated on 5/24 by Manraj Bath, a resident
24 surgeon, and then transcribed on the 26th by
25 the transcription department at University

1 Hospitals.

2 Q. Okay.

3 A. Okay?

4 Q. All right. Okay.

5 A. So again, in terms of correctness and
6 errors, it was dictated by the resident after
7 the surgery and whether it is correct in terms
8 of who's listed as the surgeon, assistant
9 versus assistant surgeon, I don't see that as
10 all that relevant.

11 MR. HENRETTA: All right.

12 Plaintiff's Exhibit 6 is missing page 3. The
13 page 3 is contained in Dr. Zak's record which
14 is under Exhibit 3 in the aggregate form and if
15 we could, maybe -- I don't know.

16 Your lawyer may just write on there,
17 somewhere so that we know that that particular
18 piece of paper references page -- Plaintiff's
19 Exhibit 6.

20 MR. MINGUS: Why don't we copy it
21 after the deposition?

22 MR. HENRETTA: Okay. If you want to
23 keep this over here -- those are -- I know. We
24 want the third page. We want the third page
25 from there to put here so we've got a complete

1 record.

2 BY MR. HENRETTA:

3 Q. Okay. All right. What is the term
4 anaerobic bacterial tests? What does that
5 mean?

6 A. That refers to bacteria that are
7 anaerobes or are present or prosper devoid of
8 oxygen, anaerobic, okay.

9 Q. Okay. And did you order those on Brian
10 or for Brian at any time?

11 A. Yes.

12 Q. And can you share with me the results?

13 A. Yeah. They were negative after the
14 first procedure.

15 Q. Okay. And what are you looking for? Is
16 this a differential diagnosis?

17 A. Yes. It's eliminating possible causes
18 of what we're trying to eliminate so we sent
19 for culture and sensitivity which would be
20 standard bacteria. We sent for anaerobics, we
21 sent for fungi, we sent for microbacteria, all
22 of which came back negative.

23 Q. All right. When you saw Brian, is it
24 safe to say that he presented with facial pain?

25 A. That's safe to say.

1 Q. Okay. And did -- in the beginning or at
2 any time did you believe that part of that was
3 caused by so-called cavitation syndrome?

4 A. As I had told you before, he came on
5 initial visit with a working diagnosis of
6 cavitation syndrome and so that was
7 appropriately placed on the differential
8 diagnosis.

9 Q. Well, I'm asking you: Did you believe
10 that part of the pain was caused by that?

11 A. By cavitation syndrome? I was unsure.

12 Q. Okay. All right. Fair enough. Okay.
13 As a -- do you consider yourself an oral
14 surgeon?

15 A. I consider myself an oral maxillofacial
16 surgeon. An oral surgeon, in my mind, is
17 someone who restricts their care to dental
18 processes or exodontia of teeth. An oral
19 maxillofacial surgeon is someone who
20 incorporates the facial skeleton, perhaps the
21 head and neck and reconstructive in nature.

22 Q. Okay. Would then -- an oral surgeon, I
23 know them as those who pull teeth, correct?

24 A. Right.

25 Q. Now, remember, I used to get sent to an

1 oral surgeon.

2 A. I'll let you say that.

3 Q. All he did was pull my teeth.

4 A. I'm being diplomatic.

5 Q. And are you saying that oral surgeons,
6 unlike maxillo- -- how do you --

7 A. Oral maxillofacial surgeons.

8 Q. Oral maxillofacial, you treat -- your
9 branch of medicine/dentistry treats diseases of
10 the mouth, I would imagine, as one of the
11 things you do?

12 A. One of this things. Mouth, face, head,
13 neck.

14 Q. Okay. And you deal with infections,
15 don't you?

16 A. That's part of our treatment.

17 Q. Okay. Is there -- now, do you believe
18 that Brian presented with a disease of the
19 mouth?

20 A. A disease of the mouth?

21 Q. Yes.

22 A. I'm not sure what you mean by that.

23 Q. Well, was his mouth, his dentition
24 normal?

25 A. No. I think that his -- I think that

1 his -- on presentation, his clinical symptoms
2 and signs, be they radiographic or clinically,
3 were more consistent with sinus and facial
4 issues rather than diseases of the mouth.

5 Q. Okay. Did you detect the presence of
6 infection?

7 A. No. There was no evidence of drainage
8 or swelling at that time. We were basing our
9 decision for biopsy and/or curettage, whichever
10 you care to call it, and the Caldwell-Luc
11 procedure based on the radiographic findings of
12 the CT as well as the patient's symptoms.

13 Q. Well, I guess the questions would be --
14 this goes back to the differential diagnosis.
15 What did you do to rule out the presence of
16 infection, if any?

17 A. We took biopsies and cultures. We took
18 biopsies and cultures.

19 Q. Okay. All right.

20 A. All of which came back as negative.

21 Q. Explain the necessity of the bone graft
22 in Brian's case.

23 A. Sure. You're referring to the second
24 surgery?

25 Q. Yes.

1 A. Again, this is a patient who had
2 multiple procedures done. There -- by his own
3 description as well as our description -- there
4 was a concavity created there where these
5 multiple procedures were. We were
6 contemplating going in and doing further
7 curettage and removal of bone and we thought it
8 necessary to reconstitute the area, again, to
9 allow him to have a normal anatomy to the
10 posterior palate.

11 Q. Do you know whether or not any infection
12 remained in Brian's -- oh, I don't know if you
13 want to call it cavitation in his mouth -- his
14 jaw after surgery two?

 MR. MINGUS: Objection. You're
16 saying remained and I think you haven't
17 established that it was there to begin with.

 MR. HENRETTA: Okay.
19 BY MR. HENRETTA:

20 Q. Was there any infection? Did you learn
21 about the presence of infection?

 A. There was no evidence of infection
23 clinically at the time of operation. And then
24 following our care, we know that he had a
25 negative gallein scan which showed no evidence

1 of infection.

2 Q. Therefore I take it you didn't remove
3 any necrotic tissue?

4 A. Well, what we removed was questionable
5 tissue and that came back as healthy new bone
6 which is why when Brian prompted to re-operate
7 again for another curettage procedure as
8 recommended by treatment of cavitation
9 syndrome, I could not agree with that and I
10 could not move forward with that treatment
11 plan.

12 Q. When was the questionable tissue
13 removed?

14 A. At both surgeries.

15 Q. And what is it that gives rise to that
16 opinion? Why do you say questionable? What is
17 it about the tissue?

18 A. Well, when we looked at it, it was not
19 clear, obvious osteonecrotic tissue. It was
20 not black, it was not separative. There were
21 no signs of particular pathology to it. It
22 looked or appeared somewhat different.

23 We biopsied the area in light of his
24 symptoms and subsequently came back with a
25 negative result in terms of necrotic bone and

1 that's when we said that we're done there.

2 Q. On the antibiotics, do you know for how
3 long a period of time he was prescribed the
4 Keflex after surgery number two?

5 A. It would have been for a week and no
6 more.

7 Q. One week?

8 A. A week, five to seven days, and no more.
9 Again, at the time of surgery the IV
10 antibiotics would be been sufficient.

11 Q. What is the normal dose and what was his
12 dose?

13 A. Would have been 500 milligrams TID,
14 three times a day.

15 Q. Three times?

16 A. Again, as prescribed.

17 Q. Again, would you be able to tell us what
18 that specific prescription was?

19 A. Again, that would have been in the
20 University Hospital records and they don't seem
21 to be in there.

22 Q. Okay. All right. Let me move on to
23 some other areas here and try to -- patients
24 with facial pain, if I could be so general, how
25 many of those do you see in a week?

1 A. In a week?

2 Q. Yes.

3 A. On an average week I would say two to
4 three.

5 Q. Okay.

6 A. Specifically neuralgic type pain. I see
7 people with facial pain every day, you know, 10
8 to 12 a day, but other than obvious odontogenic
9 origin, I would say two to three a week.

10 Q. Okay. At the time you treated Brian
11 Gortney, who worked for you and Dr. Diamantis
12 on your staff?

13 A. At -- where he was seen initially?

14 Q. Yes.

15 A. That would have been Jenny Foss,
16 Christine Pannier. They were dental
17 assistants, and Charlene Rundquist who was a
18 front office person.

19 Q. Are they still employed by you?

20 A. One of them is.

21 Q. Who?

22 A. Charlene Rundquist.

23 Q. Do you know where the other two would
24 have gone?

25 A. No idea.

1 Q. Would Charlene know?

2 A. She might know where Jenny Foss is, but
3 that's about it.

4 Q. Okay. Now, you're not sure what --
5 because you never saw the records -- what the
6 Mexican procedure that predated Brian's visit
7 with you, you're not sure what that procedure
8 was?

9 A. I have the description that Brian gave
10 me.

11 Q. Okay. Do you -- and you don't need to
12 relate that to us again, but do you believe
13 that procedure, in light of what Brian came to
14 see you for --

15 A. Sure.

16 Q. -- okay. Do you believe that that
17 procedure impeded your ability to help him?

18 A. I believe it clouded the picture.

19 Q. Okay. If you can sort of expand on that
20 a little bit?

21 A. Sure. Any time you have multiple
22 practitioners treating a patient, it clouds the
23 picture in terms of what's causing what. Was
24 his questionable osteonecrosis caused by the
25 procedures done in Mexico under uncertain

1 conditions or were they preexisting? Hard to
2 say.

3 i think that's a major question
4 which is one of the reasons why I couldn't
5 recommend that he travel back to Mexico to have
6 uncertain procedures done under uncertain
7 conditions, especially when he's sitting in a
8 mecca for healthcare in northeast Ohio.

9 Q. Did you learn from any source of his
10 subsequent treatment -- now, when I say -- I
11 mean, after your treatment, did you learn of
12 any procedures Brian underwent after he saw
13 you?

14 A. Sure, just by conversation with my
15 attorney --

16 MR. MINGUS: Which -- allow me to --

17 MR. HENRETTA: I understand.

18 Privilege.

19 BY MR. HENRETTA:

20 Q. I just want to know did you read
21 expert --

22 A. It's just real minimal as to my
23 knowledge afterwards and I'll be happy to share
24 that with you.

25 Q. Okay.

1 A. And that is that he did have a negative
2 gallein scan showing no evidence of
3 osteomyelitis of the area in question.

4 Q. Okay. Now, where was that done?

5 A. I don't recall. I remember reading the
6 report and I believe it was ordered by an ENT
7 surgeon, perhaps the fellow you mentioned
8 earlier, Ossakow, and that showed no evidence
9 of osteomyelitis. And that would have been, I
10 think, maybe three or four months after our
11 departure from his care.

12 And then I had heard through our
13 attorney that he had --

14 MR. MINGUS: No. Not allowed to --

15 THE WITNESS: Okay.

16 MR. MINGUS: -- discuss that.

17 BY MR. HENRETTA:

18 Q. Okay. Did you read any expert reports
19 of either Dr. Hussar, Dr. Armitage or Dr.
20 Hauser? Have you read those expert reports
21 that you can tell me --

22 A. Before coming in here I looked at Dr.
23 Hussar's report.

24 Q. Okay. And what is your opinion of Dr.
25 Hussar's report.

1 THE WITNESS: Am I allowed to give
2 my opinion of Dr. --

3 MR. MINGUS: You want to know of his
4 opinions?

5 MR. HENRETTA: Well, he's got that
6 expert hat on now.

7 MR. MINGUS: You want his opinions
8 in his report? You want to show it to him,
9 maybe because -- I just --

11 to him again. Let's find Hussar and Hauser and
12 Armitage and I think there's --

13 MR. MINGUS: I think I have Hussar's
14 report here.

15 MR. HENRETTA: Hussar did one,
16 Hauser did two, maybe. We don't have Dr.
17 Hussar.

18 MR. MINGUS: Do you want me to go
19 get Hussar?

20 MR. HENRETTA: Yes. We probably

22 (Discussion had off the record.)

23 BY MR. HENRETTA:

24 Q. Okay, Again, now we're back to you're
25 being an expert. Well, first of all, maybe you

1 can just tell me, do you know what opinions
2 you're going to offer in this case?

3 A. In regards to?

4 Q. In regards to the allegations of the
5 plaintiff. Now, the plaintiff is saying that
6 you, through your treatment of him, breached
7 the standard of care and that breach of
8 standard of care caused injuries to him.

9 And you have probably read, I would
10 imagine, your expert's reports?

11 A. Not in detail.

12 Q. Okay. Well, we're going to have to go
13 through that. And I just want to know what
14 opinions -- because, you know, no longer do we
15 have trial by ambush in this country, at least
16 to the extent that we get prepared. And we're
17 entitled to learn -- and that's why we're here
18 today --

19 A. Sure.

20 Q. -- what opinions people are going give
21 at trial so after it's all said and done,
22 something new comes off the witness stand. So
23 I just want to know what opinions you're going
24 to offer at trial in this case?

25 MR. MINGUS: I can help you out,

1 Tom.

2 MR. HENRETTA: Okay.

3 MR. MINGUS: I'm going to ask him ,
4 about his care, whether it was reasonable and
5 comportive with the standard of care and
6 whether anything he did caused injury to Mr.
7 Gortney. And that's really about what I can
8 think of at this time asking him.

9 MR. HENRETTA: Okay. All right. I
10 guess what I'm looking for -- and I don't want
11 to have to come up -- are standards of care,
12 specifics that we are not addressing with our
13 -- you know, either are not addressed by
14 Armitage or Hauser and that Hussar doesn't
15 address it and all of a sudden we've got
16 another expert, the defendant, who comes up
17 with a new theory. I just want to be ready for
18 it.

19 MR. MINGUS: Tom, I identified him
20 as an expert to testify that his own care was
21 reasonable. There was some issue with the
22 Court of Appeals' opinion that came out of
23 Cuyahoga County about a year or year and a half
24 ago that reports were required for experts. I
25 didn't know if it was going to be required for'

1 this judge in this case.

2 MR. HENRETTA: I understand.

3 MR. MINGUS: Generally speaking,
4 it's been my experience with experts that
5 defendants will typically get up on the stand
6 and typically say my care was reasonable within
7 the standard of care and I didn't cause harm.
8 And that's really about all I'm going to have
9 him do.

10 MR. HENRETTA: That's fine.

11 MR. MINGUS: The affidavit was a
12 formality in light of that Court of Appeals'
13 opinion --

14 MR. HENRETTA: I understand.

15 MR. MINGUS: — just to put you on
16 notice that he's going to say his own care met
17 the standard of care.

18 MR. HENRETTA: I understand. Okay.
19 All right.

20 (Discussion had off the record.)

21 MR. MINGUS: And a part of that,
22 Tom, certainly, if appropriate, we might show
23 him and say Dr. Hussar accused you of this.

What do you think?

25 I can't possibly know what Dr.

1 Hussar's going to say until we pull --

2 (Plaintiff's Exhibit Zak 7 was
3 marked for identification.)

4 BY MR. HENRETTA:

5 Q. All right. Doctor, I've shown you
6 what's been marked as Plaintiff's Exhibit No.
7 7. I will tell you that that is a letter
8 directed to me by Christopher J. Hussar, DDS,
9 DO and dated -- not dated. I don't know. It's
10 not dated.

11 A. Uh-huh.

12 Q. I had provided him with certain material
13 and the records that we had and in this letter
14 to comply with a court rule, Dr. Hussar has
15 offered various opinions that your lawyer is
16 going to discover when we go to Reno in a week
17 or so. In other words, the same process that
18 we're going through, I presume Ron Mingus is
19 going to go through the same one out there.

20 So I would ask you to just look at
21 chat and his is short so it won't take us too
22 long. His first sentence, what is your -- I
23 don't want to say reaction, but what is your
24 opinion to that first sentence?

25 A. For the court recorder's benefit, "After

1 reviewing the materials supplied by Mr. Gortney
2 regarding his treatment by Dr. Zak it is
3 apparent 'chat the standard of care was violated
4 in the fact there was a failure to diagnoses
5 and treat infection of the oral cavity." My
6 response to that sentence is that is incorrect.

7 Q. And it is incorrect because?

8 A. For multiple reasons. One is that there
9 were the possibility of multiple diagnoses at
10 the time of intervention and that there was no
11 evidence of -- collected that supports the idea
12 of infection of the oral cavity.

13 Q. Okay.

14 A. And part of what our treatment was was
15 to narrow the diagnoses and determine whether
16 this was infection of the oral cavity.

17 Q. So you disagree with that first
18 sentence?

19 A. I disagree with the first sentence.

20 Q. All right. So then how about No. 2,
21 "Mr. Gortney had the infections prior to any
22 intervention by Dr. Zak or Dr. Diamantis."

23 Do you disagree or agree with that
24 statement?

25 A. I can't speak to whether they were

1 infections or whether it was necrotic bone or
2 what the diagnosis was prior to us.

3 Q. He says that -- well, one, he says there
4 were obviously misdiagnoses and I think you've
5 already disagreed with that.

He further says, doesn't he, that,
e biopsy reports or the procedure performed
8 by these gentlemen" -- I guess he means those
9 two together -- "were totally unnecessary and
10 essentially useless."

11 What is your opinion there?

12 A. My opinion of that statement is I
13 totally disagree. Whether you're describing
14 something as a biopsy of bone or whether you
15 use the terms decortication, saucerization and
16 curettage, I generally would think them much to
17 be the same clinically in what you're doing,
18 okay. A biopsy, simply described, can be used
19 synonymously with those other terms.

20 So if he's saying that our bone
21 biopsy and its results were unnecessary and
22 useless, then he is also saying that his
23 decortication, saucerization and curettage is
24 useless because essentially, in my mind,
25 they're one in the same.

1 Q. All right. The next one is, "As
2 infections in the mandible and maxilla do tend
3 to disseminate the delay in not treating the
4 actual condition most likely resulted in bodily
5 damage."

6 What is your reaction to that?

7 A. First off, tend to disseminate I think
8 is a misrepresentation. Could disseminate,
9 yes. And I think that many experts in the
10 medical field would -- would have content with
11 the comment that it causes bodily damage,
12 especially in the absence of active infection
13 and the production of purulence.

14 Q. Of what?

15 A. Purulence. Pus.

16 Q. Okay. "As of this day it is most
17 likely," he says, "that Mr. Gortney will
18 require further surgical interventions
19 especially to his left maxilla and mandible as
20 evidenced by the nature and consistency of my
21 surgical interventions."

22 Do you know anything about Dr.
23 Hussar's surgical interventions?

24 A. That have occurred with the patient?

25 Q. Yes.

1 A. Other than what I'm reading here, no.
2 However, I read this statement and again, I
3 contend that he contradicts himself saying that
4 a biopsy of bone or a removal of bone and
5 decortication, saucerization and/or curettage
6 -- again, whichever term you'd like to use --
7 is required and that's recommended treatment.

8 So again, I find contention with his
9 comments in that he contradicts himself saying
10 that multiple biopsies and curettage of bone is
11 unnecessary, but yet decortication,
12 saucerization and curettage is necessary.

13 Q. What is meant to you by that last
14 sentence of that paragraph that you're reading
15 right now?

16 A. I'm sorry?

17 Q. What is meant by this last sentence to
18 you? How do you interpret this last sentence,
19 "The surgeries themselves"?

20 A. I think he's referencing the surgeries
21 that he's planning and so he's saying the
22 surgeries themselves would most likely resemble
23 what he, meaning Dr. Zak, has done.

24 Q. Okay.

25 A. So I'm a little confused. It seems as

1 though he contradicts himself. He says my
2 surgery is unnecessary, but yet says he plans
3 to do the same surgery.

4 Q. Okay.

5 A. That's how I read it.

6 Q. Okay.

7 A. Is that fair?

8 Q. I just wanted to know your opinion.
9 That's fine.

10 A. Okay.

11 (Plaintiff's Exhibit Zak 8 was
12 marked for identification.)

13 BY MR. HENRETTA:

14 Q. All right. I want to show you now 8,
15 Plaintiff's 8, sir. It was -- first of all,
16 have you seen that before?

17 A. Again, in passing in my attorney's
18 office before arriving here. Have not read it
19 in detail.

20 Q. Okay. He, Dr. -- well, let's ask you
21 about him. Do you know Dr. Hauser, Michael --

22 A. Yes, I know Michael Hauser.

23 Q. Can you tell me about your relationship:
24 with Dr. Hauser?

25 A. My exposure to Dr. Hauser was through

1 the Mt. Sinai Medical Center facility which is
2 now closed. Dr. Hauser was the director of
3 oral surgery at Mt. Sinai. I did some of my
4 rotations there at Mt. Sinai Hospital.

5 Q. Would you characterize your relationship
6 with him as personal or professional or both?

7 A. Strictly professional.

8 Q. Have you ever served as an expert
9 witness in any case --

10 A. No.

11 Q. -- involving professional negligence?

12 A. No.

13 Q. Okay. That is to say you've not given
14 deposition testimony in any case other than
15 that dental proceeding in this case and the one
16 involving the hospital and Peter Weinberger who
17 was representing the plaintiff in which you
18 were dismissed?

19 A. That's correct.

20 Q. Okay. Would you read this letter from
21 beginning to end, Dr. Hussar -- Hauser --
22 excuse me -- July 19, 2001 letter, Plaintiff's
23 Exhibit 8?

24 A. You'd like me to read it?

25 Q. Have you read it?

1 A. No. From beginning to end, no.

2 Q. Well, I need to know what you think
3 about it so I'm going to run to the restroom.
4 Why don't you look at that?

5 (Short break had.)

6 BY MR. HENRETTA:

7 Q. All right. Have you now read -- I know
8 it's only been in the last five minutes -- Dr.
9 Hauser's report?

10 A. Yes, I have.

11 Q. Okay. And I don't want to go through it
12 line for line because it takes too long. Is it
13 essentially your opinion that you are in
14 agreement with Dr. Hauser?

15 A. Yes, it is.

16 Q. Because he makes various conclusions.

17 A. Yes, it is. Essentially I share the
18 same opinions.

19 Q. Okay. Was there anything in here that
20 you disagreed with?

21 A. Just chat he failed to note when
22 describing some of patient's visits that --
23 specifically I'm going refer to the entry
24 towards the bottom of page 2, second paragraph
25 up, he states that Mr. Gortney continued to

1 have pain and some yellow mucous draining from
2 the lower sinus.

3 He failed to note that that was by
4 patient recollection, not by clinical exam, but
5 he does later state that there is no drainage
6 or swelling ever evident by our exam.

7 Q. I missed that, Doctor. Where is that?

8 A. Page 2, second paragraph on the bottom,
9 "By 7-28-99 Mr. Gortney continued to have pain
10 and some yellow mucous draining."

11 Dr. Hauser fails to note that that's
12 per patient recollection, not by clinical exam,
13 but he does -- later in his summation does
14 state that swelling and drainage was never
15 evidenced by a clinician, it was only by Mr.
16 Gortney's recollection.

17 Q. Okay. The anaerobic -- how do we
18 pronounce it?

19 A. Anaerobic, yes.

20 Q. Could you tell us where -- in your
21 records where the negative results were so we
22 could note it?

23 A. Yes. That was Lakewood Hospitals notes
24 ana it's right here. Let's see. That's
25 fungal, no anaerobes culture. Lakewood

1 Hospital.

2 Q. All right. Okay. All right. Here's
3 another one he did. He submitted -- and this
4 is much shorter --

5 A. Let me return this back to --

6 Q. Yes, that's our exhibit. Here is number
7 10. Excuse me, 9.

8 (Plaintiff's Exhibit Zak 9 was
9 marked for identification.)

10 BY MR. HENRETTA:

11 Q. Okay. In front of you now, Doctor, is
12 No. 9. It's the October 19th, 2001. If you
13 could just -- and it won't take you as long.

14 A. Okay. Want me to just peruse this?

15 Q. I'm looking for the same thing,
16 agreement, disagreement, problems, that sort of
17 thing.

18 A. Okay.

19 Q. All right?

20 A. Do I agree? Yeah, essentially I do
21 agree with what Dr. Hauser is stating on that
22 form.

23 Q. All right. How long has Dr. Hauser been
24 practicing --

25 A. I don't know.

1 Q. -- medicine? Well, how old is he
2 roughly?

3 A. He's roughly 50.

4 Q. Okay. All right.

5 A. And he may hit me for that estimate or
6 he may thank me. I don't know. I don't know
7 his age and it's a substantial amount of time
8 he's been practicing.

9 Q. All right. Well-regarded, I guess, in
10 the --

11 A. Extremely well-regarded.

12 Q. Okay. Did -- now, did you read Brian
13 Gortney's deposition testimony that was taken
14 earlier in this case?

15 A. No, no. Have not read it.

16 Q. Okay. All right. Let's take a look
17 at -- who is Dr. Keith Armitage? Do you know
18 him?

19 A. I know his name. I believe he's chief
20 of medicine or internal medicine, I think, of
21 University Hospitals of Cleveland.

22 Q. You don't know him personally?

23 A. Personally, no, I don't. Nor
24 professionally for that matter.

25 Q. Okay.

1 MR. MINGUS: It's my understanding
2 Dr. Armitage is not going to testify on the
3 standard of care. He's proximate cause.

4 MR. HENRETTA: Oh, okay. Is that
5 right?

6 MR. MINGUS: He's not an oral
7 maxillofacial surgeon.

8 MR. HENRETTA: I see that. Okay.
9 Okay. Okay.

10 MR. MINGUS: At least with regard to
11 the surgery itself.

12 MR. HENRETTA: All right.

13 BY MR. HENRETTA:

14 Q. Okay. I don't need to talk about him
15 other than you have no personal relationship
16 with him?

17 A. No, I don't.

18 Q. Okay. I want to just go over a few more
19 things, Doc, and see if we -- the sample for
20 the anaerobic biopsy, how was it transported or
21 gotten to where it had to go? How did you get
22 it there?

23 A. That would have been up to -- to the OR
24 staff. A fresh sample was sent, handed off to
25 the back table, and it was requested that

1 culture sensitivity, anaerobes, microbacterium
2 be tested. That's not something the surgeon
3 generally takes care of.

4 Q. Okay. Do you -- I want to ask you
5 whether or not you agree with some of these
6 statements --

7 A. Okay.

8 Q. -- whether or not the standards of
9 dental practice require that prior to an
10 examination that a record be made of the
11 patient's chief complaints along with a record
12 of the patient's medical and dental history.
13 Do you believe that's good practice?

14 A. Do I believe it's good practice for a
15 dentist to make documentation of that? Sure.

16 Q. Okay. In your area, do you believe that
17 it is of good practice to conduct, in the
18 clinical examination process, an extra oral
19 examination of the facial area, neck, swelling,
20 that sort of thing?

21 A. Sure, as was done in this case.

22 Q. And would that include the temporal
23 mandibular joints as well?

24 A. Sure.

25 Q. Do you think it is -- would be

1 substandard not to conduct that type of
2 examination?

3 A. I suppose it would depend on what the
4 patient was presenting for.

5 Q. Well, how about in Brian's case?

6 A. The examination that you reference was
7 done, and if I recall correctly, there was
8 nothing extraordinary about it.

9 Q. Can you characterize -- because there's,
10 really two different, I guess, medicines --
11 well, how does medicine, medical practice
12 differ from dental practice? Is there any way
13 you can characterize that? I mean -- or is
14 that just --

15 A. I'm not sure what your question is.

16 Q. Well, someone says you're a medical
17 doctor --

18 A. I think you could write a book on that.

19 Q. -- okay you're a dentist. Well, what's
20 the difference between a medical doctor and a
21 dentist, a medical doctor and a dentist? What
22 would you say?

23 A. What I would say, in my practice we
24 utilize some of our dental knowledge to better
25 treat the patients as well we use our medical

1 knowledge to better treat the patient. It
2 really is a unique subspecialty, if you will,
3 that allows, I think, the patient population to
4 receive extraordinary care where they've got
5 someone with complete dental knowledge and
6 complete medical knowledge, able to bring that
7 together in one practitioner which is extremely
8 unusual.

9 And I think that's based on just the
10 few numbers of those of us who have the
11 degrees, but also practice to the scope of
12 medicine and dentistry that we do in our
13 practice.

14 Q. Do you know anything about the
15 transportation of biopsy tissue or you do not
16 get involved in that?

17 A. Again, I don't get involved with that
18 ever.

19 Q. I imagine that's OR staff?

20 A. Exactly. It's OR staff and OR
21 controlled. Hospital run. That's not done by
22 the surgeon.

23 Q. Okay. Is it fair to say that oral and
24 maxillofacial surgery is a specialty that
25 concerns itself in some respects with the

1 diagnosis and treatment of infections and
2 diseases of the teeth, Jaws and associated
3 structures?

4 A. Yeah.

5 Q. Yes?

6 A. Yes.

7 Q. Okay. I don't know if we can really
8 define this, but in your field, you know, we
9 hear these terms in a lot of medical and dental
10 fields and they go like this: Well, let's do
11 some conservative treatment or let's do some
12 aggressive treatment.

13 Can you opine on the difference
14 between those two and maybe give an example?

15 For example, Brian presents with
16 what he presented and you have some sense of
17 his self-diagnosis and something that happened
18 in Mexico. What is the conservative treatment
19 for his problems?

20 A. I think that depends on the reference
21 point in the frame of mind. Some physicians
22 would consider some surgical intervention as
23 very conservative depending on what it was.
24 Others would consider it radical. Depends on,
25 I would think, your reference point, where your

1 training comes from, and what is perceived by
2 you and your patient population as
3 was conservative versus aggressive.

4 Q. Well, what would you consider your
5 treatment?

6 A. Conservative, minimally invasive surgery
7 that was performed. I wouldn't consider
8 anything we did as extreme.

9 Q. So you're equating extreme with
10 aggressive, apparently leaning that way?

11 A. I think that's fair.

12 MR. HENRETTA: I think that's all
13 for you, Doctor. Let me check with the folks.

14 (Discussion had off the record.)

15 (Plaintiff's Exhibit Zak 10 was
16 marked for identification.)

17 - - -

18 (Deposition concluded at 5:34 o'clock p.m.)

19 - - -

I, JOHN F. ZAK, M.D., D.M.D., do
verify that I have read this transcript
consisting of one hundred and sixty-eight (168)
pages and that the questions and answers herein
are true and correct with corrections as noted
on the errata sheet.

JOHN F. ZAK, M.D., D.M.D.

Sworn to before me, -----,
a Notary Public in and for the State of
-----, this ----- day of -----,
2003.

Notary Public in and for the
State of -----.

My commission expires -----.

330-762-0031

C E R T I F I C A T E
STATE OF OHIO,)
) SS:
SUMMIT COUNTY.)

I, Kelley E. Spears, a Notary Public within and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within named witness, JOHN F. ZAK, M.D., D.M.D., was by me first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by the witness was by me reduced to Stenotypy in the presence of said witness, afterwards transcribed upon a computer; and that the foregoing is a true and correct transcription of the testimony so given by the witness as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified, and was completed without adjournment.

I do further certify that I am not a relative, employee of or attorney for any of the parties in the above-captioned action; I am not financially interested in the action; and I am not, nor is the court reporting firm with which I am affiliated, under a contract as defined in Civil Rule 28(D).

IN WITNESS HEREOF, I have hereunto set my hand and affixed my seal of office at Akron, Ohio on this 15th day of August, 2003.

Kelley E. Spears, a Notary Public
in and for the State of Ohio.
My Commission expires June 3, 2004.