

**In The Matter Of:**

*Dorothy Gonda, etc. v.  
Juan Ruiz, et. al.*

---

*Jacob Zatuchni, M.D.  
February 8 7 1999*

---

*BRUSILOW & ASSOCIATES  
260 South Broad Street  
Suite 200  
Philadelphia, PA 19102  
(215) 875-0060 FAX: (215) 875-0065*

*Original File ZATUCHNI.VI, 121 Pages  
Min-U-Script® File ID: 2782597048*

**Word Index included with this Min-U-Script®**



[1] IN THE COURT OF COMMON PLEAS  
[2] MAHONING COUNTY, OHIO  
[3]  
[4] DOROTHY GONDA, etc., : 96CV 2055  
Plaintiffs  
vs  
[5] JUAN RUIZ, et al.,  
Defendants  
[6]  
[7] M  
[8] Monday, February 8, 1999  
[9]  
[10] Pretrial oral examination of  
[11] JACOB ZATUCHNI, M.D., held at the  
[12] Pennsylvania Hospital, 8th and Spruce  
[13] Streets, Philadelphia, Pennsylvania  
[14] commencing at 1:40 p.m., on the above date,  
[15] before Mickey Dinter, Registered Professional  
[16] Reporter and Commissioner of Deeds for the  
[17] Commonwealth of Pennsylvania.  
[18]  
[19]  
[20]  
[21] BRUSLOW & ASSOCIATES  
260 South Broad Street, Suite 200  
[22] Philadelphia, Pa. 19102  
(215) 875-0060  
[23]

[1] APPEARANCES:  
[2]  
[3] DAVID MALIK, ESQUIRE  
[4] 8228 Mayfield Road, Suite 5B  
Chesterland, Ohio 44026  
[5] Counsel for Plaintiffs  
[6]  
[7] MANCHESTER, BENNETT, POWERS & ULLMAN  
[8] BY: THOMAS J. TRAVERS, JR., ESQUIRE  
Atrium Level Two  
[9] The Commerce Building  
Youngstown, Ohio 44503  
[10] 330-743-1171  
Counsel for Defendant, Ruiz  
[11]  
[12] BUCKINGHAM, DOOLITTLE & BURROUGHS, LLP  
BY: GARY BANAS, ESQUIRE  
[13] 3721 Whipple Avenue,  
NW P.O. Box 3554%  
[14] Canton, Ohio 44735  
330-492-8717  
[15] Counsel for Defendants Drs. Cropp and  
DeMarco  
[16]  
[17] HARRINGTON, HOPPE & MITCHELL, LTD.  
BY: JAMES L. BLOMSTROM, ESQUIRE  
[18] 1200 Mahoning Bank Building  
Youngstown, Ohio 44503  
[19] 330-744-1111  
Counsel for Defendants Dr. Hafiz and  
Youngstown Associates in Radiology, Inc.  
[20]  
[21]  
[22]  
[23]  
[24]

[1] INDEX  
[2]  
[3]  
[4] WITNESS: Jacob Zatuchni, M.D.  
[5] PG. LN.  
[6] BY MR. MALIK: ..... 4 7  
[7] BY MR. BLOMSTROM: .....114 23  
[8] BY MR. TRAVERS: .....115 4  
[9] M  
[10]  
[11]  
[12] EXHIBITS PG. LN.  
[13]  
[14] Plaintiff's Exhibit 1, an autopsy  
[15] report ..... 17 3  
[16]  
[17] Plaintiffs Exhibit 2, medical  
[18] literature .....  
[19]  
[20]  
[21]  
[22]  
[23]  
[24]

[1] JACOB ZATUCHNI, M.D., 801  
[2] Spruce Street, Philadelphia,  
[3] Pennsylvania 19107, having been first  
[4] duly sworn, was examined and testified  
[5] as follows:  
[6] (EXAMINATION)  
[7] BY MR. MALIK:  
[8] Q: Can you tell me why you are  
[9] involved in this case?  
[10] A: Mr. Banas invited me.  
[11] Q: By phone call or by a letter?  
[12] A: Pardon?  
[13] Q: By a phone call or did he invite  
[14] you by a letter?  
[15] A: Well, I think he said that I'm  
[16] sending you a case, so I think it was by  
[17] phone call.  
[18] Q: Have you worked with Mr. Banas  
[19] before?  
[20] A: Yes.  
[21] Q: How many times?  
[22] A: Perhaps, two other cases.  
[23] Q: Have you worked for the firm of  
[24] Buckingham Doolittle before?

<p style="text-align: right;">Page 5</p> <p>[1] A: No; only through Mr. Banas. [2] Q: Is he the only attorney that you [3] have worked with at that firm? [4] A: To the best of my knowledge. In [5] that firm, I don't know of any — I think [6] some of the communication was with a [7] Mr. Griffin. I don't know if he belongs to [8] the firm. [9] Q: But, other than Mr. Griffin and [10] Mr. Banas, you have not worked for anybody [11] else or with anybody else in that firm? [12] A: Not to my knowledge. [13] Q: When you are paid for your [14] services, are you paid by a Buckingham [15] Doolittle check or a different type of [16] check? [17] A: I wish I knew with certainty, [18] because frequently the one who is [19] responsible for the deposition, the check [20] comes from them. [21] Q: When you have testified in court, [22] you receive payment for your services? [23] A: Yes. [24] Q: Does that come from the law firm</p>	<p style="text-align: right;">Page 8</p> <p>[1] A: Yes. [2] Q: Do you recall what cases those [3] were? [4] A: Not clearly. I don't remember. [5] Q: Would your secretary know? [6] A: I doubt it. They are listed. I [7] know Gary was here, but I don't remember [8] the particular case. [9] Q: So, Mr. Banas has been here with [10] respect to an Ohio case at least once [11] before? [12] A: Yes. [13] Q: More than once before? [14] A: I think it was once. He is [15] always in my dreams. It seems more than [16] that. [17] Q: Can you characterize the nature [18] of those cases? [19] A: I really can't. I think he had a [20] case with some cardiac problems. I got a [21] call. [22] Q: Were any of those other cases [23] dealing with endomyocardial fibrosis? [24] A: Yes.</p>
<p style="text-align: right;">Page 6</p> <p>[1] or from another entity? [2] A: To the best of my knowledge, from [3] the law firm. [4] Q: When you get a W-2, you get them [5] from the law firm? [6] A: Yes. [7] Q: Are all the opinions that you [8] intend to give in this case contained in [9] the two reports that you have written, one [10] dated April 15, 1998, and one dated [11] November 20, 1996? Are all the opinions [12] contained in those reports? [13] A: Yes. [14] Q: Other than the firm of [15] Buckingham, Doolittle &amp; Burroughs, have you [16] been an expert witness for any other [17] defense firm? [18] A: Yes. [19] Q: How many firms would you say? [20] A: Perhaps, a handful a year. [21] Q: How many different firms? [22] A: Each one is usually a different [23] firm. [24] Q: But, how many would that</p>	<p style="text-align: right;">Page 9</p> <p>[1] Q: Dealing with bacterial [2] endocarditis? [3] A: No. [4] Q: Have you ever given a deposition [5] with respect to a case dealing with [6] bacterial endocarditis? [7] A: I think one is in progress now. [8] Q: Have you given that deposition [9] already? [10] A: No. [11] Q: Do you intend to give a [12] deposition in that case? [13] A: Yes. [14] Q: Do you know when you intend to [15] give a deposition in that case? [16] A: No, I do not. [17] Q: Do you think it will be this [18] year? [19] A: I think so. [20] Q: Is that a plaintiff's case or [21] defense case? [22] A: That is a plaintiff's case. [23] Q: And can you tell me who the [24] lawyer is?</p>
<p style="text-align: right;">Page 7</p> <p>[1] constitute? [2] A: About five. [3] Q: Five? [4] A: Yes. [5] Q: So, is it a fair statement to say [6] that you have testified for five defense [7] firms? How would you characterize it, on a [8] regular basis? [9] A: No. I'm sorry, when you used the [10] word "defense," I was talking about the [11] entirety because some are for the plaintiff [12] and some are for defense. Roughly, I don't [13] have any exact number, but you can [14] communicate with my secretary and I have [15] told her to divulge anything you want. [16] Q: Do you think it's evenly split? [17] A: Yes; perhaps, a little bit more [18] for the defense. [19] Q: Have you had your deposition [20] taken before? [21] A: Yes. [22] Q: Have you had your deposition [23] taken before with respect to any cases in [24] Ohio?</p>	<p style="text-align: right;">Page 10</p> <p>[1] A: No. [2] Q: Or the plaintiff? [3] A: No. I don't know off the top of [4] my head. [5] Q: Who the lawyer is for the [6] defense, do you know? [7] A: I do not know. [8] Q: You don't recall the case name? [9] A: I don't even know that. [10] Q: Do you recall what state it's in? [11] A: The state? [12] Q: Um-hum. [13] A: I think it's Philadelphia. [14] Q: Have you ever reviewed the [15] records in that case? [16] A: Yes. [17] Q: Have you written a report in that [18] case? [19] A: Yes. [20] Q: Have you written more than one [21] report in that case? [22] A: No. [23] Q: How many times would you say that [24] you have testified in depositions?</p>

Page 11

[1] A: I would say about two to three  
[2] times a year.  
[3] Q: Over how many years?  
[4] A: Perhaps, five years.  
[5] Q: And how many times have you  
[6] testified live in court?  
[7] A: Perhaps, one or two times a year.  
[8] Q: Have you had your videotape  
[9] deposition taken before?  
[10] A: Yes.  
[11] Q: How many times would that have  
[12] been?  
[13] A: Perhaps, three or four.  
[14] Q: Do you recall the names of those  
[15] cases?  
[16] A: No, I do not.  
[17] Q: Were any of them in Ohio?  
[18] A: I think there was one in Ohio,  
[19] yes.  
[20] Q: Do you recall who the attorney  
[21] was?  
[22] A: No. I think **this** attorney — he  
[23] called me for a case and I forgot **his**  
[24] name. Bill something or other.

Page 12

[1] Q: Do you recall when that was?  
[2] A: It was for the plaintiff. I  
[3] forgot **his** last name.  
[4] Q: Was it Cleveland?  
[5] A: It was, I think, Youngstown.  
[6] Q: Bill Summers?  
[7] A: No.  
[8] MR. BLOMSTROM: He said  
[9] "Bill something."  
[10] BY MR. MALIK:  
[11] Q: When did you give that video?  
[12] A: That must have been about three  
[13] or four years ago.  
[14] Q: And the subject matter of the  
[15] case?  
[16] A: It was a question of coronary  
[17] artery disease.  
[18] Q: With respect to the November 20,  
[19] 1996, report, Doctor, can you tell me the  
[20] document or information you relied upon to  
[21] produce that report?  
[22] A: I have these two things that I  
[23] took from my office.  
[24] Q: Can you tell me what they are?

Page 13

[1] A: Okay. One is called the medical  
[2] records of David Gonda which I received  
[3] from Mr. Banas's office; the other are some  
[4] depositions.  
[5] Q: Tell me from who, please?  
[6] A: From the Hadley, Morgan, Stern,  
[7] Clarin firm. Dr. Ruiz, Dr. DeMarco. I  
[8] have a copy of a letter from Dr. Lerman.  
[9] Q: And the date on that, please?  
[10] A: March 25, 1998.  
[11] Q: And then a letter of Burt Rosen &  
[12] Associates about the economics of the  
[13] situation.  
[14] MR. BANAS: I also sent you  
[15] the pathologist's report.  
[16] THE WITNESS: And the  
[17] pathologist's report.  
[18] BY MR. MALIK:  
[19] Q: Sharon Hook?  
[20] A: Yes.  
[21] Q: The same question, Doctor, with  
[22] respect to the April 15, 1998, report, what  
[23] did you rely upon?  
[24] A: The same thing.

Page 14

[1] Q: When you wrote that —  
[2] A: Excuse me. I mean I **think** some  
[3] of the depositions were recently in coming.  
[4] For instance, **this** one by Morgan, Stern &  
[5] Clarin and the pathologist's report came  
[6] subsequent to the April thing. It just  
[7] came **this** year.  
[8] Q: When you wrote the November 20,  
[9] 1996, report, was it your understanding  
[10] that you were going to then write a  
[11] supplemental report?  
[12] A: No.  
[13] Q: How did it come about that the  
[14] April 15, 1998, report was produced then?  
[15] A: I think there was a letter to the  
[16] effect that he had sent these depositions  
[17] for my review.  
[18] Q: So, you would agree that the  
[19] April 15, 1998, report was written after  
[20] you received the depositions you had  
[21] mentioned in the **first** paragraph?  
[22] A: Yes.  
[23] Q: And would you also agree that you  
[24] did not have those depositions when you

Page 15

[1] wrote the November 20, 1996, report?  
[2] A: Yes.  
[3] Q: So when you wrote the  
[4] November 20, 1996, report, were the medical  
[5] records of David Gonda the only information  
[6] that you had?  
[7] A: And the autopsy report.  
[8] Q: And the autopsy report?  
[9] A: Yes.  
[10] Q: With respect to the autopsy  
[11] report, do you have that with you today?  
[12] A: It's in here somewhere.  
[13] MR. BANAS: I will find it.  
[14] BY MR. MALIK:  
[15] Q: When was the last time you  
[16] reviewed the medical records of  
[17] David Gonda?  
[18] A: Every day for the past week.  
[19] Q: Can you tell me how many pages  
[20] there are to that autopsy report?  
[21] A: To the autopsy report?  
[22] Q: Yes, sir.  
[23] A: Eight. I think that would be  
[24] it.

Page 16

[1] MR. BANAS: There is a  
[2] clinical correlation.  
[3] BY MR. MALIK:  
[4] Q: With respect to the clinical  
[5] correlation, how many pages are there?  
[6] A: Seven.  
[7] Q: When you wrote these reports,  
[8] were you in possession of any lab results  
[9] of David Gonda?  
[10] A: The lab report from **his** lungs?  
[11] Q: Correct?  
[12] A: Be more specific. I saw the  
[13] autopsy report.  
[14] Q: I **will** try to be more specific.  
[15] Have you ever seen any lab  
[16] report attached to the autopsy report?  
[17] A: I have seen mention made of it by  
[18] a pathologist.  
[19] Q: Who is that?  
[20] A: The pathologist at the  
[21] university.  
[22] Q: I'm handing you what I will mark  
[23] as Plaintiff's Exhibit 1. When you  
[24] reviewed the autopsy report, Doctor, did

Page 17

[1] you also review Plaintiff's Exhibit 1 or is  
[2] this the first time that you have seen it?  
[3] (Plaintiff's Exhibit 1, an  
[4] autopsy report, marked for  
[5] identification.)  
[6] THE WITNESS: I saw this.  
[7] BY MR. MALIK:  
[8] Q: You did see that?  
[9] A: Yes.  
[10] Q: Do you recall when?  
[11] A: I think when I saw the pathology  
[12] report in relationship to that because it  
[13] was mentioned by the university  
[14] pathologist.  
[15] Q: Do you recall when that was?  
[16] A: No.  
[17] Q: It was prior to writing your  
[18] report, sir?  
[19] A: No. It had to be after because  
[20] it was just relatively recently that  
[21] I ...  
[22] Q: Having reviewed it as the  
[23] information contained in Exhibit 1, does  
[24] the information contained in Exhibit 1 in

Page 18

[1] any way, shape or form change your two  
[2] reports?  
[3] A: No, it does not.  
[4] Q: Is it consistent with your two  
[5] reports?  
[6] A: Well, there are findings that are  
[7] reported here which required thought.  
[8] Q: Is it consistent with your two  
[9] reports?  
[10] A: Well, let me put it this way:  
[11] There wasn't anything that changed my  
[12] opinion.  
[13] Q: I will ask you the question  
[14] again. Can you say that it was consistent,  
[15] the exhibit was consistent, with both your  
[16] reports?  
[17] A: Yes.  
[18] Q: Did you rely on any books,  
[19] journals or other information, other than  
[20] what you have described here today to  
[21] render either report?  
[22] A: Yes.  
[23] Q: Can you tell me what that is?  
[24] A: This is called "Endomyocardial

Page 19

[1] Fibrosis" by Moody, Baum, Gil & Ratliff.  
[2] Q: Do you know any of those authors?  
[3] A: No.  
[4] Q: Having looked at that report,  
[5] would you agree that the main author would  
[6] be the first person listed on the report?  
[7] A: Characteristically.  
[8] Q: And, then, what would the second,  
[9] third and fourth authors be there for?  
[10] A: It was a collective endeavor.  
[11] Q: But the one — strike that.  
[12] Have you conducted any tests  
[13] with respect to this case?  
[14] A: Tests?  
[15] Q: Yes.  
[16] A: Elaborate.  
[17] Q: Did you perform any lab tests?  
[18] Did you perform any type of test  
[19] whatsoever? In other words, did you have,  
[20] first of all, did you have anything to  
[21] perform tests on, any tissues?  
[22] A: No.  
[23] Q: Did you review the slides in this  
[24] case?

Page 20

[1] A: No, I did not.  
[2] Q: Are your opinions going to deal  
[3] with the slides in this case?  
[4] A: Only from the standpoint of what  
[5] was reported.  
[6] Q: But you have not looked at those  
[7] slides under a microscope, correct?  
[8] A: That is correct.  
[9] Q: Do you intend to look at those  
[10] slides?  
[11] MR. BANAS: He will not see  
[12] them. I will make it perfectly clear  
[13] on the record, he will not see them.  
[14] BY MR. MALIK  
[15] Q: So, your opinions with respect to  
[16] the slides is going to be limited to what  
[17] other printed reports say?  
[18] A: No. It will be limited to my  
[19] interpretation of the significance of the  
[20] finding as I would address it from a  
[21] clinical point of view.  
[22] Q: Tell me what material you have  
[23] reviewed or are going to review with  
[24] respect to the slides that you would

Page 21

[1] interpret?  
[2] A: Well, I reviewed "Endomyocardial  
[3] Fibrosis" and I reviewed "Endocarditis."  
[4] Q: When you say you reviewed those  
[5] two, what do you mean?  
[6] A: Well, I did what I could to find  
[7] out from a search of the literature the  
[8] nature of the lesion.  
[9] Q: What literature did you search  
[10] dealing with endomyocardial fibrosis?  
[11] A: Well, first, there are — I  
[12] pointed out one of the articles. There are  
[13] other articles on endomyocardial fibrosis.  
[14] The second thing we used was Brownwell's  
[15] which is the classic text in cardiology and  
[16] Donald Kaye's book on endocarditis. I  
[17] searched the literature also for mural  
[18] endocarditis.  
[19] Q: When you say you searched the  
[20] literature, can you tell me what process  
[21] of —  
[22] A: Pardon?  
[23] Q: Can you tell me the process of  
[24] searching the literature, what you did?

Page 22

[1] A: As part of it, I called the  
[2] American College of Cardiology, their  
[3] library center, and they found certain  
[4] articles for me and then I had my own  
[5] articles.  
[6] Q: Where are those articles?  
[7] MR. BANAS: Right here.  
[8] BY MR. MALIK  
[9] Q: Can you tell me what the articles  
[10] are?  
[11] A: Yes. One is endomyocardial  
[12] fibrosis hemodynamic data in 2090 patients,  
[13] the results of surgery, infective  
[14] endocarditis confined to the mural  
[15] endocardium. There are a list of articles  
[16] from the American College of Cardiology.  
[17] Q: I would like you to tell me what  
[18] they are. Is that all that's there?  
[19] A: Yes.  
[20] Q: The list of articles?  
[21] A: Right; and the articles  
[22] themselves.  
[23] MR. MALIK: Would you be  
[24] able to provide me copies.

Page 23

Page 26

[1] **MR. BANAS:** I will be happy  
[2] to provide you copies.  
[3] **MR. MALIK:** Thank you very  
[4] much.  
[5] **BY MR. MALIK**  
[6] **Q:** When you called the American  
[7] College, did you tell them you wanted **this**  
[8] material sent to you by mail?  
[9] **A:** Yes.  
[10] **Q:** Where is the American College of  
[11] Cardiology?  
[12] **A:** Bethesda.  
[13] **Q:** Did you talk to any physicians at  
[14] the American College of Cardiology?  
[15] **A:** The librarian.  
[16] **Q:** Okay.  
[17] **A:** Her name is Gwen. Funny, I  
[18] remember that better than the lawyers.  
[19] **Q:** Other than the information there,  
[20] did you rely on any, and the books you have  
[21] told me, did you rely on any other books,  
[22] journals or information?  
[23] **A:** No.  
[24] **Q:** So, the only article with respect

[1] never seen a patient with endomyocardial  
[2] fibrosis?  
[3] **A:** Most of **us** have never seen a  
[4] case.  
[5] **Q:** Your expertise is limited to what  
[6] you have read?  
[7] **A:** That is correct.  
[8] **Q:** Have you ever reviewed slides?  
[9] **A:** Excuse me, I became an expert in  
[10] muscular dystrophy. That was based on one  
[11] case.  
[12] **Q:** Have you ever reviewed slides  
[13] with respect to endomyocardial fibrosis?  
[14] **A:** It was handed around as part of  
[15] my pathology training in school, but not  
[16] since.  
[17] **Q:** You went to school, if I remember  
[18] correctly, from **1941** to **1944**.  
[19] **A:** Yes.  
[20] **Q:** So, is that the period of time  
[21] that it was handed around to you?  
[22] **A:** Yes.  
[23] **Q:** How much time would you say that  
[24] you have spent reviewing the records for

Page 24

Page 27

[1] to endomyocardial fibrosis is the one  
[2] written by Moody?  
[3] **A:** No.  
[4] **MR. BANAS:** There is more in  
[5] there. Whatever is in here. I just  
[6] happened to have that one out.  
[7] **THE WITNESS:** I listed one  
[8] for you.  
[9] **BY MR. MALIK**  
[10] **Q:** With respect to exhibits, can we  
[11] just make that Exhibit 2? Did you review  
[12] that literature recently, Doctor?  
[13] **A:** Yes.  
[14] **Q:** Did you review it in preparation  
[15] for the deposition?  
[16] **A:** Yes.  
[17] **Q:** Have you spoken to any colleagues  
[18] with respect to **this** case?  
[19] **A:** No.  
[20] **Q:** Have you, since you have received  
[21] this case, gained a greater knowledge of  
[22] endomyocardial fibrosis?  
[23] **A:** I was always interested in  
[24] endomyocardial fibrosis because I have been

[1] your November 20th report?  
[2] **A:** I hate to tell you guys **this**, but  
[3] I really spend an awful lot of time on  
[4] these. I charge what seems to be  
[5] reasonable, but if I had to charge you, you  
[6] would think it was preposterous. I do  
[7] spend a lot of time.  
[8] **Q:** I'm more concerned about a lot of  
[9] time than the money. How much is a lot of  
[10] time?  
[11] **A:** In preparing for **this** today, I  
[12] did **this**, again, every day for at least an  
[13] hour including **this** morning.  
[14] **Q:** Can you tell me, then, how many  
[15] hours you consider to be a lot of time?  
[16] **A:** Well, I would —  
[17] **Q:** I'm not trying to be  
[18] argumentative.  
[19] **A:** For the total thing from the  
[20] beginning?  
[21] **Q:** For your November 20 report,  
[22] correct.  
[23] **MR. BANAS:** For the first  
[24] report. Go ahead.

Page 25

Page 28

[1] a teacher most of my **life**. It represents  
[2] one of the rare things that happens to  
[3] heart and, so, I had a passing kind of  
[4] interest in it. I was the first to write  
[5] about the heart and muscular dystrophy  
[6] which enabled me to get interested in **this**  
[7] problem of fibrosis of the heart, so I have  
[8] had a long-standing interest.  
[9] **Q:** Have you ever treated patients  
[10] who have had endomyocardial fibrosis?  
[11] **A:** No.  
[12] **Q:** Is this the first review of  
[13] records with respect to endomyocardial  
[14] fibrosis that you have done?  
[15] **A:** Yes. I told you it was a  
[16] constant review. I have always done that.  
[17] I told you this is not anything new for  
[18] me. What is new — I collected them to  
[19] renew my knowledge of it.  
[20] **Q:** Do you claim to be an expert in  
[21] the field of endomyocardial fibrosis?  
[22] **A:** Well, as much as anyone is from a  
[23] clinical point of view.  
[24] **Q:** And how can that be if you have

[1] **THE WITNESS:** The official  
[2] charge was \$1,500. That included  
[3] everything. But, my point is that  
[4] it's basically **\$400** an hour. I said  
[5] for the first thing it's \$1,500. In  
[6] the review — I spent certainly triple  
[7] that amount in reviewing things, so  
[8] the answer to your question would be  
[9] certainly about fifteen hours.  
[10] **BY MR. MALIK**  
[11] **Q:** For your November 20th report?  
[12] **A:** That's correct.  
[13] **Q:** And then how much time in  
[14] addition for your April **15th** report?  
[15] **A:** Again, a good fifteen hours  
[16] reviewing everything.  
[17] **Q:** So, we have fifteen hours for the  
[18] first report, fifteen for the second  
[19] report. And how much time for the  
[20] deposition?  
[21] **A:** Well, at least five hours.  
[22] **Q:** Okay. And that was over what  
[23] period of time?  
[24] **A:** When I first heard of the case

[1] back in 1996 or so.  
[2] Q: How many times would you say that  
[3] you have rendered written medico-legal  
[4] opinions since you have been a physician?  
[5] A: Well, I indicated to you that I  
[6] get cases about five times a year.  
[7] Certainly, that.  
[8] Q: How many reports would you say  
[9] you have written?  
[10] A: In each case I get, there is a  
[11] written report.  
[12] Q: Five times a year for five years?  
[13] A: Yes.  
[14] Q: Is that what you said?  
[15] A: Yes, more or less.  
[16] Q: And do you charge \$400 an hour to  
[17] write the reports, too?  
[18] A: Yes.  
[19] Q: Can you tell me how many years  
[20] you have been working with Mr. Banas's  
[21] firm?  
[22] A: I would say it goes back,  
[23] perhaps, at least five years. I couldn't  
[24] be certain about that.

[1] Q: Can you tell me what states you  
[2] have testified in?  
[3] A: Pennsylvania, New Jersey, Ohio,  
[4] Delaware.  
[5] Q: How many times have you given  
[6] actual live testimony for the firm of  
[7] Buckingham, Doolittle in court?  
[8] A: I don't think ever.  
[9] Q: Was your trial testimony then  
[10] videotaped for them?  
[11] MR. BANAS: I can answer  
[12] that. There was one time I put him on  
[13] videotape back in the days when we had  
[14] arbitrations. I think he has seen  
[15] three cases, one a giant cell  
[16] myocardial endocarditis case which  
[17] there was a deposition. There was no  
[18] videotape or anything else. I think  
[19] that's it. That's my recollection.  
[20] The one where you testified  
[21] on videotape, that must have just  
[22] disappeared because it was never used  
[23] in court.  
[24] BY MR. MALIK:

[1] Q: What is it that interests you  
[2] about the medico-legal cases that causes  
[3] you to get involved with them?  
[4] A: Well, first, the retrospective  
[5] scope when you know the outcome. It's much  
[6] nicer to practice medicine that way, but  
[7] the second thing, and far more important,  
[8] is that you have to know what you are  
[9] talking about and, so, it stimulates you to  
[10] make sure you know what you are talking  
[11] about; and then the confrontation with  
[12] lawyers is exceedingly appealing to me.  
[13] Q: It gets your blood going?  
[14] A: Yes.  
[15] Q: You feel revitalized after that?  
[16] A: Yes.  
[17] Q: It's kind of like learning a new  
[18] piece on the piano; once you get it, it's  
[19] great.  
[20] A: Yes.  
[21] Q: Was there anything that occurred  
[22] five years ago that just caused you to get  
[23] involved in these cases?  
[24] A: Well, in a way yes because I was

[1] chief of medicine and was the stairway to  
[2] riches by many lawyers, so I got involved  
[3] that way. I had to defend myself. I had  
[4] nothing really much to do with it.  
[5] I was at a poor hospital  
[6] taking care of the poor as part of my  
[7] academia and we got sued. It was  
[8] fascinating to see the cases and so forth.  
[9] Q: It was about the time that you  
[10] were ordained a defendant in a case that  
[11] you got involved?  
[12] A: Yes.  
[13] Q: That you were named.  
[14] A: I saw the doctors that some of  
[15] you called upon. I said, my gosh, we can  
[16] do better than that.  
[17] Q: In terms of coming in live for  
[18] testimony in court, what are your fees?  
[19] A: I'm not sure; but, about \$5,000.  
[20] Q: Per day?  
[21] A: Yes.  
[22] Q: Plus expenses?  
[23] A: Plus expenses.  
[24] Q: With respect to your role as a

[1] physician, do you have more of a clinical  
[2] practice or are you more in a teaching role  
[3] at this point?  
[4] A: No, clinical.  
[5] Q: How much of your practice is  
[6] clinical, percentagewise?  
[7] A: About ninety-Eve percent, if not  
[8] more. I do very little teaching today.  
[9] Q: Have you ever authored any  
[10] articles of any type?  
[11] A: Yes.  
[12] Q: On the subject of endomyocardial  
[13] fibrosis?  
[14] A: No.  
[15] Q: What about bacterial  
[16] endocarditis?  
[17] A: What are you looking — I don't  
[18] remember offhand any particular one. I  
[19] mentioned the one about muscular dystrophy  
[20] that I was involved in. The answer to  
[21] endomyocardial fibrosis, no.  
[22] Q: I want to tell you something,  
[23] there's no trick questions here. If you  
[24] need to refer to something, please do it.

[1] If you had written an  
[2] article on bacterial endocarditis, would it  
[3] have been listed in the resume?  
[4] A: Yes.  
[5] Q: If it's not listed, then it  
[6] didn't happen?  
[7] A: That's correct.  
[8] Q: Do you know what the symptoms of  
[9] endomyocardial fibrosis are in a 27-year  
[10] old male?  
[11] A: The major symptom would be  
[12] related to heart failure, so that that  
[13] would manifest itself as shortness of  
[14] breath. And the reason that comes about is  
[15] because of the scar tissue, so to speak,  
[16] would involve the valvular apparatus and  
[17] not permit the valves to function  
[18] adequately and then encroach upon the  
[19] myocardium.  
[20] What I was thinking about, I  
[21] did write a case where the endocardium got  
[22] filled with clots which eventually became  
[23] fibrotic which interfered with left  
[24] ventricular filling.



<p style="text-align: right;">Page 35</p> <p>[1] Q: Was that in the case dealing with [2] muscular dystrophy? [3] A: No. This was in one of the [4] reports about clot formation in the left [5] ventricle. [6] Q: Doctor, what would be the early [7] warning signs of endomyocardial fibrosis in [8] a 27 year-old man? [9] A: The early warning sign? It's [10] such an unusual thing that actually you [11] have to go on the basis of the literature, [12] what has been reported. And most of the [13] reports emphasize the pathologic [14] manifestation: I have <b>this</b> case in Africa [15] and <b>this</b> is what I found and so forth. [16] They go back and say, well, [17] during life he had heart failure and so you [18] transcribe that into shortness of breath [19] and swelling of the liver or legs and so [20] forth. To my knowledge, no one has taken [21] the reverse, let's say, from the beginning [22] because who knows where the beginning is. [23] Q: You are speaking from a [24] postmortem aspect?</p>	<p style="text-align: right;">Page 38</p> <p>[1] instrument that we have available today, [2] namely a transesophageal echocardiogram, [3] how often they have recognized [4] endomyocardial fibrosis; and I have some [5] pieces of literature in that same pack, and [6] the interesting thing is that disease is [7] never mentioned. They mention tumors and [8] clots and so forth, but no one sees [9] endomyocardial fibrosis. [10] Q: Let me break it down a little [11] it. You are talking about a TEE being the [12] more accurate than the 2D Doppler echo? [13] A: I cited that in response to your [14] question, yes. [15] Q: Will a TEE properly performed [16] pick up scarring in the heart, scar tissue? [17] A: The answer is yes. [18] Q: Will it pick up an inflammatory [19] process going on in the heart? [20] A: Not particularly. We are trying [21] to develop methods for differentiating [22] tissue, but when you say inflammation, that [23] depends upon what you mean by that. In [24] other words, if one has an abscess, the</p>
<p style="text-align: right;">Page 36</p> <p>[1] A: Yes. [2] Q: Would fever be consistent with [3] endomyocardial fibrosis? [4] A: Usually, it's not emphasized. [5] Q: What about a persistent cough? [6] A: No. [7] Q: What about a cough so severe that [8] it wakes you up at night? [9] A: No. [10] Q: Shortness of breath? [11] A: The cough would only be part of [12] heart failure and that would be apparent. [13] Q: So, at the point there is [14] congestive heart failure? [15] A: That's correct. [16] Q: You are saying the cough would be [17] a significant symptom? [18] A: Only if there were congestion of [19] the lungs; that would be apparent. [20] Q: Absent congestion of the lungs [21] and a cough, would that be consistent with [22] endomyocardial fibrosis? [23] A: No. [24] Q: You indicated in one of your</p>	<p style="text-align: right;">Page 39</p> <p>[1] answer is yes. If one has a valvular [2] lesion which might be inflammatory, the [3] answer is yes. [4] Q: What about an abscess in the [5] right atrium extending into the outflow [6] track? [7] A: Well, again, if it were an [8] abscess, the answer is, yes, it should. [9] Q: The same thing with the right [10] ventricle, correct? [11] A: That is correct. [12] Q: What about blood clots? [13] A: Yes. [14] Q: What size blood clot could a TEE [15] pick up? What would be the smallest? [16] A: Could be one centimeter. [17] Q: Could it be less than one [18] centimeter? [19] A: Yes. [20] Q: Would it pick up — would the TEE [21] pick up malformations of the chambers in [22] the heart? For example, if there were a [23] dent in the right ventricle, the <b>ventricle</b> of [24] the right ventricle, would the TEE pick</p>
<p style="text-align: right;">Page 37</p> <p>[1] reports, there is no therapy for [2] endomyocardial fibrosis, correct? [3] A: That is correct. [4] Q: What do you base that statement [5] on? [6] A: I base it on the fact that so far [7] in the cases that were reported in Africa, [8] it's not clear what the etiology was due [9] to. So, to <b>this</b> day we are unclear whether [10] it was an epidemic at that particular time, [11] whether it was bacterial, viral and so [12] forth. No one ever isolated things. We [13] don't know whether it was nutritional. [14] We have no knowledge as to what the [15] etiologic agent is, other than the fact [16] that we saw it in Africa. There have been [17] some isolated cases here but, again, there [18] is no apparent cause for its development. [19] Q: Would an echocardiogram reveal [20] endomyocardial fibrosis? [21] A: That's one of the questions I [22] asked myself. So what I did was actually [23] go to the literature and see. [24] Even with the most exacting</p>	<p style="text-align: right;">Page 40</p> <p>[1] that up? [2] A: Yes. [3] Q: Let me talk to you a little bit, [4] please, about bacterial endocarditis. How [5] many cases of bacterial endocarditis would [6] you say you have treated in your career? [7] A: Oh, perhaps, one a year. [8] Q: You have been practicing medicine [9] for how long? [10] A: Since 1950. [11] Q: What is your age? [12] A: 78. [13] Q: What causes bacterial [14] endocarditis? [15] A: Well, it's infective [16] endocarditis. That's to indicate there is [17] an involvement by micro organisms other [18] than bacteria, so it's actually the [19] invasion of tissue by micro organisms. We [20] use the term endocarditis to emphasize the [21] fact that the site of the invasion is the [22] heart. [23] Q: So the micro organisms, they may [24] be bacterial?</p>

Page 41

[1] A: That is correct.  
[2] Q: They may be a fungus?  
[3] A: That is correct.  
[4] Q: They may be viral?  
[5] A: That is correct.  
[6] Q: Have I missed anything?  
[7] A: No. Those would be the major  
[8] ones.  
[9] Q: Can you tell me what the symptoms  
[10] of bacterial endocarditis in a 27 year-old  
[11] man would be?  
[12] A: Well, there is one man that I  
[13] have that is still alive. His initial  
[14] manifestation was depression and by looking  
[15] at his eye grounds we were able to find  
[16] certain lesions that indicated to me that  
[17] he had endocarditis. Moreover, he had a  
[18] heart murmur.  
[19] The first sine qua non is  
[20] not really related to a symptom, but the  
[21] person may volunteer I have known of a  
[22] heart murmur. That kind of person may  
[23] present himself with fever. He may present  
[24] himself with fatigue or malaise, which is

Page 42

[1] our favorite word. So I think the person  
[2] just doesn't feel well, and with that kind  
[3] of background about the heart that I told  
[4] you about —  
[5] Q: Let me ask you this: Is fever a  
[6] sign of infective endocarditis?  
[7] A: Yes.  
[8] Q: Is cough a sign of infective  
[9] endocarditis?  
[10] A: By itself, no.  
[11] Q: What about the patient with a  
[12] systolic click, but no heart murmur?  
[13] A: That is an interesting phenomenon  
[14] because we have always emphasized that when  
[15] you have a systolic click, just make sure  
[16] you don't categorize the person as having  
[17] heart disease because it may be a normal  
[18] phenomenon. To explain that, there are  
[19] heart sounds, the first heart sound, second  
[20] heart sound. In this one, he had a  
[21] systolic click.  
[22] I think Dr. Ruiz was the  
[23] only one that found it when he went to the  
[24] University Hospital. And at the Cleveland

Page 43

[1] Clinic, no mention was made of any click,  
[2] so that's part of the problem. A person  
[3] might hear something that others may not  
[4] hear. The second thing is: What does it  
[5] actually mean? If I can just describe it  
[6] as when the heart contracts, the blood that  
[7] is ejected may smack against the aorta or  
[8] the pulmonary artery and the sudden  
[9] movement of that produces a clicking  
[10] sound. The same thing may occur with the  
[11] mitral valve where that billowing wind gets  
[12] into the valve or the sail and you see the  
[13] sail billow and it produces that sound. So  
[14] of and by itself, it has limited or no  
[15] meaning; and be careful that you don't  
[16] ascribe it to heart disease that may not be  
[17] there.  
[18] Q: In all fairness, though, it's a  
[19] characteristic of systolic clicks that  
[20] sometimes they are heard and sometimes they  
[21] are aren't heard, correct?  
[22] A: Yes.  
[23] Q: Sometimes they are heard and  
[24] sometimes they aren't heard?

Page 44

[1] A: That's why I emphasized yes.  
[2] Q: It can be present, but it may not  
[3] have been heard on that examination?  
[4] A: More often than not, it's missed  
[5] by others. Or some people imagine they  
[6] hear it.  
[7] Q: Is shortness of breath a  
[8] characteristic of infective endocarditis?  
[9] Would you prefer I use the word  
[10] infective —  
[11] A: As long as we understand we are  
[12] talking about the same thing.  
[13] Q: Okay. Shortness of breath.  
[14] A: Of and by itself, no.  
[15] Q: You reviewed Dr. Ruiz's records  
[16] of David Gonda?  
[17] A: Yes.  
[18] Q: Can you tell me — can you refer  
[19] to those records on June 27, 1995.  
[20] A: Perhaps, I can remember it. I  
[21] can relate —  
[22] Q: I can find it for you.  
[23] A: You said —  
[24] Q: June 27, 1995.

Page 45

[1] MR. BANAS: Do you want more  
[2] than that?  
[3] MR. MAUK: Is that all you  
[4] have?  
[5] MR. BANAS: I didn't put  
[6] these books together.  
[7] BY MR. MALIK  
[8] Q: Have you seen those records  
[9] before?  
[10] A: Yes.  
[11] Q: On that date, can you tell me  
[12] what David Gonda's symptoms were?  
[13] A: It is stated here that he was  
[14] treated by Dr. Adrinata (phonetic) for  
[15] several days for a sore throat and fever.  
[16] He was given Duracef by Dr. Adrinata. The  
[17] symptoms didn't improve. He was then put  
[18] on Xynthromax. He continued to have fever  
[19] while taking the antibiotic. It goes on  
[20] that the fever is daily.  
[21] Q: Based on that medical record —  
[22] we are going to make that Exhibit Number  
[23] 3 — is there anything in there consistent  
[24] with infective endocarditis?

Page 46

[1] A: Well, not specifically.  
[2] Q: Is there anything significant in  
[3] there consistent with an infection?  
[4] A: Yes.  
[5] Q: What is that?  
[6] A: Fever.  
[7] Q: Now, would that infection be  
[8] bacterial or would it be something else?  
[9] A: It could be anything. It doesn't  
[10] have to be infection.  
[11] Q: Okay. So, as we sit here today,  
[12] and I'm looking at the June 27, 1995,  
[13] records, it's your testimony, to a  
[14] reasonable degree of medical certainty,  
[15] that cough is not consistent with infective  
[16] endocarditis?  
[17] A: I say it's not characteristic of  
[18] endocarditis.  
[19] Q: Is it consistent with it, though?  
[20] A: Part of the background — in  
[21] other words, you have this person with  
[22] fever. I mean he can have cough and sore  
[23] throat in addition to that which doesn't  
[24] minimize that possibility, but it makes you

Page 47

[1] think in terms of something related to the  
[2] sore throat and cough rather than to the  
[3] heart.  
[4] Q: So, cough is, to a reasonable  
[5] degree of medical certainty in this case,  
[6] consistent with infective endocarditis?  
[7] A: Yes.  
[8] MR. TRAVERS: Objection.  
[9] THE WITNESS: Endocarditis  
[10] would be the last thing that one would  
[11] think about. There are things way  
[12] ahead of it.  
[13] BY MR. MALIK:  
[14] Q: What else —  
[15] A: Respiratory infection is way  
[16] ahead of it. I wouldn't think of an  
[17] endocarditis.  
[18] Q: What else?  
[19] A: Pardon?  
[20] Q: What else?  
[21] A: What else is the cough due to?  
[22] Q: I think you were telling me what  
[23] your differential diagnosis was when I said  
[24] cough.

Page 48

[1] A: Yes. We would be thinking of, in  
[2] addition to a respiratory infection, we  
[3] would be thinking of, for instance, a  
[4] neoplasm.  
[5] Depending upon if it were a  
[6] cigarette smoker or older, we would be  
[7] thinking of, perhaps, some local process  
[8] infecting the vocal cords and the like, but  
[9] the cough itself is not the trigger to  
[10] think of endocarditis.  
[11] Q: Now, can you characterize for me  
[12] the type of cough that David Gonda had on  
[13] June 27, 1995?  
[14] A: Other than what is stated here?  
[15] Q: What does it say?  
[16] A: "Coughing frequently, but doesn't  
[17] seem to be distressed."  
[18] I saw some — I don't know  
[19] where I can put my finger on it, but there  
[20] was some mention made that it was  
[21] productive of mucus.  
[22] Q: Now, when you add fever into the  
[23] equation so that you now have cough and  
[24] fever, is that consistent with infective

Page 49

[1] endocarditis?  
[2] A: Certainly doesn't exclude it.  
[3] But, we are looking more in terms of  
[4] something that reduced fever and cough.  
[5] There, we would think primarily in terms of  
[6] acute respiratory disease.  
[7] Q: Would any of those acute  
[8] respiratory diseases call for the  
[9] implementation of an echocardiogram?  
[10] A: No, sir.  
[11] Q: What about the cough with the  
[12] fever, would that —  
[13] A: No, sir.  
[14] Q: Is there anything in the records  
[15] of June 27, 1995 that would indicate to  
[16] you that an echocardiogram should have been  
[17] ordered?  
[18] A: Well, not really because the  
[19] emphasis is on the fever and cough. I  
[20] don't know how he addressed the problem of  
[21] systolic click.  
[22] Q: When you add sinus tachycardia  
[23] into the equation, can you tell me, to a  
[24] reasonable degree of medical certainty,

Page 50

[1] whether or not that increases the  
[2] likelihood of infective endocarditis?  
[3] A: No relationship at all because,  
[4] again, to elaborate on it —  
[5] MR. BANAS: That's all  
[6] right.  
[7] BY MR. MALIK:  
[8] Q: If you want to elaborate, it's  
[9] fine with me.  
[10] A: For every degree of elevation of  
[11] temperature, there is a ten percent rise in  
[12] metabolism, so that one would anticipate,  
[13] unless there is a specific kind of fever  
[14] like Typhoid fever and so forth or a  
[15] certain kind of viral infection that might  
[16] produce a relative bradycardia, a slowing  
[17] of the heart rate.  
[18] Q: Doctor, with these symptoms,  
[19] would you order blood cultures?  
[20] A: Absolutely not.  
[21] Q: Why not?  
[22] A: It's not our practice that you  
[23] associate this with respiratory infection.  
[24] Most of us feel that it is not related to

Page 51

[1] bacteria, so that it would be senseless and  
[2] very costly to order a blood culture.  
[3] Q: Why was David Gonda on  
[4] antibiotics at this time?  
[5] A: We do what we call imperically,  
[6] that is, today's example of the epidemic  
[7] that's going around, even though we feel it  
[8] might be viral in origin, most people get  
[9] an antibiotic and the antibiotic is not  
[10] helpful.  
[11] It's possible when you look  
[12] the other way and say, maybe, there will be  
[13] a secondary infection, so I want to give  
[14] the antibiotic to prevent the secondary  
[15] infection that might occur; or there might  
[16] be a sinusitis that I just can't put my  
[17] fingers on or there might be this or there  
[18] might be that, so you take the risk. And  
[19] what is the risk? He will have an allergy  
[20] to the antibiotic. So you say, well,  
[21] that's a little thing. The patient has put  
[22] pressure on you to use an antibiotic.  
[23] That's why we use it.  
[24] Q: Do you know what antibiotic he

Page 52

[1] was on at that time?  
[2] A: Well, he mentioned Duracef and  
[3] Xynthromax and, I think, later on  
[4] Doxycycline came into the forum.  
[5] Q: Are you able to tell me the  
[6] specific type of bug erythromycin would  
[7] affect?  
[8] A: I told you before that the use  
[9] would be empiric. This is part of our  
[10] standard of practice.  
[11] Q: Empiric meaning broad spectrum?  
[12] A: No. Meaning I smell acute  
[13] respiratory infection to prevent a  
[14] secondary invasion and so forth or, maybe,  
[15] it will be helpful. We use these  
[16] antibiotics and, I think, it would be fair  
[17] to say that Duracef and Xynthromax and  
[18] Doxycycline are the commonly-employed  
[19] antibiotics.  
[20] Q: But they have different functions  
[21] in terms of they attack different bugs,  
[22] correct?  
[23] A: In general, they are  
[24] broad-spectrum antibiotics.

<p>Page 53</p> <p>[1] Q: Let's assume for a moment you [2] have a patient that is on erythromycin and [3] it's your decision to order blood cultures, [4] would you take that patient off of the [5] antibiotic before ordering a blood culture? [6] A The answer is yes. [7] Q: Why would you do that? [8] A: Well, there is a possibility that [9] the erythromycin is holding the bacteria in [10] check. [11] Q: Would that also be applicable to [12] Xynthromax and to Duracef? [13] A: Yes. [14] Q: On June 27, 1995, Dr. Ruiz [15] performed an electrocardiogram, correct? [16] A: Yes. [17] Q: He had also performed one [18] earlier. I believe it was in 1989, [19] correct? [20] A: That's correct. But that has [21] always been too light. I can't see it. Do [22] you have that. [23] Q: Does this look any better to [24] you?</p>	<p>Page 56</p> <p>[1] into the interpretation as you ask me. [2] Q: Let's break it down a little [3] bit. What does it say on the strip in [4] terms of what Dr. Ruiz found? [5] A Sinus tachycardia. That refers [6] to the fact that the heart rate is greater [7] than 100. Then, it says "increased septal [8] forward," which simply refers to the fact [9] that the R-wave is a tiny, little bit more [10] prominent than usual; so, no great shakes [11] about that. [12] Q: Anything else? [13] A And then it says "remnant of [14] juvenile T-pattern." [15] What that means is that when [16] you are young, that part of the [17] electrocardiogram is characteristically [18] what you see here. And then as you grow [19] older, it gets less and less, so that we [20] call that the juvenile pattern. This might [21] be, as the doctor reported, that it might [22] be simply a variant of the juvenile [23] pattern. [24] Q: Are you able to compare the two</p>
<p>Page 54</p> <p>[1] MR. TRAVERS: It looks [2] better to me than the original I [3] have. Other than taking some steps [4] to have it laminated — [5] MR. MALIK Other than [6] taking it to Kinko's and having it [7] laminated, I would have not done [8] anything to this. [9] THE WITNESS: No, it is not [10] adequate. [11] BY MR. MALIK [12] Q: Can you read on the bottom what [13] Dr. Ruiz wrote? [14] A: Yes. [15] Q: Is there any part of it that you [16] can see that is consistent with what he [17] wrote? [18] A: Well, for instance, I can see [19] where he wrote "low atrial rhythm," and the [20] P-wave is positive in one and negative in [21] two and three. That would be justified. [22] That simply means a wandering pacemaker, [23] which is a normal variant. [24] Q: You lost me.</p>	<p>Page 57</p> <p>[1] EKGs? [2] A: Not really. I told you in one [3] way there seems to be sinus rhythm and not [4] atrial rhythm. I can see where the early [5] repolarization is not here anymore. And [6] that's about it. [7] The voltage, where I see [8] this voltage, this seems to be lower than [9] the voltage that I see there. [10] Q: Can you tell me what the lower [11] voltage indicates? [12] A It could be a question of [13] technique. And there isn't anything here [14] that indicated to me their [15] standardization. [16] I may be missing it, but I [17] have not seen that. In other words, we put [18] in a certain voltage to get a certain [19] deviation, so I'm just giving you the [20] general principle. The voltage may be less [21] simply because you standardize it [22] differently. Let's assume that the [23] standardization was the same and, in which [24] case the voltage is different. So then</p>
<p>Page 55</p> <p>[1] A: He says "low atrial rhythm." The [2] P-wave that I was referring to refers to [3] the deep polarization of the sinus [4] pacemaker or the liter of the heart when it [5] comes to rhythm. It originates in a [6] certain place. [7] If for one reason or other, [8] nerve impulses occurred so that that [9] pacemaker loses the baton, another part of [10] the heart becomes the pacemaker. That's [11] all that refers to. It's a normal [12] phenomenon. I would agree that I see [13] enough here to say, okay, there is no great [14] shakes about that. [15] Q: Is there anything on there that [16] indicates a bundle branch block? [17] A: I didn't see any bundle branch [18] block. [19] Q: You saw the EKG of June 27? [20] A: Yes. [21] Q: Can you interpret that for me? [22] A: Yes. There is a sinus rhythm. [23] and it shows low voltage of the QRS. It [24] shows some SDT abnormality. I will get</p>	<p>Page 58</p> <p>[1] there are several possibilities for that. [2] The individual may have gotten fatter; he [3] may have developed more air emphysema or he [4] may have developed something regarding the [5] pericardium that surrounded the heart to [6] short circuit the electric current that's [7] produced by the heart. [8] Q: Does the lower voltage indicate a [9] less efficient heart? [10] A: No, it may not have anything to [11] do with — it could have, but it may not [12] be. [13] Q: Would it have anything to do with [14] the pumping ability of the left ventricle? [15] A No. Let me elaborate. In other [16] words, we don't ascribe force to what we [17] see in the electrocardiogram. [18] Q: Is there anything, by comparison [19] in those two EKGs, that would lead you to [20] perform an echocardiogram? [21] A: Yes. [22] Q: What would that be? [23] A: Well, for instance, the low [24] voltage of the KRS.</p>

Page 59

[1] Q: Let's assume for the moment you  
[2] have had an echocardiogram performed.  
[3] First of all, what type of echo would you  
[4] order?  
[5] A: I would be primarily concerned  
[6] with something affecting the pericardial  
[7] layer and, so, we would inquire about that.  
[8] Q: Would you use a TEE or a 2D  
[9] Doplar echo?  
[10] A: In 1995 and 1999, we don't order  
[11] the TEE as the first procedure.  
[12] Q: Can you tell me whether or not,  
[13] to a reasonable degree of medical  
[14] certainty, whether the 2D echo would be the  
[15] appropriate echo?  
[16] A: Yes. We would start with the  
[17] transthoracic echocardiogram.  
[18] Q: What is pericardial effusion?  
[19] A: What is it?  
[20] Q: Yes.  
[21] A: A collection of fluid between the  
[22] outer layer and the middle layer of the  
[23] heart.  
[24] Q: Looking at these two EKGs, is

Page 60

[1] there anything in there that would lead you  
[2] to believe there could be pericardial  
[3] effusion?  
[4] A: Well, it indicated the low  
[5] voltage of the QRS.  
[6] Q: Okay.  
[7] A: But, again, I hasten to tell you,  
[8] it's not pathognomonic. You can't say it  
[9] and say pericardial effusion.  
[10] Q: Performing the 2D echo — strike  
[11] that. What did you mean when you —  
[12] A: When you look at the  
[13] electrocardiogram and you see low voltage,  
[14] it's not an absolute finding of pericardial  
[15] effusion. More people with low voltage  
[16] have no pericardial effusion.  
[17] Q: When you are looking for that on  
[18] echo, what are you looking for?  
[19] A: That's it, fluid.  
[20] Q: Are you looking into the interior  
[21] of the heart?  
[22] A: Here is the ventricle and here is  
[23] the pericardium; and, so, fluid accumulates  
[24] between the two.

Page 61

[1] Q: Would you be looking into the  
[2] interior of the heart upon that echo?  
[3] A: Well, it depends on what you want  
[4] to look for. It doesn't — it's not like  
[5] taking a picture of the face. I'm taking  
[6] just a picture of the nose. Forget the  
[7] rest of the face. So, one can simply look  
[8] at the pericardial fluid and you won't  
[9] have — you don't pay too much attention to  
[10] the anterior of the heart.  
[11] Q: When performing the 2D Doppler  
[12] echo, what will the echo show the  
[13] radiologist?  
[14] MR. BLOMSTROM: Obiection  
[15] unless there is some evindnce —  
[16] unless this is a test performed by a  
[17] radiologist.  
[18] MR. BANAS: You may answer.  
[19] THE WITNESS: First of all,  
[20] whose priority is it? It's not  
[21] necessarily the radiologist. So at  
[22] any rate, when the question implied  
[23] that the radiologist had control over  
[24] it, which isn't necessarily so —

Page 62

[1] BY MR. MALIK:  
[2] Q: What will the 2D echo show the  
[3] examiner?  
[4] A: It depends what you are looking  
[5] for.  
[6] Q: Tell me again when you are  
[7] looking for pericardial effusion.  
[8] A: For instance, if I say to you  
[9] "I'm concerned about this fellow, would  
[10] you tell me if he has any pericardial  
[11] fluid," he will say "I looked at him and  
[12] there is no pericardial fluid." End of  
[13] report. That's all.  
[14] Q: How much more difficult would it  
[15] be to take a look at the atrium, the  
[16] ventricles, the valves, the way the heart  
[17] is functioning?  
[18] A: Essentially, nothing at all.  
[19] Q: If I told you that this exam for  
[20] pericardial effusion was done by an  
[21] examiner not licensed for a 2D echo, would  
[22] you consider that below the standard of  
[23] care?  
[24] A: Obviously. The answer to the

Page 63

[1] question is obvious. If he is not  
[2] licensed, my life depends upon what the  
[3] echocardiographer reports to me.  
[4] Q: That's to a reasonable degree of  
[5] medical certainty, correct?  
[6] A: If he reports something to me, I  
[7] have to accept that because I'm at his  
[8] complete mercy. My reputation is at  
[9] stake. There is nothing I can do  
[10] clinically or by any other procedure to say  
[11] in that situation whether he is right or  
[12] wrong.  
[13] Q: But, to a reasonable degree of  
[14] medical certainty, it is below the standard  
[15] of care for a nonlicensed examiner in 2D  
[16] echo to look for pericardial effusion?  
[17] MR. BLOMSTROM: Objection.  
[18] There is no licensure for that.  
[19] You are asking trick  
[20] questions despite several times saying  
[21] that you are not doing that because  
[22] the licensure has to do with the  
[23] license issued by the state of Ohio.  
[24] There is no evidence in this case that

Page 64

[1] Dr. Hafiz does not have a license by  
[2] the state of Ohio, which he does.  
[3] BY MR. MALIK:  
[4] Q: Do you need me to read the  
[5] question back?  
[6] A: Well, let me just put it this  
[7] way: We do the echocardiograms in our  
[8] office. They are not shipped out. But  
[9] even if our office, the individuals that  
[10] are doing it — I'm the only one certified  
[11] by the Society of Echocardiography. The  
[12] rest are not. They are doing it. So when  
[13] I say my life is dependent upon them, I  
[14] know what I'm getting.  
[15] If a stranger came in, I  
[16] wouldn't be happy with that. In answer to  
[17] your question, yes, it could be. They  
[18] don't have any license, but they are  
[19] reporting their finding to me.  
[20] Q: Is it below the standard of care  
[21] for a noncertified echocardiographer to  
[22] perform an echo for pericardial effusion  
[23] not using the 2D Doppler echo?  
[24] A: That is correct, it's not below

Page 65

[1] the standard of care.  
[2] Q: Is it below the standard of care?  
[3] A: It is not below the standard of  
[4] care. These people, to my knowledge, to  
[5] this day are not licensed.  
[6] Q: Do you have non-2D Doppler echos  
[7] in your office?  
[8] A: Yes.  
[9] Q: So what you are telling me, you  
[10] have people who are not certified using the  
[11] 2D echo to perform examinations?  
[12] A: That is correct.  
[13] Q: When that occurs, are you always  
[14] present?  
[15] A: No, sir.  
[16] Q: How is the echo recorded?  
[17] A: Now is it recorded?  
[18] Q: Yes.  
[19] A: In the conventional manner.  
[20] Q: Do you use tapes?  
[21] A: There are tapes.  
[22] Q: Is there anything else?  
[23] A: Documentation. Doppler. Sound.  
[24] Q: I'm curious. In Pennsylvania, is

Page 66

[1] there a certification to be an examiner  
[2] with 2D Doppler echo?  
[3] A: No, not to my knowledge. I think  
[4] all of us, at least in my office, are  
[5] certified in the subspecialty of  
[6] cardiovascular diseases, and we went one  
[7] step further. There are people that devote  
[8] their lives simply to echocardiography.  
[9] There is no such person in our office or in  
[10] the hospital.  
[11] Q: Are you aware of whether or not  
[12] there is a certification in Ohio to being  
[13] an examiner with a 2D Doppler echo?  
[14] A: I'm not aware. I don't know  
[15] their rules.  
[16] Q: Is there an indication in the  
[17] records on June 27, 1995, that an echo was  
[18] performed?  
[19] A: I'm not so sure about the date.  
[20] I know that an echo was done in regard to  
[21] the presence or absence of pericardial  
[22] effusion.  
[23] Q: Is it below the standard of care  
[24] not to record those findings?

Page 67

[1] A: I saw it written someplace that  
[2] there was no pericardial effusion.  
[3] Q: Is it below the standard of care  
[4] not to record those findings on videotape?  
[5] A: It depends upon the circumstances  
[6] under which — I don't know what they did.  
[7] We would certainly want some documentation  
[8] that someone saw it.  
[9] Q: Other than video, what other  
[10] documentation is there?  
[11] A: Well, there is — you can get an  
[12] image reproduced. You don't need the  
[13] video.  
[14] Q: How do you get an image  
[15] reproduced?  
[16] A: Just push the right buttons and  
[17] you get an image.  
[18] Q: Like a Polaroid?  
[19] A: Yes.  
[20] Q: To go back to my question: Is it  
[21] or is it not below the standard of care to  
[22] have neither a video or an image produced?  
[23] MR. BLQMSTRQM: Objection.  
[24] THE WITNESS: I really can't

Page 68

[1] answer that. The only thing that I  
[2] can tell you is that I assume that the  
[3] doctor who saw this said that there  
[4] was no pericardial effusion and that  
[5] he was looking for it.  
[6] BY MR. MALIK  
[7] Q: In your practice, do you always  
[8] have either an image or a video?  
[9] A: Yes. This is the one that I  
[10] saw.  
[11] Q: Is that a sufficient report?  
[12] A: Yes. I thought that you were  
[13] referring specifically to an ultrasound  
[14] report by itself. That's what I have,  
[15] right.  
[16] Q: Did you see an ultrasound report  
[17] by itself?  
[18] A: No. That's the only one that I  
[19] saw. I mean later there was a  
[20] transthoracic echo and a TEE.  
[21] Q: Dr. Hafiz has testified that this  
[22] was the only time he has ever done an echo  
[23] for pericardial effusion in that office.  
[24] Typically, they do other organs of the

Page 69

[1] body.  
[2] Do you have an opinion as to  
[3] whether or not it's below the standard of  
[4] care to perform an echo under those  
[5] circumstances, not having performed them in  
[6] that office before?  
[7] MR. BLOMSTROM: Objection.  
[8] MR. BANAS: If you can  
[9] answer it, go ahead.  
[10] THE WITNESS: It's really  
[11] unfair to ask that question. I don't  
[12] know what their — I don't know what  
[13] their setup was. He may say this is  
[14] the first one that he has done, which  
[15] is just amazing to me. If that would  
[16] be the first one that he did and not  
[17] make mention of it, why wouldn't he  
[18] say that in his report I never did  
[19] this before? I mean I don't see  
[20] anything like that that he was  
[21] expressing inexperience.  
[22] BY MR. MALIK:  
[23] Q: Assuming that I'm correct that  
[24] this was the first one that he had done in

Page 70

[1] that office, would you expect him to inform  
[2] the patient of that?  
[3] MR. BANAS: The first one he  
[4] did in that office?  
[5] MR. MALIK: For pericardial  
[6] effusion.  
[7] MR. BANAS: Are you  
[8] suggesting he had never done any  
[9] others anywhere?  
[10] MR. MALIK: Exactly, that's  
[11] what I'm saying.  
[12] MR. BANAS: I don't know  
[13] what you are suggesting.  
[14] BY MR. MALIK  
[15] Q: Assuming this is the first time  
[16] that Dr. Hafiz at Youngstown Radiology had  
[17] performed and echo for pericardial  
[18] effusion, would you expect him to have told  
[19] either the patient or the patient's parents  
[20] that that was the case?  
[21] A: Certainly not.  
[22] Q: Why not?  
[23] A: He would be foolish to say that.  
[24] First of all, you are not harming the

Page 71

[1] patient. He doesn't know what's going to  
[2] be done by Dr. Ruiz or what's going to be  
[3] done with the report. He doesn't know  
[4] anything about those circumstances. He is  
[5] giving it as though he is the world's  
[6] authority on it. If he wants to express  
[7] uncertainty about his finding and say,  
[8] look, **this** is the first I have done it, I  
[9] don't know why he would do that, even.  
[10] That's the kind of remark he should make to  
[11] the doctor, not to the patient.  
[12] **Q:** Do you think that he should have  
[13] told Dr. Ruiz that they don't do ultrasound  
[14] for pericardial effusion in that office?  
[15] **A:** I would think that he would do  
[16] that.  
[17] **Q:** Would you say it's below the  
[18] standard of care to have not told Dr. Ruiz  
[19] that?  
[20] **A:** If that's the picture that you  
[21] are describing. As I keep on coming back,  
[22] I can't imagine that.  
[23] **Q:** Doctor, would you agree with me  
[24] that it is deceiving and deception to the

Page 72

[1] patient to have performed a so-called  
[2] ultrasound, but to have, number one, been  
[3] the first time in that office with that  
[4] equipment that it was performed and, number  
[5] two, limited in scope?  
[6] **A:** Absolutely not. We do **this** all  
[7] the time. When a new person comes on  
[8] board, he does even a TEE. If it's the  
[9] first time he has done it, he doesn't,  
[10] like, shake the patient and say **this** is the  
[11] first time I have done that. That has  
[12] never happened.  
[13] **Q:** My question goes to don't you  
[14] think it's deceptive to perform an echo  
[15] under those circumstances and not tell the  
[16] patient?  
[17] **A:** Absolutely not.  
[18] **Q:** What if the patient thinks he has  
[19] a complete ultrasound of the heart when in  
[20] fact —  
[21] **A:** He doesn't know anything about  
[22] it.  
[23] **Q:** Okay.  
[24] **A:** He can't differentiate what is

Page 73

[1] complete and incomplete.  
[2] **Q:** It's your testimony that the  
[3] physician has no obligation to tell the  
[4] patient whether or not the ultrasound is  
[5] limited or complete?  
[6] **A:** It's irrelevant. He doesn't tell  
[7] him that. The ultrasound answered the  
[8] question for the doctor. Does **this** patient  
[9] have a pericardial effusion, the answer  
[10] comes back no.  
[11] **Q:** Assume for the moment that I give  
[12] you a phone call and I tell you that I'm  
[13] really concerned about my 27-year old son,  
[14] I'm concerned about the condition of his  
[15] heart, what can you reassure me about  
[16] before giving that answer? What  
[17] information would you want to have?  
[18] **MR. BANAS:** Talking about a  
[19] cardiologist?  
[20] **MR. MALIK:** To a cardiologist,  
[21] correct.  
[22] **MR. BANAS:** There is no  
[23] defendant cardiologist in this case.  
[24] **MR. MALIK:** I understand

Page 74

[1] **i** ' **c** i **r** > **i** c **there is** >  
[2] cardiologist in **this** matter.  
[3] **MR. BANAS:** If there is  
[4] one —  
[5] **THE WITNESS:** The person  
[6] asking me that question, I would ask  
[7] them to elaborate.  
[8] **BY MR. MALIK:**  
[9] **Q:** My son has a fever, my son has a  
[10] cough, my son has sinus tachycardia, he is  
[11] 27-years old; I call you because I'm really  
[12] worried about his heart. Before you give  
[13] me information — actually, I'm calling you  
[14] for reassurance. Before you give me any  
[15] information, what information would you  
[16] want to have?  
[17] **A:** Well, you indicated to me that  
[18] you were concerned about —  
[19] **MR. BANAS:** Let's talk about  
[20] the privilege. You are talking about  
[21] somebody calling. **Has** there been a  
[22] release of any information of the  
[23] privilege?  
[24] **MR. MALIK:** The privilege

Page 75

[1] has nothing to do with **this**.  
[2] **MR. BANAS:** Who is calling?  
[3] **MR. MALIK:** A patient's  
[4] mother or father.  
[5] **MR. BANAS:** This is an  
[6] adult.  
[7] **MR. MALIK:** The patient is  
[8] 27-years old. The person calling is a  
[9] parent, an adult. The privilege is  
[10] not an issue.  
[11] **BY MR. MALIK**  
[12] **Q:** What information would you want  
[13] to have before giving me an answer?  
[14] **A:** I would say to the person why are  
[15] you worried about the heart? Where did  
[16] that come from?  
[17] **Q:** I want an answer from you. What  
[18] can you tell me?  
[19] **A:** That would be my answer, "Why did  
[20] you say the heart? What is it about him  
[21] that you mentioned heart?"  
[22] **Q:** Let's add into the equation this  
[23] occurred on June 27, 1995. Would you **still**  
[24] give that same answer?

Page 76

[1] **A:** Yes.  
[2] **Q:** Dr. Ruiz has on his door at his  
[3] office the indication that he is involved  
[4] in internal medicine and cardiovascular  
[5] disease. Do you consider that  
[6] representation of cardiovascular disease to  
[7] indicate that he is a cardiologist?  
[8] **A:** Well, certainly, in his own mind  
[9] he is specializing or he is interested in  
[10] diseases of the heart and great vessels  
[11] which is the function of a cardiologist.  
[12] He may not be certified in it, but that  
[13] doesn't mean he can't be interested and  
[14] experienced.  
[15] **Q:** Okay. Are any of the symptoms of  
[16] endomyocardial fibrosis similar in nature  
[17] to infectious endocarditis?  
[18] **A:** Usually not.  
[19] **Q:** Can endomyocardial fibrosis  
[20] infectious endocarditis coexist in the  
[21] heart!  
[22] **A:** What, sir?  
[23] **Q:** Can they coexist in the heart?  
[24] **A:** As I indicated, you are dealing

Page 77

[1] with a rare lesion.  
[2] Q: What would be your answer, no?  
[3] A: The answer is not to my  
[4] knowledge.  
[5] Q: Okay. You could say that to a  
[6] reasonable degree of medical certainty,  
[7] correct?  
[8] A: That is correct.  
[9] Q: Can endomyocardial fibrosis or  
[10] infective endocarditis manifest themselves  
[11] in hearts other than a human heart?  
[12] A: I have not reviewed the nonhuman  
[13] literature.  
[14] Q: You are not aware whether dogs or  
[15] cats or rats —  
[16] A: No, that's correct.  
[17] Q: All right. Other than  
[18] antibiotics, is there any other treatment  
[19] for infective endocarditis?  
[20] A: Of course, surgery.  
[21] Q: What type of surgery?  
[22] A: Well, removal of the valve.  
[23] Q: Let's assume as in this case  
[24] David Gonda had infective endocarditis;

Page 78

[1] let's assume that based upon the autopsy  
[2] report there was no valvular involvement;  
[3] let's further assume that — let's delete  
[4] the last part of that.  
[5] Based upon the lack of  
[6] valvular involvement, is there any surgery  
[7] that could be performed to cure infective  
[8] endocarditis?  
[9] A: No, sir.  
[10] Q: So the surgery that we are  
[11] talking about is limited to the valve?  
[12] A: The valve.  
[13] Q: Talking about the mitral valve?  
[14] A: Mitral or aortic. Sometimes the  
[15] tricuspid or even remotely the pulmonary.  
[16] Q: Does an autopsy always show  
[17] floppy valves?  
[18] A: Does an autopsy always show  
[19] floppy valves?  
[20] Q: Let's assume a patient has a  
[21] floppy valve, would that always show up on  
[22] autopsy?  
[23] A: There is a counterpart of it.  
[24] It's what we call mixomatous degeneration,

Page 79

[1] and we would anticipate that kind of  
[2] finding. We would expect a kind of  
[3] redundancy of the valve. So, in answer to  
[4] your question, yes, I would anticipate some  
[5] finding on autopsy to confirm the diagnosis  
[6] of floppy valve.  
[7] Q: In your opinions today, are you  
[8] rendering those opinions as a specialist in  
[9] internal medicine?  
[10] A: I'm certified in internal  
[11] medicine. Whatever I do in cardiology, I  
[12] hope, is based on my expertise in internal  
[13] medicine.  
[14] Q: So then you are rendering those  
[15] opinions both as somebody board certified  
[16] in internal medicine and cardiology?  
[17] A: That's right. I'm very proud  
[18] that I don't separate the two.  
[19] Q: Okay. You have a board  
[20] certification in each?  
[21] A: That is correct.  
[22] Q: What was the diagnosis of  
[23] David Gonda on June 27, 1995?  
[24] A: You want me to — from my point

Page 80

[1] of view what I gathered from the Dr. Ruiz  
[2] deposition, he had acute respiratory  
[3] diseases and he was concerned about the  
[4] possibility of something affecting the  
[5] upper respiratory tract such as sinuses and  
[6] the like. And being at a limit as to what  
[7] he knew, because he went over the patient  
[8] thoroughly, he couldn't find any  
[9] abnormality, he referred the patient  
[10] elsewhere. That was the reasonable thing  
[11] to do.  
[12] Q: Is the EKG he took on that day,  
[13] June 27, abnormal?  
[14] A: Yes.  
[15] Q: Is the EKG from 1989 abnormal?  
[16] A: Well, I indicated I can't define  
[17] that with certainty. Whatever I saw, I  
[18] told you that there were certain  
[19] differences that I addressed.  
[20] Q: Are you able, from what you saw,  
[21] to classify that EKG as abnormal in 1989?  
[22] A: Well, it indicated that it showed  
[23] low atrial rhythm. I can't define anything  
[24] else with it with certainty.

Page 81

[1] Q: Is low atrial rhythm an  
[2] abnormality?  
[3] A: It was a variant of a wandering  
[4] pacemaker.  
[5] Q: I'm trying to get a definition.  
[6] MR. BANAS: Was it  
[7] abnormal?  
[8] THE WITNESS: From what —  
[9] the way I would report this, I can't  
[10] give you an opinion.  
[11] BY MR. MALIK:  
[12] Q: Because you can't read it?  
[13] A: I can't see it.  
[14] Q: Okay. Let's put yourself in  
[15] Dr. Ruiz's place on June 27.  
[16] A: Yes.  
[17] Q: In your differential diagnosis,  
[18] what factors are you considering?  
[19] A: Here, you have a 27-year old  
[20] fellow going to law school; he comes in  
[21] with fever. You put him on an antibiotic.  
[22] If you can't find any abnormality after  
[23] going over him, you say, well, let me keep  
[24] on looking. I will take a chest x-ray.

Page 82

[1] The chest x-ray is done and it's reported  
[2] as normal. That is the route we would go.  
[3] I would have included the  
[4] x-ray of the sinuses at that particular  
[5] time, but most of us would simply say we  
[6] will go ahead with the x-ray of the chest.  
[7] Then, secondly, he said, gee, I took an  
[8] electrocardiogram, there is low voltage  
[9] here. Fever goes along with pericarditis  
[10] sometimes. Maybe I'm missing something,  
[11] even though the heart seems normal, so he  
[12] does that and he finds no pericardial  
[13] effusion. He said let me see if I can get  
[14] some help.  
[15] Q: On June 27, are you rendering a  
[16] differential diagnosis?  
[17] A: Well, he did. He went through  
[18] whatever he had considered.  
[19] Q: What is included in the category  
[20] of differential diagnosis for this patient?  
[21] A: Again, the only thing I can tell  
[22] you, he wrote here "Good general health,  
[23] systolic clicks, straight upper back,  
[24] unexplained elevation of liver enzymes,



Page 83	Page 86
<p>[1] patient told about all of the above.</p> <p>[2] Advised to have repeat physical and</p> <p>[3] elevation."</p> <p>[4] I didn't see him. In some</p> <p>[5] of the deposition it was related that he</p> <p>[6] thought he had an acute respiratory</p> <p>[7] disease; and sinusitis was mentioned. I</p> <p>[8] don't see that here.</p> <p>[9] Q: Those two things would be in your</p> <p>[10] differential diagnoses?</p> <p>[11] A: I'm saying what Dr. Ruiz felt. I</p> <p>[12] don't disagree with that.</p> <p>[13] Q: Okay. In your opinion, to a</p> <p>[14] reasonable degree of medical certainty,</p> <p>[15] should anything else have been included in</p> <p>[16] that differential diagnosis at that time?</p> <p>[17] A: I'm sure that there were things</p> <p>[18] that were in his mind. I mean could this</p> <p>[19] be something else like — that's why he</p> <p>[20] went through this, Why in the world is he</p> <p>[21] having a fever and so forth?</p> <p>[22] I don't know how to address</p> <p>[23] this finding of systolic click. There was</p> <p>[24] something about his mother having it and</p>	<p>[1] don't actually know, so we play for two</p> <p>[2] things. One is the input of someone else</p> <p>[3] and time. The third thing is a response to</p> <p>[4] what you are doing.</p> <p>[5] Q: With respect to the input of</p> <p>[6] someone else, Dr. Ruiz referred Mr. Gonda</p> <p>[7] to a pulmonologist?</p> <p>[8] A: Yes.</p> <p>[9] Q: At the time he referred him to</p> <p>[10] the pulmonologist, or at the time Mr. Gonda</p> <p>[11] went to the pulmonologist, what information</p> <p>[12] would you expect the pulmonologist to have</p> <p>[13] from Dr. Ruiz?</p> <p>[14] A: Usually, nothing, except, listen,</p> <p>[15] I have a guy with a cough, I don't know</p> <p>[16] what the hell is going on.</p> <p>[17] Q: No medical records?</p> <p>[18] A: No.</p> <p>[19] Q: No EKGs?</p> <p>[20] A: No. They wouldn't know what to</p> <p>[21] do with it.</p> <p>[22] Q: Would you agree that as a</p> <p>[23] physician, it's critically important to</p> <p>[24] have as much accurate information as</p>
Page 84	Page 87
<p>[1] whether he concluded it because he could</p> <p>[2] never find anything, it was one of those</p> <p>[3] benign findings that had no meaning.</p> <p>[4] Later on, I searched</p> <p>[5] carefully if anybody else found it. The</p> <p>[6] answer was no. You keep on coming back and</p> <p>[7] you say, my gosh, I can't find anything in</p> <p>[8] this nice, healthy guy, I'm not sure about</p> <p>[9] him. Let me see if someone else finds</p> <p>[10] something. You don't have any specific</p> <p>[11] diagnosis.</p> <p>[12] Q: So at that time, are you telling</p> <p>[13] me other than the sinusitis and the</p> <p>[14] possible respiratory infection, there is no</p> <p>[15] other diagnosis that can be attributable to</p> <p>[16] these symptoms?</p> <p>[17] A: No. I think that Dr. Ruiz was</p> <p>[18] worried about things. We don't list them.</p> <p>[19] So, we go through them. That's why I said</p> <p>[20] that he is still worried about what's going</p> <p>[21] on with this guy. So as part of that</p> <p>[22] effort, he is not pigeonholed into any</p> <p>[23] category. It's an open book.</p> <p>[24] Q: Why don't you list them.</p>	<p>[1] possible in order to come up with an</p> <p>[2] accurate differential diagnosis?</p> <p>[3] A: Yes.</p> <p>[4] Q: Just so we are clear, can you</p> <p>[5] tell me your definition of differential</p> <p>[6] diagnosis?</p> <p>[7] A: You list the various</p> <p>[8] possibilities in your mind that one would</p> <p>[9] want to consider on the basis of a symptom</p> <p>[10] or constellation of symptoms.</p> <p>[11] Q: And the purpose of listing those</p> <p>[12] would be what?</p> <p>[13] A: Well, to make certain that you</p> <p>[14] are considering the various possibilities</p> <p>[15] of the cause of your patient's</p> <p>[16] symptomatology or abnormality.</p> <p>[17] Q: Turning your attention to your</p> <p>[18] report dated 11/20/96, on 7/13/95, who did</p> <p>[19] David Gonda present himself to for the</p> <p>[20] evaluation of a cough and fever?</p> <p>[21] A: Dr. Cropp.</p> <p>[22] Q: From the records, am I correct</p> <p>[23] you are unable to describe the type of</p> <p>[24] cough that he had?</p>
Page 85	Page 88
<p>[1] A: Pardon?</p> <p>[2] Q: Why don't you list them, the</p> <p>[3] other —</p> <p>[4] A: There are too many</p> <p>[5] possibilities. So, we go over the person</p> <p>[6] and we say where else do we go? At the</p> <p>[7] moment, sometimes you take the empiric</p> <p>[8] approach and give an antibiotic. Almost</p> <p>[9] always the fever disappears. That's the</p> <p>[10] end of your study.</p> <p>[11] Q: You say there's too many to</p> <p>[12] list. How many?</p> <p>[13] A: Well, I indicated there are many</p> <p>[14] causes for fever that one can list and</p> <p>[15] nausea.</p> <p>[16] Q: What number is "many"?</p> <p>[17] A: Ad nauseam.</p> <p>[18] Q: Ten?</p> <p>[19] A: It's kind of a textbook thing</p> <p>[20] that we all go through it. He could</p> <p>[21] have — if you want to say with abnormal</p> <p>[22] liver enzymes, does he have hepatitis,</p> <p>[23] virus? It would drive you crazy by listing</p> <p>[24] all the things that you could have. We</p>	<p>[1] A: I saw that. I indicated to you</p> <p>[2] where Dr. Ruiz said something that the</p> <p>[3] cough was productive of mucus and looked</p> <p>[4] like saliva and was more a clearing of the</p> <p>[5] throat than a harassing cough.</p> <p>[6] Q: That was on what date?</p> <p>[7] A: Pardon?</p> <p>[8] Q: What date was that?</p> <p>[9] A: Well, I know I saw that in</p> <p>[10] Dr. Cropp's report. Here is a report. "He</p> <p>[11] has a persistent cough and needs to clear</p> <p>[12] his throat all the time."</p> <p>[13] Q: The date of that?</p> <p>[14] A: The date of this 8/27/95.</p> <p>[15] MR. BANAS: June.</p> <p>[16] THE WITNESS: June, I'm</p> <p>[17] sorry.</p> <p>[18] BY MR. MALIK:</p> <p>[19] Q: You indicated in your report that</p> <p>[20] his chest x-ray was normal. What</p> <p>[21] structures on the x-ray were normal?</p> <p>[22] A: Well, I read that before. When</p> <p>[23] they report normal, they indicate the heart</p> <p>[24] and the lungs.</p>

<p style="text-align: right;">Page 89</p> <p>[1] Q: You didn't see the actual film, [2] did you? [3] A: No. [4] Q: When you say the heart, you are [5] talking the exterior of the heart? [6] A: About the cardiac silhouette. [7] Q: Talking about the size? [8] A: The size? [9] Q: Talking about the size? [10] A: Yes, normal. I thought you were [11] trying to differentiate do I see the heart [12] or — we see the cardiac silhouette which [13] means the period cardium also. [14] Q: You use the term "symptomatic [15] therapy." You said that was prescribed. [16] What did you mean by "symptomatic therapy [17] was prescribed? [18] A He was given something to ease [19] his cough and teslon pearls and the like. [20] Q: And the date of that? [21] A: That was in July when he saw [22] him. [23] Q: Who did Mr. Gonda — strike [24] that.</p>	<p style="text-align: right;">Page 92</p> <p>[1] pipes and not just on the outside. The CT [2] scan may give you information about other [3] parts, especially the mediastinum that you [4] can't decide with certainty what might be [5] present. [6] Q: And am I correct in understanding [7] that you find no fault with Dr. Ruiz in [8] ordering a limited ultrasound of the heart? [9] A: He didn't order a limited [10] ultrasound. He simply ordered does the [11] patient have a pericardial effusion? I [12] mean, it wasn't a studied-kind of response, [13] I'm going to ignore something else, I have [14] this fever and I have low voltage, I want [15] to make sure there is no pericardial [16] effusion, period. [17] Q: And you are telling me, it's [18] within the standard of care to just take a [19] look for pericardial effusion? [20] A: If that was his thing, nothing [21] wrong. He didn't find anything. [22] Getting back, there are [23] places on here where Dr. Ruiz says the [24] cardiac examination is normal. So, if he</p>
<p style="text-align: right;">Page 90</p> <p>[1] You indicated in your report [2] that consideration was given appropriately [3] to bronchoscope and CT scan. Do you see [4] that? [5] A: Yes. [6] Q: When was consideration given to [7] that? [8] A: At the end of July. [9] Q: At the end of July? [10] A: To make appointments to have it [11] done. [12] Q: In terms of timeliness of a CT [13] scan, when would you have expected the CT [14] scan to have been done then? [15] A: When he had the normal chest [16] x-ray, we certainly wouldn't do it. [17] Q: If somebody is going to be making [18] an appointment for a CT scan, as you [19] indicated in this case, what is the amount [20] of time you would expect that CT scan to be [21] done? A week? Two weeks? Three weeks? [22] Four weeks? [23] A: I didn't see any particular [24] urgency about that.</p>	<p style="text-align: right;">Page 93</p> <p>[1] were concerned about that systolic click, [2] apparently, he paid no more attention to [3] it. That's as much as I can gather. [4] Q: So as we sit here today, you are [5] telling me that it's okay for Dr. Ruiz to [6] have just taken a look for pericardial [7] effusion, correct? [8] MR. BANAS: Objection. He [9] said that three or four times. This [10] is the last time. Yes or no. [11] THE WITNESS: It was [12] appropriate. [13] BY MR. MALIK [14] Q: Did that indicate to you then [15] that he was not concerned with what was [16] going on in the inside of the heart? [17] A: No. That has nothing to do with [18] it. [19] Q: Had he been concerned with the [20] inside of the heart, you would have [21] expected him to have had a more complete [22] ultrasound? [23] A: I can't think for him. I'm sure [24] that he would have done that.</p>
<p style="text-align: right;">Page 91</p> <p>[1] Q: What about the bronchoscopy? [2] A: Well, again, I think he really [3] didn't believe that there was tumor or [4] Hodgkin's disease. He mentioned it and [5] said we ought to look for this. But, [6] again, as part of the differential [7] diagnosis, I don't have anything to hang on [8] to that that was really present. I said, [9] "Let's schedule him. Can it be done in [10] two weeks?" "Yes." That's the kind of [11] reasoning. Nothing wrong with that. [12] Q: We can agree that the [13] bronchoscope and CT scan were the idea of [14] Dr. Cropp? [15] A: Yes. [16] Q: Then, I guess, I'm unclear as to [17] why the two tests were appropriate then. [18] A: Dr. Cropp was bothered by the [19] fact that he continued to have a cough. [20] And sometimes you look at the chest x-ray [21] and even though everything looks normal, [22] there might be things that might be [23] uncovered by additional procedures. The [24] bronchoscope permits you to look inside the</p>	<p style="text-align: right;">Page 94</p> <p>[1] Q: What did you mean when you noted [2] in your report "manifestations of [3] endomyocardial fibrosis were bizarre and [4] not typical"? [5] A: I simply emphasized the fact [6] that, first, its presence by itself doesn't [7] do much of anything, but then when it [8] envelopes the valve apparatus and [9] interferes with filling of the heart, then [10] you get various manifestations and the [11] major one is heart failure. [12] Q: Did David Gonda have heart [13] failure? [14] A: No. [15] Q: So, if the major manifestation is [16] heart failure, what are you talking about [17] in that statement? [18] A: I said the manifestations of [19] endomyocardial fibrosis are bizarre simply [20] because it might occur in the presence of [21] an environment of a nutritional [22] deficiency. That has nothing at all to do [23] with the heart itself. Nobody knows that. [24] I have no idea.</p>

Page 95

[1] Q: You didn't say "are bizarre."  
[2] They are more bizarre in David Gonda than  
[3] other cases?  
[4] A: Bizarre only in the sense that  
[5] it's an enigma. You can't put your finger  
[6] on any symptom and say endomyocardial  
[7] fibrosis.  
[8] Q: Do you have an opinion, to a  
[9] reasonable degree of medical certainty,  
[10] whether or not the cough and the fever were  
[11] out of the ordinary for the disease of  
[12] endomyocardial fibrosis?  
[13] A: I do have an opinion.  
[14] Q: What is that?  
[15] A: The opinion is that that is the  
[16] last thing I would ever think of.  
[17] Q: You indicated you read  
[18] Dr. Weideman's deposition.  
[19] A: Yes.  
[20] Q: Were you aware at the time you  
[21] read it that Dr. Weideman did not consider  
[22] himself an expert in the area of  
[23] endomyocardial fibrosis?  
[24] A: Yes.

Page 98

[1] pericardial effusion; and since then, we  
[2] have moved away from that.  
[3] Q: Turning your attention to your  
[4] report dated April 15, 1998, who is the  
[5] report addressed to?  
[6] MR. BANAS: Steve Griffin,  
[7] who is an associate of mine.  
[8] BY MR. MALIK:  
[9] Q: Do you know Mr. Griffin  
[10] personally?  
[11] A: No, I do not.  
[12] Q: Has your conversation with him  
[13] been limited to the telephone?  
[14] A: I believe I got a telephone call  
[15] from him that he was taking over this case  
[16] from Gary; that's as much as I remember.  
[17] Q: Have you received any  
[18] correspondence from him regarding this  
[19] case?  
[20] A: No. I don't know if he is still  
[21] there.  
[22] MR. BANAS: He left and he  
[23] came back about a month ago.  
[24] BY MR. MALIK

Page 96

[1] Q: What was it in Dr. Weideman's  
[2] letter that you felt was important?  
[3] A: Well, he explained the fact that  
[4] he did have a rare condition and that there  
[5] was, even if recognized, there wouldn't  
[6] have been anything that they could have  
[7] done anyhow.  
[8] Q: Is that something you agree with,  
[9] even if recognized?  
[10] A: Yes.  
[11] Q: So it's your opinion, to a  
[12] reasonable degree of medical certainty,  
[13] that if endomyocardial fibrosis had been  
[14] recognized in an early stage, nothing could  
[15] have been done?  
[16] MR. BANAS: No more.  
[17] THE WITNESS: That's  
[18] correct.  
[19] MR. MALIK: Don't point your  
[20] finger at me.  
[21] MR. BANAS: I'm not. That  
[22] is the end of that question.  
[23] MR. MALIK: I will tell you,  
[24] treat me this way and I will treat you

Page 99

[1] Q: Am I correct in understanding  
[2] that you rendered an opinion that  
[3] David Gonda did not die from bacterial  
[4] endocarditis?  
[5] A: That is correct.  
[6] Q: And what are you relying on for  
[7] that opinion? What information?  
[8] A: My knowledge of the case and my  
[9] knowledge of medicine.  
[10] Q: Are you relying upon the  
[11] Cleveland Clinic autopsy?  
[12] A: Well, yes, in a way.  
[13] In other words, if the  
[14] Cleveland Clinic said is this unequivocal  
[15] endocarditis, if I saw other things that  
[16] were reported; for instance if I suspected  
[17] endocarditis and they reported a tumor,  
[18] certainly I would have to rely on their  
[19] diagnosis.  
[20] Q: But the main document you are  
[21] relying on is the autopsy report?  
[22] A: That is correct. But, also,  
[23] clinically my knowledge of what transpired.  
[24] Q: When you reviewed this case, did

Page 97

[1] the same way in your depositions.  
[2] BY MR. MALIK  
[3] Q: Doctor, when was the last time  
[4] you sent a patient in for an ultrasound for  
[5] just pericardial effusion?  
[6] A: We don't select that way.  
[7] Q: Why not?  
[8] A: There was a time when we did  
[9] ultrasound just for some specific purposes,  
[10] but our knowledge has increased greatly, so  
[11] actually we, when we say echocardiogram, I  
[12] suspect pericardial effusion or I suspect  
[13] valvular lesion so, please, give me the  
[14] entire study, but pay particular attention  
[15] to what I'm talking about.  
[16] Q: How long have you been doing  
[17] that, getting the entire study?  
[18] A: Many years.  
[19] Q: How many is "many"?  
[20] A: Well, certainly in 1995.  
[21] Q: How much time before that?  
[22] A: Well, I think it was just about  
[23] fifteen years ago when they were using  
[24] echocardiography primarily to determine

Page 100

[1] you go to the autopsy report before you  
[2] went to the other medical information?  
[3] A: Well, I knew only in the sense  
[4] that this was endomyocardial fibrosis and I  
[5] never thought that anybody would raise the  
[6] specter of bacterial endocarditis. It was  
[7] only after the pathology was reviewed by  
[8] another pathologist that that report was  
[9] given to me and endocarditis was  
[10] questioned.  
[11] Q: In terms of the process that you  
[12] used to review this case, was one of the  
[13] first documents you reviewed, would that be  
[14] the Cleveland Clinic autopsy report?  
[15] A: That is correct.  
[16] Q: Doctor, what is pulmonary  
[17] thromboembolism disease?  
[18] A: That refers to the fact that a  
[19] clot has embolized and has moved from  
[20] elsewhere in the body through the heart to  
[21] the lung.  
[22] Q: Are you going to render any  
[23] opinion as to the length of time that the  
[24] clots or masses existed in David Gonda's

<p>Page 101</p> <p>[1] heart?</p> <p>[2] A How long they were present?</p> <p>[3] Q: Yes.</p> <p>[4] A I have no basis; only to indicate</p> <p>[5] what is stated here several days before.</p> <p>[6] And, certainly, they were present long</p> <p>[7] before he died.</p> <p>[8] Q: And when you say "long before,"</p> <p>[9] what do you mean?</p> <p>[10] A: There is no way of knowing, in</p> <p>[11] other words, how long a clot was within the</p> <p>[12] heart. And I don't have any evidence they</p> <p>[13] are silent lesions unless they embolize.</p> <p>[14] Q: But you have an impression. Can</p> <p>[15] you give me a range?</p> <p>[16] MR. BANAS: If you can.</p> <p>[17] BY MR. MALIK:</p> <p>[18] Q: Tell me what you meant by "they</p> <p>[19] were there long before he died"?</p> <p>[20] MR. BANAS: You don't have</p> <p>[21] to guess.</p> <p>[22] THE WITNESS: There is no</p> <p>[23] way of determining that with certainty</p> <p>[24] because the clot could have been there</p>	<p>Page 104</p> <p>[1] Q: Can you tell me what the</p> <p>[2] significance of that is?</p> <p>[3] A: All I'm emphasizing is that the</p> <p>[4] bacterial or infective endocarditis means</p> <p>[5] that you have to have some demonstration of</p> <p>[6] valve involvement, and in the absence of</p> <p>[7] valve involvement the diagnosis of mural</p> <p>[8] endocarditis is a very tenuous one starting</p> <p>[9] first with the premise that it is a very</p> <p>[10] rare lesion.</p> <p>[11] Q: You are not saying mural</p> <p>[12] endocarditis can exist in the absence of</p> <p>[13] valvular involvement, are you?</p> <p>[14] A That is correct. And I say that</p> <p>[15] it can exist, but there are certain</p> <p>[16] extenuating circumstances that you better</p> <p>[17] define before you assume that there is</p> <p>[18] mural endocarditis.</p> <p>[19] Q: Referring to Dr. Lerman's report</p> <p>[20] which you address in your report, why is</p> <p>[21] Dr. Lerman's position that the</p> <p>[22] echocardiogram is consistent with</p> <p>[23] infectious bacterial endocarditis in error?</p> <p>[24] A: Well, I indicated that actually</p>
<p>Page 102</p> <p>[1] and then embolized. And how long that</p> <p>[2] clot was there is unclear. And the</p> <p>[3] only way you can do that is to have</p> <p>[4] some measurement from the beginning to</p> <p>[5] the end, and we almost always never</p> <p>[6] have that.</p> <p>[7] BY MR. MALIK</p> <p>[8] Q: So, is it your opinion, to a</p> <p>[9] reasonable degree of medical certainty,</p> <p>[10] that you cannot tell with certainty how</p> <p>[11] long the clot was there?</p> <p>[12] A: That is correct. And we depend</p> <p>[13] upon the pathologist to tell us more about</p> <p>[14] time. And in the absence of anything</p> <p>[15] clinically, that is, and from the</p> <p>[16] standpoint of the lung, you saw a negative</p> <p>[17] chest x-ray. So he did not have it then.</p> <p>[18] And then he has masses in the lung, so he</p> <p>[19] had it then and something happened in the</p> <p>[20] interim.</p> <p>[21] Q: But, clearly we can agree that if</p> <p>[22] it was there on June 27th and a 2D echo</p> <p>[23] would have been done, it would have been</p> <p>[24] found?</p>	<p>Page 105</p> <p>[1] when we diagnose endocarditis, we want</p> <p>[2] evidence of valve involvement, so that puts</p> <p>[3] up a red flag right away that your</p> <p>[4] diagnosis is in error. And if you diagnose</p> <p>[5] endocarditis and don't see any valve</p> <p>[6] involvement —</p> <p>[7] Q: Do you know the date of the</p> <p>[8] echocardiogram you are referring to?</p> <p>[9] A: I think it was shortly before he</p> <p>[10] died.</p> <p>[11] Q: You indicated in your report that</p> <p>[12] the electrocardiograph comparative tracing</p> <p>[13] suggests possible right ventricular right</p> <p>[14] overload when compared to the prior</p> <p>[15] tracing. And my question to you is: Did</p> <p>[16] you have a clear strip at the time you</p> <p>[17] wrote that?</p> <p>[18] A: Where are you quoting from? I</p> <p>[19] want to see that.</p> <p>[20] Q: If I could see your report, I</p> <p>[21] will tell you.</p> <p>[22] MR. BANAS: He already</p> <p>[23] talked about that.</p> <p>[24] MR. MALIK: Did I point to</p>
<p>Page 103</p> <p>[1] A Correct.</p> <p>[2] Q: Do you have the statement in that</p> <p>[3] report, "Endocarditis unlikely in the</p> <p>[4] absence of ." Is that your report?</p> <p>[5] A: Pardon?</p> <p>[6] Q: Is that in your report? Do you</p> <p>[7] see that sentence, "Endocarditis</p> <p>[8] unlikely in the absence of .?"</p> <p>[9] MR. BANAS: Where should we</p> <p>[10] look?</p> <p>[11] MR. MALIK: I have to look</p> <p>[12] for it myself.</p> <p>[13] THE WITNESS: Here it is.</p> <p>[14] "In the absence of verrucae and in</p> <p>[15] the presence of unaffected cardiac</p> <p>[16] valves."</p> <p>[17] BY MR. MALIK:</p> <p>[18] Q: What are verrucae?</p> <p>[19] A: Simply wart-like excrescence from</p> <p>[20] the valve.</p> <p>[21] Q: You also indicate in your report</p> <p>[22] that Dr. Hoffman makes no mention of the</p> <p>[23] fact that mural endocarditis is a —</p> <p>[24] A: That's a rare bird.</p>	<p>Page 106</p> <p>[1] the wrong one?</p> <p>[2] MR. BANAS: Yes. I don't see</p> <p>[3] that.</p> <p>[4] BY MR. MALIK:</p> <p>[5] Q: May I see that, please. I will</p> <p>[6] withdraw that.</p> <p>[7] Which of the following</p> <p>[8] symptoms, Doctor, can be caused by a</p> <p>[9] cardiac problem? (1) sinus tachycardia;</p> <p>[10] and (2) fever of unknown origin; (3)</p> <p>[11] persistent cough; and (4) shortness of</p> <p>[12] breath?</p> <p>[13] A: All of them.</p> <p>[14] Q: Why does Dr. Lerman's comments on</p> <p>[15] the electrocardiogram, "Disregard the</p> <p>[16] suggested diagnosis given by the</p> <p>[17] echocardiographer or the radiologist of the</p> <p>[18] CT scan or possible metastatic tumor" —</p> <p>[19] A: I don't understand.</p> <p>[20] Q: His comments, do you see that?</p> <p>[21] A: His comments ignores the efforts</p> <p>[22] made by the physician to establish a</p> <p>[23] diagnosis in the fact that there were no</p> <p>[24] valvular lesions.</p>

Page 107

[1] Q: And right here, his comments on  
[2] the electrocardiogram, "Discard the  
[3] suggested diagnosis given by the  
[4] echocardiogram," do you see where I'm  
[5] talking about?

[6] MR. BANAS: Diagnosis  
[7] suggested, is that what you are  
[8] talking about?

[9] THE WITNESS: His comments  
[10] on the electrocardiogram, "Disregard  
[11] the suggested diagnosis given by the  
[12] echocardiographer as above or the  
[13] radiologist of possible metastatic  
[14] tumor or Wagner's lymphoma."  
[15]

BY MR. MALIK:

[16] Q: Why do you believe that?

[17] A: I have to see Dr. Lerman's  
[18] comment on the electrocardiogram.

[19] MR. BLOMSTROM: His comments  
[20] on the electrocardiogram —

[21] THE WITNESS: He made a  
[22] comment.

[23] MR. BANAS: Give me a moment  
[24] and I will find it.

Page 108

[1] MR. BANAS: The problem is  
[2] there were four reports and we only  
[3] have one or two. I'm not sure what  
[4] you were sent. There's one, but I'm  
[5] not sure that's the right one. That  
[6] may have been one I got after the  
[7] deposition.

[8] THE WITNESS: Do you have  
[9] it? Do you have his report?

[10] MR. MALIK: No, I don't.

[11] MR. BANAS: We don't have  
[12] it.

BY MR. MALIK

[13] Q: You can't answer that question?

[14] A: I was addressing something there  
[15] and I didn't repeat what he said.

[16] Q: Did Dr. Ruiz at anytime fall  
[17] below the standard of care by not calling a  
[18] consultation with a cardiologist?

[19] A: No, sir.

[20] Q: Why not?

[21] A: Well, he did what he could and he  
[22] found no — he went over the patient  
[23] clinically and he found no evidence of any  
[24]

Page 109

[1] cardiac abnormality.  
[2] I addressed myself  
[3] previously to the systolic click which,  
[4] apparently, he dismissed and he felt that  
[5] it was an infectious process that he was  
[6] dealing with and that the heart was not  
[7] involved.

[8] Q: What about the abnormal EKG, that  
[9] wouldn't be grounds to call in a  
[10] cardiologist?

[11] A: No. I think it would be  
[12] reasonable if he has a sign that he is  
[13] announcing to the world that he is an  
[14] expert in cardiac diseases and he went over  
[15] the patient and recognized the fact that  
[16] the electrocardiogram was abnormal and he  
[17] was concerned and he put it together and he  
[18] thought it was pericardial effusion, I  
[19] can't fault him at all.

[20] Q: So, is it a fair statement to say  
[21] that a cardiologist would not have  
[22] contributed anything else?

[23] A: It's not fair; and, certainly, in  
[24] retrospect, you say why didn't you call,

Page 110

[1] why did you go to Dr. Cropp, why didn't you  
[2] go to an infectious disease doctor because  
[3] it turned out to be bizarre?

[4] Q: So, you believe that sending  
[5] David Gonda to a pulmonologist was the  
[6] proper consultation?

[7] A: Yes.

[8] Q: What about Dr. Cropp, do you  
[9] fault Dr. Cropp in any way for not sending  
[10] David to a cardiologist or infectious  
[11] disease doctor?

[12] A: Well, he better not. And I mean  
[13] whatever he finds, he better report to  
[14] Dr. Ruiz. It's up to Dr. Ruiz. There's  
[15] some specialists that do that, but they  
[16] don't see a patient referred by the other  
[17] doctor anymore.

[18] Q: So, the doctor who is already  
[19] consulting has the obligation to go back to  
[20] the primary care doctor, in this case,  
[21] Dr. Ruiz?

[22] A: Certainly; and you must be very  
[23] careful. First, you list him as a primary  
[24] and then you indicate to me that he has a

Page 110

Page 111

[1] sign saying that he is knowledgeable in  
[2] cardiac diseases.

[3] Q: He does.

[4] A: So I mean you can't — can you  
[5] imagine Dr. Cropp announcing to the world  
[6] saying I'm going to send him to a  
[7] cardiologist?

[8] Q: Sending him back to Dr. Ruiz was  
[9] the proper move then, right?

[10] A: Right.

[11] Q: Does anybody have any way of  
[12] knowing the length of time it would take to  
[13] develop an intracavity mass, for example,  
[14] including sarcoma, thrombus, lymphoma or  
[15] any of the structural changes caused by  
[16] Wagner's granuloma?

[17] A: We have no method. We know the  
[18] end. We don't know the beginning.

[19] Q: What about in a postmortem way?

[20] A: No.

[21] Q: What about bacterial  
[22] endocarditis?

[23] A: If it were present, you have no  
[24] clear-cut evidence when it began. And

Page 112

[1] because you are dealing with a destroyed  
[2] valve to begin with, you don't know when it  
[3] became infected.

[4] Q: Well, let's assume it is the  
[5] endocarditis in this case where there is  
[6] no, where there appears to be no valvular  
[7] involvement.

[8] A: The interesting thing is, even  
[9] the pathologist who claims it to be  
[10] endocarditis recognized endocardial  
[11] myocardial fibrosis.

[12] Q: The two entities can get  
[13] together?

[14] A: Well, according to him it could  
[15] have existed.

[16] Q: Are you able to tell me the  
[17] stages of the development of the mass that  
[18] was found on autopsy?

[19] A: No, sir.

[20] Q: Do you have, or are you going to  
[21] render any opinion whether or not there was  
[22] inflammatory tissue in the heart on  
[23] June 27, 1995?

[24] A: No. All I can tell is what the

Page 113

[1] autopsy was.  
[2] Q: Was bacterial endocarditis ever  
[3] ruled out in this case?  
[4] A Yes.  
[5] Q: When was that?  
[6] A: Well, from the standpoint that  
[7] clinically there was no evidence of any  
[8] valvular lesion. And, I think, even when  
[9] he went to this fountainhead of knowledge,  
[10] the Cleveland Clinic, consideration was not  
[11] given to endocarditis and there was  
[12] angiosarcoma.  
[13] Q: As we sit here today, it's  
[14] absolutely your belief that bacterial  
[15] endocarditis was ruled out in this case; is  
[16] that correct?  
[17] A: That is correct.  
[18] Q: In taking the patient off  
[19] antibiotics prior to blood cultures, does  
[20] the patient have to be hospitalized or  
[21] should the patient be hospitalized for  
[22] that?  
[23] A: No. The only thing that you  
[24] would consider hospitalization for is if he

Page 114

[1] were so seriously ill and the second thing  
[2] is to document his fever. Patients tell  
[3] you they have fever, but it may not be  
[4] present.  
[5] Q: For an internal medicine  
[6] physician such as Dr. Ruiz who claims extra  
[7] knowledge in cardiovascular disease, can  
[8] you tell me what diagnostic tests are  
[9] available to him to check the human heart  
[10] for abnormalities?  
[11] A The echocardiogram.  
[12] MR. BANAS: Anything else?  
[13] THE WITNESS: Well, he did  
[14] the chest x-ray. And, of course, it  
[15] starts with the clinical examination.  
[16] BY MR. MALIK  
[17] Q: But the most important thing  
[18] would be?  
[19] A: What was left out was the  
[20] echocardiogram.  
[21] MR. MALIK I have nothing  
[22] further.  
[23] BY MR. BLOMSTROM:  
[24] Q: Doctor, do you have any opinions

Page 115

[1] critically of my client, Dr. Hafiz, the  
[2] radiologist?  
[3] A: No, sir.  
[4] BY MR. TRAVERS:  
[5] Q: I have a few questions, primarily  
[6] just things that came up during your  
[7] testimony, Doctor, that I want to make sure  
[8] that I understand correctly.  
[9] Can a toothache be  
[10] consistent with endocarditis? Hemorrhoids,  
[11] maybe?  
[12] A: I was trying to answer you  
[13] appropriately. We always have that sort of  
[14] problem. I can give you the analogy. You  
[15] mentioned hemorrhoids and you have cough  
[16] and so you have A & B. And are they both  
[17] related to C, namely lung cancer? Yes.  
[18] And because the guy coughed  
[19] and he developed a hemorrhoid — he really  
[20] coughed because he had cancer of the lung.  
[21] And that's how they are related. And the  
[22] same thing, a guy could have a toothache,  
[23] an infected tooth. Without adequate  
[24] prophylaxis, that may predispose the guy to

Page 116

[1] endocarditis. In that sense, yes, the  
[2] toothache is related. And if you have that  
[3] kind of history, yes.  
[4] Q: I was trying to be flip, I guess,  
[5] and I shouldn't be.  
[6] And there is no evidence of  
[7] toothache or hemorrhoids in this patient.  
[8] What I'm trying to determine is when  
[9] Mr. Malik asked you are these symptoms  
[10] consistent with endocarditis, practically  
[11] anything in the world is consistent with  
[12] endocarditis, isn't it?  
[13] A: That's what I wanted to convey.  
[14] MR. MALIK Objection.  
[15] BY MR. TRAVERS:  
[16] Q: Can you identify any clinical  
[17] symptom or sign that, because of its  
[18] existence, you would be able to say,  
[19] therefore, the patient does not have  
[20] endocarditis?  
[21] A: That is incorrect.  
[22] MR. MALIK: Objection.  
[23] A: The diagnosis depends upon a  
[24] constellation of symptoms and signs and,

Page 117

[1] so, you can't just isolate something,  
[2] fever, endocarditis.  
[3] Q: I'm talking about to rule out the  
[4] existence of endocarditis.  
[5] A: Nothing rules it out.  
[6] Q: Every medical symptom in the  
[7] world is consistent with the existence of  
[8] endocarditis?  
[9] A That is correct.  
[10] MR. MALIK Objection.  
[11] BY MR. TRAVERS:  
[12] Q: The only other area of follow-up  
[13] I would like to pursue concerns the cardiac  
[14] study that was ordered by Dr. Ruiz to rule  
[15] out pericardial effusion.  
[16] First of all, do I  
[17] understand you correctly to say that the  
[18] abnormalities evidenced on the EKG are  
[19] suggestive of the possibility of  
[20] pericardial effusion?  
[21] A: Yes.  
[22] Q: So, was an appropriate request of  
[23] Dr. Ruiz to order a study addressing the  
[24] issue of whether pericardial effusion

Page 118

[1] existed?  
[2] A: Yes.  
[3] Q: Is there anything on the EKG  
[4] suggestive of the existence of a cardiac  
[5] lesion in his ventricle?  
[6] A: The interesting thing is the only  
[7] thing characteristic of endocarditis from  
[8] the standpoint of the — is a conduction  
[9] abnormality which is not present here from  
[10] the electrocardiogram.  
[11] Q: I had anticipated Mr. Malik  
[12] asking you about blood cultures, and I  
[13] don't remember that part of the exam. Are  
[14] you critical of Dr. Ruiz concerning the  
[15] decision to imperically treat the patient  
[16] rather than immediately order blood  
[17] cultures?  
[18] A: No, that is standard practice.  
[19] MR. TRAVERS: Those are all  
[20] my questions, Doctor. Thank you.  
[21] MR. BANAS: Mail the  
[22] transcript to him  
[23] (Deposition concluded, 4:07  
[24] p.m.)

Page 119

**CERTIFICATION**

[1]  
[2]  
[3]  
[4]  
[5] I hereby certify that the testimony  
[6] and the proceedings in the foregoing  
[7] matter are contained fully and accurately  
[8] in the stenographic notes taken by me, and  
[9] that the copy is a true and correct  
[10] transcript of the same

[11]  
[12]  
[13] MICKEY DINTER  
Registered Professional Reporter

[14]  
[15]  
[16] The foregoing certification does not  
[17] apply to any reproduction of the same by  
[18] any means unless under the direct control  
[19] and/or supervision of the certifying  
[20] shorthand reporter.

**SIGNATURE PAGE**

Page 120

[1]  
[2]  
[3]  
[4] I hereby acknowledge that I have read  
[5] the foregoing transcript, and the same is a  
[6] true and correct transcription of the  
[7] answers given by me to the questions  
[8] propounded, except for the changes, if any,  
[9] noted on the errata sheet.

[10]  
[11]  
[12] SIGNATURE:  
[13] DATE:  
[14]  
[15]  
[16]  
[17]  
[18]  
[19]  
[20]  
[21]  
[22]  
[23]

**ERRATA SHEET**

Page 121

[1]  
[2]  
[3]  
[4] PAGE LINE CORRECTION  
[5]  
[6]  
[7]  
[8]  
[9]  
[10]  
[11]  
[12]  
[13]  
[14]  
[15]  
[16]  
[17]  
[18]  
[19]  
[20]  
[21]  
[22]  
[23]  
[24]

## Lawyer's Notes

---