

1                    IN THE COURT OF COMMON PLEAS

2                    CUYAHOGA COUNTY, OHIO

3            DIANE M. CARRICK,  
4            EXECUTRIX, etc.,

5                    Plaintiff,

6                    - vs -

7                    JUDGE J. KILCOYNE  
8                    CASE NO. 185330

9            THE CLEVELAND CLINIC  
10           FOUNDATION, et al.,

11                   Defendants.

12                   - - - -

13            Deposition of NAZIH M. ZEIN, M.D., taken as if  
14            upon cross-examination before Aneta I. Fine, a  
15            Registered Professional Reporter and Notary  
16            Public within and for the State of Ohio, at the  
17            offices of Nazih M. Zein, M.D., 14601 Detroit  
18            Avenue, Suite 590, Lakewood, Ohio, at 4:00 p.m.  
19            on Friday, March 15, 1991, pursuant to notice  
20            and/or stipulations of counsel, on behalf of the  
21            Plaintiff in this cause.

22                   - - - -

23                   MEHLER & HAGESTROM  
24                   Court Reporters  
25                   1750 Midland Building  
                 Cleveland, Ohio 44115  
                 216.621.4984  
                 FAX 621.0050  
                 800.822.0650

APPEARANCES:

Christopher M. Mellino, Esq.  
Charles I. Kampinski Co., L.P.A.  
1530 Standard Building  
Cleveland, Ohio 44113  
(216) 781-4110,

On behalf of the Plaintiff;

George Gore, Esq.  
Arter & Hadden  
1100 Huntington Building  
Cleveland, Ohio 44115  
(216) 696-1100,

On behalf of the Defendant  
The Cleveland Clinic Foundation;

Douglas K. Fifner, Esq.  
Reminger & Reminger  
Seventh Floor - 113 St. Clair Building  
Cleveland, Ohio 44114  
(216) 687-1311,

On behalf of the Defendants  
Robert P. Riley, M.D. and Nazih M. Zein,  
M.D.;

Deirdre Henry, Esq.  
Weston, Hurd, Fallon, Paisley & Howley  
2500 Terminal Tower  
Cleveland, Ohio 44113  
(216) 241-6602,

On behalf of the Defendant  
Lakewood Hospital.

1                    NAZIH M. ZEIN, M.D., of lawful age,  
2                    called by the Plaintiff for the purpose of  
3                    cross-examination, as provided by the Rules of  
4                    Civil Procedure, being by me first duly sworn,  
5                    as hereinafter certified, deposed and said as  
6                    follows:

7                    CROSS-EXAMINATION OF NAZIH M. ZEIN, M.D.

8                    BY MR. MELLINO:

9                    Q.    Would you state your full name, please?

10                  A.    Nazih Mohammad Bahaeddin Zein.

11                  Q.    And where do you live, Dr. Zein?

12                  A.    17715 Edgewater Drive, Lakewood, Ohio.

13                  Q.    Okay.    And what is the business address of where  
14                  we are now?

15                  A.    14601 Detroit.

16                  Q.    Okay.    And that's in Lakewood also?

17                  A.    Lakewood.

18                  Q.    And you just handed me your CV which you said  
19                  you would send me a copy of it after the  
20                  deposition.    I have your only copy in my hand  
21                  and I'm just going to ask you questions off it.  
22                  If you need to see it to answer one of the  
23                  questions just let me know.    We'll try and both  
24                  look at it at the same time.

25                            You were born in Syria?

1 A. Yes.

2 Q. And you went to?

3 A. College de Terre Sainte. This is like high  
4 school.

5 Q. Okay. And where is that?

6 A. Lattakia, Syria.

7 Q. And I'm sorry, you said that was like high  
8 school?

9 A. Yes. Yes.

10 Q. And then the next school, you graduated from  
11 there in 1966?

12 A. Damascus University College of Science.

13 Q. And that's like college?

14 A. Well, **it** is pre-med.

15 Q. Okay. How many years is that?

16 A. One year.

17 Q. Okay. How many years was the, what was **it**, **it**  
18 says college in your CV.

19 MR. GORE: You're chicken. Come  
20 on, pronounce **it**.

21 A. This is -- I went I guess about ten years to  
22 that college. It is called college but it's  
23 high school.

24 Q. Pronounce this for me again.

25 A. College de Terre Sainte.

2 | A. About ten years, yes.

4 | A. Yes.

8 | A. Yes.

11 | A. College of Science.

14 | A. Six and a half years, yes.

16 | A. Yes.

19 | A. Yes.

21 | A. July '73.

24 A. Yes.

25 Q. Okay, Did you have to take some sort of

1 examination or -- well, did you have to take  
2 some sort of examination to practice medicine in  
3 this country?

4 A. Yes.

5 Q. Okay. Where did you take that?

6 A. In Columbus.

7 Q. Ohio?

8 A. Yes.

9 Q. Okay. When did you take that?

10 A. It is here, 1977.

11 Q. Okay. That's?

12 A. February '77.

13 Q. State licensure?

14 A. Yes.

15 Q. That's just to get your license to practice  
16 medicine in the State of Ohio?

17 A. Yes.

18 Q. What is the --

19 A. This is ECFMG examination. I took it in  
20 American University in Beirut to be able to  
21 apply to hospitals here in this country.

22 Q. Okay. In 1972?

23 A. Yes.

24 Q. That was the only time you took it?

25 A. Yes.

1 Q. Okay. All right. And in passing the ECFMG  
2 enable you to come to this country and to do a  
3 rotating internship at Lutheran Medical Center?

4 A. Yes.

5 Q. And that was just a general internship?

6 A. Well, the first year it is called rotating but I  
7 did a straight medicine. They did not have a  
8 program called the straight medicine but they  
9 called it major medicine so I rotated 12 months  
10 in medicine.

11 Q. Okay. When you say medicine, you mean --

12 A. Internal medicine.

13 Q. Okay.

14 A. Yes.

15 Q. And then you did a three-year residency at  
16 Lutheran Medical Center?

17 A. Yes.

18 Q. Okay. So you did four years total of medicine  
19 at Lutheran --

20 A. Yes.

21 Q. -- Medical. All right. I guess I didn't  
22 understand what you were telling me about your  
23 internship. Did you ever rotate in those four  
24 years through other departments?

25 A. Like what?

1 Q. Well --

2 A. Surgery?

3 Q. Right.

4 A. No.

5 Q. Okay. Just straight medicine all four years?

6 A. Yes.

7 Q. Okay. And then what did you do, what further  
8 training did you undergo?

9 A. Two years in rheumatology at the Cleveland  
10 Metropolitan General Hospital.

11 Q. Okay. Your three year internship -- I guess we  
12 didn't cover this.

13 A. One year internship.

14 Q. Three years residency was combined with  
15 Cleveland Metropolitan General Hospital?

16 A. Yes.

17 Q. Okay. I didn't go over this when we started,  
18 but you have to wait till I finish asking the  
19 question before you answer it otherwise the  
20 record's not going to be clear, okay? Your  
21 answer is going to be interposed in the middle  
22 of my question and it might not be clear what I  
23 asked and what you answered.

24 A. Okay.

25 Q. Also if you don't understand any question that I



1           might ask you during the course of this  
2           deposition, just ask me to repeat it and  
3           rephrase it and I will be happy to do so, okay,  
4           and then any question that I ask you you have to  
5           answer verbally, okay?

6   A.   Okay.   I didn't realize that I interrupted you.  
7           I'm sorry.

8   Q.   That's all right.   Okay.   Then you did a two  
9           year fellowship at Cleveland Metropolitan  
10          General Hospital in rheumatology?

11  A.   Yes.

12  Q.   Okay.   All right.   Before we went on the record,  
13          you told me that you no longer have privileges  
14          at Lutheran Medical Center?

15  A.   That's correct.

16  Q.   Okay.   And you have associate privileges at  
17          Fairview General Hospital?

18  A.   I reduced it to courtesy privilege.

19  Q.   Okay.   What are courtesy privileges?

20  A.   That is category below associate.

21  Q.   What does it allow you to do?

22  A.   Allows me to admit patients and see  
23          consultations but I don't have to go to meetings  
24          and so on unless I want to.

25  Q.   Okay.   Do you currently admit patients at

1 Fairview Hospital?

2 A. I do, rarely.

3 Q. And you have active privileges at Lakewood

4 Hospital?

5 A. Yes.

6 Q. And you are the chief of rheumatology there?

7 A. Yes.

8 Q. How many rheumatologists are on the staff of  
9 Lakewood Hospital?

10 A. There's currently another one.

11 Q. Okay. What is his or her name?

12 A. Carlos Vevallos.

13 Q. Could you spell his last name?

14 A. V E V A L L O S.

15 Q. Okay. And do you have any other publications  
16 besides the two that are listed on your CV?

17 A. No.

18 Q. Okay. What is the specialty of rheumatology?

19 A. Rheumatology is the specialty which deals with  
20 problems related to arthritis and to connective  
21 tissue diseases.

22 Q. And what are connective tissues?

23 A. Connective tissue is diseases also related to  
24 the joints and to certain other areas like  
25 muscle and certain skin diseases. Connective

1 tissues are tissues which are under the skin and  
2 in the joints, in the muscle. It is kind of  
3 really a vague term but it applies to certain  
4 kind of diseases we're dealing with.

5 Q. What I'd like to do is go over the consult note  
6 that you wrote in the Lakewood Hospital chart  
7 that's dated March 20th of 1989. I said March  
8 20th. It was March 21st. Do you have that in  
9 front of you?

10 A. Yes.

11 Q. Okay. Is this the first time that you saw  
12 Mr. Carrick?

13 A. Yes.

14 Q. Okay. I had a hard time reading your writing so  
15 what I'd like you to do is just read the note  
16 for me.

17 A. Okay.

18 MR. FIFNER: Wait. Before you  
19 start. Do you want him to just read it through  
20 or sentence by sentence so you can ask him  
21 questions as he goes through?

22 MR. MELLINO: Well, I want him to  
23 start reading through it but I am not going to  
24 necessarily ask a question but I might stop him  
25 and ask a question.

1 MR. FIFNER: I understand.

2 A. 40-year-old white male at age 25 had acute  
3 attack of arthritis in one of his ankles. Was  
4 found to have elevated uric acid. Recurrent  
5 attacks since then, treated with Indocin and  
6 prophylactic Colchicine one a day. Joints  
7 involved, knees, ankles and wrists. In between  
8 attacks stiffness and achiness, mostly in legs.

9 A month ago, right shoulder pain, then left  
10 then right knee. No response to Indocin nor to  
11 high dose of prednisone. Progressive renal  
12 failure with one partially functioning or  
13 nonfunctioning kidney. Parentheses, (creatinine  
14 stable, around 5). Hypertensive. No kidney  
15 stones.

16 Admission workup March 20th, '89.  
17 Hemoglobin, 9.7. BUN, 174. Creatinine, 8.5.  
18 Alkaline phosphatase, 437. CPK, 1,612. Uric  
19 acid, 11.9. Urine, one plus protein. No  
20 history of recent fall. No history of  
21 urethritis, conjunctivitis, colitis.

22 Q. Let's just stop since we have come to the end of  
23 that page. How did you come to see Mr.  
24 Carrick? How was it that you saw him?

25 A. Dr. Riley asked me to see him.

1 Q. Was it verbal, his request that you see him?

2 A. I don't recall if he called himself or he wrote  
3 order in the chart and the nurse called me, I  
4 really don't recall.

5 Q. Did you talk to him at all before the consult?

6 A. I don't recall exactly if I did but I have the  
7 feeling that he did tell me that creatinine was  
8 stable around 5. I believe this is information  
9 from Riley.

10 Q. From Riley?

11 A. I am not really sure if it was from the chart or  
12 from him.

13 Q. Okay.

14 A. Just there might have been contact but I can't  
15 swear on it.

16 Q. All right. Keeping that in mind, that you're  
17 not sure about that particular thing, was it  
18 fair to say that other than that you didn't have  
19 any other information about the patient before  
20 you saw him?

21 A. These are the only information I had about the  
22 patient.

23 Q. When did you write this note?

24 A. The day I saw the patient.

25 Q. Okay. So my question was before you saw him,

1 did you have any other information about him?

2 A. No, unless Dr. Riley talked to me in person and  
3 gave me part of the story, that is, I mean it  
4 happened within that day, if I had any other  
5 information.

6 Q. Riley would have talked to you on the 21st?

7 A. He could have called me and told me that I want  
8 you to see this patient because I think he has  
9 gout, and I mean, this I can't tell you now with  
10 any certainty, but I did not know anything about  
11 this patient before that like a day before, two  
12 days before, three days before this.

13 Q. Okay. Just so we're clear, if you talked to  
14 Riley, it would have been on the 21st?

15 A. 21st or maybe the day before, could be, but I  
16 cannot swear on it.

17 Q. And you don't remember any specific conversation  
18 that you had with Riley?

19 A. No, I really don't.

20 Q. Okay. And as far as the information that's  
21 contained in at least the first page of your  
22 note that would have come from the chart, from  
23 Riley, anyplace else?

24 A. The patient.

25 Q. Okay. And as you sit here today, you can't tell

1 me, you know, what information came from what  
2 source?

3 A. At this point I can't tell you.

4 Q. Okay. Was Mr. Carrick on Indocin when you saw  
5 him?

6 A. I don't recall really.

7 Q. Okay. Because your note says no response to  
8 Indocin. But you don't know if he was on  
9 Indocin or not?

10 A. At that particular time, no, I am not sure.

11 Q. Okay. All right. Could you read the second  
12 page for me?

13 A. Objective, tenderness shoulders, right knee,  
14 right ankle. Subcutaneous nodules, left elbow.  
15 No definite joint effusion. **No** definite  
16 proximal weakness.

17 Impression, number one, the history is very  
18 suggestive of gout. The subcutaneous nodules,  
19 left elbow are probably tophi. Number two, the  
20 current joint pain, mainly shoulders, is  
21 probably unrelated to gout. It may represent a  
22 form of arthritis and periarthritis described in  
23 patients with a chronic renal failure. Number  
24 three, elevated CPK. Rule out myopathy.

25 Plan, x-ray shoulders, left elbow,

1 question, calcifications. Number two, follow-up  
2 on muscle enzymes and alkaline phosphatase.  
3 Number three, will consider local injections.  
4 Thank you. Will follow.

5 Q. Okay. Under your impression the first  
6 paragraph, the history is very suggestive of  
7 gout, subcutaneous nodules, left elbow are  
8 probably, what is that word?

9 A. Tophi. T O P H I. Tophi.

10 Q. Will you spell that?

11 A. T O P H I ,

12 Q. What does that mean?

13 A. Accumulation of uric acid in the subcutaneous  
14 tissues to form nodules.

15 Q. Why did Dr. Riley ask you to see the patient?

16 A. It was listed here, these are for consultation,  
17 severe gout.

18 Q. Do you know how long the patient had had gout?

19 A. He was -- he had first attack at age 25 which  
20 sounded like gout so I presumed he has, he had  
21 had it for 15 years.

22 Q. And to your knowledge had Dr. Riley been  
23 treating him for the gout for that period of  
24 time?

25 A. I don't have access to all his records, or to



1       any of his previous records so I am not sure for  
2       how long he has been treating him. I know that  
3       he has been treating him for a period or he had  
4       been treating him for a period of time but I'm  
5       not sure if it was 15 years or not.

6   Q.   Okay. And I take it since he had asked you to  
7       do a consult that gout is something that a  
8       rheumatologist would treat?

9   A.   Yes.

10  Q.   Okay. Is that something that a nephrologist  
11       treats also?

12  A.   Yes.

13  Q.   What is the treatment for gout?

14  A.   It depends on the circumstances.

15  Q.   Well, what are the different circumstances?

16  A.   Well, in certain situations we only treat the  
17       acute attack and we wait if patient will develop  
18       another attack or not so the treatment could be  
19       limited to only few days. In another  
20       circumstances we decide on a prophylactic  
21       treatment, and that prophylactic treatment could  
22       be with one medicine to prevent the attack or  
23       more than one medicine. One may consider adding  
24       medicine to lower uric acid level.

25  Q.   Is that it?

1 A. Well, these are really the basic minimums for  
2 treating gout.

3 Q. Okay. Under what circumstances would you treat  
4 gout prophylactically?

5 A. If there are recurrent gouty attacks I would  
6 definitely in that case use a prophylactic  
7 treatment.

8 Q. And what medications specifically would you use  
9 to treat it prophylactically?

10 A. Colchicine to prevent the acute gouty attacks  
11 and it depends on the circumstances, I may use  
12 also medicine to lower uric acid level.

13 Q. And what would the circumstances be that you  
14 would use those?

15 A. I would recommend using them in younger patients  
16 below age 65, usually, I do recommend using  
17 medicine to lower uric acid level if the uric  
18 acid level is significantly elevated or if there  
19 are tophi or if there is history of kidney  
20 stone. Above age 65 I may be more conservative  
21 as far as using Allopurinol or Probenecid,  
22 another medicine to lower uric acid. Again, I  
23 would have to base it on the circumstances.

24 Q. What is the significantly elevated uric acid?

25 A, Well, it varies really from lab to lab a little

1 bit, but usually above 8 or 9 in most labs, that  
2 will be considered elevated uric acid.

3 Q. Okay. And what medication would you give to  
4 lower the uric acid?

5 A. I use one of two medications, one of them is  
6 Allopurinol, the other medicine is Probenecid.  
7 I tend to use Allopurinol rather more than  
8 Probenecid.

9 Q. Could you spell the second one for me?

10 A. P R O B E N E C I D.

11 Q. Okay. And what medication would you use to  
12 treat acute attacks?

13 A. Again, we have a choice. The most likely  
14 medicine I use is Indocin.

15 Q. What are some of the others you could use?

16 A. Another group of medication which Indocin is one  
17 of them is called nonsteroidal antiinflammatory  
18 medications and there are several **of** them in the  
19 market. I occasionally may use Colchicine  
20 intravenously or orally and occasionally I may  
21 use ACTH injection, and occasionally I may  
22 inject the joint with a steroid.

23 Q. Okay.

24 A. These are the usual choices. In certain  
25 patients I may use systemic steroids, in

1 patients who tend to be reluctant to other  
2 medications, or if there are contraindications  
3 with something like this, or something like  
4 this.

5 Q. What medications would you use in patients that  
6 have renal failure?

7 A. In patients with renal failure I would --

8 MR. GORE: Can I object for  
9 clarification? Do you mean patients just with  
10 renal failure or with renal failure and gout?

11 MR. FIFNER: I assumed it was both.

12 MR. MELLINO: He wouldn't be  
13 treating gout if they didn't have gout and  
14 renal failure.

15 MR. FIFNER: Just for  
16 clarification --

17 MR. GORE: With both.

18 MR. FIFNER: What would you use in  
19 a patient that had gout and renal failure?

20 Q. To treat gout?

21 A. My inclination nowadays is to use either ACTH or  
22 intra-articular injections if possible.

23 Q. Or intra what?

24 A. Intra-articular injections, injecting the  
25 joints.

1 Q. When you say nowadays, has that changed  
2 recently?

3 A. Yes, it did change to some extent. I used to  
4 use more nonsteroidal antiinflammatory  
5 medications including Indocin, and considering  
6 the different circumstances I may still consider  
7 using them but I do use them less than I used to  
8 before.

9 Q. When did you change?

10 A. Well, in the last few years, there has been more  
11 awareness of possible toxic effect of these  
12 medications on the kidneys. I cannot tell you  
13 exactly for how many years but basically  
14 gradually there has been kind of a little bit  
15 more at least in trend at least in my practice  
16 to use them less if there is alternative.

17 Q. Okay. Has that been -- well, can you give me a  
18 time frame as to when this came about?

19 A. To be honest with you, I honestly cannot tell  
20 you now any kind of accurate time frame.

21 Q. Has it been more?

22 A. Two years I would have acted different from the  
23 way I act today and certainly four years ago it  
24 was a little bit different. I can't tell you  
25 exactly time, really.

1 Q. Well, how did you learn about, or how did you  
2 become aware of the problem with nonsteroidal  
3 antiinflammatory agents?

4 A. Different studies indicated that they could have  
5 some kind of adverse effect in some patients on  
6 the kidney, and one of the articles, in fact,  
7 was within the last year, I recall about Motrin,  
8 an over-the-counter drug which was shown to have  
9 some adverse effect on the kidney, so basically  
10 the more we read about it, the more we are  
11 inclined really to use them less basically, if  
12 possible.

13 Q. What about Indocin specifically, I mean how long  
14 have you been aware that that is a toxin to the  
15 kidney?

16 MR. FIFNER: Objection to the  
17 form. Go ahead.

18 A. When I am talking about Motrin and other  
19 nonsteroidal antiinflammatory medications I  
20 include Indocin. I don't think Indocin is any  
21 different so I am talking about the last several  
22 years without really being able to pinpoint to  
23 any particular time. It did not happen that one  
24 day I decided that Indocin and Motrin and this  
25 group of medication cannot be used. It was kind

1 of a trend, really to use them less, and to use  
2 certain agents more, For example, ACTH was not  
3 at all popular for treating gout several years  
4 ago. Now there are certain articles which I  
5 believe published within the last couple of  
6 years maybe indicated that it is as effective,  
7 so I tend to use it more.

8 Q. All right. With the body of medical knowledge  
9 as it exists today, is it inappropriate to use  
10 or to prescribe Indocin to a patient that has  
11 gout and renal failure?

12 MR. FIFNER: Objection. Go ahead.

13 A. Well, I really would not consider the word  
14 inappropriate. I would say that medicine like  
15 Indocin is not invariably going to cause trouble  
16 to the kidney. We are talking about maybe small  
17 percentage of patients who are running really to  
18 problem from using Indocin and this group of  
19 medications, so it depends on the  
20 circumstances. One may use it really in  
21 patients with renal failure.

22 Q. Okay. But if you do use it you'd have to  
23 monitor the effects of the drug closely. Would  
24 you agree with that?

25 A. Well, I would tend to monitor that. Nowadays in

1 particular when I have to use it I would tend to  
2 monitor the kidney function.

3 Q. What about in '89?

4 A. I can't really tell you exactly how was my  
5 practice two years ago or three years ago, but I  
6 know that I was not as strict in monitoring this  
7 problem as now. There's **just** much more  
8 awareness now of this problem as a potential  
9 problem, and I know now that I tend to do that  
10 more, much more. 1989 in particular, I can't  
11 tell you. I really can't, honestly. I can't  
12 swear on it that this change or this happened in  
13 this particular month or year.

14 Q. Can you cite to me any particular articles that  
15 you read that would have brought about this  
16 change in your thinking?

17 A. **No**, I can't.

18 Q. Okay.

19 A. I can't.

20 Q. Well, could you tell me generally what  
21 periodicals they would be in?

22 A. The article about Motrin, Ibuprofen was, I  
23 believe, within a year in Annals of Internal  
24 Medicine. I think it was within a year. As far  
25 as previous articles, I just cannot tell you now



1 which medicals are now published.

2 Q. What journals do you subscribe to?

3 A. I review many journals in the library. I used  
4 to subscribe to many journals but nowadays I  
5 subscribe mostly to arthritis related journal,  
6 Arthritis and Rheumatism and Clinics on  
7 Rheumatic Diseases. I review several other  
8 journals in the library, Lancet, a British  
9 medical journal, Annals on Rheumatic Diseases,  
10 Annals on Internal Medicine, New England Journal  
11 of Medicine.

12 Q. But you can't cite for me any specific journals  
13 that these articles appeared in?

14 A. At this point I can't, no.

15 Q. Did you talk to Dr. Riley after your consult?

16 A. I would think I did. Now, I can't be positive  
17 when did I talk to him and what did I tell him  
18 and what I did not tell him for sure I didn't  
19 talk to him.

20 Q. What is the significance of the elevated CPK?

21 A. Well, it was not very clear to me at that point  
22 what really its significance was. CPK could  
23 come from the muscle as a general rule and it  
24 could come from the heart, during heart  
25 attacks. For one reason or another, I don't

1 believe there was any reason to suspect heart  
2 attack in that patient so we presume it was  
3 coming from the muscle.

4 Q. Okay. So you --

5 A. One condition I suspected was polymyositis. I  
6 mean that is one of the common causes of  
7 elevated CPK.

8 Q. And what is polymyositis?

9 A. Polymyositis is one of these connective tissue  
10 diseases I talked about which involve mainly the  
11 muscle, the proximal muscle and result in  
12 inflammation in the muscles as well as some  
13 damage to the muscle tissue and some  
14 regeneration of the muscle tissue cause muscle  
15 weakness mostly and sometimes could cause some  
16 other manifestations as well.

17 Q. Okay. Whose idea was it to have Mr. Carrick  
18 undergo the muscle biopsy?

19 A. That was my idea.

20 Q. Okay. And did you see him again before the  
21 muscle biopsy?

22 A. From my note here I saw him on March 23rd and on  
23 March 25th.

24 Q. All right. Why don't you read the March 23rd  
25 note?

1 A. I don't have it here. I don't have it here.

2 MR. MELLINO: Can you get him a  
3 copy?

4 MR. FIFNER: Yes. I will give him  
5 mine.

6 Do you have it right there, George?

7 MR. GORE: Yes.

8 MR. FIFNER: There you go.

9 A. Rheumatology. Shoulder x-ray reviewed. Severe  
10 periarticular muscular calcifications.

11 Clinically same. CPK is elevated, about 1200.

12 Impression, consider polymyositis with muscular  
13 calcifications. B, hydroxyapatite crystal

14 deposition disease. Plan, bone survey,

15 injection shoulders, muscle biopsy, Will  
16 discuss with **Dr.** Riley.

17 Q. Okay. Under impression B, what is that?

18 A. Hydroxyapatite crystal deposition disease is a  
19 condition described in a patient with chronic  
20 renal failure. It is certain kind of calcium  
21 deposit which deposits around the joints.

22 Q. Okay. So your impression changed then between  
23 the 21st and the 23rd?

24 A. No, it did not change in the way that I -- you  
25 don't keep repeating that, all the impressions,

1       so my impression about gout did not change the  
2       second impression I felt it could have been  
3       clarified further. I'm talking about that  
4       current joint pains, mainly shoulder, I wrote in  
5       my first note that it is probably unrelated to  
6       gout.

7               It may represent a form of arthritis and  
8       periarthritis described in patients with chronic  
9       renal failure, and that is what I meant with my  
10      note on March 23rd about hydroxyapatite crystal  
11      deposition disease. That will end to be the  
12      same thing.

13             On my initial note, my third impression was  
14      elevated CPK, rule out myopathy. I clarified it  
15      further on March 23rd by indicating that we  
16      should consider polymyositis with muscular  
17      calcification because on occasion polymyositis  
18      per se can cause muscular calcifications.

19   Q.   Okay. Did you discuss the muscle biopsy with  
20       Riley?

21   A.   Yes.

22   Q.   When?

23   A.   I cannot say the date really.

24   Q.   Okay. Well, tell me what the discussion was?

25   A.   Well, I have to presume really that basically I

1 told him that muscle enzyme is high and it will  
2 be good idea to do a muscle biopsy to see if he  
3 has polymyositis or not. And he apparently  
4 asked, I recall he asked the surgeon to see the  
5 patient for that procedure.

6 Q. Okay. Let's go to your March 25th note.

7 A. March 25th, '89, rheumatology. Each shoulder  
8 injected with 0.5 cc. Aristospan plus 055 ccs.  
9 Xylocaine. Naprosyn times two days to prevent  
10 steroid induced synovitis.

11 Q. Okay. What were the injections in the shoulder  
12 for?

13 A. For the patient was having shoulder pain and at  
14 that point my presumption was it was probably  
15 hydroxyapatite crystal disease. I felt gout was  
16 less likely and the injection, the purpose of  
17 them was to treat the shoulder pain.

18 Q. Okay. Why did you feel that gout was less  
19 likely?

20 A. Usually when we have attack of gout there's  
21 usually swelling, effusion, and redness of the  
22 joint. The presentation is kind of more  
23 dramatic, that is number one, and number two,  
24 patients with gout as a general rule, they do  
25 respond to Indocin. He did not respond. And

1           they usually respond to high dose of  
2           Prednisone. He did not respond.

3   Q.   Okay. What is uremia?

4   A.   I'm sorry?

5   Q.   What is uremia?

6   A.   Uremia is a term used for really elevated blood,  
7           urea nitrogen basically or substance called  
8           urea. The important part of it or the way it is  
9           tested, it is tested by testing BUN. It is  
10          something we find usually in patients with renal  
11          failure, but it could be found also in other  
12          problems sometimes.

13   Q.   Does uremia cause anything?

14   A.   In patients who have very high level of uremia,  
15          they could have certain complication like  
16          pericarditis, for example, anemia, but these are  
17          usually manifestation of kidney failure anyway.

18   Q.   I'm sorry, what were the symptoms they could  
19          have again?

20   A.   Well, uremia, I like to use it in basically if  
21          we're talking about uremia resulting from renal  
22          failure, there are several manifestations to  
23          that.

24   Q.   Okay. What other kinds of uremia are there?

25   A.   You could have sometimes what we call a

1 pre-renal azotemia which could be elevated urea  
2 without having necessarily renal failure, severe  
3 dehydration could lead to that.

4 Q. Okay. Any other forms of uremia?

5 A. If you have gastrointestinal bleeding you may  
6 have some elevation of uremia.

7 Q. Did Mr. Carrick have uremia?

8 A. Yes.

9 Q. And what in your opinion did it result from?

10 A. Renal failure.

11 Q. And what was the cause of his renal failure?

12 A. I don't know. I can't say.

13 Q. How do you know he had uremia?

14 A. Well, his **BUN** was very high.

15 Q. What was it?

16 A. On my consult note I put the figure here, 174.

17 Q. Okay. And what is normal?

18 A. Depends on the lab but usually less than 20.

19 Q. And how do you treat uremia?

20 A. Well, I am really not a nephrologist, I am not  
21 an expert on treating uremia.

22 Q. That's fine. Any time you can't answer one of  
23 my questions you can just say I don't know, that  
24 would be fine.

25 A. Okay.

1 Q. Okay. Do you have any other notes in the chart?

2 A. I don't recall if there is any more really that  
3 is --

4 MR. FIFNER: Progress notes I don't  
5 think so. He may have an order but I don't  
6 think there are any other progress notes.  
7 You want to take a quick scan through the  
8 orders, doctor, see if you have any in  
9 there? Go ahead.

10 A. On March 21st I ordered x-ray of both shoulders  
11 and right elbow. CPK with isoenzymes, sed. rate  
12 ra latex with titer, ANA, GGT, and discontinue  
13 Naprosyn.

14 Q. Those were your only other notes?

15 A. No. This is my order on the 21st. Now, on, was  
16 it March --

17 MR. GORE: It was July but it's  
18 March.

19 A. March 12th, '89. Bone survey. Please bring  
20 Aristospan at least 2 ml. from pharmacy. I will  
21 inject shoulders tomorrow. On March 25th, '89 I  
22 ordered Naprosyn, 250 milligrams p.o. t.i.d.  
23 times two days. That was it.

24 Q. Okay. Was it your expectation that the muscle  
25 biopsy would confirm your impressions of



1        polymyositis and --

2    A.    Can I see this?

3    Q.    And your other impression you have listed under  
4        B for the March 23rd note?

5    A.    Well, muscle biopsy could be positive if patient  
6        had polymyositis. I mean that was a condition I  
7        indicated that it should be considered.

8    Q.    Well, what was the reason you wanted the muscle  
9        biopsy done?

10   A.    Well, because if a patient did have polymyositis  
11        that is very definite treatment for that.  
12        Patient will have to stay on prednisone over a  
13        long period of time.

14   Q.    Okay. So the muscle biopsy would tell you if he  
15        had polymyositis?

16   A.    In a large number of patients.

17   Q.    Okay. And I'm sorry, tell me again what that  
18        word is about?

19   A.    Hydroxyapatite crystal deposition disease.

20                    MR. FIFNER: Doctor, you are  
21        probably going to save yourself a phone call if  
22        you would say it very slowly and spell it,  
23        because I'm certain the --

24   A.    H Y D R O X Y, A P A T I T E, crystal disease.

25   Q.    And would that show up on a muscle biopsy?

1 A. I don't think so.

2 Q. Okay. How do you test for that?

3 A. You have to take part of this deposits and send  
4 it for electron microscopy and it needs really  
5 sophisticated testing.

6 Q. Is there treatment for that?

7 A. It will be by using something like injection and  
8 it will be symptomatic treatment.

9 Q. Did you test the crystals?

10 A. No. There was no fluid obtained from the  
11 shoulders to be tested. I mean that was  
12 not -- the muscle biopsy, it really meant  
13 basically for the polymyositis so I did not  
14 request a special testing for hydroxyapatite.

15 Q. Okay. But there's a test you can do to  
16 determine the presence of that but you didn't do  
17 it?

18 A. Well, as a general rule what I am really aware  
19 of is that if the fluid is obtained or if one  
20 has done synovial biopsy there's possibility  
21 that this could be tested but we don't do it  
22 routinely. I mean it is mostly really a  
23 research kind of thing. I mean from a practical  
24 standpoint you don't need to prove this  
25 diagnosis, so even if I aspirated the fluid from

1 the joint, I don't believe I would have sent it  
2 for electron microscopy testing, It is done in  
3 certain universities, in certain research  
4 centers. We don't do it routinely.

5 Q. When you are talking about aspirating fluid from  
6 the shoulder, are you talking about something  
7 you do or --

8 A. When we **do** the injection, we try to aspirate if  
9 there is any fluid there.

10 Q. And you tried that and there was no fluid?

11 A. Yes. I automatically do.

12 Q. Okay. That was on the 25th that you did the  
13 injection?

14 A. Yes.

15 Q. And the attempted aspiration?

16 A. Yes.

17 Q. Did you see the patient after the 25th?

18 A. I don't believe so. If I saw him I could have  
19 stopped by to see how he was doing really. How  
20 many days I stayed after that? Three days.

21 Q. Were you aware of the results of the muscle  
22 biopsy?

23 A. I was aware of it after he went to Cleveland  
24 Clinic.

25 Q. How did you become aware of it?

1 A. I could have asked Dr. Riley or I could have  
2 contacted pathology. I'm not sure, really.

3 Q. Okay. The muscle biopsy was negative, right?

4 A. Yes, from what I see here.

5 Q. What impact would that have on your impressions  
6 of the patient?

7 A. Well, at that point it made polymyositis less  
8 likely, and -- yes? You want to ask me  
9 something?

10 Q. No. Are you done with your answer?

11 A. Yes.

12 Q. Okay. Well, your impressions when you first saw  
13 him on the 21st were number one, that he had  
14 gout?

15 A. Yes.

16 Q. But you felt that that was less likely, you told  
17 me?

18 A. Less likely to be causing his shoulder pain.

19 Q. Okay.

20 A. Not every pain in a patient with gout is from  
21 gout so --

22 Q. Well, on the 23rd when you saw him did you still  
23 think he had gout?

24 A, Yes, I did think that he did have gout. That  
25 particular impression did not change.

- 1 Q. Okay.
- 2 A. I usually like to -- I wrote in my impression  
3 the history is very suggestive of gout. I could  
4 not be absolutely certain, not 100 percent  
5 because myself to make the diagnosis of gout I  
6 prefer either to take fluid from the joint and  
7 find the crystals or at least find the patient  
8 during definitely acute episode and try to  
9 verify that. But the history was very  
10 suggestive of gout.
- 11 Q. Okay. Your second impression on the 21st was  
12 that he had polymyositis?
- 13 A. No. The second impression was -- which day  
14 we're talking here about?
- 15 Q. 21st.
- 16 A. The second impression, I read it again. The  
17 current joint pain, mainly shoulders, is  
18 probably unrelated to gout. It may represent a  
19 form of arthritis and periarthrititis described in  
20 patients with a chronic renal failure.
- 21 Q. Okay. Did that impression change at all?
- 22 A. No.
- 23 Q. Or would it change knowing the results of the  
24 muscle biopsy?
- 25 A. No.

1 Q. Okay. And what about the elevated CPK?

2 A. I wrote elevated CPK, rule out myopathy.

3 Q. Right.

4 A. I was not very specific here. When I saw him  
5 next time I repeated CPK was again elevated, I  
6 thought that polymyositis should be a  
7 consideration, and muscle biopsy should be  
8 done. It did not show it. That would not 100  
9 percent exclude polymyositis but it made it less  
10 likely.

11 Q. All right. You felt that the elevated CPK was  
12 as a result of the polymyositis?

13 A. I was not -- no, I did not have definite  
14 diagnosis.

15 Q. No. That was your impression though?

16 A. I thought that should be a consideration. That  
17 should be a consideration.

18 Q. Since the muscle biopsy was negative what do you  
19 think was the cause of the elevated CPK?

20 A. Well, now, at this point I will have only to sit  
21 and speculate really. I have not seen the  
22 patient after that. I have not seen the patient  
23 after he had the muscle biopsy, and --

24 Q. Well, let me ask it a little different way. If  
25 he didn't have polymyositis, or, if he didn't

1 have that, just assume that he didn't, what  
2 could be the other causes of an elevated CPK  
3 other than the myocardial infarction or  
4 something from the heart?

5 A. Well, now in certain situation if there was  
6 trauma to the muscle it could elevate the CPK.  
7 If there was low potassium it could elevate the  
8 CPK. I was not really sure of if renal failure  
9 per se could cause elevated CPK, I was not aware  
10 of it and I am not aware of it now either.

11 I do see sometimes in practice patients  
12 with high CPK without explanation. I tend in  
13 these cases to follow the patient for a while  
14 and see what will happen without committing them  
15 to long term treatment.

16 So basically if I had the chance to follow  
17 the patient after that, I probably would have  
18 repeated the CPK subsequently in two weeks or  
19 three weeks and really to find out what was it  
20 and then proceeding from there. It will be hard  
21 to determine. It will be hard to determine.

22 Q. All right. As we sit here today, you don't know  
23 what the cause of the elevated CPK was?

24 A. No, I don't know at this point.

25 Q. Why did you stop seeing Mr. Carrick?

1 A. What do you mean with that?

2 Q. Well --

3 MR. FIFNER: That's an objection to  
4 the form.

5 MR. MELLINO: Is that what that  
6 is?

7 MR. FIFNER: I think so. What was  
8 the reason that you didn't see Mr. Carrick  
9 after the 25th of March?

10 A. Consultant is not supposed to see the patient  
11 every day. You give certain treatment, you  
12 don't have to have result the next day and you  
13 don't need to see the patient every day as a  
14 consultant. And my interest was mostly to see  
15 what the muscle biopsy will show, and muscle  
16 biopsy result was available, I believe, after he  
17 went home, after he went to Cleveland Clinic.

18 So even if I stopped to see him, I could  
19 have stopped to see him to say hi and if he had  
20 any improvement so far with the injection or  
21 not, and I didn't feel that I should record it  
22 in the chart at the time I see him and to charge  
23 money for it. That is exactly the truth. I  
24 mean --

25 Q. I understand that. I think you're reading more



1       into my question than maybe I intended. I just  
2       want to know if you stopped seeing him because  
3       he transferred to the Cleveland Clinic or if you  
4       felt you were done treating him or if Dr. Riley  
5       asked you to stop seeing him or --

6   A.   No. Dr. Riley did not ask me to stop seeing  
7       him. Knowing the patient went to Cleveland  
8       Clinic he was in the hands of somebody else.

9   Q.   Okay. So you stopped seeing him because he went  
10       to the Cleveland Clinic?

11  A.   That's correct.

12  Q.   Okay. And I guess what you're telling me is you  
13       may have seen him after the 25th but you didn't  
14       necessarily write a note?

15  A.   Unofficially I could have. I could have seen  
16       him.

17  Q.   You didn't render any treatment?

18  A.   That's correct.

19  Q.   Okay. Dr. Riley asked you to do a consult  
20       because the patient had gout, is that right?

21  A.   Well, yes, he indicated the reason for consult,  
22       severe gout.

23  Q.   Where is that, by the way?

24  A.   On the top of the consult.

25  Q.   Okay. So the patient had had gout for a number

1 of years before this, correct?

2 A. Yes.

3 Q. Do you know why Dr. Riley was asking for a  
4 consult at this point in time?

5 A. I will have only to speculate, really, that.

6 MR. FIFNER: Well, don't do that.

7 I mean if you know you have to tell him, if  
8 you don't know just say you don't know.

9 A. I can't say for sure what was in the mind of  
10 Dr. Riley, no.

11 Q. Okay. Do you know if Mr. Carrick had ever seen  
12 a rheumatologist before this point in time?

13 A. I don't know.

14 Q. Is a rheumatologist more qualified to treat gout  
15 than a nephrologist?

16 A. Gouty arthritis, yes. I should really -- could  
17 I make a statement about this?

18 MR. FIFNER: Go ahead. We talked  
19 about this before. Go ahead.

20 A. Rheumatologists are probably the most qualified  
21 people to treat gout, but most gouty patients  
22 are treated by general internists, including  
23 nephrologists. Not every patient with gout is  
24 in this community as far as I know is referred  
25 to rheumatologists. The majority are not.

1 Q. Okay.

2 A. But rheumatologists probably, they have more  
3 experience treating gout than anybody else in  
4 the community.

5 Q. Okay. So if a general practitioner or a  
6 nephrologist is having a problem controlling  
7 somebody's gout or treating that gout then it  
8 would be appropriate for them to refer that  
9 patient to a rheumatologist?

10 A. Yes.

11 Q. And did you consult with the purpose in mind of  
12 treating Mr. Carrick's gout?

13 A. Repeat that question, please.

14 Q. Did you do this consult with the purpose in mind  
15 of establishing a treatment for Mr. Carrick's  
16 gout?

17 A. Yes.

18 Q. Okay. And what treatments did you establish?

19 A. Well, now, here I should state that that was the  
20 purpose of the consult, but when I find  
21 something else which I feel could belong to my  
22 specialty, I will make comments about it. So  
23 basically in this particular case, I felt that  
24 the patient did have gout, but I did not feel  
25 that the current problem this patient had was

1       caused by gout.

2       Q.    Okay.

3       A.    So I had to pursue that other point of view  
4       also.

5       Q.    Okay.   So you were asked to consult with him  
6       because he had severe gout so you examined the  
7       patient, took a history from the chart.

8       Q.    You believe that his history was suggestive of  
9       gout but you also felt that his problems were  
10      more probably related to polymyositis.  Would  
11      that be fair?

12      A.    I'll read it again.  The current joints pain,  
13      mainly shoulder, is probably unrelated to gout.  
14      **It** may represent a form of arthritis and  
15      periarthritis described in patient with a  
16      chronic renal failure, and then I found the  
17      elevated CPK and I felt this should be pursued  
18      further.

19      Q.    Okay.   I guess where we keep -- where I guess  
20      I'm getting confused is you are telling me  
21      there's no connection between your impression  
22      number two and the polymyositis?

23      A.    That's correct.

24      Q.    The arthritis and periarthritis?

25      A.    Yes.   Yes.

1 Q. So did you establish any treatment program for  
2 the arthritis and periartthritis?

3 A. I injected his shoulders.

4 Q. Okay.

5 A. My job I felt was to try to establish some kind  
6 of more definite diagnosis, and on that basis I  
7 did request additional x-ray, and I wanted to  
8 have the CPK repeated to just verify that it is  
9 definitely elevated, it was not lab error or  
10 anything like this, which we did, and I consider  
11 further workup to include muscle biopsy, I  
12 recommended that was done, and I did inject his  
13 shoulders in an attempt to relieve his pain.

14 Q. Okay. So would it be fair to say then you felt  
15 that even though he may have had gout, that his  
16 problems were most probably caused by the  
17 arthritis and periartthritis?

18 A. I felt that his pain at that time was probably  
19 more related to arthritis, periartthritis related  
20 to the renal failure maybe rather than to the  
21 gout. That was my impression at that time.

22 Q. Okay. What is the cause of gout?

23 A. Are you talking in general or about this  
24 patient?

25 Q. Let's talk in general first.

- 1 A. Well, the cause of gout is elevated uric acid.  
2 As a general rule, because of certain error in  
3 metabolism which makes certain people have  
4 elevated uric acid, certain percentage of these  
5 people who have elevated uric acid for reasons  
6 which we don't understand, they develop gout as  
7 a result of deposition of uric acid in the  
8 joints, and in certain other areas in the body.  
9 When you have a lot of deposition of uric acid  
10 in the joints, this may lead to acute gouty  
11 attacks.
- 12 Q. Okay. And what was the cause of this  
13 patient --
- 14 A. This patient, I can't tell. Most of the time we  
15 don't know exactly what is the exact cause. I  
16 am presuming if he is at age 25 had high uric  
17 acid that probably had enough deposition in his  
18 joints to cause the flares of gout.
- 19 Q. And you didn't, you weren't able or did you  
20 attempt to determine the cause of his gout?
- 21 A. I mean, by the way, if I could answer the other  
22 question also, add a few things?
- 23 Q. Which question are you answering, doctor?
- 24 A. What caused gout.
- 25 Q. Oh, okay. Go ahead.

- 1 A. I talked about some error in metabolism leading  
2 some people to have high uric acid and so on.  
3 Sometimes high uric acid could come as a result  
4 of other things like renal failure can cause  
5 elevated uric acid, like certain medications  
6 used for high blood pressure, in particular,  
7 diuretics, can lead to high uric acid and over  
8 the years this could lead to gout also. So just  
9 to make my answer before complete, okay? Now,  
10 what was your second question?
- 11 Q. Did you attempt to determine the cause of  
12 Mr. Carrick's gout?
- 13 A. No.
- 14 Q. Okay. Do you need to determine the cause to  
15 treat it?
- 16 A. No.
- 17 Q. The injections that you gave, I think you said  
18 before that that was symptomatic treatment?
- 19 A. That's correct.
- 20 Q. Okay. How long would that last?
- 21 A. Well, if the injection was successful, it may  
22 last for weeks or months.
- 23 Q. Okay. Do you know how long it lasted here?
- 24 A. I am not sure even that was successful. I don't  
25 know. I did not have follow-up.

1 Q. Okay. I take it based upon what we talked about  
2 before as far as this you believe change in  
3 medical knowledge over the last few years which  
4 you weren't able to quantify the number of years  
5 for me, you don't have an opinion as to whether  
6 it would have been below the standard of care to  
7 give Indocin to a patient with gout and chronic  
8 renal failure?

9 MR. FIFNER: Objection.

10 Q. You can answer the question.

11 MR. FIFNER: Yes. Go ahead.

12 A. I could not really make that statement.

13 Q. You don't have an opinion one way or the other?

14 A. Well, I cannot make the statement that every  
15 time Indocin is given in patients with gout who  
16 has renal failure will be deviation from the  
17 standard of practice.

18 Q. Well, what about in Mr. Carrick?

19 MR. FIFNER: Objection.

20 A. I don't know even how was he given Indocin. I  
21 don't know. I never reviewed his record prior  
22 to his hospitalization.

23 MR. FIFNER: Let me tell you,  
24 Chris, that if there comes a point in time  
25 where he addresses any **of** those standard of



1 care issues at trial I will certainly --

2 MR. MELLINO: Well, I'd like to  
3 know now as long as we're here.

4 MR. FIFNER: I don't think he has  
5 any opinions.

6 MR. MELLINO: That's what I'm  
7 trying to find out.

8 MR. FIFNER: If he ever does intend  
9 to express any I will go ahead and  
10 reproduce him. I mean I think he's made  
11 pretty clear the only documents he's seen  
12 in connection with the case are his  
13 consult.

14 MR. MELLINO: Let me just ask one  
15 more question on this and I will be done.

16 Q. Do you have an opinion as to whether or not it  
17 would have been below the standard of care to  
18 give Mr. Carrick Indocin?

19 A. Repeat the question.

20 Q. Do you have an opinion whether it would have  
21 been below the standard of care to give  
22 Mr. Carrick Indocin, given the fact that he had  
23 chronic renal failure?

24 A. I am sorry, I thought I answered this question.  
25 It will not be invariably below the standard of

1 care to give Indocin to a patient with gout and  
2 renal failure.

3 Q. Right. You answered it that way before, you  
4 were talking general terms. I'm talking  
5 specifically.

6 MR. FIFNER: In this specific  
7 case? Do you know enough about the facts  
8 to say --

9 A. I said that I don't have really access to  
10 previous records. From what I can see in my  
11 note, to try Indocin for an attack of gout in a  
12 patient with gout and renal failure is not  
13 absolutely contraindicated, it could be done.  
14 It is not deviation from the standard of care as  
15 far as I'm concerned.

16 Q. Okay. But I'm talking about specifically under  
17 the facts of this case?

18 A. This one, I'm talking about specifically, based  
19 on the information I have on my consult sheet.

20 Q. Okay. And you don't know --

21 A, I don't know how much he was given. I don't  
22 know for how long. I really just don't know. I  
23 don't know that information.

24 Q. Okay-. Can I take a look at your chart?

25 MR. MELLINO: Can I get a copy of

1                   this?

2                   MR. FIFNER:    Sure.

3                   MR. GORE:    May I see it, please?

4   Q.   Do you treat renal failure?

5   A.   Usually not.

6   Q.   Okay.  Did you make any recommendations about  
7       dialysis in this case?

8   A.   No.

9   Q.   Do you have any opinion about whether or not  
10       Mr. Carrick should have been put on dialysis?

11  A.   I don't know if he was put on dialysis or not.

12  Q.   No.  Do you have an opinion as to whether he  
13       should have been?

14  A.   I don't have opinion really.

15  Q.   Okay.  Do you treat hypoparathyroidism?

16  A.   Not as a general rule.  This kind of situation I  
17       get usually help from other specialists.

18  Q.   Okay.  What specialists would you get help from?

19  A.   Well, for renal failure, nephrologist, for  
20       hypoparathyroidism, endocrinologist.

21  Q.   **Did** you consider hypoparathyroidism as a cause  
22       of Mr. Carrick's problems when you saw him?

23  A.   Which problem you are talking about?

24  Q.   His joint pain?

25  A.   No.

1 Q. What about now in retrospect?

2 MR. FIFNER: Objection.

3 A. I am sorry. I have to answer the question again  
4 basically. We did consider that  
5 hypoparathyroidism could have been contributing  
6 to the calcium deposit.

7 Q. Okay. You didn't write that in the chart,  
8 though?

9 A. No.

10 Q. And you didn't do any tests or anything to  
11 determine if that was the cause?

12 A. No, I did not.

13 Q. And in retrospect now, do you believe that might  
14 have been the cause of joint pain?

15 MR. FIFNER: Objection.

16 A. I believe the joint pain is probably related to  
17 what I mentioned as far as hydroxyapatite  
18 crystal disease, his shoulder pain, okay. Now,  
19 the calcium deposit, they could have something  
20 to do with hypoparathyroidism.

21 Q. Did he have steroid myopathy?

22 A. No.

23 Q. If a person has urea can that be treated with  
24 dialysis?

25 A. Yes.

1 Q. Okay. And I think you answered this question  
2 already, but you didn't prescribe any treatment  
3 for his gout, is that true?

4 A. Repeat to me the question, please.

5 Q. You didn't prescribe any treatment for  
6 Mr. Carrick's gout?

7 A. No.

8 MR. MELLINO: Okay. I think that's  
9 all the questions I have.

10 MR. FIFNER: Do you guys have any?

11 MR. GORE: I have no questions,  
12 doctor.

13 MS. HENRY: No questions.

14 MR. FIFNER: Why don't we -- we'll  
15 not waive it.

16

17

---

NAZIH M. ZEIN, M.D.

18

19

20

21

22

23

24

25

C E R T I F I C A T E

The State of Ohio, ) SS:  
County of Cuyahoga.)

I, Aneta I. Fine, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named NAZIH M. ZEIN, M.D., was by me, before the giving of his deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this \_\_\_\_ day of \_\_\_\_\_, A.D. 19 \_\_.

---

Aneta I. Fine, Notary Public, State of Ohio  
1750 Midland Building, Cleveland, Ohio 44115  
My commission expires February 27, 1996

W I T N E S S I N D E XPAGE

3

CROSS-EXAMINATION  
NAZIH M. ZEIN, M.D.  
BY MR. MELLINO

LINE

REPORTERS PAPER & MFG. CO. LOUISVILLE, KY.