

1 IN THE COURT OF COMMON PLEAS

2 CUYAHOGA COUNTY, OHIO

3 DIANE M. CARRICK,
4 EXECUTRIX, etc.,

5 Plaintiff,

6 -vs-

JUDGE J. KILCOYNE
CASE NO. 185330

7 THE CLEVELAND CLINIC
8 FOUNDATION, et al.,

9 Defendants.

10 - - - -

11 Deposition of NAZIH M. ZEIN, M.D., taken as if
12 upon cross-examination before Aneta I. Fine, a
13 Registered Professional Reporter and Notary
14 Public within and for the State of Ohio, at the
15 offices of Nazih M. Zein, M.D., 14601 Detroit
16 Avenue, Suite 590, Lakewood, Ohio, at 4:00 p.m.
17 on Friday, March 15, 1991, pursuant to notice
18 and/or stipulations of counsel, on behalf of the
19 Plaintiff in this cause.

20 - - - -

21 MEHLER & HAGESTROM
22 Court Reporters
23 1750 Midland Building
24 Cleveland, Ohio 44115
25 216.621.4984
 FAX 621.0050
 800.822.0650

SCANNED
5-15-03

APPEARANCES:

Christopher M. Mellino, Esq.
Charles I. Kampinski Co., L.P.A.
1530 Standard Building
Cleveland, Ohio 44113
(216) 781-4110,

On behalf of the Plaintiff;

George Gore, Esq.
Arter & Hadden
1100 Huntington Building
Cleveland, Ohio 44115
(216) 696-1100,

On behalf of the Defendant
The Cleveland Clinic Foundation;

Douglas K. Fifner, Esq.
Reminger & Reminger
Seventh Floor - 113 St. Clair Building
Cleveland, Ohio 44114
(216) 687-1311,

On behalf of the Defendants
Robert P. Riley, M.D. and Nazih M. Zein,
M.D.;

Deirdre Henry, Esq.
Weston, Hurd, Fallon, Paisley & Howley
2500 Terminal Tower
Cleveland, Ohio 44113
(216) 241-6602,

On behalf of the Defendant
Lakewood Hospital.

1 NAZIH M. ZEIN, M.D., of lawful age,
2 called by the Plaintiff for the purpose of
3 cross-examination, as provided by the Rules of
4 Civil Procedure, being by me first duly sworn,
5 as hereinafter certified, deposed and said as
6 follows:

7 CROSS-EXAMINATION OF NAZIH M. ZEIN, M.D.

8 BY MR. MELLINO:

9 Q. Would you state your full name, please?

10 A. Nazih Mohammad Bahaeddin Zein.

11 Q. And where do you live, Dr. Zein?

12 A. 17715 Edgewater Drive, Lakewood, Ohio.

13 Q. Okay. And what is the business address of where
14 we are now?

15 A. 14601 Detroit.

16 Q. Okay. And that's in Lakewood also?

17 A. Lakewood.

18 Q. And you just handed me your CV which you said
19 you would send me a copy of it after the
20 deposition. I have your only copy in my hand
21 and I'm just going to ask you questions off it.
22 If you need to see it to answer one of the
23 questions just let me know. We'll try and both
24 look at it at the same time.

25 You were born in Syria?

1 A. Yes.

2 Q. And you went to?

3 A. College de Terre Sainte. This is like high
4 school.

5 Q. Okay. And where is that?

6 A. Lattakia, Syria.

7 Q. And I'm sorry, you said that was like high
8 school?

9 A. Yes. Yes.

10 Q. And then the next school, you graduated from
11 there in 1966?

12 A. Damascus University College of Science.

13 Q. And that's like college?

14 A. Well, it is pre-med.

15 Q. Okay. How many years is that?

16 A. One year.

17 Q. Okay. How many years was the, what was it, it
18 says college in your CV.

19 MR. GORE: You're chicken. Come
20 on, pronounce it.

21 A. This is -- I went I guess about ten years to
22 that college. It is called college but it's
23 high school.

24 Q. Pronounce this for me again.

25 A. College de Terre Sainte.

1 Q. And you went there ten years?

2 A. About ten years, yes.

3 Q. And graduated in 1966?

4 A. Yes.

5 Q. Okay. So you would have been, let's see how
6 good my math is, 18 when you would have
7 graduated?

8 A. Yes.

9 Q. And then you went one year to Damascus
10 University?

11 A. College of Science.

12 Q. Right. And then you went to the Damascus
13 University School of medicine for six years?

14 A. Six and a half years, yes.

15 Q. Okay. Graduated in '73?

16 A. Yes.

17 Q. And did you get an M.D. degree from that
18 university?

19 A. Yes.

20 Q. When did you come to this country?

21 A. July '73.

22 Q. Okay. To do a rotating internship at Lutheran
23 Medical Center?

24 A. Yes.

25 Q. Okay. Did you have to take some sort of

1 examination or -- well, did you have to take
2 some sort of examination to practice medicine in
3 this country?

4 A. Yes.

5 Q. Okay. Where did you take that?

6 A. In Columbus.

7 Q. Ohio?

8 A. Yes.

9 Q. Okay. When did you take that?

10 A. It is here, 1977.

11 Q. Okay. That's?

12 A. February '77.

13 Q. State licensure?

14 A. Yes.

15 Q. That's just to get your license to practice
16 medicine in the State of Ohio?

17 A. Yes.

18 Q. What is the --

19 A. This is ECFMG examination. I took it in
20 American University in Beirut to be able to
21 apply to hospitals here in this country.

22 Q. Okay. In 1972?

23 A. Yes.

24 Q. That was the only time you took it?

25 A. Yes.

1 Q. Okay. All right. And in passing the ECFMG
2 enable you to come to this country and to do a
3 rotating internship at Lutheran Medical Center?

4 A. Yes.

5 Q. And that was just a general internship?

6 A. Well, the first year it is called rotating but I
7 did a straight medicine. They did not have a
8 program called the straight medicine but they
9 called it major medicine so I rotated 12 months
10 in medicine.

11 Q. Okay. When you say medicine, you mean --

12 A. Internal medicine.

13 Q. Okay.

14 A. Yes.

15 Q. And then you did a three-year residency at
16 Lutheran Medical Center?

17 A. Yes.

18 Q. Okay. So you did four years total of medicine
19 at Lutheran --

20 A. Yes.

21 Q. -- Medical. All right. I guess I didn't
22 understand what you were telling me about your
23 internship. Did you ever rotate in those four
24 years through other departments?

25 A. Like what?

1 Q. Well --

2 A. Surgery?

3 Q. Right.

4 A. No.

5 Q. Okay. Just straight medicine all four years?

6 A. Yes.

7 Q. Okay. And then what did you do, what further
8 training did you undergo?

9 A. Two years in rheumatology at the Cleveland
10 Metropolitan General Hospital.

11 Q. Okay. Your three year internship -- I guess we
12 didn't cover this.

13 A. One year internship.

14 Q. Three years residency was combined with
15 Cleveland Metropolitan General Hospital?

16 A. Yes.

17 Q. Okay. I didn't go over this when we started,
18 but you have to wait till I finish asking the
19 question before you answer it otherwise the
20 record's not going to be clear, okay? Your
21 answer is going to be interposed in the middle
22 of my question and it might not be clear what I
23 asked and what you answered.

24 A. Okay.

25 Q. Also if you don't understand any question that I

1 might ask you during the course of this
2 deposition, just ask me to repeat it and
3 rephrase it and I will be happy to do so, okay,
4 and then any question that I ask you you have to
5 answer verbally, okay?

6 A. Okay. I didn't realize that I interrupted you.
7 I'm sorry.

8 Q. That's all right. Okay. Then you did a two
9 year fellowship at Cleveland Metropolitan
10 General Hospital in rheumatology?

11 A. Yes.

12 Q. Okay. All right. Before we went on the record,
13 you told me that you no longer have privileges
14 at Lutheran Medical Center?

15 A. That's correct.

16 Q. Okay. And you have associate privileges at
17 Fairview General Hospital?

18 A. I reduced it to courtesy privilege.

19 Q. Okay. What are courtesy privileges?

20 A. That is category below associate.

21 Q. What does it allow you to do?

22 A. Allows me to admit patients and see
23 consultations but I don't have to go to meetings
24 and so on unless I want to.

25 Q. Okay. Do you currently admit patients at

1 Fairview Hospital?

2 A. I do, rarely.

3 Q. And you have active privileges at Lakewood
4 Hospital?

5 A. Yes.

6 Q. And you are the chief of rheumatology there?

7 A. Yes.

8 Q. How many rheumatologists are on the staff of
9 Lakewood Hospital?

10 A. There's currently another one.

11 Q. Okay. What is his or her name?

12 A. Carlos Vevallos.

13 Q. Could you spell his last name?

14 A. V E V A L L O S.

15 Q. Okay. And do you have any other publications
16 besides the two that are listed on your CV?

17 A. No.

18 Q. Okay. What is the specialty of rheumatology?

19 A. Rheumatology is the specialty which deals with
20 problems related to arthritis and to connective
21 tissue diseases.

22 Q. And what are connective tissues?

23 A. Connective tissue is diseases also related to
24 the joints and to certain other areas like
25 muscle and certain skin diseases. Connective

1 tissues are tissues which are under the skin and
2 in the joints, in the muscle. It is kind of
3 really a vague term but it applies to certain
4 kind of diseases we're dealing with.

5 Q. What I'd like to do is go over the consult note
6 that you wrote in the Lakewood Hospital chart
7 that's dated March 20th of 1989. I said March
8 20th. It was March 21st. Do you have that in
9 front of you?

10 A. Yes.

11 Q. Okay. Is this the first time that you saw
12 Mr. Carrick?

13 A. Yes.

14 Q. Okay. I had a hard time reading your writing so
15 what I'd like you to do is just read the note
16 for me.

17 A. Okay.

18 MR. FIFNER: Wait. Before you
19 start. Do you want him to just read it through
20 or sentence by sentence so you can ask him
21 questions as he goes through?

22 MR. MELLINO: Well, I want him to
23 start reading through it but I am not going to
24 necessarily ask a question but I might stop him
25 and ask a question.

1 MR. FIFNER: I understand.

2 A. 40-year-old white male at age 25 had acute
3 attack of arthritis in one of his ankles. Was
4 found to have elevated uric acid. Recurrent
5 attacks since then, treated with Indocin and
6 prophylactic Colchicine one a day. Joints
7 involved, knees, ankles and wrists. In between
8 attacks stiffness and achiness, mostly in legs.

9 A month ago, right shoulder pain, then left
10 then right knee. No response to Indocin nor to
11 high dose of prednisone. Progressive renal
12 failure with one partially functioning or
13 nonfunctioning kidney. Parentheses, (creatinine
14 stable, around 5). Hypertensive. No kidney
15 stones.

16 Admission workup March 20th, '89.
17 Hemoglobin, 9.7. BUN, 174. Creatinine, 8.5.
18 Alkaline phosphatase, 437. CPK, 1,612. Uric
19 acid, 11.9. Urine, one plus protein. No
20 history of recent fall. No history of
21 urethritis, conjunctivitis, colitis.

22 Q. Let's just stop since we have come to the end of
23 that page. How did you come to see Mr.
24 Carrick? How was it that you saw him?

25 A. Dr. Riley asked me to see him.

1 Q. Was it verbal, his request that you see him?

2 A. I don't recall if he called himself or he wrote
3 order in the chart and the nurse called me, I
4 really don't recall.

5 Q. Did you talk to him at all before the consult?

6 A. I don't recall exactly if I did but I have the
7 feeling that he did tell me that creatinine was
8 stable around 5. I believe this is information
9 from Riley.

10 Q. From Riley?

11 A. I am not really sure if it was from the chart or
12 from him.

13 Q. Okay.

14 A. Just there might have been contact but I can't
15 swear on it.

16 Q. All right. Keeping that in mind, that you're
17 not sure about that particular thing, was it
18 fair to say that other than that you didn't have
19 any other information about the patient before
20 you saw him?

21 A. These are the only information I had about the
22 patient.

23 Q. When did you write this note?

24 A. The day I saw the patient.

25 Q. Okay. So my question was before you saw him,

1 did you have any other information about him?

2 A. No, unless Dr. Riley talked to me in person and
3 gave me part of the story, that is, I mean it
4 happened within that day, if I had any other
5 information.

6 Q. Riley would have talked to you on the 21st?

7 A. He could have called me and told me that I want
8 you to see this patient because I think he has
9 gout, and I mean, this I can't tell you now with
10 any certainty, but I did not know anything about
11 this patient before that like a day before, two
12 days before, three days before this.

13 Q. Okay. Just so we're clear, if you talked to
14 Riley, it would have been on the 21st?

15 A. 21st or maybe the day before, could be, but I
16 cannot swear on it.

17 Q. And you don't remember any specific conversation
18 that you had with Riley?

19 A. No, I really don't.

20 Q. Okay. And as far as the information that's
21 contained in at least the first page of your
22 note that would have come from the chart, from
23 Riley, anyplace else?

24 A. The patient.

25 Q. Okay. And as you sit here today, you can't tell

1 me, you know, what information came from what
2 source?

3 A. At this point I can't tell you.

4 Q. Okay. Was Mr. Carrick on Indocin when you saw
5 him?

6 A. I don't recall really.

7 Q. Okay. Because your note says no response to
8 Indocin. But you don't know if he was on
9 Indocin or not?

10 A. At that particular time, no, I am not sure.

11 Q. Okay. All right. Could you read the second
12 page for me?

13 A. Objective, tenderness shoulders, right knee,
14 right ankle. Subcutaneous nodules, left elbow.
15 No definite joint effusion. No definite
16 proximal weakness.

17 Impression, number one, the history is very
18 suggestive of gout. The subcutaneous nodules,
19 left elbow are probably tophi. Number two, the
20 current joint pain, mainly shoulders, is
21 probably unrelated to gout. It may represent a
22 form of arthritis and periarthrititis described in
23 patients with a chronic renal failure. Number
24 three, elevated CPK. Rule out myopathy.

25 Plan, x-ray shoulders, left elbow,

1 question, calcifications. Number two, follow-up
2 on muscle enzymes and alkaline phosphatase.
3 Number three, will consider local injections.
4 Thank you. Will follow.

5 Q. Okay. Under your impression the first
6 paragraph, the history is very suggestive of
7 gout, subcutaneous nodules, left elbow are
8 probably, what is that word?

9 A. Tophi. T O P H I. Tophi.

10 Q. Will you spell that?

11 A. T O P H I.

12 Q. What does that mean?

13 A. Accumulation of uric acid in the subcutaneous
14 tissues to form nodules.

15 Q. Why did Dr. Riley ask you to see the patient?

16 A. It was listed here, these are for consultation,
17 severe gout.

18 Q. Do you know how long the patient had had gout?

19 A. He was -- he had first attack at age 25 which
20 sounded like gout so I presumed he has, he had
21 had it for 15 years.

22 Q. And to your knowledge had Dr. Riley been
23 treating him for the gout for that period of
24 time?

25 A. I don't have access to all his records, or to

1 any of his previous records so I am not sure for
2 how long he has been treating him. I know that
3 he has been treating him for a period or he had
4 been treating him for a period of time but I'm
5 not sure if it was 15 years or not.

6 Q. Okay. And I take it since he had asked you to
7 do a consult that gout is something that a
8 rheumatologist would treat?

9 A. Yes.

10 Q. Okay. Is that something that a nephrologist
11 treats also?

12 A. Yes.

13 Q. What is the treatment for gout?

14 A. It depends on the circumstances.

15 Q. Well, what are the different circumstances?

16 A. Well, in certain situations we only treat the
17 acute attack and we wait if patient will develop
18 another attack or not so the treatment could be
19 limited to only few days. In another
20 circumstances we decide on a prophylactic
21 treatment, and that prophylactic treatment could
22 be with one medicine to prevent the attack or
23 more than one medicine. One may consider adding
24 medicine to lower uric acid level.

25 Q. Is that it?

1 A. Well, these are really the basic minimums for
2 treating gout.

3 Q. Okay. Under what circumstances would you treat
4 gout prophylactically?

5 A. If there are recurrent gouty attacks I would
6 definitely in that case use a prophylactic
7 treatment.

8 Q. And what medications specifically would you use
9 to treat it prophylactically?

10 A. Colchicine to prevent the acute gouty attacks
11 and it depends on the circumstances, I may use
12 also medicine to lower uric acid level.

13 Q. And what would the circumstances be that you
14 would use those?

15 A. I would recommend using them in younger patients
16 below age 65, usually, I do recommend using
17 medicine to lower uric acid level if the uric
18 acid level is significantly elevated or if there
19 are tophi or if there is history of kidney
20 stone. Above age 65 I may be more conservative
21 as far as using Allopurinol or Probenecid,
22 another medicine to lower uric acid. Again, I
23 would have to base it on the circumstances.

24 Q. What is the significantly elevated uric acid?

25 A. Well, it varies really from lab to lab a little

1 bit, but usually above 8 or 9 in most labs, that
2 will be considered elevated uric acid.

3 Q. Okay. And what medication would you give to
4 lower the uric acid?

5 A. I use one of two medications, one of them is
6 Allopurinol, the other medicine is Probenecid.
7 I tend to use Allopurinol rather more than
8 Probenecid.

9 Q. Could you spell the second one for me?

10 A. P R O B E N E C I D.

11 Q. Okay. And what medication would you use to
12 treat acute attacks?

13 A. Again, we have a choice. The most likely
14 medicine I use is Indocin.

15 Q. What are some of the others you could use?

16 A. Another group of medication which Indocin is one
17 of them is called nonsteroidal antiinflammatory
18 medications and there are several of them in the
19 market. I occasionally may use Colchicine
20 intravenously or orally and occasionally I may
21 use ACTH injection, and occasionally I may
22 inject the joint with a steroid.

23 Q. Okay.

24 A. These are the usual choices. In certain
25 patients I may use systemic steroids, in

1 patients who tend to be reluctant to other
2 medications, or if there are contraindications
3 with something like this, or something like
4 this.

5 Q. What medications would you use in patients that
6 have renal failure?

7 A. In patients with renal failure I would --

8 MR. GORE: Can I object for
9 clarification? Do you mean patients just with
10 renal failure or with renal failure and gout?

11 MR. FIFNER: I assumed it was both.

12 MR. MELLINO: He wouldn't be
13 treating gout if they didn't have gout and
14 renal failure.

15 MR. FIFNER: Just for
16 clarification --

17 MR. GORE: With both.

18 MR. FIFNER: What would you use in
19 a patient that had gout and renal failure?

20 Q. To treat gout?

21 A. My inclination nowadays is to use either ACTH or
22 intra-articular injections if possible.

23 Q. Or intra what?

24 A. Intra-articular injections, injecting the
25 joints.

1 Q. When you say nowadays, has that changed
2 recently?

3 A. Yes, it did change to some extent. I used to
4 use more nonsteroidal antiinflammatory
5 medications including Indocin, and considering
6 the different circumstances I may still consider
7 using them but I do use them less than I used to
8 before.

9 Q. When did you change?

10 A. Well, in the last few years, there has been more
11 awareness of possible toxic effect of these
12 medications on the kidneys. I cannot tell you
13 exactly for how many years but basically
14 gradually there has been kind of a little bit
15 more at least in trend at least in my practice
16 to use them less if there is alternative.

17 Q. Okay. Has that been -- well, can you give me a
18 time frame as to when this came about?

19 A. To be honest with you, I honestly cannot tell
20 you now any kind of accurate time frame.

21 Q. Has it been more?

22 A. Two years I would have acted different from the
23 way I act today and certainly four years ago it
24 was a little bit different. I can't tell you
25 exactly time, really.

1 Q. Well, how did you learn about, or how did you
2 become aware of the problem with nonsteroidal
3 antiinflammatory agents?

4 A. Different studies indicated that they could have
5 some kind of adverse effect in some patients on
6 the kidney, and one of the articles, in fact,
7 was within the last year, I recall about Motrin,
8 an over-the-counter drug which was shown to have
9 some adverse effect on the kidney, so basically
10 the more we read about it, the more we are
11 inclined really to use them less basically, if
12 possible.

13 Q. What about Indocin specifically, I mean how long
14 have you been aware that that is a toxin to the
15 kidney?

16 MR. FIFNER: Objection to the
17 form. Go ahead.

18 A. When I am talking about Motrin and other
19 nonsteroidal antiinflammatory medications I
20 include Indocin. I don't think Indocin is any
21 different so I am talking about the last several
22 years without really being able to pinpoint to
23 any particular time. It did not happen that one
24 day I decided that Indocin and Motrin and this
25 group of medication cannot be used. It was kind

1 of a trend, really to use them less, and to use
2 certain agents more. For example, ACTH was not
3 at all popular for treating gout several years
4 ago. Now there are certain articles which I
5 believe published within the last couple of
6 years maybe indicated that it is as effective,
7 so I tend to use it more.

8 Q. All right. With the body of medical knowledge
9 as it exists today, is it inappropriate to use
10 or to prescribe Indocin to a patient that has
11 gout and renal failure?

12 MR. FIFNER: Objection. Go ahead.

13 A. Well, I really would not consider the word
14 inappropriate. I would say that medicine like
15 Indocin is not invariably going to cause trouble
16 to the kidney. We are talking about maybe small
17 percentage of patients who are running really to
18 problem from using Indocin and this group of
19 medications, so it depends on the
20 circumstances. One may use it really in
21 patients with renal failure.

22 Q. Okay. But if you do use it you'd have to
23 monitor the effects of the drug closely. Would
24 you agree with that?

25 A. Well, I would tend to monitor that. Nowadays in

1 particular when I have to use it I would tend to
2 monitor the kidney function.

3 Q. What about in '89?

4 A. I can't really tell you exactly how was my
5 practice two years ago or three years ago, but I
6 know that I was not as strict in monitoring this
7 problem as now. There's just much more
8 awareness now of this problem as a potential
9 problem, and I know now that I tend to do that
10 more, much more. 1989 in particular, I can't
11 tell you. I really can't, honestly. I can't
12 swear on it that this change or this happened in
13 this particular month or year.

14 Q. Can you cite to me any particular articles that
15 you read that would have brought about this
16 change in your thinking?

17 A. No, I can't.

18 Q. Okay.

19 A. I can't.

20 Q. Well, could you tell me generally what
21 periodicals they would be in?

22 A. The article about Motrin, Ibuprofen was, I
23 believe, within a year in Annals of Internal
24 Medicine. I think it was within a year. As far
25 as previous articles, I just cannot tell you now

1 which medicals are now published.

2 Q. What journals do you subscribe to?

3 A. I review many journals in the library. I used
4 to subscribe to many journals but nowadays I
5 subscribe mostly to arthritis related journal,
6 Arthritis and Rheumatism and Clinics on
7 Rheumatic Diseases. I review several other
8 journals in the library, Lancet, a British
9 medical journal, Annals on Rheumatic Diseases,
10 Annals on Internal Medicine, New England Journal
11 of Medicine.

12 Q. But you can't cite for me any specific journals
13 that these articles appeared in?

14 A. At this point I can't, no.

15 Q. Did you talk to Dr. Riley after your consult?

16 A. I would think I did. Now, I can't be positive
17 when did I talk to him and what did I tell him
18 and what I did not tell him for sure I didn't
19 talk to him.

20 Q. What is the significance of the elevated CPK?

21 A. Well, it was not very clear to me at that point
22 what really its significance was. CPK could
23 come from the muscle as a general rule and it
24 could come from the heart, during heart
25 attacks. For one reason or another, I don't

1 believe there was any reason to suspect heart
2 attack in that patient so we presume it was
3 coming from the muscle.

4 Q. Okay. So you --

5 A. One condition I suspected was polymyositis. I
6 mean that is one of the common causes of
7 elevated CPK.

8 Q. And what is polymyositis?

9 A. Polymyositis is one of these connective tissue
10 diseases I talked about which involve mainly the
11 muscle, the proximal muscle and result in
12 inflammation in the muscles as well as some
13 damage to the muscle tissue and some
14 regeneration of the muscle tissue cause muscle
15 weakness mostly and sometimes could cause some
16 other manifestations as well.

17 Q. Okay. Whose idea was it to have Mr. Carrick
18 undergo the muscle biopsy?

19 A. That was my idea.

20 Q. Okay. And did you see him again before the
21 muscle biopsy?

22 A. From my note here I saw him on March 23rd and on
23 March 25th.

24 Q. All right. Why don't you read the March 23rd
25 note?

1 A. I don't have it here. I don't have it here.

2 MR. MELLINO: Can you get him a
3 copy?

4 MR. FIFNER: Yes. I will give him
5 mine.

6 Do you have it right there, George?

7 MR. GORE: Yes.

8 MR. FIFNER: There you go.

9 A. Rheumatology. Shoulder x-ray reviewed. Severe
10 periarticular muscular calcifications.

11 Clinically same. CPK is elevated, about 1200.

12 Impression, consider polymyositis with muscular
13 calcifications. B, hydroxyapatite crystal

14 deposition disease. Plan, bone survey,

15 injection shoulders, muscle biopsy. Will

16 discuss with Dr. Riley.

17 Q. Okay. Under impression B, what is that?

18 A. Hydroxyapatite crystal deposition disease is a

19 condition described in a patient with chronic

20 renal failure. It is certain kind of calcium

21 deposit which deposits around the joints.

22 Q. Okay. So your impression changed then between
23 the 21st and the 23rd?

24 A. No, it did not change in the way that I -- you
25 don't keep repeating that, all the impressions,

1 so my impression about gout did not change the
2 second impression I felt it could have been
3 clarified further. I'm talking about that
4 current joint pains, mainly shoulder, I wrote in
5 my first note that it is probably unrelated to
6 gout.

7 It may represent a form of arthritis and
8 peri-arthritis described in patients with chronic
9 renal failure, and that is what I meant with my
10 note on March 23rd about hydroxyapatite crystal
11 deposition disease. That will end to be the
12 same thing.

13 On my initial note, my third impression was
14 elevated CPK, rule out myopathy. I clarified it
15 further on March 23rd by indicating that we
16 should consider polymyositis with muscular
17 calcification because on occasion polymyositis
18 per se can cause muscular calcifications.

19 Q. Okay. Did you discuss the muscle biopsy with
20 Riley?

21 A. Yes.

22 Q. When?

23 A. I cannot say the date really.

24 Q. Okay. Well, tell me what the discussion was?

25 A. Well, I have to presume really that basically I

1 told him that muscle enzyme is high and it will
2 be good idea to do a muscle biopsy to see if he
3 has polymyositis or not. And he apparently
4 asked, I recall he asked the surgeon to see the
5 patient for that procedure.

6 Q. Okay. Let's go to your March 25th note.

7 A. March 25th, '89, rheumatology. Each shoulder
8 injected with 0.5 cc. Aristospan plus 055 ccs.
9 Xylocaine. Naprosyn times two days to prevent
10 steroid induced synovitis.

11 Q. Okay. What were the injections in the shoulder
12 for?

13 A. For the patient was having shoulder pain and at
14 that point my presumption was it was probably
15 hydroxyapatite crystal disease. I felt gout was
16 less likely and the injection, the purpose of
17 them was to treat the shoulder pain.

18 Q. Okay. Why did you feel that gout was less
19 likely?

20 A. Usually when we have attack of gout there's
21 usually swelling, effusion, and redness of the
22 joint. The presentation is kind of more
23 dramatic, that is number one, and number two,
24 patients with gout as a general rule, they do
25 respond to Indocin. He did not respond. And

1 they usually respond to high dose of
2 Prednisone. He did not respond.

3 Q. Okay. What is uremia?

4 A. I'm sorry?

5 Q. What is uremia?

6 A. Uremia is a term used for really elevated blood,
7 urea nitrogen basically or substance called
8 urea. The important part of it or the way it is
9 tested, it is tested by testing BUN. It is
10 something we find usually in patients with renal
11 failure, but it could be found also in other
12 problems sometimes.

13 Q. Does uremia cause anything?

14 A. In patients who have very high level of uremia,
15 they could have certain complication like
16 pericarditis, for example, anemia, but these are
17 usually manifestation of kidney failure anyway.

18 Q. I'm sorry, what were the symptoms they could
19 have again?

20 A. Well, uremia, I like to use it in basically if
21 we're talking about uremia resulting from renal
22 failure, there are several manifestations to
23 that.

24 Q. Okay. What other kinds of uremia are there?

25 A. You could have sometimes what we call a

1 pre-renal azotemia which could be elevated urea
2 without having necessarily renal failure, severe
3 dehydration could lead to that.

4 Q. Okay. Any other forms of uremia?

5 A. If you have gastrointestinal bleeding you may
6 have some elevation of uremia.

7 Q. Did Mr. Carrick have uremia?

8 A. Yes.

9 Q. And what in your opinion did it result from?

10 A. Renal failure.

11 Q. And what was the cause of his renal failure?

12 A. I don't know. I can't say.

13 Q. How do you know he had uremia?

14 A. Well, his BUN was very high.

15 Q. What was it?

16 A. On my consult note I put the figure here, 174.

17 Q. Okay. And what is normal?

18 A. Depends on the lab but usually less than 20.

19 Q. And how do you treat uremia?

20 A. Well, I am really not a nephrologist, I am not
21 an expert on treating uremia.

22 Q. That's fine. Any time you can't answer one of
23 my questions you can just say I don't know, that
24 would be fine.

25 A. Okay.

1 Q. Okay. Do you have any other notes in the chart?

2 A. I don't recall if there is any more really that
3 is --

4 MR. FIFNER: Progress notes I don't
5 think so. He may have an order but I don't
6 think there are any other progress notes.
7 You want to take a quick scan through the
8 orders, doctor, see if you have any in
9 there? Go ahead.

10 A. On March 21st I ordered x-ray of both shoulders
11 and right elbow. CPK with isoenzymes, sed. rate
12 ra latex with titer, ANA, GGT, and discontinue
13 Naprosyn.

14 Q. Those were your only other notes?

15 A. No. This is my order on the 21st. Now, on, was
16 it March --

17 MR. GORE: It was July but it's
18 March.

19 A. March 12th, '89. Bone survey. Please bring
20 Aristospan at least 2 ml. from pharmacy. I will
21 inject shoulders tomorrow. On March 25th, '89 I
22 ordered Naprosyn, 250 milligrams p.o. t.i.d.
23 times two days. That was it.

24 Q. Okay. Was it your expectation that the muscle
25 biopsy would confirm your impressions of

1 polymyositis and --

2 A. Can I see this?

3 Q. And your other impression you have listed under
4 B for the March 23rd note?

5 A. Well, muscle biopsy could be positive if patient
6 had polymyositis. I mean that was a condition I
7 indicated that it should be considered.

8 Q. Well, what was the reason you wanted the muscle
9 biopsy done?

10 A. Well, because if a patient did have polymyositis
11 that is very definite treatment for that.

12 Patient will have to stay on prednisone over a
13 long period of time.

14 Q. Okay. So the muscle biopsy would tell you if he
15 had polymyositis?

16 A. In a large number of patients.

17 Q. Okay. And I'm sorry, tell me again what that
18 word is about?

19 A. Hydroxyapatite crystal deposition disease.

20 MR. FIFNER: Doctor, you are
21 probably going to save yourself a phone call if
22 you would say it very slowly and spell it,
23 because I'm certain the --

24 A. H Y D R O X Y, A P A T I T E, crystal disease.

25 Q. And would that show up on a muscle biopsy?

1 A. I don't think so.

2 Q. Okay. How do you test for that?

3 A. You have to take part of this deposits and send
4 it for electron microscopy and it needs really
5 sophisticated testing.

6 Q. Is there treatment for that?

7 A. It will be by using something like injection and
8 it will be symptomatic treatment.

9 Q. Did you test the crystals?

10 A. No. There was no fluid obtained from the
11 shoulders to be tested. I mean that was
12 not -- the muscle biopsy, it really meant
13 basically for the polymyositis so I did not
14 request a special testing for hydroxyapatite.

15 Q. Okay. But there's a test you can do to
16 determine the presence of that but you didn't do
17 it?

18 A. Well, as a general rule what I am really aware
19 of is that if the fluid is obtained or if one
20 has done synovial biopsy there's possibility
21 that this could be tested but we don't do it
22 routinely. I mean it is mostly really a
23 research kind of thing. I mean from a practical
24 standpoint you don't need to prove this
25 diagnosis, so even if I aspirated the fluid from

1 the joint, I don't believe I would have sent it
2 for electron microscopy testing. It is done in
3 certain universities, in certain research
4 centers. We don't do it routinely.

5 Q. When you are talking about aspirating fluid from
6 the shoulder, are you talking about something
7 you do or --

8 A. When we do the injection, we try to aspirate if
9 there is any fluid there.

10 Q. And you tried that and there was no fluid?

11 A. Yes. I automatically do.

12 Q. Okay. That was on the 25th that you did the
13 injection?

14 A. Yes.

15 Q. And the attempted aspiration?

16 A. Yes.

17 Q. Did you see the patient after the 25th?

18 A. I don't believe so. If I saw him I could have
19 stopped by to see how he was doing really. How
20 many days I stayed after that? Three days.

21 Q. Were you aware of the results of the muscle
22 biopsy?

23 A. I was aware of it after he went to Cleveland
24 Clinic.

25 Q. How did you become aware of it?

1 A. I could have asked Dr. Riley or I could have
2 contacted pathology. I'm not sure, really.

3 Q. Okay. The muscle biopsy was negative, right?

4 A. Yes, from what I see here.

5 Q. What impact would that have on your impressions
6 of the patient?

7 A. Well, at that point it made polymyositis less
8 likely, and -- yes? You want to ask me
9 something?

10 Q. No. Are you done with your answer?

11 A. Yes.

12 Q. Okay. Well, your impressions when you first saw
13 him on the 21st were number one, that he had
14 gout?

15 A. Yes.

16 Q. But you felt that that was less likely, you told
17 me?

18 A. Less likely to be causing his shoulder pain.

19 Q. Okay.

20 A. Not every pain in a patient with gout is from
21 gout so --

22 Q. Well, on the 23rd when you saw him did you still
23 think he had gout?

24 A. Yes, I did think that he did have gout. That
25 particular impression did not change.

1 Q. Okay.

2 A. I usually like to -- I wrote in my impression
3 the history is very suggestive of gout. I could
4 not be absolutely certain, not 100 percent
5 because myself to make the diagnosis of gout I
6 prefer either to take fluid from the joint and
7 find the crystals or at least find the patient
8 during definitely acute episode and try to
9 verify that. But the history was very
10 suggestive of gout.

11 Q. Okay. Your second impression on the 21st was
12 that he had polymyositis?

13 A. No. The second impression was -- which day
14 we're talking here about?

15 Q. 21st.

16 A. The second impression, I read it again. The
17 current joint pain, mainly shoulders, is
18 probably unrelated to gout. It may represent a
19 form of arthritis and periarthrititis described in
20 patients with a chronic renal failure.

21 Q. Okay. Did that impression change at all?

22 A. No.

23 Q. Or would it change knowing the results of the
24 muscle biopsy?

25 A. No.

1 Q. Okay. And what about the elevated CPK?

2 A. I wrote elevated CPK, rule out myopathy.

3 Q. Right.

4 A. I was not very specific here. When I saw him
5 next time I repeated CPK was again elevated. I
6 thought that polymyositis should be a
7 consideration, and muscle biopsy should be
8 done. It did not show it. That would not 100
9 percent exclude polymyositis but it made it less
10 likely.

11 Q. All right. You felt that the elevated CPK was
12 as a result of the polymyositis?

13 A. I was not -- no, I did not have definite
14 diagnosis.

15 Q. No. That was your impression though?

16 A. I thought that should be a consideration. That
17 should be a consideration.

18 Q. Since the muscle biopsy was negative what do you
19 think was the cause of the elevated CPK?

20 A. Well, now, at this point I will have only to sit
21 and speculate really. I have not seen the
22 patient after that. I have not seen the patient
23 after he had the muscle biopsy, and --

24 Q. Well, let me ask it a little different way. If
25 he didn't have polymyositis, or, if he didn't

1 have that, just assume that he didn't, what
2 could be the other causes of an elevated CPK
3 other than the myocardial infarction or
4 something from the heart?

5 A. Well, now in certain situation if there was
6 trauma to the muscle it could elevate the CPK.
7 If there was low potassium it could elevate the
8 CPK. I was not really sure of if renal failure
9 per se could cause elevated CPK, I was not aware
10 of it and I am not aware of it now either.

11 I do see sometimes in practice patients
12 with high CPK without explanation. I tend in
13 these cases to follow the patient for a while
14 and see what will happen without committing them
15 to long term treatment.

16 So basically if I had the chance to follow
17 the patient after that, I probably would have
18 repeated the CPK subsequently in two weeks or
19 three weeks and really to find out what was it
20 and then proceeding from there. It will be hard
21 to determine. It will be hard to determine.

22 Q. All right. As we sit here today, you don't know
23 what the cause of the elevated CPK was?

24 A. No, I don't know at this point.

25 Q. Why did you stop seeing Mr. Carrick?

1 A. What do you mean with that?

2 Q. Well --

3 MR. FIFNER: That's an objection to
4 the form.

5 MR. MELLINO: Is that what that
6 is?

7 MR. FIFNER: I think so. What was
8 the reason that you didn't see Mr. Carrick
9 after the 25th of March?

10 A. Consultant is not supposed to see the patient
11 every day. You give certain treatment, you
12 don't have to have result the next day and you
13 don't need to see the patient every day as a
14 consultant. And my interest was mostly to see
15 what the muscle biopsy will show, and muscle
16 biopsy result was available, I believe, after he
17 went home, after he went to Cleveland Clinic.

18 So even if I stopped to see him, I could
19 have stopped to see him to say hi and if he had
20 any improvement so far with the injection or
21 not, and I didn't feel that I should record it
22 in the chart at the time I see him and to charge
23 money for it. That is exactly the truth. I
24 mean --

25 Q. I understand that. I think you're reading more

1 into my question than maybe I intended. I just
2 want to know if you stopped seeing him because
3 he transferred to the Cleveland Clinic or if you
4 felt you were done treating him or if Dr. Riley
5 asked you to stop seeing him or --

6 A. No. Dr. Riley did not ask me to stop seeing
7 him. Knowing the patient went to Cleveland
8 Clinic he was in the hands of somebody else.

9 Q. Okay. So you stopped seeing him because he went
10 to the Cleveland Clinic?

11 A. That's correct.

12 Q. Okay. And I guess what you're telling me is you
13 may have seen him after the 25th but you didn't
14 necessarily write a note?

15 A. Unofficially I could have. I could have seen
16 him.

17 Q. You didn't render any treatment?

18 A. That's correct.

19 Q. Okay. Dr. Riley asked you to do a consult
20 because the patient had gout, is that right?

21 A. Well, yes, he indicated the reason for consult,
22 severe gout.

23 Q. Where is that, by the way?

24 A. On the top of the consult.

25 Q. Okay. So the patient had had gout for a number

1 of years before this, correct?

2 A. Yes.

3 Q. Do you know why Dr. Riley was asking for a
4 consult at this point in time?

5 A. I will have only to speculate, really, that.

6 MR. FIFNER: Well, don't do that.

7 I mean if you know you have to tell him, if
8 you don't know just say you don't know.

9 A. I can't say for sure what was in the mind of
10 Dr. Riley, no.

11 Q. Okay. Do you know if Mr. Carrick had ever seen
12 a rheumatologist before this point in time?

13 A. I don't know.

14 Q. Is a rheumatologist more qualified to treat gout
15 than a nephrologist?

16 A. Gouty arthritis, yes. I should really -- could
17 I make a statement about this?

18 MR. FIFNER: Go ahead. We talked
19 about this before. Go ahead.

20 A. Rheumatologists are probably the most qualified
21 people to treat gout, but most gouty patients
22 are treated by general internists, including
23 nephrologists. Not every patient with gout is
24 in this community as far as I know is referred
25 to rheumatologists. The majority are not.

1 Q. Okay.

2 A. But rheumatologists probably, they have more
3 experience treating gout than anybody else in
4 the community.

5 Q. Okay. So if a general practitioner or a
6 nephrologist is having a problem controlling
7 somebody's gout or treating that gout then it
8 would be appropriate for them to refer that
9 patient to a rheumatologist?

10 A. Yes.

11 Q. And did you consult with the purpose in mind of
12 treating Mr. Carrick's gout?

13 A. Repeat that question, please.

14 Q. Did you do this consult with the purpose in mind
15 of establishing a treatment for Mr. Carrick's
16 gout?

17 A. Yes.

18 Q. Okay. And what treatments did you establish?

19 A. Well, now, here I should state that that was the
20 purpose of the consult, but when I find
21 something else which I feel could belong to my
22 specialty, I will make comments about it. So
23 basically in this particular case, I felt that
24 the patient did have gout, but I did not feel
25 that the current problem this patient had was

1 caused by gout.

2 Q. Okay.

3 A. So I had to pursue that other point of view
4 also.

5 Q. Okay. So you were asked to consult with him
6 because he had severe gout so you examined the
7 patient, took a history from the chart.

8 Q. You believe that his history was suggestive of
9 gout but you also felt that his problems were
10 more probably related to polymyositis. Would
11 that be fair?

12 A. I'll read it again. The current joints pain,
13 mainly shoulder, is probably unrelated to gout.
14 It may represent a form of arthritis and
15 periarthritis described in patient with a
16 chronic renal failure, and then I found the
17 elevated CPK and I felt this should be pursued
18 further.

19 Q. Okay. I guess where we keep -- where I guess
20 I'm getting confused is you are telling me
21 there's no connection between your impression
22 number two and the polymyositis?

23 A. That's correct.

24 Q. The arthritis and periarthritis?

25 A. Yes. Yes.

1 Q. So did you establish any treatment program for
2 the arthritis and periartthritis?

3 A. I injected his shoulders.

4 Q. Okay.

5 A. My job I felt was to try to establish some kind
6 of more definite diagnosis, and on that basis I
7 did request additional x-ray, and I wanted to
8 have the CPK repeated to just verify that it is
9 definitely elevated, it was not lab error or
10 anything like this, which we did, and I consider
11 further workup to include muscle biopsy, I
12 recommended that was done, and I did inject his
13 shoulders in an attempt to relieve his pain.

14 Q. Okay. So would it be fair to say then you felt
15 that even though he may have had gout, that his
16 problems were most probably caused by the
17 arthritis and periartthritis?

18 A. I felt that his pain at that time was probably
19 more related to arthritis, periartthritis related
20 to the renal failure maybe rather than to the
21 gout. That was my impression at that time.

22 Q. Okay. What is the cause of gout?

23 A. Are you talking in general or about this
24 patient?

25 Q. Let's talk in general first.

1 A. Well, the cause of gout is elevated uric acid.
2 As a general rule, because of certain error in
3 metabolism which makes certain people have
4 elevated uric acid, certain percentage of these
5 people who have elevated uric acid for reasons
6 which we don't understand, they develop gout as
7 a result of deposition of uric acid in the
8 joints, and in certain other areas in the body.
9 When you have a lot of deposition of uric acid
10 in the joints, this may lead to acute gouty
11 attacks.

12 Q. Okay. And what was the cause of this
13 patient --

14 A. This patient, I can't tell. Most of the time we
15 don't know exactly what is the exact cause. I
16 am presuming if he is at age 25 had high uric
17 acid that probably had enough deposition in his
18 joints to cause the flares of gout.

19 Q. And you didn't, you weren't able or did you
20 attempt to determine the cause of his gout?

21 A. I mean, by the way, if I could answer the other
22 question also, add a few things?

23 Q. Which question are you answering, doctor?

24 A. What caused gout.

25 Q. Oh, okay. Go ahead.

- 1 A. I talked about some error in metabolism leading
2 some people to have high uric acid and so on.
3 Sometimes high uric acid could come as a result
4 of other things like renal failure can cause
5 elevated uric acid, like certain medications
6 used for high blood pressure, in particular,
7 diuretics, can lead to high uric acid and over
8 the years this could lead to gout also. So just
9 to make my answer before complete, okay? Now,
10 what was your second question?
- 11 Q. Did you attempt to determine the cause of
12 Mr. Carrick's gout?
- 13 A. No.
- 14 Q. Okay. Do you need to determine the cause to
15 treat it?
- 16 A. No.
- 17 Q. The injections that you gave, I think you said
18 before that that was symptomatic treatment?
- 19 A. That's correct.
- 20 Q. Okay. How long would that last?
- 21 A. Well, if the injection was successful, it may
22 last for weeks or months.
- 23 Q. Okay. Do you know how long it lasted here?
- 24 A. I am not sure even that was successful. I don't
25 know. I did not have follow-up.

1 Q. Okay. I take it based upon what we talked about
2 before as far as this you believe change in
3 medical knowledge over the last few years which
4 you weren't able to quantify the number of years
5 for me, you don't have an opinion as to whether
6 it would have been below the standard of care to
7 give Indocin to a patient with gout and chronic
8 renal failure?

9 MR. FIFNER: Objection.

10 Q. You can answer the question.

11 MR. FIFNER: Yes. Go ahead.

12 A. I could not really make that statement.

13 Q. You don't have an opinion one way or the other?

14 A. Well, I cannot make the statement that every
15 time Indocin is given in patients with gout who
16 has renal failure will be deviation from the
17 standard of practice.

18 Q. Well, what about in Mr. Carrick?

19 MR. FIFNER: Objection.

20 A. I don't know even how was he given Indocin. I
21 don't know. I never reviewed his record prior
22 to his hospitalization.

23 MR. FIFNER: Let me tell you,
24 Chris, that if there comes a point in time
25 where he addresses any of those standard of

1 care issues at trial I will certainly --

2 MR. MELLINO: Well, I'd like to
3 know now as long as we're here.

4 MR. FIFNER: I don't think he has
5 any opinions.

6 MR. MELLINO: That's what I'm
7 trying to find out.

8 MR. FIFNER: If he ever does intend
9 to express any I will go ahead and
10 reproduce him. I mean I think he's made
11 pretty clear the only documents he's seen
12 in connection with the case are his
13 consult.

14 MR. MELLINO: Let me just ask one
15 more question on this and I will be done.

16 Q. Do you have an opinion as to whether or not it
17 would have been below the standard of care to
18 give Mr. Carrick Indocin?

19 A. Repeat the question.

20 Q. Do you have an opinion whether it would have
21 been below the standard of care to give
22 Mr. Carrick Indocin, given the fact that he had
23 chronic renal failure?

24 A. I am sorry, I thought I answered this question.
25 It will not be invariably below the standard of

1 care to give Indocin to a patient with gout and
2 renal failure.

3 Q. Right. You answered it that way before, you
4 were talking general terms. I'm talking
5 specifically.

6 MR. FIFNER: In this specific
7 case? Do you know enough about the facts
8 to say --

9 A. I said that I don't have really access to
10 previous records. From what I can see in my
11 note, to try Indocin for an attack of gout in a
12 patient with gout and renal failure is not
13 absolutely contraindicated, it could be done.
14 It is not deviation from the standard of care as
15 far as I'm concerned.

16 Q. Okay. But I'm talking about specifically under
17 the facts of this case?

18 A. This one, I'm talking about specifically, based
19 on the information I have on my consult sheet.

20 Q. Okay. And you don't know --

21 A. I don't know how much he was given. I don't
22 know for how long. I really just don't know. I
23 don't know that information.

24 Q. Okay. Can I take a look at your chart?

25 MR. MELLINO: Can I get a copy of

1 this?

2 MR. FIFNER: Sure.

3 MR. GORE: May I see it, please?

4 Q. Do you treat renal failure?

5 A. Usually not.

6 Q. Okay. Did you make any recommendations about
7 dialysis in this case?

8 A. No.

9 Q. Do you have any opinion about whether or not
10 Mr. Carrick should have been put on dialysis?

11 A. I don't know if he was put on dialysis or not.

12 Q. No. Do you have an opinion as to whether he
13 should have been?

14 A. I don't have opinion really.

15 Q. Okay. Do you treat hypoparathyroidism?

16 A. Not as a general rule. This kind of situation I
17 get usually help from other specialists.

18 Q. Okay. What specialists would you get help from?

19 A. Well, for renal failure, nephrologist, for
20 hypoparathyroidism, endocrinologist.

21 Q. Did you consider hypoparathyroidism as a cause
22 of Mr. Carrick's problems when you saw him?

23 A. Which problem you are talking about?

24 Q. His joint pain?

25 A. No.

1 Q. What about now in retrospect?

2 MR. FIFNER: Objection.

3 A. I am sorry. I have to answer the question again
4 basically. We did consider that
5 hypoparathyroidism could have been contributing
6 to the calcium deposit.

7 Q. Okay. You didn't write that in the chart,
8 though?

9 A. No.

10 Q. And you didn't do any tests or anything to
11 determine if that was the cause?

12 A. No, I did not.

13 Q. And in retrospect now, do you believe that might
14 have been the cause of joint pain?

15 MR. FIFNER: Objection.

16 A. I believe the joint pain is probably related to
17 what I mentioned as far as hydroxyapatite
18 crystal disease, his shoulder pain, okay. Now,
19 the calcium deposit, they could have something
20 to do with hypoparathyroidism.

21 Q. Did he have steroid myopathy?

22 A. No.

23 Q. If a person has urea can that be treated with
24 dialysis?

25 A. Yes.

1 Q. Okay. And I think you answered this question
2 already, but you didn't prescribe any treatment
3 for his gout, is that true?

4 A. Repeat to me the question, please.

5 Q. You didn't prescribe any treatment for
6 Mr. Carrick's gout?

7 A. No.

8 MR. MELLINO: Okay. I think that's
9 all the questions I have.

10 MR. FIFNER: Do you guys have any?

11 MR. GORE: I have no questions,
12 doctor.

13 MS. HENRY: No questions.

14 MR. FIFNER: Why don't we -- we'll
15 not waive it.

16
17
18 NAZIH M. ZEIN, M.D.
19
20
21
22
23
24
25

C E R T I F I C A T E

The State of Ohio,) SS:
County of Cuyahoga.)

I, Aneta I. Fine, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named NAZIH M. ZEIN, M.D., was by me, before the giving of his deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this ____ day of _____, A.D. 19 ____.

Aneta I. Fine, Notary Public, State of Ohio
1750 Midland Building, Cleveland, Ohio 44115
My commission expires February 27, 1996

W I T N E S S I N D E XPAGE
3

CROSS-EXAMINATION
NAZIH M. ZEIN, M.D.
BY MR. MELLINO