

1 State of Ohio,)
 2 County of Lorain.) SS:

3 - - -

4 IN THE COURT OF COMMON PLEAS

5 - - -

6 SANDRA JOHNSON,)
 7 ADMINISTRATRIX OF THE ESTATE)
 8 OF MOSE T. JOHNSON, DECEASED,)
 9)
 10 Plaintiff,)
 11 v.) Case No. 97CV118106
 12)
 13 AKBAR NAEEM, M.D., et al.,)
 14)
 15 Defendants.)

16 - - -

17 THE DEPOSITION OF JOEL B. ZIVOT, M.D.

18 THURSDAY, JUNE 1, 2000

19 - - -

20 The deposition of JOEL B. ZIVOT, M.D., a
 21 witness, called for examination by the
 22 Defendants, under the Ohio Rules of Civil
 23 Procedure, taken before me, Janis E. Suntheimer,
 24 Registered Professional Reporter and Notary Public
 25 in and for the State of Ohio, pursuant to notice,
 at the offices of Nurenburg, Plevin, Heller and
 McCarthy, Co., L.P.A., 1370 Ontario Street, First
 Floor, Cleveland, Ohio, commencing at 1:45 p.m.,
 the day and date above set forth.

26 - - -

1 APPEARANCES:

2 On behalf of the Plaintiff:

3 RICHARD DEMPSEY, ESQ.
4 Nurenburg, Plevin, Heller & McCarthy
5 First Floor Standard Building
6 1370 Ontario Street
7 Cleveland, OH 44113-1793
8 (216) 621-2300

7 On behalf of the Defendant Akbar Naeem, M.D:

8 DOUGLAS K. FIFNER, ESQ.
9 Douglas K. Fifner Co., L.P.A.
10 24500 Center Ridge Road, Suite 390
11 Westlake, Ohio 44145
12 (440) 871-5020

11 On behalf of the Defendant Elyria Memorial
12 Hospital:

13 LESLIE J. SPISAK, ESQ.
14 Reminger & Reminger Co., L.P.A.
15 113 St. Clair Avenue N.E.
16 Cleveland, Ohio 44114-1273
17 (216) 687-1311

16 On behalf of the Defendants Marion Carroll, M.D.,
17 Marion Rae Prince, D.O. and Acute Care Specialists:

18 COLLEEN HEALY PETRELLO, ESQ.
19 Mazanec, Raskin & Ryder Co., L.P.A.
20 100 Franklin's Row
21 34305 Solon Road
22 Cleveland, Ohio 44139
23 (440) 248-7906

21

22

23

24

25

- - -

1	INDEX	
2		PAGE
3	CROSS-EXAMINATION BY	
4	MR. FIFNER	4, 149
	MS. PETRELLO	97, 145
5	MR. SPISAK	136
6		
7	OBJECTIONS BY	
8	MR. DEMPSEY	
9	12, 15, 40, 57, 58, 66, 70, 94(2), 123	
10	MR. GORDON	
	137, 138, 142, 144, 146, 147, 150,	
11	151(2), 152(2), 153, 154(2), 156	
12		
13		
14		
15	- - -	
16		
17		
18		
19		
20		
21		
22		
23		
24		
25		

1 JOEL B. ZIVOT, M.D.

2 a witness, called for examination by the
3 Defendants, under the Rules, having been first duly
4 sworn, as hereinafter certified, was deposed and
5 said as follows:

6 CROSS-EXAMINATION

7 BY MR. FIFNER:

8 Q. Your full name, please?

9 A. Joel Bruce Zivot.

10 Q. Z-I-V-O-T?

11 A. Right.

12 Q. Your current residence address?

13 A. 2953 Winthrop Road, W-I-N-T-H-R-O-P, Shaker
14 Heights, Ohio 44120.

15 Q. When were you born?

16 A. I'm sorry?

17 Q. When were you born?

18 A. July 17, 1962.

19 Q. Social Security number?

20 A. 276-96-0676.

21 Q. What is your medical specialty?

22 A. I have two specialties, anesthesiology and
23 critical care medicine.

24 Q. Board certified in anesthesiology?

25 A. Yes.

1 Q. How about critical care?

2 A. Yes.

3 Q. When were you boarded in anesthesiology?

4 A. I'm actually double boarded in anesthesiology
5 from the Royal College of Physicians in Canada,
6 Royal College in Canada in 1993 and the American
7 Board of Anesthesiology in 1995, and critical care
8 medicine from the American Board of Anesthesiology
9 in the same year.

10 Q. Critical care is a subspecialty of
11 anesthesiology, is it not?

12 A. Correct.

13 Q. You are not by training or certification an
14 internist, are you?

15 A. I have training in internal medicine.

16 Q. To what extent?

17 A. Well, anesthesiology -- when I trained in
18 anesthesiology in Canada there's a requirement of
19 two years of internal medicine as part of the
20 general training. General training in internal
21 medicine is three years so I have two years.
22 In addition to that I have two years of critical
23 care medicine, which also covers many aspects of
24 internal medicine.

25 Q. If you wanted to become board certified in

1 internal medicine today, could you do it with your
2 training?

3 A. I don't know the answer to that. It's
4 possible that I would be able to write the exam.
5 They review training and they may allow me to write
6 the exam.

7 Q. How about as a cardiologist? You don't hold
8 yourself as a cardiologist?

9 A. I do not hold myself as a specialist in
10 cardiology; however, I do have extensive -- I do
11 not hold myself out as a cardiologist, but I have
12 extensive experience managing patients with cardiac
13 related diseases in my daily practice.

14 Q. Do you refer to cardiologists?

15 A. Yes.

16 Q. So when you manage patients with cardiac
17 problems you refer to a cardiologist for what, the
18 more complicated ones?

19 A. That would not be correct to say the more
20 complicated ones.

21 Q. People aren't referring cardiac cases to you
22 for primary management, are they?

23 A. No.

24 Q. People aren't referring cases to you for
25 infectious disease management, are they?

1 A. People refer patients to me who have cardiac
2 and infectious disease problems in the intensive
3 care setting. In that area I give opinion and
4 exercise practice in cardiac or cardiology and
5 infectious disease matters. So in a way they are
6 referred to me or they come to me as my patient.

7 Q. In an intensive care setting?

8 A. Correct.

9 Q. Now, what percentage of cases in the intensive
10 care setting do you consult with either a
11 cardiologist or an infectious disease specialist?

12 MR. DEMPSEY: And, of
13 course, you mean medical cases?

14 MR. FIFNER: Yes.

15 A. Well, I would say, infectious disease I would
16 say maybe 20 percent of the time there is an
17 infectious disease specialist who I will consult
18 with. With respect to cardiology, it's a bit
19 different. I have two different areas of intensive
20 care that I work in presently. I attend in a
21 cardiac intensive care unit, so every single
22 patient has a cardiologist that comes with them
23 when they arrive. So I work very closely with
24 cardiologists all the time.

25 Q. But in all of those cases there is in fact a

1 cardiologist on board?

2 A. Every case has a cardiologist that has been
3 involved in those, but traditionally the
4 cardiologist only acts as a person who follows so
5 when the person travels outside of intensive care
6 therapy can be continued, but they generally don't
7 advise or direct care while it's happening in the
8 intensive care setting.

9 Q. You don't primarily manage cardiac patients,
10 do you?

11 A. Well, in the intensive care unit I do.

12 Q. In the intensive care setting?

13 A. Yes.

14 Q. You don't have patients that make appointments
15 to come see you in your office, do you?

16 A. No.

17 Q. And have you ever in your career ever run an
18 office where people come into your office to get
19 primary care medicine from you?

20 A. Yes.

21 Q. When?

22 A. During the period of 1989 to 1993 when I was
23 in Toronto, I also had a family practice position
24 in an office.

25 Q. You did family practice medicine?

1 A. Yes.

2 Q. What percentage of your time from 1989 to
3 approximately 1993 was in that office in family
4 practice?

5 A. I would say that I performed that duty
6 probably one day a week.

7 Q. And the other four days a week?

8 MR. DEMPSEY: Do you need
9 to make a call?

10 THE WITNESS: I think it's
11 okay. I can wait.

12 MR. DEMPSEY: His pager
13 went off.

14 BY MR. FIFNER:

15 Q. The other four days a week you were doing
16 what?

17 A. Practicing anesthesia.

18 Q. At what hospital or hospitals?

19 A. Several hospitals. Anesthesia or family
20 practice, I practiced in an office.

21 Q. Right.

22 A. So I practiced in several hospitals in
23 Toronto. Do you want me to list all of the names?

24 Q. What hospitals did you practice anesthesiology
25 in?

1 A. Mt. Sinai in Toronto, Toronto Western, Toronto
2 General, St. Michael's, Sunnybrook.

3 Q. Were you part of a group?

4 A. This is during my training. I trained at the
5 University of Toronto, the University of Toronto is
6 affiliated with all of those. They are all
7 teaching hospitals in Toronto.

8 Q. And you were training to be a specialist in
9 the field of anesthesiology?

10 A. And critical care. The training occurs
11 jointly.

12 Q. So from 1993 to the present you have not been
13 involved in any office setting managing patients
14 and their illnesses?

15 A. I am sorry. Actually, there was no
16 circumstance where I had practiced, but I was a
17 volunteer at the Free Clinic in Cleveland and I did
18 that one day a week for a period of a year. People
19 didn't make appointments, they walked in. And that
20 was 1997.

21 Q. What group are you affiliated with now?

22 A. I presently work at University Hospitals of
23 Cleveland and I am a member of the department of
24 anesthesiology there. Within that department we
25 have a subgroup, which is critical care medicine,

1 which I'm also a member of.

2 Q. How many members of the UH department of
3 medicine are also members of the critical care
4 department?

5 A. Well, there are actually two groups of doctors
6 who practice critical care independently at
7 University Hospital. The department of medicine
8 has a core group in there of three doctors, and
9 then within the group that I'm in there are six of
10 us and we practice critical care as well. There
11 really are no other -- they're surgeons of
12 different specialties who will admit patients, but
13 once they are admitted they are cared for primarily
14 by us.

15 Q. What percentage of your time during the course
16 of a week or a month is spent in the active
17 practice of anesthesiology, administering
18 anesthesia in an operating room?

19 A. Fifty percent.

20 Q. What percentage of your time is actively
21 managing intensive care patients in the ICU?

22 A. Fifty percent.

23 Q. Well, you may have administrative duties or
24 other things. I'm not sure. It's not that
25 obvious.

1 A. Essentially my administrative duties are very
2 minimal. I have some teaching I do mostly in the
3 clinical setting, so it's commensurate with
4 practice.

5 Q. How many times have you reviewed medical
6 negligence cases in your career?

7 A. A few times. I can't exactly say, maybe three
8 or four times.

9 Q. What is your fee?

10 A. My fee is \$200 an hour.

11 Q. Do you advertise in any journals or
12 periodicals as being available to review?

13 A. No.

14 Q. How does somebody from the Nuremburg law firm
15 know that you are available to review a medical
16 negligence case?

17 MR. DEMPSEY: Objection.

18 You can answer if you know.

19 A. Can you ask me the questions again? How did
20 they find me? Is that your question?

21 Q. Yes. How does anybody know that you are
22 available to review medical negligence cases?

23 A. I had an attorney who I knew, not well, who
24 asked me once to review this case and then it
25 eventually found its way to this firm. Initially

1 it was another attorney I believe.

2 Q. Was that Mr. Nemeth?

3 A. Mr. Nemeth was associated with Mr. Powers and
4 I think that Mr. Nemeth was the initial attorney,
5 but this is not his area and so he had handed it
6 over to Mr. Powers.

7 Q. How did you know Mr. Powers? Was he the one
8 that you knew?

9 A. No. I knew Mr. Nemeth.

10 Q. And he asked you to review?

11 A. He did.

12 Q. How many cases have you reviewed for the
13 Nurenburg law firm?

14 A. Including this one?

15 Q. Yes.

16 A. One.

17 Q. This is the only one?

18 A. Yes.

19 Q. Who else have you reviewed cases for?

20 A. I'm sorry. I can't recall the name of the
21 firm. There was a firm. I don't recall the name.

22 Q. How about any attorneys?

23 A. No. I can't recall it.

24 Q. Have you ever reviewed a case and come to the
25 conclusion -- do you keep a file on what you do for

1 legal persons?

2 A. I have a file, yes.

3 Q. At home?

4 A. At the office.

5 Q. So you can produce that for us as to what you
6 have done and for whom?

7 A. Yes, unless it's -- the information is
8 confidential, though, is it not?

9 Q. No.

10 A. Okay. I don't know.

11 MR. DEMPSEY: Well, if a
12 request is made, we will look at it and we
13 will advise accordingly.

14 Are you asking if he has reviewed for
15 plaintiff or defendant? I'm not sure I
16 understand what you want.

17 MR. FIFNER: Well, he has
18 a file of whatever he has done as far as
19 medical legal work.

20 BY MR. FIFNER:

21 Q. Presumably it has in it if he has ever been
22 deposed, deposition transcripts.

23 A. I have never been deposed. This is the first
24 time and I have done maybe three other cases and
25 honestly it's been infrequent and I have acted for

1 both physicians and also for plaintiffs.

2 Q. Can you tell me the names of any attorneys
3 either on behalf of a physician or on behalf of a
4 patient that you have reviewed a case for?

5 A. I can't bring it to mind. There is a local
6 law firm and it was on behalf of a physician. It's
7 a large law firm. It's not this firm. I'm sorry.
8 I can't recall it, but I could locate the file in
9 my office and I can get the name of the firm. It's
10 a woman attorney and I can't recall her name.

11 Q. Have you had an opportunity to review the
12 reports of Dr. Brinkhorn and Dr. Watts?

13 A. Yes. The ones you have just given me?

14 Q. Yes.

15 A. Yes.

16 Q. Now, you have written some reports in this
17 case as well?

18 A. Yes.

19 Q. Do you have retained anywhere any of the
20 correspondence that any of the attorneys have sent
21 to you?

22 MR. DEMPSEY: Objection.
23 Any correspondence to him would be work
24 product.

25 MR. FIFNER: Well, I am

1 not persuaded that it is, but I have a right
2 to know whether that exists and then we will
3 fight later as to whether or not it's work
4 product. So my question stands.

5 BY MR. FIFNER:

6 Q. Have you retained anyplace any of the
7 correspondence that was sent to you by any of the
8 attorneys who have ever represented Mose Johnson at
9 any point in time?

10 A. I think all the correspondence I have are here
11 maybe. I don't -- the letters that I have are -- I
12 don't even recall. I don't think I do. There was
13 nothing in them that was substantial.

14 Q. I'm not interested right now in what's in
15 them. See, for example, you got sent the EMH chart
16 and there's a cover letter from Mr. Spisak.

17 A. Right.

18 Q. Now, when you got the autopsy, did it just
19 magically appear in the mail or was there a cover
20 letter with it explaining what it is?

21 A. There must have been a cover letter. I don't
22 know where the cover letter is.

23 Q. I don't see any of these letters. I could
24 understand a couple, one or two, being misplaced,
25 but I see a lot of different information here. Is

1 it your recollection that all of this information
2 came with cover letters?

3 MR. DEMPSEY: I can tell
4 you all the depositions came from me and I
5 sent a cover letter with them.

6 MR. FIFNER: I would have
7 assumed so.

8 MR. DEMPSEY: Yes, I did.
9 The depos came from me.

10 BY MR. FIFNER:

11 Q. You don't have any idea where any of those
12 cover letters or anything went?

13 A. I can tell you that if there was nothing in
14 them that was more than just "here is the file," I
15 probably would have just thrown it in the garbage.

16 Q. Did you ever to your recollection get any
17 letters that had any substance to them, any
18 description of what this case was about?

19 A. No.

20 Q. Now, you have only done this a couple times?

21 A. Yes.

22 Q. How did you know what to do? There was no
23 letter to you explaining what you were supposed to
24 be doing?

25 A. Well, I had conversations with people where

1 attorneys asked me, they said, this is exactly the
2 language. This is how the law thinks of this.

3 Because I am not an attorney and so I am
4 speaking like a physician if I am asked a medical
5 question, so I was instructed on occasion -- or
6 instructed generally with respect to what the law
7 is interested in. Then I was asked, with this
8 instruction are you able to speak on this? And it
9 was something to that effect.

10 Q. Have you ever generated any other letters to
11 any attorneys involved in this case on behalf of
12 Mose Johnson other than these two letters? One is
13 November 12, '97. The other is May 18th, 2000.

14 A. Not to my recollection, but I believe not.
15 This has been going on for a little while now so I
16 don't recall, but I don't believe so.

17 Q. At the time you wrote -- and I'll give you the
18 letters, November 12, 1997 and the other one I
19 have. I will give you those. Take a look at
20 anything you want to look at here. What I want to
21 do is talk about some of your opinions.

22 A. Sure.

23 Q. At the time you wrote this letter in November
24 of 1997 had you been asked by the patient's
25 attorneys to review the conduct of either the

1 emergency room or the hospital?

2 A. No.

3 Q. Do you have any kinds of notes when you go
4 through this information? Just how does this
5 letter get generated? Do you generate notes and
6 then dictate from that? Do you just review it all
7 and dictate this letter off the top of your head?
8 How does a letter like this get generated?

9 A. I review it. And then I may as I'm reviewing
10 it, I may make a few notes to myself on a piece of
11 paper.

12 Q. Where is that piece of paper with those notes?

13 A. I don't know. I don't save those. Once I
14 generate this copy, I discard those.

15 Q. Do you know what information you had available
16 to you to review at the time you wrote this letter
17 of November 12, 1997?

18 A. This chart here.

19 Q. The chart of Dr. Naeem?

20 A. Yes. The chart of Dr. Naeem is what I had.

21 Q. Anything else?

22 A. I think I had the autopsy. I think I had the
23 autopsy, the chart of Dr. Naeem, the emergency room
24 record. But as I recall I was only asked to review
25 the chart of Dr. Naeem and that was all that I was

1 reviewing at the time, and the autopsy record I
2 guess.

3 Q. When is the first day that you believe that
4 Dr. Naeem's conduct fell beneath accepted standards
5 of care in his treatment of Mose Johnson?

6 A. On the periodic health examination, which was
7 dated I believe it was November 3rd, but I have to
8 look again.

9 Q. Okay. Go ahead. Take a look.

10 A. This one here, November 2nd, 1996.

11 Q. What is it that you believe fell beneath
12 accepted standards of care with regard to this
13 visit?

14 A. Two things. Actually, maybe more than two.
15 The first thing is that Mr. Johnson clearly was an
16 individual who was at high risk for coronary artery
17 disease based on his weight, his age, his gender,
18 his family history, his tendency towards
19 hyperglycemia, his smoking history, his obesity if
20 I didn't mention that. These are all strong risk
21 factors and predictors of coronary artery disease.

22 At the time of the examination he performed an
23 electrocardiogram. I believe that subsequently was
24 found to have abnormalities.

25 Q. Were those acute or chronic abnormalities?

1 A. One cannot say because there has to be another
2 electrocardiogram. However, those abnormalities
3 were of a significant nature and highly suggested
4 the presence of active coronary artery disease.
5 The abnormality is the elevated white blood cell
6 count, which was not, as far as I can ascertain
7 from the records, fouled up in any way. It wasn't
8 commented on. It wasn't broken down in any other
9 way.

10 The temperature wasn't taken at that time,
11 which would have helped determine whether that
12 elevated white blood cell count indicated
13 infection, which I believe that it did.

14 Q. What was the nexus? Do you see any place on
15 that record that Mr. Johnson had any open area on
16 his body?

17 A. No.

18 Q. How did that -- if you believe it constituted
19 infection, how did that infection get in his body?

20 A. It could have been as trivial as a scratch,
21 which clearly he didn't strip him naked and examine
22 every square inch of his body. So the fact that no
23 open area was seen in this examination in no way
24 rules out that as a possibility.

25 Q. What was the WBC?

1 A. It was 12,900.

2 Q. What is normal for that lab?

3 A. Well, normal is between ten-five and eleven.

4 This particular lab I cannot say, but I can't
5 imagine it would exceed eleven.

6 Q. Now, is 12.9 with an upper limit of normal of
7 eleven, is 12.9 what you would consider to be
8 markedly elevated?

9 A. Markedly elevated? No. Elevated? Yes.

10 Q. Well, 11.1 would be elevated as well, wouldn't
11 it?

12 A. Yes, it would be.

13 Q. Now, do you see in your review of the records
14 any other possible explanation for his elevated
15 WBC?

16 A. Other than what?

17 Q. Infection, which you posit that it is.

18 A. Do I see any other evidence for something
19 other than --

20 Q. Is there any other possible explanation for a
21 WBC of 12.9 in Mr. Johnson as of November 2nd, 1996
22 other than the infection which you believe it
23 shows?

24 A. Well, if Mr. Johnson did not die of an
25 infectious complication, I would say, yes, there

1 are other possible explanations.

2 Q. What are they?

3 A. Heart disease can elevate the white blood cell
4 count.

5 Q. Okay. Anything else?

6 A. Any kind of injury of any variety can elevate
7 a white blood cell count. There are things that
8 can be done that can help discern whether or not
9 this is infection. Those things were not done.

10 Q. What can be done to discern it?

11 A. The white blood cell count can be
12 fractionated; that is to say, the components of the
13 white blood cell count can be examined. Certain
14 kinds of white blood cells predominate in certain
15 kinds of conditions which can support or refute a
16 diagnosis of infection. That was not done.

17 Q. Are you suggesting that every single elevated
18 WBC that an internist or family practitioner gets
19 which is elevated must be fractionated in order to
20 meet accepted standards of care?

21 A. No, I would not say that.

22 Q. Okay. Then what about what's going on
23 here? You are the attending doc. A guy comes in
24 and he has a WBC of 12.9. You also know he has
25 coronary artery disease. You also already know he

1 is status post a recent injury, don't you?

2 A. With regard to the coronary artery disease,
3 there is nothing here that indicates to me that Mr.
4 Johnson thought he had coronary artery disease.
5 This really doesn't make any mention of it. Dr.
6 Naeem doesn't make any mention of it, so I would
7 discount that. I am saying that, yes, it is
8 possible.

9 Q. And he had a recent injury, didn't he?

10 A. Yes, he did.

11 Q. That could explain it, too, couldn't it?

12 A. It could, except he doesn't comment on it.
13 Because he doesn't comment --

14 Q. What do you mean he doesn't?

15 A. He ordered the test. The test comes back.
16 It's abnormal. It's his responsibility to find out
17 why, to speculate, to decide, to determine the
18 reason for it being elevated. He does no such
19 thing, so I can't know what he was thinking because
20 it's not down here.

21 Q. Have you had a chance to review his
22 deposition?

23 A. I have, but he --

24 Q. Go ahead.

25 A. I don't recall him specifically, but perhaps

1 you could show me where he talks about the white
2 blood cell count and breaks it down. But he didn't
3 take a temperature, which would have been a simple
4 enough thing to determine whether this was
5 something at that time. The test was available at
6 the time of this note.

7 Q. Have you read the wife's deposition, Mrs.
8 Johnson's?

9 A. I have, but if there's something that's
10 specific you want me to comment on, you will have
11 to remind me.

12 Q. Do you see any indication in Mrs. Johnson's
13 testimony that Mr. Johnson on or about November
14 2nd, 1996 was complaining of any cardiac symptoms?

15 A. His lack of complaint does not in any way
16 exclude the fact that he had coronary artery
17 disease. The reason why I know this to be so is
18 because the autopsy confirmed the presence of
19 extensive coronary artery disease, which could not
20 have happened in three weeks. That was there
21 before.

22 Q. Do you remember Mrs. Johnson testifying that
23 he had no fever or chills or any other symptoms
24 similar to that?

25 A. The absence of the fact that she may say that

1 also does not mean that he does not have a fever.

2 A fever can be raised very slightly and it may be
3 imperceptible if she didn't take a temperature and
4 also fever tends to be periodic. It waxes and
5 wanes in the presence of infection. It may be
6 occurring at night for all we know.

7 Q. To a reasonable degree of medical probability
8 can you look this jury in the eye and tell them you
9 know had a temperature been done on November 2nd,
10 1996 it would have been elevated?

11 A. Because he died of infection I would say that
12 on the balance of probability -- I'm not sure of
13 the word that you used -- it would be my opinion
14 that, yes, it would be elevated.

15 Q. To a reasonable degree of medical probability
16 had it been done it would have been elevated?

17 A. Yes.

18 Q. Now, you wrote an entire report of November
19 12, 1997, didn't you?

20 A. Yes.

21 Q. And you had a chance to review the autopsy at
22 the time you wrote that letter, didn't you?

23 A. Yes.

24 Q. You had a chance to review the death
25 certificate, didn't you?

1 A. Yes.

2 Q. You never once in that entire letter ever
3 mentioned infection, did you?

4 A. No.

5 Q. Never once suggested in that letter that
6 infection was a cause of the death, did you?

7 A. If the reason why I would have -- I was asked
8 a very specific kind of question, and so the fact
9 that I did not comment on it at the time of the
10 letter does not mean I didn't consider it. It
11 means I wasn't asked to comment on it.

12 Q. But you don't have any letters to prove that
13 you were asked to comment on it?

14 A. No.

15 Q. Nor do you have any notes that suggest that
16 you were asked to comment on it?

17 A. No, I don't.

18 Q. All we have is this letter?

19 A. Yes.

20 Q. And this letter doesn't suggest in any way
21 that infection played any type of a role in Mr.
22 Johnson's death, does it?

23 A. No.

24 Q. And now you are going to try to tell this jury
25 that to a reasonable degree, despite the fact

1 infection waxes and wanes and despite the fact that
2 sometimes these elevations in temperatures are
3 almost imperceptible, you are going to tell this
4 jury that you can predict to a reasonable degree of
5 medical probability on November 2nd he would have
6 had an elevated temperature at that very moment
7 when Dr. Naeem took his temperature?

8 A. I don't know when you say -- I think it would
9 have been very likely. So I'm not sure if I'm
10 using the words that you want me to use.

11 Q. To a greater than 50 percent likelihood?

12 A. The fact that he died of a very serious
13 infection three weeks later I would say there's a
14 good chance greater than 50 percent that he would
15 have had an infection or would have had a
16 temperature.

17 And there was another occasion where he saw
18 him, too, a few days later where a temperature also
19 was not taken, so he had another opportunity.

20 Q. Have you ever been responsible for -- what did
21 Mr. Johnson die from?

22 A. Mr. Johnson died of coronary artery disease,
23 ischemic heart disease and a complication related
24 to an infection.

25 Q. What was the immediate cause of death to your

1 understanding?

2 A. Well, cardiovascular collapse related to
3 overwhelming infection in a person who had
4 significant decompensated cardiac function.

5 Q. Are you suggesting that based upon the
6 examination of November 2nd that Mr. Johnson's
7 underlying cardiac status would have been altered
8 by the time of his death?

9 A. Definitely.

10 Q. Okay. Now let me ask you this. You have
11 never been primarily involved in the management of
12 a nonintensive care cardiac patient, have you?

13 A. Well, in the capacity as a family physician I
14 have referred patients to cardiologists. I am not
15 a cardiologist. I have never performed a stress
16 test if that's what you mean. I have never
17 performed an angiogram.

18 Q. Are you qualified to interpret the results of
19 those stress tests?

20 A. Angiograms?

21 Q. Yes. Or do you leave that to the
22 cardiologists?

23 A. I know how to read them if that's what you are
24 asking me.

25 Q. You don't get involved in the decision of who

1 is a surgical candidate and who is not?

2 A. I do, actually in my capacity I do cardiac
3 anesthesia, and critical care patients may come and
4 need surgery, so I am a person that's involved in
5 the decision of whether or not they will be fit for
6 surgery.

7 But whether or not surgery is better than
8 medical therapy, sometimes patients are not good
9 surgical candidates and so in that way I may say,
10 well, I don't think that this patient should have
11 surgery, although if they were in another kind of
12 state, then perhaps surgery would be better, and
13 I think we have to do medical therapy at this time
14 because of the -- from the perspective of the
15 operation and they wouldn't have a good outcome.

16 Q. You have never performed the surgery?

17 A. No.

18 Q. You are not a surgeon by training?

19 A. No.

20 Q. Do you still consider yourself qualified to
21 tell this jury whether this patient was a candidate
22 for medical management or surgical management?

23 A. Yes, I do.

24 Q. And you haven't ever done this surgery?

25 A. I have never done the surgery.

1 Q. You've never done anything surgical?

2 A. But cardiologists have never done surgery and
3 they know when a patient needs surgery or medical
4 therapy. The act of performing the surgery doesn't
5 make a person capable of deciding when surgery
6 needs to be performed.

7 Q. Do I understand you to be saying that within a
8 period of 10 or 12 or 14 days after November 2nd
9 this patient would have been in, according to your
10 hypothesis, would have been in an operating room
11 having coronary bypass surgery?

12 A. It would be my opinion that if this person was
13 referred to a cardiologist in a timely fashion and
14 his stress test would have been performed it would
15 have positively led to the presence of a coronary
16 angiogram, which would have revealed extensive
17 coronary artery disease, the treatment of which
18 would be coronary bypass grafting. Had that been
19 done, that would have prevented what I believe to
20 be a myocardial infarction.

21 Q. And do you believe that if the MI had been
22 prevented that he would not have died from the
23 bacterial pericarditis?

24 A. I think that is what set him up for the
25 pericarditis.

1 Q. So you believe that the results of the
2 November 2nd EKG were so dramatic that the standard
3 of care required immediate referral to a
4 cardiologist?

5 A. Yes.

6 Q. And at that point in time was he having any
7 cardiac symptomatology?

8 A. According to Dr. Naeem's record and the way
9 that he records asking the questions, no. However,
10 it depends on how you ask them. It depends on who
11 is asking them. It depends on how often they are
12 asked. Traditionally cardiac symptomatology can
13 present very subtly. Some patients tend to
14 exclude things they think to be insignificant.

15 Cardiac pain can be felt as pressure, as
16 fullness, as indigestion, as jaw pain, as arm pain.
17 These are all things that can be cardiac pain.

18 Q. I am not interested in what might be. I am
19 interested in this case. You tell me where you
20 find evidence to support your proposition that Mr.
21 Johnson had any cardiac symptomatology as of
22 November 2nd, 1996. Show me.

23 A. He had an electrocardiogram --

24 Q. Show me where he had any cardiac complaint,
25 cardiac symptomatology as of November 2nd, 1996.

1 MR. DEMPSEY: Can you let
2 him finish his answer?

3 MR. FIFNER: Yes.

4 MR. DEMPSEY: He started
5 to speak.

6 BY MR. FIFNER:

7 Q. He had an abnormal EKG?

8 A. He had positive risk factors for coronary
9 artery disease.

10 Q. My question is, you show me where in this
11 chart you find any evidence to support your belief
12 he had positive clinical cardiac symptomatology as
13 of November 2nd, 1996, any of those symptoms that
14 you suggested that he might have had.

15 A. You are going to have to ask me more
16 specifically because the absence of complaining of
17 crushing chest pain does not in any way exclude
18 coronary artery disease.

19 Q. My question is very simple.

20 A. He doesn't say anywhere, I have crushing chest
21 pain.

22 Q. He doesn't say anywhere else anything
23 consistent with cardiac symptomatology, does he?

24 A. It's not -- you are asking me -- you are
25 framing this in a way that I don't think speaks to.

1 the problem here.

2 Q. You let me decide what the problem is. Just
3 answer my question.

4 Is there any place in this record where Mr.
5 Johnson is noted to have any complaints consistent
6 with cardiac symptomatology?

7 A. Maybe it would help me if you told me that
8 list and then I can tell you yes or no.

9 Q. It's the very list you just went through,
10 numbness in the arms, problems in the jaw, chest
11 pains, all of the symptoms that you said he might
12 have.

13 A. I don't see that he asked those questions.

14 Q. Have you read --

15 A. He didn't ask them and he didn't volunteer
16 them so I don't know.

17 Q. Have you read the deposition of Mrs. Johnson?

18 A. Yes, but I can't remember it so you are going
19 to have to -- if you want me to -- I will have to
20 look at it again if you want me to talk about it.

21 Q. Do you remember reading in there that she was
22 asked those very questions and she denied that her
23 husband had any of those complaints?

24 A. I don't recall.

25 Q. You never got her deposition, did you?

1 A. Perhaps I didn't because I can't recall it.

2 Q. Okay. I see Jane May. I see Marion Prince.
3 I see Rose Fenik. I see Dawn Sturgeon and I see
4 Akbar Naeem. You haven't even been sent her
5 deposition, have you?

6 A. If it is not there, the answer would be, I
7 don't recall it here so I don't think I have it.

8 If she had said that, as you say, which I'm
9 sure you are recording it accurately, her lack of
10 saying that does not exclude the fact that he had
11 coronary artery disease.

12 Q. I understand your point. What is it that is
13 so -- what do you believe a reasonable time period
14 would have been between the interpretation of this
15 EKG by Dr. Naeem and this patient showing up in a
16 cardiologist's office to begin the process of
17 evaluation?

18 A. Well, let me tell you what I would have done.

19 Q. I'm not interested in what you would have
20 done. I'm interested in what you believe a
21 reasonable physician should have done under this
22 circumstance.

23 A. Well, then I'll say within a week of this he
24 should be in the office of a cardiologist and
25 perhaps sooner. And the reason why I say that is

1 because I would ask the questions again. That's
2 what I would do. I would bring him in again and I
3 would say, look I have this abnormal
4 electrocardiogram. Let's go over this now. And I
5 would ask the questions again and if he at that
6 point said, oh, you know what, now that you mention
7 it, there are circumstances where I get pressure,
8 or, there are circumstances where I get these
9 things, then I'd refer him to a cardiologist that
10 day. If the patient is at high risk, I think he
11 should be seen as soon as possible.

12 Q. But we know from the wife's deposition he
13 didn't have any of those symptoms so there is no
14 valid reason to assume he would have related those
15 on that day, is there?

16 A. The wife is not a good person to ask. I
17 wouldn't take what she has said as excluding the
18 presence of those symptoms. I don't know the
19 nature of that relationship. I don't know the
20 nature of how they conversed. And typically when I
21 speak to people who are patients, people are not
22 historians and so they don't know how to tell a
23 story. So one of the things as a doctor is the
24 responsibility to teach them how to speak in words
25 and tell a story and it could take more than one

1 occasion to get the stories right.

2 Q. Are you assuming for purposes of your
3 testimony -- now, you have told me that it could be
4 within a week or sooner. What if all of this
5 discussion with Mr. Johnson failed to elicit any
6 clinical symptoms of cardiac disease? What then
7 would have been the time frame that would have been
8 appropriate for him to be seen in a cardiologist's
9 office?

10 A. Well, I can't answer your question that way
11 because if he would have had -- I think it's
12 unlikely that he would have had no symptoms. The
13 reason I say that is his coronary artery disease
14 was extensive. If he would have been asked
15 properly, then he would have -- or what would have
16 happened is this: He would have said, sir, do you
17 exercise? And he would have said perhaps, no, I
18 don't. So he may never get his heart rate up very
19 fast in order to uncover decompensated coronary
20 artery disease. I can't exclude it. Then I would
21 have to say I assume it was there. And I would
22 have to try to get it to happen quickly.

23 Q. Is there any type of correlation between
24 sudden death among those people who have cardiac
25 symptomatology versus those people who don't have

1 cardiac symptomatology?

2 A. Yes, there is a correlation. People can have
3 sudden death.

4 Q. They can, but don't most people who suffer
5 sudden cardiac death have cardiac symptomatology as
6 well?

7 A. In fact, people who have angina usually do
8 better with their MI than people who don't, and the
9 reason is because if you have angina and don't have
10 myocardial infarction, usually you have collateral
11 circulation in the people who drop dead and don't
12 get symptomatology. So the absence of symptoms in
13 no way excludes coronary artery disease.

14 Q. Does it make a difference, though, as to the
15 time frame in which the standard of care requires
16 that they be seen in the cardiologist's office? In
17 other words, if he doesn't have cardiac
18 symptomatology, is it okay for him to be seen in
19 two weeks, in three weeks, in a month, or does
20 everybody have to be seen within one week
21 regardless of whether they have cardiac
22 symptomatology?

23 A. I would think that -- I think that's a -- I'm
24 sorry. I think it's a hard question to answer
25 because ideally people should be seen as soon as

1 possible. That's what people will say. So to say
2 it's seven days, eight days, ten days --

3 Q. You are the one that is telling the jury that
4 my doctor deviated from standard of care. Is there
5 a difference as to the standard of care when a
6 patient should be seen in a cardiologist's office?

7 A. I would say there's probably two or three ways
8 one could classify this. People who are clearly
9 having symptoms, unstable symptoms, in front of
10 your eyes should be seen immediately. People who
11 have no symptoms at all, you know, probably can
12 wait longer.

13 Q. How long?

14 A. I think it would depend upon how suspicious I
15 was. The more suspicious I am, the more quickly I
16 think they should be seen.

17 Q. How long in this case, assuming that he had
18 no cardiac symptoms, what's the minimum length of
19 time -- what is the maximum length of time that
20 could elapse and meet accepted standards of care
21 before seeing the cardiologist?

22 A. The reason why I can't answer that exactly as
23 you asked is because the piece of information
24 that's missing is, what are his symptoms.

25 If he had come back, if he had on the basis of

1 this electrocardiogram called him back and said,
2 come back, let's talk about this, and in particular
3 do you have this and he was able to get a good
4 story and document it well and he had actually no
5 symptoms whatsoever, then he can probably wait two,
6 three weeks. Okay?

7 If, on the other hand, if he asked him the --
8 and only reason why I say that is I appreciate that
9 things get backed up and so on. No one would say
10 that's acceptable per se. That's just what is the
11 difference between what is acceptable and what
12 isn't.

13 Q. Now, Dr. Naeem does not control how long it
14 takes to get into that cardiologist's office, does
15 he?

16 MR. DEMPSEY: Objection.

17 BY MR. FIFNER:

18 Q. He calls up and the appointment gets made when
19 the appointment gets made, right?

20 A. Yes and no. Not really because a referring --
21 I think that the family practitioners are the
22 people that fuel health care. So they call up the
23 cardiologist and say, look, I got this guy I want
24 you to see as soon as possible because of these
25 reasons. The cardiologist just says, okay, I will

1 fit him in as soon as I can.

2 Q. What did Mr. Johnson do for a living?

3 A. I don't recall.

4 Q. Was he working up to the time -- was he
5 working up to November 2nd, 1996?

6 A. I believe he may have been at that time not
7 working, but I can't recall.

8 Q. Why?

9 A. I think he had an injury that had sidelined
10 him.

11 Q. The hip injury?

12 A. I believe so.

13 Q. And was his job an on-his-feet job or a desk
14 job?

15 A. I don't think it was strenuous work, but I'm
16 sorry, I just can't recall.

17 Q. Now, he gets into the cardiologist's office.
18 What do you think is going to happen once he gets
19 into the cardiologist's office?

20 A. The cardiologist will probably repeat the
21 electrocardiogram, ask him some pointed questions.

22 Q. Then what?

23 A. Then he would order some kind of provocative
24 stress testing and there will be a couple of
25 varieties that will occur at that time.

1 Q. Do you ever order outside stress testing?

2 A. I refer people to -- I do refer people for
3 those kinds of tests.

4 Q. Do you ever write the order?

5 A. I don't understand the question. What do you
6 mean write the order?

7 Q. Do you ever write the order for a stress test
8 or do you refer them to a cardiologist?

9 A. I refer them to the cardiologist.

10 Q. Who then writes the order?

11 A. Yes.

12 Q. What is in Lorain, Ohio the average length of
13 time between the writing of an order for a stress
14 test and the actual test?

15 A. I don't know.

16 Q. What's an acceptable period of time?

17 A. In Lorain, Ohio? I don't know. In my opinion
18 what is acceptable would be a very short period of
19 time.

20 Q. Tell me what.

21 A. Well, I think it should be less than -- I
22 think it would depend upon the symptomatology. If
23 the symptomatology is great, then the stress test
24 should be immediate. If the symptomatology is
25 absent, then again perhaps a week.

1 Q. So if Mr. Johnson was absent symptomatology,
2 it could be as long as three weeks before he gets
3 to the cardiologist to begin with and it could be
4 another week before the stress testing gets done?

5 A. Except we don't know whether Mr. Johnson was
6 absent symptomatology.

7 Q. Now --

8 A. And I don't think I said three weeks. I think
9 I said two, or one actually, maybe one week and
10 then another week, so that's two weeks. That still
11 would have been before he died.

12 Q. Well, the record speaks for itself what you
13 said.

14 A. Uh-huh.

15 Q. Now, the stress test comes back. How long
16 does it take? What in your opinion does the stress
17 test show?

18 A. It will show -- well, as the stress test is
19 being performed it would be reviewed at that time.
20 The stress test, I believe, will show
21 abnormalities. It will show ischemia. I believe
22 it will show ischemia of significant nature.
23 And then it will be reviewed and a recommendation
24 will be made to perform a coronary angiogram.

25 Q. How long does it then take before you do the

1 coronary angiogram?

2 A. It should be done very soon, immediately,
3 within a day, that day or the next day probably.
4 He would have to be fasted, so it should be
5 performed the next day or the day after. However,
6 he might stay in the hospital. If he had a very
7 serious stress test, he might stay in the hospital
8 and be put in the coronary care unit and be
9 monitored.

10 Q. Can you say to a reasonable degree of medical
11 probability that's what would have happened here?

12 A. I can't. I can't say that.

13 Q. So now what's the coronary angiogram going to
14 show?

15 A. The blockages that he had at the time of his
16 autopsy.

17 Q. What were those?

18 A. I would have to review the record again
19 because I can't recall.

20 Q. Let me ask you this before you take a look at
21 the record. How much time had you spent reviewing
22 these records before your original report in
23 November of '97?

24 A. You mean hours or --

25 Q. Yes.

1 A. A few hours.

2 Q. Have you generated any fee bills? This has
3 been going on for at least two, three years. Have
4 you generated any fee bills?

5 A. Maybe on three occasions.

6 Q. Where are those fee bills among your records?

7 A. I think that perhaps they are with the
8 attorney.

9 Q. You don't keep a copy of them?

10 A. No.

11 Q. You don't have a copy of the fee bills?

12 A. No.

13 Q. How much time have you spent in review of this
14 case?

15 A. Well, let me say that I thought about this
16 case a lot. But how much time I billed for it, it
17 was probably on the order of maybe nine hours of
18 time.

19 Q. And how much have you thought about this case?

20 A. Well, lately I have been thinking about it a
21 lot.

22 Q. Okay. How much?

23 A. Well, I have been thinking about it, you know,
24 for the last few weeks coming up to this
25 deposition, probably a little bit every day or

1 every other day, a few minutes periodically in the
2 day I think about it.

3 Q. Just give me a total.

4 A. Let's say 20 minutes a day for the last week.

5 Q. So that's another four or five hours, 20
6 minutes a day for the past week?

7 A. Probably.

8 Q. It's not that much. It's a couple hours.

9 Now, prior to today, prior to this moment
10 today have you reviewed any textbooks or literature
11 to assist you in determining what might be the
12 applicable standard of care for Dr. Naeem?

13 A. Yes.

14 Q. What textbooks or literature did you review in
15 order to assist you?

16 A. I looked at Harrison's Internal Medicine,
17 Cecil's. That's a book on internal medicine. I
18 talked with other colleagues.

19 Q. Who did you speak with?

20 A. I talked to a cardiologist who I work with.

21 Q. Who?

22 A. That was actually back in -- not now. That
23 was back in 1997 and I cannot remember the name
24 that I was --

25 Q. Why did you consider it necessary to look at

1 Harrison's and Cecil's and speak with another
2 cardiologist about your review of this case?

3 A. Because that's the way that doctors think.

4 Q. Do you consider either Harrison's or Cecil's
5 to be authoritative in the field of internal
6 medicine?

7 A. Yes. However, textbooks generally are not the
8 most up-to-date depending on the area. It depends
9 upon -- generally these things are considered to be
10 reasonable textbooks.

11 Q. Do you consider either of them to be
12 authoritative?

13 A. Generally, yes.

14 Q. Did you find anything in your review of either
15 Harrison's or Cecil's which assisted you in
16 formulating any of the opinions that you have
17 rendered or will render in this case?

18 A. Not specifically, not more than -- I guess
19 perhaps I should say nothing that changed my
20 opinion.

21 Q. Do you hold the underlying belief that Mr.
22 Mose Johnson was a symptomatic patient in terms of
23 coronary artery disease?

24 A. Well, I think Mr. Johnson was a good patient
25 in that he came to his doctor regularly. He --

1 Q. Can you answer my question? Do you consider
2 him to have been symptomatic in terms of his
3 coronary artery disease?

4 A. I believe if he had been asked specifically,
5 that he would have had symptoms.

6 Q. There certainly are patients who have this
7 degree of coronary artery disease who are
8 symptomless, aren't they?

9 A. Absolutely.

10 Q. What allows you to say that Mose Johnson would
11 have been one of those people who had symptoms as
12 opposed to one of those people who did not have
13 symptoms?

14 A. Because of the extensive degree of his
15 coronary artery disease.

16 Q. We agreed that people with that degree of
17 coronary artery disease can produce asymptomatic?

18 A. Yes.

19 Q. So what allows you to classify him as somebody
20 who was symptomatic?

21 A. It's my opinion. Beyond that I cannot give
22 you some magic explanation that he has got
23 something in particular that makes it likely.
24 That's my opinion based upon my experience.

25 Q. So what do we do with the results of the

1 angiogram?

2 A. So you are saying now, let's say we have an
3 angiogram that shows coronary artery disease, what
4 is the next recommended therapy?

5 Q. Yes.

6 A. It would be bypass.

7 Q. Was this patient capable of being medically
8 managed?

9 A. With this degree of coronary artery disease,
10 the indications for bypass would have outweighed
11 the medical. He would have been sent for coronary
12 bypass grafting instead of medical therapy.
13 Medical therapy also would have been initiated.

14 Q. How soon would this bypass grafting have taken
15 place? What's a reasonable parameter under these
16 circumstances within which to have had the coronary
17 bypass grafting?

18 A. Well, I can tell you that in my hospital that
19 if someone comes in and has this kind of angiogram,
20 they can be done the next day.

21 Q. What about in Lorain, Ohio or Elyria, Ohio?

22 A. I don't know the availability there of
23 coronary artery bypass grafting in 1996 when he
24 died. I can't answer that question.

25 Q. Do you believe that the groin pain and leg

1 pain that Mr. Johnson was suffering from in any way
2 related to his pericardial infection?

3 A. I don't know. The groin and leg pain are
4 puzzling to me, puzzling because he kept
5 complaining of it and therapy, fairly aggressive
6 therapy that would have fixed musculoskeletal pain,
7 did not correct it, and the autopsy did not reveal
8 any obvious abnormality. So I don't know. It's
9 odd to me. But the fact that he kept complaining
10 about it and the fact that therapy wasn't making it
11 any better would have raised a flag in my mind. It
12 would have raised something in my mind that there
13 is something here that is missing.

14 Q. And we could have done MRIs and CTs until we
15 were blue in the face of this area and it wasn't
16 going to show us anything?

17 A. Probably not.

18 Q. Have you ever been involved in managing --
19 first of all, let me ask you this. Have you ever
20 been involved in the primary diagnosis of bacterial
21 pericarditis?

22 A. Yes.

23 Q. Primary diagnosis?

24 A. Yes.

25 Q. When?

1 A. In the intensive care unit.

2 Q. Have you ever been involved in primarily
3 managing bacterial pericarditis?

4 A. Yes.

5 Q. How many times?

6 A. It's not a common condition so maybe two
7 times.

8 Q. Were you the primary manager or was an
9 infectious disease consult called?

10 A. Well, it would have been something that I
11 would have determined and then perhaps I would have
12 had an infectious disease consult because it was
13 unusual, and in teaching hospitals we tend to do
14 that. My practice has been primarily in teaching
15 hospital settings. The information is shared.
16 But when a consultant comes on the case they don't
17 take over the case. They make recommendations.
18 It's still up to me to decide whether to take those
19 recommendations or not.

20 Q. Now, what are the classic, if you will,
21 symptoms of bacterial pericarditis?

22 A. Well, I think that there are classic symptoms
23 of pericarditis first of all.

24 Q. What are they?

25 A. The classic symptoms of pericarditis are sharp

1 pain felt in the chest that may be positionally
2 related and exacerbated by deep respiration, which
3 distinguishes it actually from classic angina pain
4 that is not related to position nor is it related
5 to inspiration. The pain might be more stabbing in
6 nature and usually angina pain is not of a stabbing
7 nature.

8 Q. When is the first time, according to your
9 review of the records, that Mr. Mose Johnson ever
10 presented to anybody with symptoms consistent with
11 pericarditis?

12 A. The day of his death.

13 Q. That's the first day?

14 A. That's the first day that he was there and saw
15 someone, so the day, I guess, when he went to see
16 his family doctor, the day that he died. At least
17 that's when it bothered him enough to say something
18 about it. Whether or not it had been bothering him
19 for several days before that, I don't know.

20 Q. With the appropriate medical treatment, was
21 Mose Johnson salvageable on the date of his death?

22 A. No, probably not.

23 Q. When is the last date that you believe Mose
24 Johnson was capable of being saved with appropriate
25 medical management?

1 A. Well, certainly the day that he was prepped
2 for the emergency room, and then to his doctor.
3 That would have been the time I believe because at
4 that time there was no evidence of pericarditis
5 that I could determine. Again, those questions
6 weren't asked specifically and he may not have
7 volunteered them.

8 Q. Why do you believe he was capable of being
9 saved on the date of this last emergency room
10 visit?

11 A. Because I think he would have, if vital signs
12 had been obtained, I think he again would have had
13 a temperature.

14 MR. DEMPSEY: By the way,
15 the last emergency room visit was on the day
16 of his death. Do you mean the one prior? Are
17 you talking about the 10th of November?

18 MR. SPISAK: Would you
19 read the last question and answer?

20 THE NOTARY: QUESTION:
21 "Why do you believe he was capable of being
22 saved on the date of this last emergency room
23 visit?"

24 ANSWER:
25 "Because I think he would have, if vital signs

1 had been obtained, I think he again would have
2 had a temperature."

3 BY MR. FIFNER:

4 Q. To a reasonable degree of medical probability
5 what do you believe that temperature would have
6 been?

7 A. More than normal.

8 Q. Why did he go to the emergency room?

9 A. He was complaining of the hip pain.

10 Q. Can pain cause an elevation of temperature?

11 A. Some pain can if it's a consequence of
12 something else; pain per se, no.

13 Q. What was Mr. Johnson's pain a consequence of?

14 A. I don't know.

15 Q. What about trauma?

16 A. I think -- can I just say I think the thing
17 that's unusual about the pain that he had is he
18 received an overdose of the Ketorolac, the Toradol,
19 60 milligrams, which is twice the recommended dose
20 and which is equivalent to a fairly strong
21 narcotic, although it's not a narcotic itself, and
22 he had no resolution of his symptoms, which is
23 unusual.

24 MR. DEMPSEY: You are on
25 the second last emergency visit?

1 THE WITNESS: Yes, not the
2 day of death.

3 BY MR. FIFNER:

4 Q. November 10th?

5 A. Yes. So something was unusual about that pain
6 and I can't explain it.

7 Q. And neither did the autopsy?

8 A. The autopsy did not show any abnormality that
9 an autopsy can detect.

10 Q. So if Dr. Naeem or the emergency room would
11 have come to the same conclusion you did, that
12 there was something abnormal about this pain in the
13 hip, they could have sent him for an MRI and that
14 would have been negative, wouldn't it?

15 A. I don't know.

16 Q. Well, the autopsy came up negative, didn't it?

17 A. Something may have changed at that time. I
18 don't know.

19 Q. The CT scan would probably have come up
20 negative, wouldn't it?

21 A. I can't comment because I don't know what the
22 nature of the pain was. It's possible that the
23 pain was being referred from some other place.
24 Pain can originate in some place and be felt in
25 another.

1 Q. You are not going to say to a reasonable
2 degree of medical probability that what was in his
3 hip was referred pain, are you?

4 A. Well, I don't know in that there was nothing
5 defined on the examination of the hip, and the pain
6 was severe. So if the pain was in fact from the
7 hip, something should have been there in the hip to
8 see in an x-ray, some inflammation of the skin,
9 something. So I think it's possible that it might
10 have been referred pain, but I don't know from
11 where. I am not going to tell you it's from the
12 heart. I mean, I don't know. I have never heard
13 of heart pain being felt in the hip. That's a new
14 one on me.

15 Q. So even if the doctors were thinking that
16 there was something abnormal about his hip pain,
17 and even if they got to the next step suggesting
18 that this was perhaps referred pain, they still
19 wouldn't have made the link and said this is now
20 suggestive of cardiac symptomatology?

21 MR. DEMPSEY: Objection.

22 You can answer.

23 BY MR. FIFNER:

24 Q. Right?

25 A. Well, there are a lot of ifs there, and the

1 way people get into the system varies so once a
2 person is in the hospital it becomes fair game, so
3 to speak, what's done. So if they come presenting
4 with a sore toe and upon my examination I uncover
5 significant cardiac disease or cardiac
6 symptomatology, then they get to stay and I get to
7 work that problem out. So I don't know.

8 I think the fact that he died soon after that
9 of significant coronary artery disease and
10 infection, if they had started to look for some
11 other things depending on the sequence of events,
12 they would have began an investigation and
13 something would have been revealed.

14 The fact that the Ketorolac did not take his
15 pain away I think even raised the standard or
16 raised the -- should have raised suspicions that
17 something else was going on here.

18 Q. But that's something else that -- whose index
19 of suspicion is being raised? Coronary artery
20 disease or bacterial pericarditis, those are not
21 two things that you would think would show up as
22 hip pain, would you?

23 MR. DEMPSEY: Objection.

24 You can answer.

25 A. No, not that I have ever seen or heard.

1 Q. And if somebody would come to you and say, Dr.
2 Zivot, I have a patient that has hip pain that
3 Toradol does not relieve the symptoms, you are not
4 going to tell him to be on the lookout for
5 pericarditis or coronary artery disease?

6 A. Of course not.

7 MR. DEMPSEY: Off the
8 record.

9 (Thereupon, there was a discussion off
10 the record.)

11 BY MR. FIFNER:

12 Q. Now, when a patient has coronary artery
13 disease, does it affect their blood pressure?

14 A. It may.

15 Q. Does it affect their pulse?

16 A. It may.

17 Q. It may not?

18 A. It may not.

19 Q. Does the urgency with which someone is worked
20 up for coronary artery disease in part dependent on
21 the clinical symptomatology that they present with?

22 A. In part, yes.

23 Q. In part dependent upon the vital signs that
24 they have?

25 A. In part.

1 Q. We have their clinical symptomatology. I can
2 only think of three components. Obviously you have
3 your test results, you have your clinical
4 symptomatology and you have vitals. What other
5 components go into that evaluation of how quickly
6 they get worked up?

7 A. Well, where are you including
8 electrocardiogram?

9 Q. Test results.

10 A. And there were other blood tests that may
11 suggest an ongoing myocardial infarction.

12 Q. Do you have any reason to believe that Mr.
13 Johnson had an active myocardial infarction at any
14 time between November 2nd and November 10th?

15 A. I think that his electrocardiogram when he was
16 in on his second was abnormal and he could have a
17 myocardial infarction between that period of time.
18 Yes, the fact that he had elevated blood sugar may
19 have been associated with a diagnosis of diabetes.
20 Diabetes can mask the presentation of coronary
21 artery disease and make symptoms less felt. I
22 don't think -- I wouldn't say he had out of control
23 diabetes, but it is possible.

24 Q. To a reasonable degree of medical probability
25 can you time the ischemic event which caused the

1 November 2nd EKG to be abnormal?

2 A. When did it occur?

3 Q. Yes. Was it something that happened within
4 the two weeks previous, two years previous or 20
5 years previous?

6 A. May I look at the electrocardiogram again?

7 Q. Take a look at whatever you want. It's in Dr.
8 Naeem's records. It should be at the back of his
9 deposition.

10 A. This is the one on the 2nd here?

11 Q. Yes.

12 A. You asked me when do I think this happened?

13 Q. Yes.

14 A. Well, what he does not have here are
15 pathological Q waves. Now, Q waves would suggest
16 that he was infarcted right through his entire
17 myocardial wall. So there are a couple
18 possibilities. One is that he had a myocardial
19 infarction that wasn't all the way through, so he
20 has a nontransmural infarction, and I would look at
21 it differently.

22 Another possibility that is happening I
23 think -- look at this electrocardiogram. There's
24 no way he had an infarction of ten percent at this
25 time. So sometime between November 2nd and the day

1 of his death he lost a lot of function, a
2 significant amount of function. So all I can say
3 is that something was happening here and it might
4 have been ongoing. It may take several days to
5 complete or it may be a series of small nibbles.

6 Q. When you look at the autopsy, are the autopsy
7 findings consistent with your interpretation of
8 that November 2nd --

9 A. Yes.

10 Q. -- EKG?

11 A. What specifically are you referring to, the
12 presence of coronary artery disease?

13 Q. No. The presence of any prior acute MIs and
14 how they may have occurred.

15 A. Let me look again at the finding here. Well,
16 he has blockage in the main vessels that supply his
17 heart and he has an electrocardiogram. This
18 electrocardiogram seems to point more towards the
19 distribution of the right coronary artery, but he
20 has blockage in all three. So it does not mean
21 that -- all that means is that at the time that may
22 just not have been revealed as much, but I think
23 that certainly I can connect the findings of
24 coronary artery disease, I guess, if the pattern of
25 injury here happened to be in a place where there

1 was no coronary artery disease, I would say it does
2 not correlate or does not correlate easily, but his
3 entire heart blood supply was compromised.

4 Anywhere that you see abnormality here can be
5 correlated with what we know to be his corollary
6 anatomy.

7 Q. When you look at the heart on the autopsy, are
8 the findings on the autopsy of the heart consistent
9 with somebody who had, as you say, an ongoing MI
10 back on November 2nd, 1996 and has a series of MIs
11 thereafter?

12 A. Well, there's not a -- it doesn't comment on
13 whether there's a period of like an aneurism, which
14 could be a consequence of an infarction.

15 I am just going to read the summary again.

16 "The sections of the heart that include the
17 pericardium show fibrinous material on the surface
18 with, in most of the sections, a heavy infiltration
19 of inflammatory cells."

20 I would interpret that as indicating
21 pericarditis as a consequence of a myocardial
22 infarction. There is a condition called Dressler's
23 syndrome, which occurs three weeks after a
24 myocardial infarction with an autoimmune disease
25 characterized by inflammation of the pericardium.

1 That could very well have been what happened to
2 him. I believe to a -- how do you put it -- to a
3 degree of medical certainty that he had
4 pericarditis as a consequence of a myocardial
5 infarction.

6 Q. Which occurred when?

7 A. Well, it occurred sometime between -- on or
8 around the 2nd of November.

9 Q. And then how long after this MI does it take
10 for the pericarditis to manifest itself?

11 A. It takes up to the time of his -- of the day
12 of his death.

13 Q. So it takes about three weeks to manifest
14 itself?

15 A. Well, manifest in what way? As symptoms or --

16 Q. Yes.

17 A. Well, it may be that it's happening, but it's
18 not felt. It's happening, but not felt. It may
19 take three weeks before he would feel it.

20 Q. And this is called Dressler's syndrome?

21 A. Dressler's syndrome, yes.

22 Q. I just want to make sure I understand what you
23 think happened here. You think that on or about
24 November 2nd this guy was having an MI?

25 A. Yes.

1 Q. And we got very lucky that we happened to get
2 an EKG demonstrating the MI going on?

3 A. Obviously unlucky.

4 Q. And you think that that MI, although it was
5 going on November 2nd, didn't cause any cardiac
6 symptomatology consistent with pericarditis until
7 21 days later?

8 A. Yes, possibly. They don't -- if that's --
9 that's a way of putting that together that's
10 logical to me.

11 Q. Now, how does he get bacterial pericarditis
12 from this?

13 A. Because he has an abnormal pericardium;
14 therefore, it sets him up for any kind of trivial
15 bloodstream infection. It could be something as
16 innocuous as brushing his teeth.

17 Q. What organism was ultimately cultured here?

18 A. Staph aureus.

19 Q. Was it an aggressive form of staph or not?

20 A. He died. I think that's aggressive if that's
21 what you mean.

22 Q. Is it possible to have abnormalities of
23 pericardium with its resultant swelling, et cetera,
24 and not have symptomatology?

25 A. Is it possible?

1 Q. Yes.

2 A. Sure.

3 Q. Is it likely?

4 A. No.

5 Q. Isn't it more likely that he had and was
6 having an MI on November 23rd, the morning of his
7 death --

8 A. I think --

9 Q. -- which is what then allowed him on that day
10 to have symptoms consistent with pericarditis?

11 MR. DEMPSEY: Wait.

12 Before you answer, he started to say
13 something. You have got to pause a moment.

14 Do you have any of those words in
15 there?

16 THE NOTARY: ANSWER:

17 "I think."

18 MR. DEMPSEY: Clarify the

19 "I think," if you would, so you are not
20 addressing a portion of that sentence.

21 A. You are going to have to remind me what you
22 asked. I don't remember what the "I think" was
23 related to.

24 MR. DEMPSEY: You weren't
25 answering a question. You were starting -- it

1 was an interruption, so wait until he finishes
2 the question.

3 A. Perhaps you could ask the question again,
4 please.

5 Q. Okay.

6 MR. DEMPSEY: Sorry.

7 BY MR. FIFNER:

8 Q. Is it also possible that what happened here
9 was that Mr. Johnson began to have an MI on the
10 18th -- or on the 23rd of November, which MI caused
11 inflammation of his pericardium and that is what
12 caused him to have his symptomatology on the 23rd?

13 MR. DEMPSEY: Objection.

14 You can answer.

15 A. I think it's possible that he had another MI
16 on the day that he died, but I think that that may
17 have been the 2nd or more than the 2nd. I think to
18 inflame the pericardium that acutely with a
19 myocardial infarction is not typical.

20 Q. Can bacterial pericarditis present
21 asymptomatically?

22 A. I can't -- I would say generally not.

23 Q. Can it?

24 A. Anything is possible.

25 Q. Okay. When do you believe he developed

1 bacterial pericarditis in this case?

2 A. Bacterial pericarditis I think he developed
3 very close to the day of his death, maybe in 24
4 hours. I think that he had an infection that may
5 have been there, that was there prior to that.

6 Q. What infection? Where?

7 A. In his body. I can't --

8 Q. In his pericardium or in his body?

9 A. No, not in his pericardium. I don't think so,
10 at least I think it began -- these things take more
11 than five minutes to develop, so I think these
12 things take time to develop.

13 Q. So you think he had an infection in his body
14 as of when?

15 A. Well, I think it could have been -- I think it
16 was back to the 2nd of November.

17 Q. And what was the organism?

18 A. I don't know, maybe staph, but I don't know.

19 Q. What are some signs and symptoms consistent
20 with infection?

21 A. Well, infection, it depends whether -- it can
22 be something like pain in a local area. It can be
23 fever, chills, fast heart rate, fast respiration,
24 sweating, a feeling of being hot or a feeling of
25 being cold.

1 Q. Tell me one place in the record where you see
2 evidence Mr. Johnson had any of these symptoms
3 prior to November 23rd.

4 A. Well, Mr. Johnson had pain which may have been
5 related to infection, although I can't say because
6 he didn't have any of those things documented, but
7 he never had a temperature taken so I don't know.

8 Q. Well, whether or not the temperature was ever
9 taken, he didn't have documented fever, chills,
10 increased respiration?

11 A. Well, he didn't have it documented. If it
12 wasn't taken, you can't document it.

13 Q. There's no place in the record that discusses
14 he had any of those complaints?

15 A. Well, there's nothing in the record that
16 suggests that they were asked about.

17 Q. Is that the kind of thing that if somebody has
18 fever, chills, hot flashes, cold flashes, is that
19 the kind of thing a loving spouse would know about?

20 A. I don't know. It depends.

21 Q. Are you married?

22 A. Yes.

23 Q. Okay. When you get sick and feel hot flashes
24 or cold flashes, do you tell your wife that you are
25 experiencing those?

1 A. Sometimes.

2 Q. Are you assuming that he had any of those
3 symptoms in your analysis of this case?

4 A. I think he -- I think -- yes, I think he had
5 some of these symptoms.

6 Q. And it is your understanding which one of
7 these do you think he had?

8 A. Well, in him it may have been something as
9 nonspecific as general malaise, generally not
10 feeling well. He may have had fever. He may have
11 had chills. I really don't know. None of those in
12 particular can clinch the diagnosis or even speaks
13 to whether it's mild, moderate or severe. None of
14 those things in isolation relate to the severity of
15 the infection.

16 Q. So you think he had an infection in his body
17 and that's the explanation for the 12.9 WBC
18 11-02-96, right?

19 A. I think that is likely based on the fact that
20 he died of an infectious complication.

21 Q. You are not looking retrospectively. Are you
22 evaluating the conclusion of Dr. Naeem
23 retrospectively or prospectively?

24 MR. DEMPSEY: Objection.

25 Do you understand the question?

1 A. Yes. But both I guess.

2 Q. Prospective?

3 A. Certainly.

4 Q. You tell me what in the record as of November
5 2, 1996 you think it is that tells you that this
6 gentleman is going to die in three weeks.

7 A. Nothing in three weeks. Nothing. I mean, I
8 am not clairvoyant, but I think that he has an
9 illness that ultimately resulted in his death.
10 And if the illness had been intervened, if there
11 had been intervention, that intervention would have
12 prevented his untimely death.

13 Q. Well, he had infection in his body according
14 to your analysis as early as November 2nd. Is that
15 infection in his body a contraindication to the
16 proposed bypass surgery?

17 A. That infection would -- if a diagnosis had
18 been made, it depends. It depends on how sick he
19 is. Not necessarily. Sometimes things have to
20 happen sometimes.

21 Q. But you understand the dichotomy here?

22 A. I absolutely do.

23 Q. If he is well enough to go ahead and undergo
24 this coronary bypass surgery and he is well enough
25 to do it in the presence of this infection, then

1 that lengthens the period of time within which it's
2 appropriate to do the workup, right?

3 A. Say that again.

4 Q. If he is well enough to undergo the surgery
5 even in the presence of an infection --

6 A. Uh-huh.

7 Q. -- then that would give acceptability to a
8 longer period of time to do the workup?

9 A. Why would you do that? Why would you delay
10 it? I don't understand what you are saying.

11 Because the infection is mild, that we can wait?

12 Q. No. What's the average length of time in your
13 experience between diagnosis of coronary artery
14 disease and bypass surgery?

15 A. It depends on the nature of the coronary
16 artery disease. It could be as short as 48 hours.

17 Q. And as long as?

18 A. Well, never, because sometimes people don't
19 need surgery. They need medical therapy.

20 Q. Is this a usual presentation for bacterial
21 endocarditis -- or pericarditis?

22 A. At the time of death, yes.

23 Q. As of November 23th?

24 A. I think that this is -- I think that as
25 unusual as this is, it's a presentation of it. But

1 at the time of death, you know, when people begin
2 to die, it all looks the same. So how it got
3 there, I don't know.

4 Q. Based upon everything you know, was there any
5 reason to believe as of November 22nd, 1996 that
6 this patient was going to be dead in 24 hours?

7 A. On November 22nd?

8 Q. Yes.

9 A. When he presented?

10 Q. Looking prospectively the last time -- we'll
11 move it up a little bit, from November 2nd. The
12 last time he presented to Dr. Naeem's office was
13 when?

14 A. The day that he died or the day before he
15 died, the 22nd. I believe he died on the 23rd.

16 Q. Do you have any reason to believe as of the
17 last time he presented to Dr. Naeem's office that
18 this was going to be a patient who was going to be
19 dead on November 23rd based upon the findings of
20 Dr. Naeem?

21 A. At that moment?

22 Q. Yes.

23 A. No.

24 Q. So looking prospectively when Dr. Naeem had
25 this guy in his office the very last time, not

1 including the date of his death?

2 A. I think he did the right thing. On that day
3 he said, go to the hospital.

4 Q. No, no, no. Look at Dr. Naeem's records.
5 Look at the 2nd of November. You have got them
6 right in front of you. The patient then goes to
7 the emergency on the 10th and the emergency does a
8 workup.

9 A. Yes.

10 Q. When does he next see Dr. Naeem?

11 A. He sees him I think the day after or the day
12 after that.

13 Q. The 11th?

14 A. Yes, because he was still complaining of the
15 pain.

16 Q. Does he see him again before the date of
17 death?

18 A. On the 18th.

19 Q. Take a look at the records on the 18th. Take
20 a look at that record.

21 A. He gives him Relafen and a sitz bath and he
22 does not comment there on the previous findings
23 that he uncovered.

24 Q. What previous findings?

25 A. Electrocardiogram, the white count. So what

1 do you want me to comment on?

2 Q. Look at that record and look at the emergency
3 room record of the 10th and Dr. Naeem's record of
4 the 11th.

5 A. Yes.

6 Q. Any reason to believe this patient is going to
7 be dead on the 23rd?

8 A. I don't know where you are going with this
9 because I guess people can walk up the street and
10 be hit by a car. There's nothing in there in those
11 two lines that make me think that he thinks he was
12 going to be dead.

13 Q. Have you read anything in the deposition that
14 gives you reason to believe that this is a man who
15 left untreated is going to be dead on the 23rd of
16 November?

17 A. Well, I don't think so because if they thought
18 that, they would have done something about it. I
19 am sure that they would have wanted to prevent his
20 death. So the fact that they didn't suggests to me
21 that they didn't think that.

22 Q. Based upon your interpretation of this EKG
23 November 2nd, is this the classic EKG of a patient
24 who is going to be dead in three weeks?

25 A. That's an impossible question to answer. I

1 think this is a classic presentation of a person
2 who is a candidate for coronary artery disease and
3 should be seen in a timely fashion.

4 Q. Do you believe that the bacterial pericarditis
5 played any role in this patient's -- I want to make
6 sure I understand the timing. The MI that you
7 believe was occurring November 2, was that caused
8 by bacterial pericarditis?

9 A. No. Bacteria can't cause a myocardial
10 infarction generally.

11 Q. Do you believe that there was another MI
12 caused or there was another MI on the 23rd?

13 A. Possibly.

14 Q. Not probably?

15 A. Probably.

16 Q. What was the cause of that MI?

17 A. Coronary artery disease.

18 Q. So to your analysis the bacterial pericarditis
19 really played no role in anything here?

20 A. No. I don't think the bacterial pericarditis
21 caused him to have a myocardial infarction. I
22 think the myocardial infarction sets him up to have
23 pericarditis which sets him up to have bacterial
24 pericarditis

25 Q. Does he develop pericarditis as a result of

1 the 11-02 MI that you think happened?

2 A. Yes.

3 Q. Does he develop pericarditis as a result of
4 the 11-02 MI regardless of how quickly he goes to
5 the cardiologist's office?

6 A. No.

7 Q. How long does one have to wait after -- and in
8 fact it was your interpretation of that EKG that it
9 is an active, ongoing MI?

10 A. I think that it's a -- I think that that's
11 probable.

12 Q. Was that EKG overread by anybody?

13 A. No, but that doesn't mean anything. It was
14 not overread. We know this technology is actually
15 superior to a human generally.

16 Q. Are you talking about it being computer read?

17 A. Yeah.

18 Q. Does that computer interpretation say that
19 there is an active MI?

20 A. It says abnormal change possibly due to
21 myocardial ischemia, abnormal unconfirmed analysis.
22 It says also premature ventricular contractions,
23 which can also be part of ischemia.

24 Q. But it doesn't say that there is an active MI
25 going on? It does not say active myocardial

1 infarction?

2 A. It says myocardial ischemia.

3 Q. Right. And myocardial ischemia is a
4 by-product perhaps of long-term coronary artery
5 disease when it was decompensated?

6 A. Yes.

7 Q. Do you think that's what happened here?

8 A. Well, I think that something was going on
9 here. And I think that if you had brought him in
10 the next day and had done another electrocardiogram
11 maybe that electrocardiogram would have said
12 myocardial infarction. And the reason I say that
13 is the nature of the sequence of events.

14 Q. There's nothing in that EKG interpretation
15 that suggests a repeat study to be done the next
16 day or at any point in the future, does it?

17 A. The computer does not make that call.

18 Q. Do you know whether a cardiologist from the
19 hospital overread that?

20 A. I don't have any record of that.

21 Q. Have you ever been sued for malpractice
22 before?

23 A. No. Actually, you know, I'm involved --
24 that's not true. I'm named in a case that is going
25 on right now.

1 Q. What's the name of that case?

2 A. I think the name of the plaintiff is Siegel.

3 Q. Who is your attorney?

4 A. Don't know. It was the group.

5 Q. So what's the longer period of time that can
6 go by after this presumed MI of November 2nd before
7 pericarditis commences?

8 A. The longer period of time it would take for
9 pericarditis to occur?

10 Q. Yes.

11 A. The longer period?

12 Q. Yes.

13 A. Well, if it's what I am talking about, then
14 classically that takes about three weeks to set up,
15 okay? It could also be sooner; it could be later.

16 Q. If it takes three weeks for the pericarditis
17 to be set up, then wouldn't the initiation and
18 commencement of the bacterial pericarditis have
19 been one day in duration?

20 A. Bacterial pericarditis, yes; the infection,
21 no.

22 Q. The infection where?

23 A. In his body.

24 Q. But we don't care about the infection in his
25 body until it reaches the pericardium, do we?

1 A. That wouldn't be so. I think if he has an
2 infection in his body we would like to know about
3 it.

4 Q. I understand.

5 A. And we would treat it especially in any person
6 who is overweight, diabetic, has hypertension and
7 coronary artery disease.

8 Q. But that infection ultimately, according to
9 your analysis, played no role in the ultimate
10 outcome?

11 A. No. I think the infection was contributory to
12 his death.

13 Q. How?

14 A. Because the pericarditis was part of his
15 myocardial decompensation and the pericarditis as
16 well is part of a global infectious process that's
17 just a cardiac manifestation, but the active severe
18 infection results in a release of compounds that
19 cause inflammation and can make the blood pressure
20 unstable, can affect the heart, directly affect the
21 performance of the heart even in the absence of
22 myocardial infarction, can affect the ability to
23 bring oxygen to the blood.

24 Q. Do you have any reason to believe that as of
25 the emergency room visit of November 10th that any

1 of that was going on?

2 A. No, but --

3 Q. How about the November 11th visit to Dr.

4 Naeem?

5 A. With any of the severe things going on at the
6 time that I described?

7 Q. Yes.

8 A. Absolutely not.

9 Q. How about November 18th when he last saw Dr.
10 Naeem before his death?

11 A. Not of a severe nature, no. I think that
12 there was opportunity at that point to intervene.

13 Q. So when is the latest -- if he goes to the
14 latest, what you think the ER should have done
15 November 10th?

16 A. Should have taken his vital signs as part of
17 his workup.

18 Q. Now, if we have the vital signs, what's it
19 going to show?

20 A. A temperature.

21 Q. An elevated temperature?

22 A. Yes.

23 Q. How could we get from an elevated temperature
24 to a coronary artery bypass lab?

25 A. Well, we get from an elevated temperature to a

1 workup of infection. And we get from a workup of
2 infection, a workup of his general state of health.

3 Q. How would you have worked up this infection?

4 A. I would have searched for the cause of
5 infection with a history and then a physical
6 examination. I would have looked at his body,
7 listened to his chest or had a chest x-ray, sampled
8 his urine, blood.

9 Q. Are you assuming after this date November 10th
10 that this patient would have had an elevated
11 temperature?

12 A. Yes.

13 Q. What are you assuming this elevated
14 temperature would have been?

15 A. You mean the number?

16 Q. Yes.

17 A. Well, elevated is defined as greater than
18 37.5, so greater than 37.5.

19 Q. So if it was 37.6 in a patient who had a
20 complaint of hip pain, would you have done this
21 workup for infection?

22 A. Well, then I would have been concerned when
23 one would not connect hip pain and fever. It could
24 have been an infected joint. That would have been
25 a concern. I think there was some evidence they

1 were wondering something of that nature.

2 The temperature, I could speculate the
3 temperature wasn't obtained and it wasn't commented
4 on directly and they didn't feel a need that
5 antibiotics were necessary. So I think they
6 discounted infection as an explanation for his hip
7 pain.

8 Q. Can we assume he didn't look clinically sick
9 on that day?

10 A. I can't assume that. I don't know. I don't
11 know. I can't tell you that. All I can tell you
12 is how I practice. I can't tell you how other
13 people -- how it looks to other people's eyes.

14 Q. Do you feel that you are qualified to render
15 opinions as to what another reasonably prudent
16 physician in the same or similar circumstances
17 would do?

18 A. Yes, I do.

19 Q. Do you understand the difference between what
20 you would do personally and what represents the
21 standard of care?

22 A. Yes, I do, but when you ask me how I think it
23 would look to this other doctor's eyes, all I can
24 do is speculate. I cannot know what this person
25 was thinking.

1 Q. And all you can do is speculate as to what the
2 temperature might have been on or about November
3 10th?

4 A. Yes.

5 THE WITNESS: I'm sorry.

6 I need to get this I think.

7 MR. FIFNER: Go ahead.

8 (Thereupon, there was a brief recess.)

9 BY MR. FIFNER:

10 Q. Now, we talked about your assumptions
11 regarding the temperature had it been taken
12 November 10th, and you indicated whatever number
13 you come up with would be speculative?

14 A. Absolutely.

15 Q. Same thing on November 11th when sees Dr.
16 Naeem?

17 A. Uh-huh.

18 Q. Same thing November 12th when he sees Dr.
19 Naeem?

20 A. Uh-huh.

21 MR. DEMPSEY: Those were
22 yeses?

23 THE WITNESS: Yes.

24 BY MR. FIFNER:

25 Q. I asked you this earlier and you told me, but

1 I want to make sure I understand. What do you
2 think was the actual mechanism of this man's death?
3 What did he die from? How did it happen?

4 A. I think on or around the 2nd of November he
5 had a heart attack and that set him up to develop
6 pericarditis, commensurate in that time he had an
7 infection. I don't know where it began.

8 Q. Do you know when it began?

9 A. It may have begun on the 2nd of November, but
10 it may have begun later. I don't know. Your point
11 is well taken with respect to other signs of
12 infection. I don't know. They hadn't asked.
13 They weren't documented. They may have or may not
14 have been there, but the fact is he had a serious
15 infection that takes longer than a few hours to
16 set up.

17 Q. What serious infection?

18 A. The staphylococcal infection he had in his
19 pericardium.

20 Q. Do you have any opinion to a reasonable degree
21 of medical probability how long that infection was
22 present?

23 A. The infection that was in the pericardium I
24 think was not there for a long period of time,
25 maybe a few days.

1 Q. Okay. And when the infection got in his
2 body --

3 A. Yes.

4 Q. To a reasonable degree of medical probability
5 can you tell me when that infection got in his body
6 before it got to the pericardium?

7 A. It's conceivable -- but that's probably not
8 the word you want me to say.

9 Q. No. I want you to tell me then to a greater
10 than 50 percent likelihood when can you tell me
11 that organism entered the body?

12 A. Sometime between the 2nd and the day of his
13 death.

14 Q. Okay. Now, I keep interrupting you, but I
15 want to make sure you have the general major
16 question in front of you.

17 A. You are fine.

18 Q. What's the mechanism? How did this guy die?

19 A. He died of a combination of decompensated
20 myocardial function as a consequence of coronary
21 artery disease, and in the presence of a
22 commensurate infectious pericarditis of a severe
23 nature.

24 Q. Assuming he never had infectious pericarditis
25 of a severe nature, could he have survived the MI

1 of November 2nd?

2 A. Possibly, but I think that the pericarditis
3 began to very rapidly complicate the area of his
4 myocardial infarction. All I can say is that
5 people have pericardial infarction that have
6 infarctions of ten percent and survive. And in
7 him, I don't know.

8 Q. Can you tell me what his infarction would
9 probably have been, not speculatively, but probably
10 would have been taken any time after November 2nd
11 and before November 23rd?

12 A. I don't think that it was that severe. I
13 think it was probably -- I mean, there are
14 different grading systems to grade it. It really
15 would be a three-point system. One is normal.
16 Three is very severe and two is in between. And I
17 should say it in quotations because that's really
18 my own thought. There are other classifications,
19 but I would say his was somewhere in between. The
20 reason I say that is because if he had had that
21 profound myocardial infarction that resulted in the
22 fracture of ten percent, then he would have more
23 symptomatology. The absence of symptoms indicated
24 he didn't have the killer infarction until the day
25 he died on or about the date he died.

1 Q. Can you state to a reasonable degree of
2 medical probability that had he begun getting
3 worked up for the WBC of 12.9, that a diagnosis of
4 bacterial endocarditis or bacterial pericarditis
5 would have been made?

6 A. Absolutely not.

7 Q. Okay.

8 A. When, like on the 2nd?

9 Q. No. At any point in time within the next two,
10 three weeks.

11 A. Well, I think that the infection would have
12 eventually revealed or eventually resulted in the
13 pericarditis, so they are related. But, now, I
14 can't make the 12.9 on that day -- clearly on that
15 day, there was not bacterial pericarditis there, no
16 question.

17 Q. Why do you say that?

18 A. Because he wasn't that sick.

19 Q. There is an alternate explanation for the
20 12.9. That the muscle pain he was having, wasn't
21 it?

22 A. Well, as we talked about before, there are
23 alternative explanations.

24 Q. One of them is the muscle pain he was having?

25 A. Yes.

1 Q. Wasn't it then reasonable for Dr. Naeem in the
2 presence of that 12.9 WBC and a patient with a
3 history of muscle pain to conclude that the most
4 likely explanation for that 12.9 WBC was in fact
5 the muscle pain and not an ongoing infection?

6 A. It was not stated in his records so I can't
7 know what his conclusions were.

8 Q. Assuming that was his conclusion, wouldn't
9 that have been a reasonable conclusion for him?

10 A. If he had said so, then, yes. But I still
11 think that he should have done a few more simple
12 things to confirm or refute that, which he did not
13 do.

14 Q. So which killed him, the bacterial
15 pericarditis or the MI?

16 A. They worked together.

17 Q. In and of itself, was the MI on or around
18 November 23rd, which you believe happened,
19 sufficient to kill him?

20 A. Yes.

21 Q. In and of itself, was bacterial pericarditis
22 sufficient to kill him?

23 A. Yes.

24 Q. So in order for Mr. Johnson's life to have
25 been saved, both the bacterial pericarditis and the

1 coronary artery disease resulting in diagnosis
2 testing and ultimate bypass grafting, would all
3 have had to have been accomplished before November
4 23rd, right?

5 A. No, because if he had been in the system he
6 may also have had medical therapy that would have
7 extended his time when he may have got to the
8 bypass therapy. I think the bypass grafting is
9 important in the long-term and in the short-term
10 sometimes, too, but he could have had other
11 intervention in the short-term which would have
12 bought him more time.

13 Q. If they had done that, he had the bacterial
14 pericarditis setup that was going to kill him even
15 if he didn't have an MI?

16 A. I wouldn't say that that's necessarily so. If
17 he is in the system, he might have been seen and
18 the infection that might have been smoldering might
19 have been detected and may have been prevented from
20 progressing.

21 Q. Isn't an infection only going to be
22 diagnosable if it's systemic and not a local
23 infection?

24 A. We don't know that because he wasn't in the
25 system very long and very extensively. So, yes, I

1 think what you say is true, except in the case of
2 him. I think there were some signs of infection
3 albeit subtle, but they were not acted upon at all.
4 So we don't know what would have been the natural
5 history of that infection had it been interpreted.

6 Q. We don't worry about it infecting the
7 pericardium unless it becomes a systemic infection,
8 do we?

9 A. No, I don't think that's true. People have
10 local infections confined to the throat, confined
11 to the kidneys that still warrant therapy.

12 Q. Did you in your review of the autopsy find any
13 evidence that this man had a local infection
14 anyplace?

15 A. Well, there was no collection that I could
16 see. An abscess is what you are talking about.
17 But you don't have to have an abscess to have a
18 systemic infection.

19 Q. I understand. But is there any place on that
20 autopsy that you can point to to say this is
21 evidence or suggest that this person had a local
22 infection anyplace in his body?

23 A. Other than the pericardium?

24 Q. Other than the pericardium.

25 A. There was no abscess that was commented on

1 other than the pericardium.

2 Q. Any evidence anywhere?

3 A. There's -- tell me what you are looking for;

4 like a collection of some sort, a cut?

5 Q. Anything. Is there anything noted on the
6 autopsy report that he had a cut on his foot? That
7 he had --

8 A. No. Those things are not.

9 Q. -- an infection in his throat?

10 A. They are not commented on.

11 Q. Okay. What evidence is there in any of these
12 records that this patient had a systemic infection
13 prior to November 23rd?

14 A. So what you are talking about is on the day
15 that he saw doctors, because that's the only days
16 we can speak about. Because the days he didn't see
17 doctors obviously there is no documentation because
18 he didn't see anybody.

19 Q. Okay.

20 A. So on the day that he saw doctors a white
21 count of 12.9 may be an indication of a systemic
22 infection, that is to say that it's part of the
23 body. Now, I am not going to suggest to you that
24 this is a severe infection. Obviously it's not.
25 On the day that he saw doctors in the emergency

1 department and his family doctor, again, is there
2 evidence of severe systemic infection? I don't
3 know because a temperature wasn't taken at that
4 time. Those questions were not addressed and we
5 don't know. There are no more blood tests. That's
6 as specifically as I can answer your question.

7 Q. Isn't your assumption that he had some sort of
8 infection on November 2nd resulting in the
9 increased WBC speculation as well?

10 A. Tell me what you mean when you say speculation
11 and I'll answer the question. It's all like I
12 can't --

13 Q. You have no proof from any of the records that
14 the explanation for the 12.9 WBC was an infection
15 either locally or systemically in that man's body?

16 A. The tests were not taken so the lack of test
17 evidence does not exclude my interpretation of the
18 evidence, the scant evidence that I see.

19 Q. Do you have any kids?

20 A. Yes.

21 Q. Is your wife a doc?

22 A. No.

23 Q. Is she able to tell when your kids get up in
24 the morning whether they are sick or not?

25 A. Sometimes.

1 Q. And that can sometimes be done just by looking
2 at them, can't it?

3 A. Sometimes.

4 Q. It can be done just by looking at people, even
5 people who aren't trained medical persons; is that
6 correct?

7 A. Absolutely.

8 Q. Trained medical people are better at just
9 looking at people and ascertaining whether or not
10 they are sick, right?

11 A. Yes.

12 Q. Okay. Is there any indication in any of these
13 records that any of the trained medical or nursing
14 people who ever saw Mose Johnson ever saw any
15 evidence of infection or clinical manifestation of
16 coronary artery disease?

17 MR. DEMPSEY: Objection to
18 what somebody else saw.

19 A. It's not stated so I don't know.

20 Q. And for you to suggest that it was present
21 would be speculation on your part, wouldn't it?

22 MR. DEMPSEY: Objection.
23 You can answer.

24 A. It's my opinion.

25 Q. And isn't that opinion pure speculation?

1 A. It's speculation because there is no evidence
2 that refutes it.

3 Q. But there's no evidence that supports it, is
4 there?

5 A. Well, what supports it is the elevated white
6 blood cell count and the death related to infection
7 and unusual pain.

8 Q. When?

9 A. On the times that he was complaining of hip
10 pain.

11 Q. But that unusual pain, I thought we agreed
12 earlier, in no way is suggestive of either an
13 infection in the pericardium --

14 A. I don't know how to sort out the unusual pain.
15 I don't know how that fits in here. It fits in
16 somehow.

17 Q. Why do you mention it?

18 A. Because it's something that's unusual.

19 Q. I agree, but it is not proof that your theory
20 is correct, is it?

21 A. What I'm telling you is my opinion based on
22 the evidence as I see it.

23 Q. Do you have any further criticisms of the care
24 and treatment rendered to Dr. Naeem other than
25 what's contained in your two reports and what we

1 have talked about here today?

2 A. No.

3 Q. Do you have any further reasons for your
4 criticisms of Dr. Naeem other than what's contained
5 in your two reports and we have talked about here
6 today?

7 A. No.

8 MR. FIFNER: Well, with
9 that I am done. All I ask is that if for any
10 reason you have any further criticisms or
11 reasons for your opinions that you let counsel
12 know and then he will let me know so we can
13 talk about those.

14 MS. PETRELLO: Before we
15 start, Les and I meant to put this on the
16 record before we started but we forgot.

17 Mr. Spisak and I are participating in
18 this deposition in questioning Dr. Zivot,
19 plaintiff's expert as a result of the court's
20 order and approval of our participation in
21 this deposition despite the fact that neither
22 Mr. Spisak nor I, representing Drs. Carroll
23 and Prince, have identified our experts to the
24 plaintiff. And according to Lorain County's
25 Local Rules that has to be done prior to

1 taking the discovery deposition of the
2 opponent expert. However, as a result of that
3 pretrial, the judge had deemed that we had
4 good cause --

5 MR. DEMPSEY: Colleen, I
6 don't have an objection to you doing the
7 deposition.

8 MR. SPISAK: We are not
9 waiving anything as far as our expert by
10 virtue of our participating in this
11 deposition.

12 MS. PETRELLO: That was
13 going to be my --

14 MR. DEMPSEY: You are
15 going to send a report over. I have no
16 objection to you coupling it in with this
17 order.

18 THE WITNESS: I didn't
19 understand that.

20 MR. DEMPSEY: Your report
21 came before your deposition and they haven't
22 given a report of their expert before they
23 took your deposition, but I don't have a
24 problem with it.

25

- - -

1 BY MS. PETRELLO:

2 Q. Doctor, again, we were introduced a few hours
3 ago. I'm Colleen Petrello. I am here representing
4 Drs. Prince and Carroll, who were the two emergency
5 room physicians involved in Mr. Johnson's care.

6 I am going to try not to go over any of the
7 things that Mr. Fifner did. I apologize in advance
8 if I do repeat a question.

9 I want to start sort of in the beginning and
10 talk a little bit about your background. I was
11 provided an affidavit as well as a supplemental
12 report from you. Do you recall signing an
13 affidavit?

14 A. Yes.

15 Q. And in that affidavit you made reference to
16 your experience working in an emergency room?

17 A. Yes.

18 Q. And what I would like to do is talk about that
19 a little bit.

20 First of all, in medical school, did you have
21 experience working in an emergency room as an
22 emergency room physician?

23 A. Well, in medical school I wasn't a physician.

24 Q. Correct. All right. That's a good point.

25 How about let's start with your internship.

1 A. I worked in an emergency department. I was
2 assigned to work in the emergency department during
3 my internship, yes.

4 Q. Your internship was one year?

5 A. One year.

6 Q. And out of that year how many weeks or months
7 did you spend in the emergency room?

8 A. Well, my involvement with the emergency room
9 was in different capacities. I had a two month
10 period of time assigned only to the emergency room.
11 And then throughout various rotations I would be
12 called to the emergency room to see patients.

13 Q. I'm only talking about working in an emergency
14 room as an emergency room physician as opposed to
15 being consulted to come to the emergency room for
16 some other service you may have been rotated to.

17 A. Two months.

18 Q. Two months. Then your internship was from
19 1988 to 1989?

20 A. Yes.

21 Q. You went in from your internship, according to
22 your CV here, you went into anesthesiologist
23 residency in Toronto?

24 A. Yes.

25 Q. That went from '89 to '93?

1 A. Yes.

2 Q. During your anesthesia residency --

3 A. Yes.

4 Q. I haven't finished my question.

5 A. Oh, I'm sorry.

6 Q. During your anesthesia residency, during that
7 residency period, were you assigned to work in the
8 emergency room as an emergency room physician?

9 A. No.

10 Q. From there you went to another anesthesiology
11 residency and critical care fellowship at the
12 Cleveland Clinic?

13 A. Yes.

14 Q. And that was from '93 to '95?

15 A. Yes.

16 Q. I'm just going by the dates of your CV here.
17 And during that postgraduate training experience,
18 did you have the opportunity to work in the
19 emergency room as an emergency room physician?

20 A. No. You know, I can truncate this and say
21 that I have not worked as an emergency room
22 physician other than the time I told you.

23 Q. So the only emergency room experience that you
24 have functioning as an emergency room physician was
25 between 1988 and 1989 for two months?

1 A. Yes. Except that I -- well, except that I
2 have been in emergency as a physician on multiple
3 occasions and --

4 Q. I understand.

5 MR. DEMPSEY: Can he
6 finish?

7 A. -- in other capacities where I review
8 emergency room records and I discuss them with the
9 emergency room physicians, so I feel that I'm able
10 to comment on this particular aspect.

11 MS. PETRELLO: Move to
12 strike.

13 BY MS. PETRELLO:

14 Q. Doctor, the answer to my question was, yes,
15 that your only experience as an emergency room
16 physician was for two months between 1988 and 1989
17 where you were the emergency room physician?

18 A. You are correct.

19 Q. Thank you.

20 Your internship was at Mt. Sinai Hospital in
21 Toronto, Canada?

22 A. Yes.

23 Q. Is that where you did the emergency room
24 experience, at that particular hospital?

25 A. Yes.

1 Q. Can you describe -- I don't know anything
2 about this hospital. Can you describe for me the
3 type of emergency room that they had at Mt. Sinai
4 Hospital?

5 A. It was the -- Mt. Sinai Hospital is a hospital
6 of about maybe at the time maybe four hundred beds.
7 It had an emergency room department that had,
8 depending upon the time of day, one or two or three
9 emergency room physicians assigned, plus house
10 staff. There was a triage nurse who saw patients
11 as they came in and sorted them appropriately. The
12 hospital handled -- I cannot remember how many
13 visits, but it was a busy downtown hospital that
14 handled a variety of cases from the sublime to the
15 ridiculous 24 hours a day, seven days a week.

16 Q. Was there just one emergency room?

17 A. Yeah. Well, it was a large area.

18 Q. Well, that was a poor question.

19 In this particular case, are you aware that on
20 November 10th when Dr. Prince saw Mr. Johnson, that
21 Mr. Johnson was seen in a section of Elyria
22 Memorial Hospital's emergency room which was called
23 the Med Express as opposed to the regular emergency
24 room?

25 A. I'm aware of that.

1 Q. Did the hospital, Mt. Sinai Hospital, have
2 such a section with the emergency room divided into
3 acute and less acute sections?

4 A. Yes. There was an acute and less acute
5 section.

6 Q. What was the less acute section called?

7 A. The less acute section.

8 Q. Is that the name?

9 A. I don't remember if it had a specific name,
10 but it was -- people were triaged. I can't recall
11 the names of the location. There was an area that
12 was clearly designed as the resuscitation or
13 critically ill. There was something in between and
14 something minor -- they may have called it the
15 minor side. I can't recall exactly.

16 Q. But you recall a side of the emergency room as
17 opposed to maybe just one particular cubical, if
18 you will?

19 A. No. There was several spaces where people
20 could go which was of a less acute nature.

21 Q. Okay. And in your experience the one to three
22 doctors that worked in the emergency room, did they
23 cover all of the areas?

24 A. Yes.

25 Q. Okay. So those one to three doctors would not

1 only see patients in the less acute, for lack of
2 better word, but also the resuscitation unit versus
3 the emergency room?

4 A. It may have been that during the day one
5 person would be assigned to the major side and one
6 person was assigned to the minor side, and that's
7 the way that they divided the manpower. And at
8 night when there was low census, when there was
9 less people, I think one person would handle
10 everything.

11 Q. What about the nurses, did they work the
12 entire emergency room?

13 A. No. It was the same thing. They would be
14 assigned to a location generally.

15 Q. And when you did your two months, do you
16 recall were those consecutive months?

17 A. Yes.

18 Q. And did you work the entire emergency room?

19 A. Yes.

20 Q. You were involved with patients that were in
21 the less acute section?

22 A. Yes.

23 Q. Do you recall being assigned -- well, let me
24 ask you, how did that work? You were there for two
25 months. Were you assigned like a week in the less

1 acute section, a week in the regular E.R., a week
2 in the resuscitation section?

3 A. I'm sorry. I can't exactly recall. It may
4 have actually changed from day-to-day and even from
5 morning to afternoon. It may have been a few hours
6 in one section and a few hours in the other. I
7 think also if things came up that were particularly
8 interesting, then, you know, you might be informed
9 to go and see something that's particularly
10 interesting that was not in the place where I may
11 have been normally.

12 Q. Do you recall at what part of your internship
13 that this two-month rotation occurred?

14 A. In the middle, I believe in the middle.

15 Q. So you had probably been out of medical school
16 for about six months or so when you had that
17 experience?

18 A. That would be yes.

19 Q. And I don't see anything on your CV, so why
20 can I make the assumption that throughout the
21 course of your career you have never, quote,
22 moonlighted, if you will, as an emergency room
23 physician in any --

24 A. No.

25 Q. -- facility?

1 And you are not board certified in emergency
2 room medicine?

3 A. No.

4 Q. You have never done a residency or fellowship?

5 A. In emergency room medicine?

6 Q. Yes.

7 A. No.

8 Q. You are not eligible to take the boards in
9 emergency room medicine?

10 A. I don't know if I am or not. Sometimes they
11 overlap. If you ask, you can.

12 Q. But as you sit here today you don't know
13 whether or not --

14 A. I don't know.

15 Q. -- you would be eligible?

16 A. I don't know.

17 Q. Doctor, I'm a little confused about your file
18 here and what you received and what you haven't
19 received and when you received it and from who, so
20 I want to sort this out as fast as I can.

21 A. Sure.

22 Q. You have various different things here. Does
23 this represent your entire file?

24 A. Yes.

25 Q. Okay. Is there anything that you have at home

1 or at your office that is not on this table here
2 today?

3 A. No.

4 Q. When were you asked to bring this file to the
5 deposition?

6 A. Today.

7 Q. Okay. Was that as a result of a phone call
8 from Mr. Dempsey?

9 A. Actually, he didn't ask me because I think he
10 couldn't get ahold of me, but I assumed it would be
11 required.

12 MR. DEMPSEY: I tried to
13 tell him that you had issued that notice, but
14 I didn't reach him.

15 A. I didn't get it, but I brought it because I
16 thought you might need it.

17 Q. Did anyone tell you or suggest to you or
18 instruct you not to bring any correspondence from
19 Mr. Nemeth, or, for that matter, any other lawyer
20 that has been associated with this case?

21 A. No.

22 Q. To the best of your knowledge, as you sit here
23 today you do not have any other correspondence
24 other than this Reminger letter that's attached to
25 some records?

1 MR. DEMPSEY: I just
2 handed him that today.

3 A. Yes. I'm sorry. The correspondence I told
4 you are -- I know that there were cover letters. I
5 don't have them because I think they were of a very
6 nonspecific and trivial nature, so I just chucked
7 them.

8 Q. Okay. Now, as I understand this, you were
9 asked by Mr. Nemeth to take a look at this case?

10 A. Yes.

11 Q. And you had some sort of personal relationship
12 with him; you knew him?

13 A. Yes. I knew him, uh-huh.

14 Q. Okay. But he didn't really do this kind of
15 work?

16 A. Right.

17 Q. So he referred it then to a Mr. Powers?

18 A. Yes.

19 Q. When you were first asked -- and Mr. Nemeth is
20 the person that asked you to look at this case?

21 A. Yes.

22 Q. When he asked you to look at this case, did he
23 give you any materials in this case?

24 A. No.

25 Q. When he flipped it then to Mr. Powers --

1 A. Uh-huh.

2 Q. -- did Mr. Powers give you material to review
3 in this case?

4 A. I don't remember. No, I don't think so.

5 This is the file that I had for Mr. Powers at the
6 time when Mr. Powers was involved. That's the
7 whole thing.

8 Q. Okay. Because your first letter, which is
9 dated November 12th, is in fact addressed to Mr.
10 Powers?

11 A. Uh-huh.

12 Q. And in this letter you make reference to the
13 autopsy?

14 A. Uh-huh.

15 Q. Okay. And you believe --

16 A. The autopsy is in here.

17 Q. This manila folder, which is just a manila
18 folder, and all it says is "medical records" is all
19 that you received at the time Mr. Powers asked you
20 to take a look at this case?

21 A. I believe so, but --

22 Q. Fair enough.

23 A. -- I can't tell you exactly.

24 Q. Okay.

25 (Thereupon, there was a discussion off

1 the record.)

2 BY MS. PETRELLO:

3 Q. And at the time when Mr. Powers asked you in
4 conjunction with Mr. Nemeth to look at this case,
5 you were specifically focused on -- was it Dr.
6 Naeem?

7 A. Yes.

8 (Thereupon, there was a discussion off
9 the record.)

10 Q. At some point in time, Mr. Dempsey became
11 involved in this case?

12 A. Yes.

13 Q. Do you recall when that was?

14 A. No.

15 Q. Do you recall what year that was?

16 A. No.

17 Q. Okay. You have had conversations obviously
18 with Mr. Dempsey?

19 A. Uh-huh.

20 Q. And I don't want to know what those entailed,
21 all right? When is the first time you recall
22 speaking with Mr. Dempsey about this case?

23 A. I can't exactly remember, a year ago, maybe a
24 year and a half ago.

25 Q. Okay.

1 A. There haven't been -- go ahead.

2 Q. Go ahead.

3 A. There haven't been many conversations about
4 this. There have been a few conversations.

5 MS. PETRELLO: And I just
6 want the record to reflect that this manila
7 folder that he initially received when he was
8 asked to review this case, and it's just
9 entitled medical records, does in fact contain
10 the emergency room visit of November 10th.

11 BY MS. PETRELLO:

12 Q. All right. Getting back to these questions
13 here. You haven't had very many conversations with
14 Mr. Dempsey?

15 A. No.

16 Q. Do you recall what records, if any, that you
17 received next? You had already received these
18 records in the manila folder?

19 A. It may have been these ones actually.

20 Q. Okay. Is this something that you received
21 or --

22 A. This is a copy.

23 MR. DEMPSEY: This is
24 mine. It was a little more organized for the
25 depo.

1 BY MS. PETRELLO:

2 Q. Okay. That's fine.

3 So you had the depositions of Dr. Naeem, Dawn
4 Sturgeon, Rose Fenik, Marion Prince, Jane May, and
5 you believe that this is the next bit of material
6 that you received?

7 A. I believe so.

8 Q. Okay. Do you recall when you received this?

9 A. It would have been around the 18th of May when
10 I wrote this letter, before that. I guess it had
11 to have been before that.

12 Q. Do you recall how much before, a week, a day?

13 A. A few days, a day, a few days I think, yeah.

14 MR. DEMPSEY: Did I send
15 you some of these earlier?

16 THE WITNESS: You may
17 have.

18 MS. PETRELLO: Excuse me, I
19 think he answered the question.

20 MR. DEMPSEY: You want
21 completeness. I thought I sent this earlier.

22 MS. PETRELLO: We certainly
23 don't have any letters to be able to establish
24 that.

25 Q. In any event, do you recall at some point

1 when, well, Mr. Dempsey asked you to look at this
2 case in terms of the care provided by the emergency
3 room physicians and perhaps Elyria Memorial
4 Hospital?

5 A. Yes. At some point he asked me to do that.

6 Q. When was that?

7 A. On a very early conversation with Mr. Dempsey.

8 Q. Okay. And you didn't receive any additional
9 records, correct?

10 A. Just what I had.

11 Q. And you already had the emergency room
12 records, correct?

13 A. Uh-huh, yes.

14 Q. In between the time when Mr. Dempsey asked you
15 to look at the care rendered by some other people
16 and the time that you received these deposition
17 transcripts, did you have any conversations about
18 what your opinions were with Mr. Dempsey relative
19 to the care that Drs. Prince or Carroll or the
20 hospital provided?

21 A. Yes.

22 Q. Okay. And what were those opinions?

23 A. I was concerned that the vital signs weren't
24 obtained at the time that Mr. Johnson presented.
25 That was my concern.

1 Q. Was that on the November 10th emergency room
2 visit?

3 A. Yes.

4 Q. Did you at any time -- well, these are the
5 only two reports that you have authored, correct?

6 A. Yes.

7 Q. Did you ask to see the transcripts of any of
8 these individuals?

9 A. They were forwarded to me before I asked, but
10 they were given to me.

11 Q. Did you in fact read these deposition
12 transcripts?

13 A. Yeah, I did, yeah.

14 Q. When did you read these?

15 A. When I got them.

16 Q. Did it help your opinions in any way or change
17 your opinions?

18 A. Not really, no.

19 Q. Why did you issue another report?

20 A. I was asked to because there was some
21 confusion about the five year point that I was
22 asked to comment on in the initial letter and also
23 to include my concern about the emergency room
24 visit, which wasn't in the first letter.

25 Q. Well, when were you asked to do that?

1 A. Which now, this letter?

2 Q. To write the second letter, which --

3 A. Just before I wrote it.

4 Q. Okay. When you wrote your first letter, did
5 you have concerns about the care provided in the
6 emergency room?

7 A. I would have to say yes, but I have no record.
8 And I was asked a very specific kind of question
9 and that's why the letter is of that form. I was
10 not asked to comment on the emergency care and I
11 wrote that letter because that was the advice of
12 the attorney of what he was interested in. That's
13 all that I commented on.

14 Q. Did you discuss your concerns with this other
15 lawyer at the time?

16 A. I believe so, but I can't recall.

17 Q. When Mr. Dempsey discussed this case with you,
18 what were you asked specifically to do as it
19 relates to the emergency room visits?

20 A. To comment on what I thought was the quality
21 of the care there.

22 Q. Your report, supplemental report, is dated May
23 18th and is timed at 9:47 p.m. along with your CV.

24 A. I faxed it from my home.

25 Q. That's what I was going to ask you.

1 A. Yeah.

2 Q. When you do medical legal reviews, do you do
3 this generally from your home?

4 A. Well, generally would mean that I do this a
5 lot. So in this particular case I did it at work,
6 but I faxed it from home.

7 Q. Doctor, can Tylenol reduce or take away a
8 fever?

9 A. Yes.

10 Q. I want to talk about, first of all, right out
11 of the box, do you have criticisms of Dr. Carroll?

12 A. You know, I'm sorry, I can't remember the
13 names of the doctors, okay?

14 Q. Dr. Carroll was the second emergency room
15 physician who treated Mr. Johnson on November 23rd,
16 the date of his death.

17 A. No.

18 MR. DEMPSEY: I told you
19 we were going to dismiss Dr. Carroll.

20 MS. PETRELLO: I just
21 wanted to make sure.

22 BY MS. PETRELLO:

23 Q. Dr. Prince, another emergency room physician,
24 cared for Mr. Johnson in the emergency room visit
25 on 11-10 when he came in because of the pain.

1 A. Uh-huh.

2 Q. Okay. And since you don't have any criticisms
3 of Dr. Carroll, that will make it really easy
4 because we are only going to be talking about Dr.
5 Prince now.

6 A. Got it.

7 Q. Now, as I understand your previous testimony,
8 your first report dated -- what's the date of your
9 first report -- November 12, 1997 does not contain
10 any criticisms?

11 A. That's not the one you are holding up.

12 Q. I understand. It does not contain any
13 criticisms of Dr. Prince?

14 A. I'm having trouble hearing.

15 MR. DEMPSEY: Doug, we
16 can't hear.

17 (Thereupon, there was a discussion off
18 the record.)

19 Q. Your first report --

20 A. Yes.

21 Q. -- dated November 12, 1997 did not contain any
22 criticisms of Dr. Prince?

23 A. Right, correct.

24 Q. Your second supplemental report dated May
25 18th, 2000, as I understand, contains all of your

1 criticisms that you have against Dr. Prince; is
2 that correct?

3 A. Yes.

4 Q. And that criticism is the fact that on the
5 emergency room visit on 11-10-96 there was a
6 failure to take vital signs, specifically
7 temperature?

8 A. Actually, none actually are recorded, but the
9 temperature --

10 Q. But the temperature is the one you are most
11 concerned with?

12 A. Yes.

13 Q. And that had a temperature been taken it would
14 have been elevated and a diagnosis of infection
15 would have been made, it would have been treated
16 and Mr. Johnson wouldn't have died. Is that your
17 criticism?

18 A. Yes.

19 Q. And do you believe that Dr. Prince should have
20 taken the temperature?

21 A. She or one of the nurses should have taken the
22 temperature, yes. It's not required that she
23 herself take a temperature.

24 Q. And you believe that that was the standard of
25 care in the Med Express to take a patient's

1 temperature?

2 A. Yes.

3 Q. Now, you believe that it would have been
4 elevated?

5 A. Yes.

6 Q. All right. Are there any other symptoms
7 associated with an elevated temperature or signs?

8 A. What are you referring to specifically?

9 Q. Well, for example, chills?

10 A. Other than what we have discussed you mean?

11 Q. Yes.

12 A. Well, yes, there are things that may be
13 associated with elevated temperature. It depends
14 on whether the person's temperature is going up or
15 going down as to how they feel.

16 Q. Let's say it's going up.

17 A. Then they should feel cold. Generally they
18 may feel cold.

19 Q. They feel cold?

20 A. Yes.

21 Q. And if it's coming down?

22 A. Then they should feel hot.

23 Q. Is that in every case?

24 A. Well, depending on how quickly it's going up
25 and going down, sometimes it goes up very slowly

1 and they are not particularly attuned to that, they
2 may not be able to express that, so it depends on
3 their ability -- the rate of rise and their ability
4 to be perceptive about what's happening to them.

5 Q. Are there any other symptoms or signs?

6 A. With an elevated temperature?

7 Q. Yes.

8 A. When a person -- if we are saying the
9 temperature is elevated because they have an
10 infection, then they may have general feelings of
11 malaise. They may have pain in the area where --
12 at the source of the temperature. They may have,
13 depending upon if it's very, very severe, they may
14 have difficulty with concentrating. They may have
15 a headache. They may have a lot of very
16 nonspecific kinds of things, fast heart rate, fast
17 respiration, fast breathing, if it was severe, or
18 it may be a number of those things.

19 Q. I believe you testified earlier that while you
20 believe that his temperature would have been
21 elevated, anything beyond 98.6 would be speculation
22 on your part as to what his actual temperature may
23 have been?

24 A. I can't know exactly what his temperature
25 would have been. It's impossible to know.

1 Q. I think you were asked a question, at what
2 point do you believe in terms of being an elevated
3 above-normal temperature an evaluation should have
4 taken place?

5 A. When you say evaluation, what do you mean by
6 that?

7 Q. Well, you have opined that the temperature
8 should have been taken and it would have been
9 elevated and then he would have been worked up for
10 the infection.

11 A. Yes.

12 Q. All right. So at what point if a normal
13 temperature is 98.6 --

14 A. Can we speak in centigrade? I am more
15 familiar with that.

16 Q. All right, fine. Centigrade is what?

17 A. 37.

18 Q. So at 37.1 are you saying that a workup for
19 infection should occur?

20 A. No.

21 Q. That's what I want to get at. At what point
22 above 37, which is normal, would you believe that
23 the infection workup should begin; 38, 39, 40?

24 A. Well, I think it depends on the workup. I
25 think a temperature above the 38.5 usually is the

1 cutoff where people begin to suspect a serious
2 generalized infection. Temperatures lower than
3 that can also be indicators of infection and the
4 workup -- when you say workup, it just might be
5 different.

6 Q. Okay, well --

7 A. A sampling of a workup for infection would
8 include again a history, physical examination, a
9 sampling of certain blood and body fluids depending
10 upon where the history and physical points you.

11 Q. A history was done in this case, correct?

12 A. Yes.

13 Q. And the physical was done in this case,
14 correct?

15 A. In the area of the hip.

16 Q. That was his presenting complaint, correct?

17 A. Correct.

18 Q. I guess I am confused here. I want to know,
19 you criticized Dr. Prince and the emergency room
20 and I want to know at what point was Dr. Prince to
21 do the next step, which we are going to talk about,
22 but is it 37.1, 2, 3, 4, 5? I mean, where?

23 A. I think they would have taken a temperature.
24 They would have taken a heart rate, respiratory
25 rate and blood pressure, which are also vital signs

1 which they did not take. And those things may have
2 also been elevated or rather they may have been
3 abnormal. And so in that circumstance, depending
4 upon how far away from normal they were, different
5 kinds of workups would have been done. They didn't
6 take an electrocardiogram, but they didn't feel the
7 need to do it. If they had, on the other hand,
8 taken his blood pressure and taken -- his blood
9 pressure was 220 over 110. They may have taken an
10 electrocardiogram because they would have that. It
11 was odd. And maybe he has something else going on
12 here. The hip pain wouldn't go away with Ketorolac
13 and it's odd. People come in the emergency room
14 with complaints and something else is uncovered in
15 the process. That's the nature of that business.

16 Q. But, Doctor, see, here is my confusion. I
17 mean, so much of this is just speculation on your
18 part, all right? You are saying they could have
19 done this and they could have done that, this would
20 have been elevated and then they could have done
21 this. Based upon the record you have, you have a
22 gentleman who comes in with a history of falling
23 off some sort of cart injury. I forgot how you
24 described it, all right, with hip pain, and it's
25 the Med Express part of the ER. They do an x-ray.

1 He has some pain medication. They give him an
2 anti-inflammatory. They tell him to follow up the
3 next day with his family physician. And you are
4 speculating that they could have done all these
5 things, all these tests, and they would have
6 discovered this, that and that. What did you
7 expect Dr. Prince to do when she saw this gentleman
8 with this history and this complaint?

9 MR. DEMPSEY: Objection.

10 And before he answers, Colleen, I just object
11 to your characterization of Dr. Zivot's
12 earlier testimony and speculation because he
13 testified to a reasonable degree of medical
14 certainty before. You can ask him the
15 question.

16 MS. PETRELLO: Go ahead.

17 MR. DEMPSEY: You gave a
18 long statement and then put a question at the
19 end of it.

20 BY MS. PETRELLO:

21 Q. I am so confused here, but go ahead.

22 A. I expect she or the nurse should have taken
23 vital signs and depending on what occurred in those
24 vital signs would necessitate the different kinds
25 of investigations. I am not saying -- when you say

1 many, many things, I don't know what you are
2 referring to.

3 Q. Vital signs, let's just take the vital signs.

4 A. Uh-huh.

5 Q. Tell me to a reasonable degree of medical
6 probability what would his blood pressure have
7 been?

8 A. Elevated.

9 Q. What?

10 A. More than 120 over 80.

11 Q. Now, at what point should she have embarked on
12 some sort of path to work this up?

13 A. Well, I'm not -- I think that -- I'm not going
14 to go through each individual thing like this does
15 not make sense to me. It's part of what I see
16 would be a part of what I think would be. And
17 based upon the fact that he died of infection,
18 that's why I am saying -- or died of complications
19 related to his infection as related to his heart
20 disease, that's what I'm speculating. If he was
21 living and fine, I wouldn't feel this way. But I
22 feel this way because he died and that's why I feel
23 that. So that's my opinion as to why I say that.

24 Q. Doctor, despite the fact that you testified
25 that with this type of infection, the fever would

1 wax and wane --

2 A. Yes.

3 Q. -- despite the fact that Mr. Johnson had
4 Tylenol --

5 A. Yes.

6 Q. -- despite the fact that there's been --

7 A. Mr. Johnson had Tylenol? When did that occur?

8 Q. I don't remember. You tell me.

9 A. I don't remember. You brought it up. I don't
10 remember because --

11 Q. Do you note that in the emergency room record?

12 A. Can we look at it now?

13 Q. Sure. And, please, if you have any question,
14 feel free to look at that.

15 A. You are saying the Tylenol lowered his
16 temperature?

17 Q. No. I'm not saying that.

18 A. Why did you ask me if Tylenol lowered his
19 temperature?

20 MR. DEMPSEY: You asked
21 him that about five minutes ago.

22 MS. PETRELLO: Right. And
23 he said it can and that's all I need to say.

24 MR. DEMPSEY: Let's go off
25 the record.

1 (Thereupon, there was a brief recess.)

2 MR. GORDON: I'm Harlan

3 Gordon sitting in for Mr. Dempsey from this
4 point forward.

5 BY MS. PETRELLO:

6 Q. Doctor, jumping back a minute or two, you said
7 you spend 50 percent of your time in anesthesia
8 versus 50 percent of your time in critical care
9 medicine with a little bit of administrative stuff
10 in between that. How does that work out? Do you
11 spend a month in anesthesia, then a month on
12 critical care or --

13 A. It varies. Usually it's a week at a time, but
14 there's a lot of overlap because of the nature of
15 my operating room practice. Many of the patients
16 that I care for in the operating room get admitted
17 to the ICU, so we do a lot of -- I am in the
18 intensive care unit every single day. Sometimes I
19 am only in the intensive care unit and sometimes in
20 the operating room and the intensive care unit.

21 Q. The patients that you put to sleep from the
22 anesthesia point --

23 A. Yes.

24 Q. -- and end up in ICU --

25 A. Yes.

1 Q. -- that you see daily, are those patients that
2 you are seeing because they simply are in the
3 critical care unit, or are those patients that you
4 are seeing as follow up both as anesthesia --

5 A. Both. There are times where my assignment is
6 attending in the intensive care unit and then I see
7 them in that capacity and I have not given them an
8 anesthetic. There are other times --

9 Q. Yes, I understand that. I guess what I am
10 trying to understand is say today is Monday and you
11 give anesthesia all day in the OR?

12 A. Uh-huh.

13 Q. On that particular day you also would not be
14 an attending in the critical care unit, would you?

15 A. No.

16 Q. And if you happen to then go to the critical
17 care unit that would be in follow up to the
18 anesthesia that you may have given to a patient?

19 A. In addition to other things because in our
20 group our block times are a week duration,
21 generally one or two weeks when we are attending.
22 But because the periods of time are small we always
23 are discussing the cases, so when the people who
24 are chronic when they return we are right up to
25 date on what is going on with them.

1 Q. I understand you are discussing the cases, but
2 you are not wearing two hats at the same time, that
3 being the attending responsible for the care in
4 critical care and --

5 A. No.

6 MR. GORDON: Let her
7 finish the question.

8 BY MS. PETRELLO:

9 Q. It's just easier for the court reporter.
10 -- assigned to deliver anesthesia in the
11 operating room?

12 A. You are correct.

13 Q. And your critical care unit, how is that
14 divided? Is it a med-surg unit combined or is it
15 just medicine versus surgery?

16 A. I divide my critical care experience between
17 two distinct units. I attend in the cardiothoracic
18 intensive care unit when the people, patients, have
19 had that surgery or a complication of that surgery,
20 and I also work in the general surgical intensive
21 care unit that occupies shared space with the
22 general medical intensive care unit, and so they
23 are two separate teams. There is a medical team
24 and there is a surgical team within one large room
25 and so we generally have our own patients, but we

1 normally consult each other during the day and
2 during the time that we are there as well.

3 Q. When you were talking about your assignments,
4 anesthesia versus critical care, is the critical
5 care experience then subdivided between a week in
6 the cardiothoracic section?

7 A. Yes.

8 Q. Okay. So, for example, let's just take a
9 month, four weeks. You would have maybe one week
10 anesthesia OR, one week cardiothoracic ICU, and one
11 week -- what was the other one?

12 A. General surgery.

13 Q. General surgery ICU?

14 A. Yes.

15 Q. And it would go back again?

16 A. Yes. It comes out on average 50 percent, but
17 it was probably a little more critical care than
18 anesthesia, so like I saw it was one-third of
19 anesthesia and two-thirds of critical care.

20 Q. As far as your anesthesia experience, do you
21 have any subspecialty? In other words, do you only
22 give anesthesia in certain cases?

23 A. Generally, yes. I give cases in cardiac and
24 thoracic cases, but I do a smattering of
25 everything. But the majority, I would say more

1 than 50 percent of the time it was a cardiac or a
2 thoracic case.

3 Q. Do you do any pain management?

4 A. I do, well, as part of other things, but I
5 don't exclusively do pain management alone.

6 Q. Okay. I understand that there's pain
7 management in the care of patients. But as I
8 understand, there is a subspecialty?

9 A. There is a subspecialty of pain management
10 that can be divided into acute and chronic. Acute
11 management is something that I do a fair amount of
12 in the intensive care unit.

13 Q. Now, as I understand it, you believe that
14 somewhere around November 2nd up through just
15 shortly before November 23rd Mr. Johnson developed
16 an infection in his body?

17 A. Yes.

18 Q. Okay. And as I recall you characterized it as
19 not severe on November 10th when he was seen in the
20 emergency room?

21 A. Yes.

22 Q. You think to a reasonable degree of medical
23 certainty that on November 10th he did in fact have
24 an infection?

25 A. Yes.

1 Q. What do you base that on?

2 A. Based on the fact that he was -- he had
3 evidence of an infection prior to that date and no
4 therapy had been initiated and that he subsequently
5 died of something related to infection.

6 Q. When you say he had evidence of that prior to
7 that, the only evidence that you are basing that on
8 is the elevated white count?

9 A. Yes, and generally feeling unwell or
10 complaining of different periods of feeling unwell.

11 Q. Point to me in whatever medical records that
12 you reviewed where he had complaints of not feeling
13 well.

14 A. I'd have to look back.

15 Q. Sure. Take your time, Doctor.

16 A. I guess I should say that he didn't say or was
17 never quoted as saying, Doctor, I feel unwell. He
18 was quoted as saying, I have pain here and I have
19 pain there. And he had therapy that did not fix it
20 and he came back on a couple of occasions between
21 the 2nd and the date that he died to try to get
22 some help.

23 Q. Okay. I just want you to be a little bit more
24 specific when you said he had pain there and pain
25 there.

1 A. Well, pain in his hip, I guess, primarily pain
2 in his hip.

3 Q. What date was that?

4 A. Well, the emergency room visit, and then it
5 didn't go away and so he went to see his doctor,
6 his family doctor, and he had pain in his groin,
7 too, and pain in his buttocks,

8 Q. Okay. So you believe that on November 10th,
9 1996 when he was seen by Dr. Prince that the
10 complaint of hip pain, which he stated, and he died
11 shortly after he got up off of the bed, was due to
12 the infection?

13 A. I think that they -- I can't make that
14 connection to a degree of absolute -- how do you
15 put it?

16 Q. Medical certainty.

17 A. I can't say that.

18 Q. Okay. Fair enough.

19 A. That complaint of hip pain.

20 Q. So, as I understand it, you don't fault Dr.
21 Prince for believing that this was a strain in his
22 right hip based upon the exam and history as
23 presented on November 10th?

24 A. I don't fault her on the way that she looked
25 at his hip. I think she looked at his hip

1 thoroughly. What I fault her on is the inability
2 or the lack of vital signs. That's what I fault
3 her on. I think she did a reasonable workup of the
4 hip.

5 Q. And I would assume that you have no criticisms
6 of Dr. Prince for instructing or advising Mr.
7 Johnson to follow up with his family physician?

8 A. No. I think that's -- based on what she did,
9 I think that's sound advice.

10 Q. And you can't say when Mr. Johnson developed
11 this underlying body infection?

12 A. Well, I believe that it happened somewhere
13 between the 2nd and the day of his death, but I
14 think it was -- it happened -- there was evidence
15 to my mind that it happened before the 10th.

16 Q. And the underlying body infection led to the
17 pericarditis?

18 A. Yes.

19 Q. And you don't know what kind of body infection
20 he had, correct?

21 A. No.

22 Q. I'm just about done here.

23 A. Did you want to talk about the Tylenol thing
24 or no? Remember we were talking about that before?

25 Q. Right. I remember. Thanks for bringing it

1 up, but I don't think I want to ask you that. I am
2 sure you are all prepared to answer it.

3 You may have been asked this question and I
4 apologize if you were.

5 A. I accept your apology.

6 Q. Thank you.

7 Fever has many sources. Would you agree with
8 that statement?

9 A. Yes.

10 Q. Of which infection is just one, correct?

11 A. A common one.

12 Q. Elevated white count, many explanations for?

13 A. Define many.

14 Q. More than five.

15 A. It would depend upon the circumstance whether
16 something would be more or less likely.

17 Q. I didn't ask you that. For an elevated white
18 count there could be a number of possibilities why
19 a white count is elevated?

20 A. There are common possibilities and uncommon.

21 Q. I'm not talking about common or uncommon. I
22 am just asking --

23 A. Then yes.

24 Q. I am just asking there are a lot of different
25 reasons?

1 A. Yes.

2 Q. And you would agree that based upon the
3 records available, Mr. Johnson didn't have any
4 signs or symptoms of any cardiac disease on
5 November 10th?

6 A. They didn't look for them.

7 Q. What's the basis of that statement?

8 A. There's nothing in the record that suggests
9 that they looked for them.

10 Q. Was there any reason to look for them based
11 upon the history and the presenting complaint?

12 A. Vital signs should have been taken. If vital
13 signs would have been taken, they would have found
14 something abnormal and that would have initiated an
15 investigation.

16 Q. But you can't state to a reasonable degree of
17 probability that his vital signs, including his
18 blood pressure plus respiration and pulse, would
19 have been elevated?

20 A. Specifically, no, but I think I can state that
21 they were abnormal.

22 Q. But based upon his history and what is
23 documented in the chart, did he have any symptoms
24 that required a cardiac workup?

25 A. No.

1 Q. Did he have any symptoms of a cardiac problem?

2 A. They didn't ask, so, I mean, he didn't have
3 symptoms of many things that they didn't ask about.
4 They wouldn't know unless they asked.

5 Q. Do you know what they asked him?

6 A. All I know is what is written down here.

7 MS. PETRELLO: I don't have
8 any further questions.

9 - - -

10 BY MR. SPISAK:

11 Q. My name is Les Spisak and I just have a few
12 questions for you. I have been sitting here for
13 almost four hours now and --

14 MR. GORDON: Four hours?

15 MR. SPISAK: Close to it.
16 Three hours, more like four, three and a half
17 give or take.

18 Q. In any event, as I'm hearing you -- and it
19 helps me to sort of repeat it to make sure I
20 understand, and you tell me if I am correct or
21 not. What I think I am hearing you say is
22 that it is your belief that everybody who
23 comes to an emergency room with the kinds of
24 complaints that Mr. Johnson came to the
25 emergency room with should have vitals taken.

1 Is that a fair statement?

2 A. Yes.

3 Q. And is it your belief that that is what
4 emergency room staff and emergency room physicians
5 do?

6 A. Yes.

7 Q. All right. Now, again, I am sort of stating
8 it my way to make sure I understand. If that had
9 been done in this case, it is then your belief that
10 based on some elevation in the temperature that
11 this person then being put into the system they
12 would have stumbled onto that infection, correct?

13 MR. GORDON: Objection.

14 Go ahead and answer.

15 A. I wouldn't use the word stumbled.

16 Q. All right. What word would you use?

17 A. Found.

18 Q. Found, okay. But it would have been
19 essentially a serendipitous finding, would it not?

20 MR. GORDON: Objection.

21 Go ahead and answer.

22 A. I wouldn't use the word serendipitous.

23 Q. All right. Let me ask you this. They
24 wouldn't have -- the taking of the temperature, for
25 example, would have been a routine matter. They

1 wouldn't have been taking the temperature with the
2 thought that there was an infection at that point
3 in time?

4 A. I can't know what they were thinking.

5 Q. Well, let me say it this way. This man didn't
6 present with signs or symptoms of infection,
7 clinical signs of infection, did he?

8 A. Not that they elucidated in their questioning.

9 Q. Okay. Stated another way, there is nothing in
10 the record to that effect, correct?

11 A. There is nothing in the record that indicates
12 that they asked questions that would indicate a
13 concern about infection.

14 Q. There is also nothing in the record that would
15 indicate that this gentleman came to the hospital
16 complaining of anything that was indicative of
17 infection, was there?

18 A. You are correct.

19 Q. Okay. All right. Now, to that extent had a
20 temperature been taken, even though they weren't
21 looking for infection, they would have, as you
22 explained to us, found that?

23 A. Yes.

24 Q. Without looking for it per se? Fair
25 statement?

1 A. Yes.

2 Q. Okay. Doctor, do you have any experience with
3 establishing, with writing, with being involved in
4 creating nursing policies and procedures in
5 emergency room settings?

6 A. In emergency room settings, no.

7 Q. Okay. And I would assume, Doctor, that you
8 are not here telling us that you are an expert or
9 that you are holding yourself out as an expert in
10 nursing room policies and procedures in emergency
11 room settings as such?

12 A. When you say as such or when you say all
13 policies, no.

14 Q. I didn't say all policies by the way.

15 A. Or policy.

16 Q. And procedures?

17 A. I would say, no, except --

18 Q. Okay.

19 A. -- all I'm commenting on is something
20 specific, which are the vital signs.

21 Q. You are commenting on something that you
22 believe they should have done?

23 A. Yes.

24 Q. Okay. Fair enough.

25 But you did say that you are not an expert in

1 nursing policies and procedures per se?

2 A. No.

3 Q. Okay. Do you know what the nursing policies
4 and procedures were at this hospital, at Elyria
5 Memorial Hospital, on November 10, 1996 as it
6 relates to the taking of vitals for this particular
7 patient?

8 A. If the policy there was not --

9 Q. Excuse me. That's not my question. The
10 question is, do you know what the policies were;
11 yes or no?

12 A. I believe that I know.

13 Q. Tell me what you believe they were and how you
14 know that.

15 A. I believe that they take -- that people who go
16 to the emergency room have vital signs taken as a
17 matter of routine. I say that based upon my
18 experience as a physician for 12 years working in
19 multiple hospitals in multiple settings.

20 Q. That is what your belief is?

21 A. That's my belief.

22 Q. But you are not able to point specifically to
23 policies and procedures --

24 A. A document?

25 Q. -- at this particular hospital or testimony or

1 anything to the effect of what the policies or
2 procedures were --

3 A. No.

4 Q. -- in anything you reviewed?

5 A. I did base it on the fact that they have a
6 form that has that as a blank, so the fact that
7 it's on their form, on the preprinted form,
8 suggests to me it's something valuable, that it was
9 something they should be doing, yes.

10 Q. Because it was on the form, it was something
11 you think they should be doing?

12 A. Right. It's right on top.

13 Q. My question is, because it was on the form, it
14 was something that should be done in every single
15 occasion no matter what the complaints are?

16 A. Yes.

17 Q. Okay. Fair enough.

18 I trust, Doctor, you and I can agree that
19 nurses in an emergency room setting can and should
20 follow what the policies and procedures are that
21 are established by the hospital?

22 A. Yes.

23 Q. And that in doing so, in other words, in
24 following the policies and procedures that are
25 established by a hospital that would in general

1 constitute compliance with the standard of care for
2 nurses?

3 MR. GORDON: Objection.

4 BY MR. SPISAK:

5 Q. You are shaking your head. What is your
6 answer?

7 A. Can you ask it again, please?

8 Q. Yes.

9 MR. SPISAK: Would you
10 read it back for us?

11 THE NOTARY: QUESTION:
12 "And that in doing so, in other words, in
13 following the policies and procedures that are
14 established by a hospital that would in
15 general constitute compliance with the
16 standard of care for nurses?"

17 A. Well, then I guess for -- I'm sorry. I don't
18 think I understand the question. Because I think
19 that --

20 Q. Since Mr. Gordon objected, you don't
21 understand it?

22 A. I guess I don't.

23 Q. You are shaking your head.

24 MR. GORDON: That's very
25 unfair.

1 MR. SPISAK: It's not.

2 MR. GORDON: Because I

3 object and --

4 MR. SPISAK: I am just
5 making the comment.

6 BY MR. SPISAK:

7 Q. You tell me what part of that question you
8 don't understand.

9 A. You made some statement something at the end
10 about some kind of general standard of nursing
11 practice.

12 Q. All I'm saying is, Doctor, it's real simple,
13 if nurses are given certain policies and procedures
14 to be followed and they follow them, in general
15 that would be in compliance with the standard of
16 care, would it not?

17 MR. GORDON: Objection.

18 Go ahead and answer.

19 A. Yes.

20 Q. Now, in this case, for example, there is an
21 emergency room physician in the hospital and if the
22 emergency room physician thinks that a nurse should
23 have done something or that something was
24 appropriate to be done, that physician can then
25 order that it be done if it's not done, correct?

1 A. Yes.

2 Q. And that would not be an inappropriate thing,
3 correct?

4 A. Yes.

5 Q. Just a final point and that is, the scenario
6 that you have outlined here, and I don't want to
7 rehash the whole thing, but temperature should have
8 been taken. You believe if it had been taken, it
9 would have been elevated. If it was elevated, that
10 would have triggered certain other things to be
11 done, perhaps some additional tests, perhaps some
12 additional lab studies, et cetera, those types of
13 things, correct?

14 A. Yes.

15 Q. So those would be things that would have to be
16 ordered by a physician, correct?

17 A. Yes.

18 Q. Not by a nurse?

19 A. Yes.

20 MR. SPISAK: That's all I
21 have. Thank you.

22 - - -

23 BY MS. PETRELLO:

24 Q. I just have a couple questions. Based upon
25 Mr. Johnson's history and the records as we have it

1 from a medical standpoint, is there anything to
2 suggest that Dr. Prince should have thought that
3 that man had an elevated temperature?

4 A. Based upon the questions that she asked?

5 Q. Yeah. The record, the presenting complaint,
6 the reason why he was there.

7 A. Well, people who have a sore hip one
8 explanation might be infection and so, yes, it
9 would be reasonable to also look for a temperature
10 as an explanation of a sore hip.

11 Q. With the history that was given by Mr.
12 Johnson?

13 A. Absolutely.

14 Q. All right. If hypothetically the temperature
15 had been taken on November 10th and it was normal,
16 would you have any other criticisms of Dr. Prince?

17 MR. GORDON: Objection.

18 I thought he was talking about vital signs.

19 Temperature is one of the vital signs.

20 A. Temperature, not just temperature alone.

21 Q. Let's start with temperature. If the
22 temperature was normal --

23 A. Well, if the temperature was normal and the
24 other vital signs were normal, then no, but then
25 this would have been someone else, wouldn't it have

1 been?

2 Q. You wouldn't have any other criticisms if the
3 temperature was normal?

4 A. If the vital signs were all normal including
5 temperature -- well, I think she gave too much
6 Ketorolac.

7 Q. Toradol?

8 A. Toradol.

9 Q. What did that cause in this case?

10 A. Well, Toradol can cause renal failure in
11 excessive doses.

12 Q. Is there any evidence that Mr. Johnson had
13 renal failure?

14 A. Not until he died. No, you know, no. I think
15 if the vital signs are all normal, then this is a
16 reasonable workup for a sore hip.

17 Q. Okay. Doctor, a few minutes ago you told me
18 that your report contained all of your criticisms
19 you had in this case. Your report is critical of
20 the fact that there was no temperature taken, all
21 right? The report does not indicate anything about
22 the Toradol.

23 A. I withdraw it then.

24 Q. I just want to make sure that I understand
25 all your criticisms that you intend to offer at

1 trial --

2 A. Uh-huh.

3 Q. -- in this matter --

4 A. Fair enough.

5 Q. -- relative to Dr. Prince.

6 A. I withdraw the Toradol statement.

7 Q. And it relates to the vital signs you have
8 shown on the temperature according to your report;
9 is that not correct?

10 MR. GORDON: Objection.

11 A. Yes.

12 Q. And my question to you is, had the temperature
13 been taken hypothetically, we know it wasn't, and
14 it was normal, then everything else that was done
15 in the emergency room on November 10th you would
16 not have a problem with?

17 A. I need to change that statement then.

18 Q. Go ahead.

19 A. I would have to say my concerns about the
20 vital signs as well the lack of vital signs,
21 not the temperature alone.

22 Q. Is there any other vital signs other than the
23 temperature that is of more concern to you than
24 others, respiration, pulse, blood pressure?

25 A. They all go together. They should be taken at

1 once. They are all together.

2 Q. And you believe that all of them would have
3 been elevated or been abnormal?

4 A. I believe that the blood pressure probably --
5 let me withdraw the word probably -- that the blood
6 pressure and the temperature would have been
7 elevated to a reasonable degree of medical
8 certainty. The precise nature of that I cannot
9 say, but they would have been elevated.

10 Q. And you are aware he had a history of
11 hypertension?

12 A. I'm aware of that.

13 Q. And in terms of the pulse, can you give a
14 range of what you thought that would have been?

15 A. No. Well, the pulse I am less certain of.

16 Q. Okay. Would you agree with the statement that
17 a pulse is elevated for many reasons?

18 A. Yes.

19 Q. Is pulse elevated because of pain?

20 A. Sometimes that's a reason.

21 Q. Is pulse elevated because of anxiety?

22 A. That's a reason.

23 Q. And are you aware that he had a history of
24 hypertension?

25 A. I'm aware of that.

1 Q. And he was on medication for his hypertension?

2 A. I am aware of that.

3 MS. PETRELLO: I don't have
4 any other questions.

5 MR. GORDON: The doctor
6 will read the deposition.

7 - - -

8 BY MR. FIFNER:

9 Q. Doctor, you have given an opinion that had
10 everything been done the way you wanted it to be
11 done that this patient would have had a near normal
12 life expectancy?

13 A. He would have survived this episode.

14 Q. Had he survived this episode, given his
15 underlying coronary artery disease, obesity,
16 diabetes, which you indicated, all his other
17 medical problems --

18 A. Yes.

19 Q. -- do you have an opinion how long he would
20 have survived had he survived these incidents of
21 November 23rd?

22 A. I think that hypertension, obesity, coronary
23 artery disease, these things are treatable
24 diseases. Diabetes is treatable. He struck me, as
25 much as I can say, as a person who was motivated to

1 get well because he kept going to the doctor. So
2 if he had been given advice and followed advice, I
3 think that the conditions that he had he may have
4 turned around, and so I think he could have lived a
5 reasonable life expectancy. I cannot say exactly
6 what, but it would have been more than three weeks
7 and could have been probably 20 years. I don't
8 know.

9 Q. Did he suddenly get religion or did it occur
10 to him only after November 2nd?

11 MR. GORDON: Objection.

12 Go ahead and answer.

13 A. I don't know what you mean.

14 Q. Well, was he not notified to do well and do
15 what the doctor said and follow all of his
16 treatment regimens before November 2nd?

17 A. I don't know what was said between the two of
18 them. I can't comment.

19 Q. But we know by the time November 2nd rolled
20 around -- and he was in his what, mid 40s?

21 A. Forty-four.

22 Q. He had big time coronary artery disease by the
23 age of 44?

24 MR. GORDON: Objection.

25 If you can understand the term "big time."

1 A. He had significant coronary artery disease.

2 Yes, he did.

3 Q. Enough coronary artery disease that left
4 untreated he is going to be dead in three weeks per
5 your testimony, right?

6 A. Yes.

7 Q. Now, God didn't make his coronary arteries as
8 good as he made other people's, did he?

9 A. I don't know what you mean.

10 Q. They sure failed him earlier than a lot of
11 other people's.

12 MR. GORDON: Objection.

13 A. I don't know what you mean. I can't answer
14 that.

15 Q. What happened in his life to get his coronary
16 arteries to the point where he is three weeks from
17 death in November 1996? What is it? Was it the
18 product of his lifestyle or was it the protoplasm
19 he was given at birth?

20 MR. GORDON: Objection.

21 A. I think it was some of all of that.

22 Q. Why do you think any of that is going to
23 change over the next 20 years?

24 A. Because frankly when people have an event that
25 is disturbing or frightening to them with

1 relationship to their health, they frequently turn
2 themselves around.

3 Q. You are assuming that he would have somehow
4 gotten religion after November 23rd and turned
5 himself around and lived a different lifestyle than
6 he had lived up until then?

7 MR. GORDON: Objection.

8 Objection to the word religion.

9 Go ahead and answer.

10 A. I know what you are saying. I know you are
11 speaking colloquially. I assume he could turn
12 himself around, yes.

13 Q. And that's part of your hypothesis that he was
14 going to live another 20 years after this?

15 A. Yes.

16 Q. Can you state to a reasonable degree of
17 medical probability that he stood a greater than 50
18 percent chance of living more than ten years?

19 A. Yes.

20 Q. Do you have --

21 A. Assuming that he has care, has therapy,
22 assuming he has therapy and accepts therapy.

23 Q. Wasn't he taking antihypertension medication
24 before that?

25 A. He was taking one. He may have needed more

1 than that.

2 Q. But that didn't solve the problem, did it?

3 MR. GORDON: Objection.

4 Go ahead and answer.

5 A. No, it didn't.

6 Q. Do you now assume that whatever
7 antihypertension medication he was going to be
8 given in the future he is going to take and it is
9 going to be effective?

10 A. Yes.

11 Q. What caused his coronary arteries to occlude?
12 Was it his lifestyle or was it the protoplasm he
13 was given?

14 A. I don't know.

15 Q. Was the protoplasm he was given the same
16 protoplasm that is going to be in the graft as it
17 is in the original vessel, isn't it?

18 MR. GORDON: Objection.

19 A. I can't comment on that. I don't know.

20 Q. Why not?

21 A. Because I don't know.

22 Q. Isn't that important to your decision as to
23 how long he is going to live?

24 A. Well, you are suggesting that his protoplasm
25 is what set him up for coronary artery disease and

1 that's the major reason why he had obstruction in
2 his coronary arteries. I would suggest that's not
3 the case. He smoked. He had hypertension. He was
4 obese. Those are the things that are material that
5 he could turn around and that could reverse those
6 processes. Those processes can be reversed.

7 Q. And you are assuming that he would?

8 A. Yes.

9 Q. If he does not reverse those processes, and we
10 have a 44 year history that he hasn't done it yet,
11 right?

12 MR. GORDON: Objection.

13 Go ahead and answer.

14 A. I don't know when it began. I don't know when
15 he began to do that or why he began to do it.

16 Q. What if he doesn't? What if he doesn't turn
17 those things around?

18 A. Then he's dead in three weeks.

19 Q. Well, what if he has the coronary artery
20 bypass graft and does not turn those things around?
21 How long does he live?

22 A. It depends on what they use as grafts, first
23 of all. If he has bilaterally lina and rima
24 bypasses, that five year patency rate of these
25 grafts is 90 percent, which is pretty good.

1 Q. What about ten years?

2 A. That's not so well known because people don't
3 usually angiogram people that far, but --

4 Q. Do they generally live that long?

5 A. Yes, they generally do. I see people who have
6 a second bypass to give them another five or ten
7 years. Many people have bypass surgery and live 20
8 years or more than that.

9 Q. And those are people who change their
10 lifestyles?

11 A. Some do; some don't.

12 Q. What happens to this man if he doesn't change
13 his lifestyle?

14 A. I don't know.

15 Q. Can you say to a reasonable degree of medical
16 probability that if he doesn't change his lifestyle
17 that he is even going to live another five years?

18 MR. GORDON: Objection.

19 Go ahead.

20 A. If he doesn't -- I'm sorry -- if he doesn't
21 get care?

22 Q. If he does get the care. If he gets the graft
23 but does not change his lifestyle.

24 A. Then how long will he live?

25 Q. Yes.

1 A. It depends on how much he does, what he does
2 to himself is all I can say.

3 Q. The same amount he did before the graft.

4 A. It does not work like that. It's not linear
5 like that necessarily. I don't know.

6 Q. Okay. That's fine. You don't know.

7 A. Yeah.

8 MR. FIFNER: I am done.

9 MR. GORDON: He'll read
10 the deposition.

11 - - -

12 (DEPOSITION CONCLUDED.)

13 - - -

14

15

16

17

18

19

20 _____
JOHN B. ZIVOT, M.D. DATE

21

22

23

24

25

1 STATE OF OHIO,)
)
2 COUNTY OF CUYAHOGA.) SS:

3
4 CERTIFICATE

5 I, Janis E. Suntheimer, Registered
6 Professional Reporter and Notary Public within and
7 for the State of Ohio, duly commissioned and
8 qualified, do hereby certify that the witness,
9 JOHN B. ZIVOT, M.D., was by me first duly sworn to
10 tell the truth, the whole truth and nothing but the
11 truth in the cause aforesaid; that the testimony
12 then given by him was reduced to stenotypy in
13 the presence of said witness, afterwards
14 transcribed by me through the process of
15 computer-aided transcription, and that the
16 foregoing is a true and correct transcript of the
17 testimony then given by him as aforesaid.

18
19 I do further certify that this deposition was
20 taken at the time and place in the foregoing
21 caption specified.

22
23 I do further certify that I am not a relative,
24 employee or attorney of either party, or otherwise
25 interested in the events of this action.

26
27 IN WITNESS WHEREOF, I have hereunto set my
28 hand and affixed my seal of office at Cleveland,
29 Ohio, this 9th day of June 2000.

30


31

32

33

34

35



Janis E. Suntheimer, Registered
Professional Reporter and Notary
Public in and for the State of Ohio.
My Commission Expires 08-31-02.