

State of Ohio,                    ) SS:  
County of Summit.                )

IN THE COURT OF COMMON PLEAS

AMY DIETZ, et al.,                )  
                                      ) Case No. CV-2014-01-0124  
Plaintiffs,                        )  
                                      )  
vs.                                 )  
                                      )  
AKRON CHILDREN'S                 )  
HOSPITAL, et al.,                 )  
                                      )  
Defendants.                         )

- - - - -  
THE DEPOSITION OF PETER LETOURNEAU, M.D.  
February 4th, 2014  
- - - - -

The deposition of PETER LETOURNEAU, M.D.,  
called by the Plaintiffs for examination pursuant to  
the Ohio Rules of Civil Procedure, taken before me,  
the undersigned, Kelly A. Hill, Notary Public within  
and for the State of Ohio, taken at Akron Children's  
Hospital, 215 West Bowery Street, Akron, Ohio,  
commencing at 2:00 p.m., the day and date above set  
forth.

1 APPEARANCES:

2 On behalf of the Plaintiff:

NICHOLAS A. DICELLO, ESQ.

3 Spangenberg, Shibley & Liber LLP

1001 Lakeside Avenue, Suite 1700

4 Cleveland, Ohio 44114

(216) 696-3232

5 ndicello@spanglaw.com

6 On behalf of the Defendant:

7 GREG T. ROSSI, ESQ.

Hanna, Campbell & Powell, LLP

8 3737 Embassy Parkway, Suite 100

Akron, Ohio 44333

9 (330) 670-7300

grossi@hcplaw.net

TRANSCRIPT INDEX

APPEARANCES.....	2
INDEX OF EXHIBITS .....	4
EXAMINATION OF PETER LETOURNEAU:	
By Mr. DICELLO.....	5
CERTIFICATE.....	66

INDEX OF EXHIBITS

NUMBER

DESCRIPTION

MARKED

Exhibit 1	.....	21
Exhibit 2	.....	49
Exhibit 3	.....	50
Exhibit 4	.....	51
Exhibit 5	.....	52
Exhibit 6	.....	52
Exhibit 7	.....	54
Exhibit 8	.....	55
Exhibit 9	.....	59

1           PETER LETOURNEAU, of lawful age, called for  
2   examination, as provided by the Ohio Rules of Civil  
3   Procedure, being by me first duly sworn, as  
4   hereinafter certified, deposed and said as follows:

5           EXAMINATION OF PETER LETOURNEAU

6   BY MR. DICELLO:

7   Q   Good afternoon, Doctor.

8   A   Howdy.

9   Q   Could you please start by saying your name and  
10   spelling your last name for Kelly?

11   A   Peter Robert Letourneau, L E T O U R N E A U.

12   Q   Doctor, my name is Nick DiCello. We had a  
13   chance to meet off the record briefly. You  
14   understand I represent Makenzie Dietz and her  
15   parents in connection with a lawsuit that's  
16   been filed against Dr. Jones and Akron  
17   Children's Hospital?

18   A   Correct.

19   Q   You understand today's my opportunity to ask  
20   you questions under oath in what's called a  
21   deposition?

22   A   Yes.

23   Q   Have you ever had your deposition taken before?

24   A   No.

25   Q   Okay. I'm sure --

1 A And just so you know, I'm on call. So if I get  
2 paged or --

3 Q Do whatever you need to do, okay?

4 A Okay.

5 Q I'm sure Mr. Rossi has told you kind of how the  
6 process works, but while we're talking about  
7 it, let me just state some ground rules.

8 If you answer a question I've asked, I'm  
9 going to assume you've understood it.

10 Is that fair?

11 A Yes.

12 Q And if you don't understand a question that  
13 I've asked, just let me know. I may have  
14 garbled it, said something that's not coherent,  
15 which I sometimes do; so just let me know that,  
16 okay?

17 A Okay.

18 Q We have to make sure that we don't speak over  
19 one another - you're doing a nice job of that  
20 right now - so Kelly can take everything down,  
21 okay?

22 A Okay.

23 Q And you're also verbalizing your answers, which  
24 I'll remind you to do from time to time if you  
25 just give a shrug of the shoulder or a um-hum

1 or hu-hum. It's better to answer with words,  
2 yes, no --

3 A Sir, yes, sir.

4 Q Great.

5 If you don't remember something, that's a  
6 perfectly fine answer; I don't recall, I don't  
7 remember.

8 If at any point during the deposition -  
9 it's not uncommon that your memory is jogged  
10 about something - you want to revisit a  
11 question I asked or an answer you've given, I  
12 want you to take the opportunity to do that,  
13 okay?

14 A Yes.

15 Q Doctor, why don't you go ahead and start by  
16 just maybe explaining a little bit about your  
17 practice and position here at Akron Children's  
18 Hospital?

19 A I'm a pediatric plastic surgeon at Akron  
20 Children's Hospital.

21 Q How long have you been a pediatric plastic  
22 surgeon here at Akron Children's?

23 A Four and a half years.

24 Q Where are we located today, this office?

25 A 215 West Bowery Street, Considine Building,

1 Suite 3300 in the plastic surgery conference  
2 room.

3 Q Is this where you have an office-based  
4 practice?

5 A Next door, yeah.

6 Q In this -- this office, this floor?

7 A Yes. And I also have an office-based practice  
8 in Youngstown.

9 Q Are you employed by Akron Children's Hospital?

10 A Yes.

11 Q How long have you been employed by Akron  
12 Children's Hospital?

13 A Four and a half years.

14 Q You told me you're a pediatric plastic surgeon.  
15 Do you have any other titles here at Akron  
16 Children's?

17 A I'm sure people call me other things, but --

18 Q I think I saw you were a co-director of --

19 A Brachial plexus clinic, yes.

20 Q Anything else that comes to mind in terms of a  
21 formal title?

22 A Maybe some upper extremity thing. I can't  
23 remember what they -- the PR people like to  
24 label.

25 Q I'm not going to go into much of your



1 background, Doctor, and I'm going to be as  
2 quick as I can with you today, but you've been  
3 working here for about four and a half years.  
4 Can you just tell me where you were before you  
5 came to Akron Children's?

6 A I did my plastic surgery training, and then did  
7 a microsurgery fellowship at University of  
8 Pittsburgh. Then did a pediatric plastic  
9 surgery fellowship at Cincinnati Children's and  
10 then I came here.

11 Q And where did you attend medical school?

12 A University of Rochester in Rochester, New York.

13 Q When did you graduate from medical school?

14 A 2002.

15 Q Plastic surgery, can you just kind of define  
16 that discipline for me?

17 A Difficult. It's a hodgepodge of lots of things  
18 that could be cosmetic surgery, it could be  
19 boob jobs and tummy tucks, it could be Dr.  
20 90210.

21 Traditionally plastic surgery was  
22 reconstructive surgery to try to fix things  
23 that were broken, and it can include hand  
24 surgery, microsurgery, nerve surgery, lesion  
25 removal, fractures of the hand.

1 Q I should be able to put the math together, but  
2 let me ask you: As of December of 2010, how  
3 long had you been working at Akron Children's  
4 as a plastic surgeon?

5 A Almost six months. I started August 1st.

6 Q Okay. Let's start as of that time.

7 As of the time you started, can you  
8 explain your practice a little bit in terms of  
9 the prevalence of the kinds of procedures, or  
10 if you had a particular focus, however you  
11 would explain it?

12 A My focus is on upper extremity, like hand  
13 surgery, brachial plexus palsies, which are not  
14 that common, so I don't do a lot of that,  
15 trauma, and I do a lot of lesion excision.

16 Q Is the practice you've just described true as  
17 of today and also as of December of 2010?

18 A Well, it's expanded. I mean, I started off and  
19 I was not super busy as you typically are in  
20 your first six months to a year of practice.  
21 So I'm certainly a lot busier now. But I would  
22 say the scope and focus of the practice has  
23 stayed the same.

24 Q Okay. How many days a week now do you perform  
25 surgical procedures?

1 A It totally depends. I mean, my priority day is  
2 Friday, so I operate almost every Friday. But  
3 I often end up operating on Monday and  
4 Thursday, as well as Friday, and then I take  
5 call, so sometimes I'm operating on weekends.

6 I would say it's anywhere between two and  
7 four days a week, but it depends.

8 Q So as of December of 2010, it was probably a  
9 little bit lighter schedule than that?

10 A I don't recall.

11 Q Okay. And with respect to surgical procedures  
12 on nerves, is there any way you can quantify  
13 what percentage of your surgical practice  
14 encompasses surgeries on -- on nerve  
15 structures?

16 A Without having numbers in front of me, not  
17 really. I mean, small compared to the overall  
18 volume of the practice just because nerve  
19 injuries are relatively uncommon.

20 Q When you say small, I'm not asking you for a  
21 specific number, but are you able to  
22 approximate any kind of percentage, less than  
23 five percent, twenty percent? Can you say?

24 A (Witness shakes head side to side.)

25 Q No?

1 A No.

2 Q Okay. Fair enough.

3 Doctor, what, if anything, did you do to  
4 prepare for today's deposition?

5 A I read over my notes for this -- related to  
6 this child. It included pre-op, post-op,  
7 intra-op, and I read over Dr. Jones'  
8 deposition.

9 Q We also have some photos and a disk that you  
10 were kind enough to put out for Greg and I to  
11 look at, and I'm going to go through those with  
12 you.

13 A And I reviewed those photographs.

14 Q And my question now is: With respect to these  
15 photos and this disk which we will get to more  
16 specifically, where did you retrieve those  
17 from?

18 A From my computer.

19 Q Do you have any other files related to Makenzie  
20 Dietz on your computer other than the disk and  
21 the photos?

22 A Not to my knowledge.

23 Q And before we started your deposition, you said  
24 you were going to go into your office and  
25 retrieve a stack of stuff. Is that any stuff

1           that's related to Makenzie Dietz or this case?

2                       MR. ROSSI:  It's the deposition

3           and it's a copy of his records.  That's all.

4   Q    So what depositions, if any, did you review  
5       prior to today?

6   A    The only one I'm aware of is the one where you  
7       deposed Dr. Jones.

8   Q    And did you read that?

9   A    And that's the one that I read.

10  Q    Okay.  Okay.  Great.

11               Prior to today's deposition, have you  
12       spoken with Dr. Jones about the patient or  
13       about this case since the time Makenzie stopped  
14       treating with you?

15  A    Well, we share a clinic on Wednesdays two or  
16       three times a month, so I don't recall any  
17       specific conversations, but I know that we  
18       would kind of update each other on mutual  
19       patients, and he had told me that she was doing  
20       well.

21               And then when I heard that I was going to  
22       be deposed in this case, I called Dr. Jones to  
23       let him know and he said, Well, we're not  
24       really supposed to talk about it, but just be  
25       honest.  And that was the end of that

1 conversation.

2 Q The procedure that Makenzie had -- one of the  
3 procedures she had that Dr. Jones performed was  
4 an inside out lateral meniscus repair  
5 arthroscopically; is that correct?

6 A I don't know. I'm not an orthopedic surgeon.  
7 If that's what it says in the operative report,  
8 I have to accept that.

9 Q That was going to kind of be my next question;  
10 have you ever performed any kind of  
11 arthroscopic meniscal repairs --

12 A No.

13 Q -- as a surgeon?

14 A No.

15 MR. ROSSI: You're doing well,  
16 but let him completely finish his question  
17 before you answer.

18 Q That's fine. Everybody does it. You know what  
19 I'm asking. You just have to wait.

20 So including in residency or your  
21 training, you never participated in any  
22 arthroscopic knee procedures?

23 A No.

24 Q The procedure that you participated in took  
25 place on December 23rd, 2010, correct?

1 A Yes.

2 Q And correct me if I'm not describing it  
3 properly, but it was a procedure to explore a  
4 potential nerve injury based on the patient's  
5 clinical presentation, correct?

6 A Yes.

7 Q And it was also a procedure to attempt a nerve  
8 conduit repair, correct?

9 A We did perform a nerve conduit repair.

10 Q Other than Makenzie, had you ever performed a  
11 similar surgery on a knee for a similar  
12 clinical presentation in a patient?

13 A No.

14 Q So Makenzie Dietz was the first time you had  
15 explored a peroneal nerve injury of a knee  
16 after an knee arthroscopy?

17 A Yes.

18 Q Have you done any such procedures since, that  
19 is explore a peroneal nerve injury after a knee  
20 arthroscopy in a patient?

21 A No.

22 Q Obviously, Doctor, you know I've had the chance  
23 to depose Dr. Jones and I've been through the  
24 records, so I'm going to try to move through  
25 this. I might jump around. Just let me know

1       if you don't understand what I'm talking about  
2       or the subject matter.

3    A    Okay.

4    Q    It's my understanding at some point in 2010 Dr.  
5       Jones consulted you --

6    A    Yes.

7    Q    -- about this patient, correct?

8    A    Yes.

9    Q    As you sit here today, do you have a  
10       recollection of that independent from your  
11       review of the medical records?

12   A    I remember him talking to me about it.  I don't  
13       remember when it was, but, yes.

14   Q    Was this a face-to-face conversation or over  
15       the phone?

16   A    Face-to-face.

17   Q    Do you remember the substance of the  
18       conversation at all, what he said and what you  
19       said?

20   A    I think the question was timing of exploration  
21       if exploration were to be performed.

22   Q    Let me ask, if maybe just out of curiosity:  
23       Why is it that you would be the surgeon  
24       consulted to perform an exploratory procedure  
25       like the one that was done on Makenzie in



1 December of 2010?

2 A Orthopedic surgeons typically don't operate on  
3 nerves.

4 Q And you do?

5 A Yes.

6 Q Prior to the December 23rd, 2010 procedure, had  
7 you ever operated on a patient of Dr. Jones  
8 before?

9 MR. ROSSI: Objection.

10 Q Obviously without disclosing names, Doctor.

11 MR. ROSSI: Go ahead. You may  
12 answer.

13 A I don't know. I know that he and I have  
14 performed multiple co-surgeries.

15 Q Okay.

16 A As to the timing of those, I -- I honestly  
17 don't know.

18 Q That's kind of where I was getting at is: When  
19 you say co-surgeries, you mean the both of you  
20 were surgeons involved in the case, correct?

21 A Yes.

22 Q At the same time?

23 A Yes.

24 Q Can you estimate for me how many times you've  
25 done that with Dr. Jones?

1 MR. ROSSI: Objection to  
2 relevance.

3 But go ahead. You may answer.

4 A Probably more than five and less than ten as an  
5 estimate.

6 Q And do you have any idea how many times you had  
7 done that as of December 2010? Do you know if  
8 it was the first time you had ever done a  
9 co-surgery with Dr. Jones?

10 A I don't know.

11 Q What was your understanding of the reason an  
12 exploratory procedure was being performed?

13 A Makenzie had a foot drop, which occurred after  
14 her arthroscopic surgery of that knee and --  
15 and nerve conduction study had showed that  
16 there was no conduction across the area, and so  
17 we wanted to see what was going on.

18 Q Did you actually perform a physical examination  
19 of the patient before the December 2010  
20 procedure, if you remember?

21 A I did. I saw her on December 15th and I  
22 examined her.

23 Q Okay. At that time, on December 15th, did you  
24 have -- whether you documented it in your note  
25 or by habit or practice or by memory, did you

1       have, in your mind, a differential diagnosis as  
2       to what the possibilities were that were  
3       causing Makenzie's foot drop?

4    A   Well, I always have a differential diagnosis in  
5       mind if I'm going to operate.

6    Q   What was the differential in your mind on  
7       December 15th, 2010?

8                   MR. ROSSI:  You're welcome -- you  
9       can look at your notes.  It's not a memory  
10      test.

11   A   I was concerned that there was potential nerve  
12      injury that had not shown signs of recovery.

13   Q   In terms of a mechanism associated with the  
14      potential nerve injury, had you come to form  
15      any kind of differential diagnosis with respect  
16      to the mechanism of injury?

17                   MR. ROSSI:  On December 15th?

18                   MR. DICELLO:  Yes.

19                   MR. ROSSI:  Go ahead.  You may  
20      answer.

21   A   No.  I am not familiar with knee arthroscopic  
22      surgery, the inside out procedure or any of  
23      that.  So really, I didn't know.

24   Q   Okay.  Do you perform surgeries where part of  
25      your job as the surgeon is to try to protect

1           neurovascular structures?

2     A     Well, when I operate around nerve vascular  
3           structures I try to protect them.

4     Q     And is one of the ways you do that by  
5           visualizing the nerve and retracting it away?  
6           Is that one way to do it?

7                         MR. ROSSI:  Objection.

8                         Go ahead.  You may answer.

9     A     That is one way to do it.

10    Q     And is some of the other way -- another way to  
11          do it is identify certain landmarks and retract  
12          those landmarks away?

13    A     Yes.

14    Q     Is it important for a surgeon to protect  
15          neurovascular structures surrounding the area  
16          where the surgery is being performed?

17    A     Yes.

18    Q     Why is that important?

19    A     Because you don't want to injure them.

20    Q     So what I'd like to do is maybe go through the  
21          operative note and maybe we can use these  
22          photos as well as we're going through.  And I  
23          want to have you explain some things.

24                         I have an extra copy of it.  I'm going to  
25          actually mark this as an exhibit.  You can use

1           whatever copy you'd like.

2                               - - - - -

3           (Thereupon, Deposition Exhibit 1 was marked  
4                               for purposes of identification.)

5                               - - - - -

6   Q    So just for purposes of the record, Doctor, I'm  
7        handing you what's been marked as Plaintiff's  
8        Exhibit 1 of your deposition.

9               Can you confirm that's the operative  
10       report from December 10, 2010?

11   A   It is.

12   Q   A couple housekeeping issues; did you dictate  
13       that note, if you remember?

14   A   I did.

15   Q   And, obviously, both you -- well, both you and  
16       Dr. Jones signed this note, correct?

17   A   Yes.  So officially -- this is a confusing  
18       point as far as medical records goes.  Nowadays  
19       I don't think he would have to co-sign it, but,  
20       basically, the medical records people, when it  
21       was on paper, if they saw any attending  
22       surgeon's name on an operative report, even if  
23       they were an assistant or otherwise, they would  
24       flag it, and so you would have a ten-foot stack  
25       of things that you had to go through and

1 initial.

2 Q But just to -- so I understand, you're the one  
3 that dictated this note, you signed it and then  
4 Dr. Jones signed it?

5 A Right. I was the primary surgeon on the case.

6 Q Okay. Did you have any conversations with Dr.  
7 Jones about the content of this report before  
8 either you or he signed it?

9 A No.

10 Q Do you know how it is that Dr. Jones was  
11 provided a copy that he then affixed his  
12 signature to?

13 A As I said, in medical records, they -- their  
14 habit was to flag any operative report that had  
15 any attending surgeon's name on it and put a  
16 little sticky thing color coordinated, and you  
17 had to go by and initial it or you got on the  
18 bad boy list.

19 Q Okay. Understood.

20 I want to go through some portions of  
21 this operative note. In the first paragraph,  
22 you indicate that Makenzie woke up with a  
23 peroneal nerve palsy. Was that your  
24 understanding of the circumstances surrounding  
25 her original surgical procedure?

1     A     I know that's what it says here.  I don't know  
2           specifically, since I didn't review in detail  
3           Dr. Jones' operative report -- his initial  
4           operative report, how he does it and whether or  
5           not they're immobilized afterwards.  So whether  
6           she woke up in recovery and had a foot drop or  
7           it was noted two weeks later, I honestly don't  
8           know.

9                     This was just kind of a gestalt.

10    Q     And I should ask you before we get too far into  
11           the note, do -- as you sit here today, do you  
12           recall this surgery?

13    A     Yes.

14    Q     So you have a memory, independent of the  
15           medical record, of this particular procedure?

16    A     Yes.

17    Q     You do document in the first paragraph, again,  
18           that you had an extensive discussion with Dr.  
19           Jones.  It says, after extensive discussion  
20           with Dr. Jones and the family, we decided that  
21           exploration would be prudent.

22                     Do you recall having that discussion with  
23           the family and Dr. Jones before the surgery?

24    A     No.

25    Q     Now moving on to the description of the

1       operative procedure, Doctor, it sounds to me  
2       that Dr. Jones performed the incision, correct?

3     A    I believe I marked out the area because I was  
4       going to extend the incision because it was too  
5       small to be able to identify what we needed to  
6       identify.

7               And then who actually made the incision,  
8       I don't know. It was probably me, but I don't  
9       actually recall.

10              I do know that because I don't operate on  
11       the knee on a frequent basis, that Dr. Jones  
12       did the initial dissection once we got through  
13       the skin to get down to the area of where his  
14       inside out surgery was and then we identified  
15       the peroneal nerve together, and then I took  
16       over the rest of the case and he assisted.

17     Q    In your answer you just said something to the  
18       effect of the original incision. I presume  
19       you're talking about Makenzie's incision that  
20       she had back in August for the original  
21       arthroscopy?

22     A    Yes. She had multiple -- typically with  
23       arthroscopy you have more than one incision.

24              There was a lateral incision in the --  
25       well, there was a lateral incision, and that's



1 the area that we decided to explore, and that  
2 incision had to be extended.

3 Q You said the original incision was too small to  
4 identify what you needed to identify. What did  
5 you mean by that?

6 A I don't know. I wanted to have enough room to  
7 be able to retract and look.

8 Q Okay. So was it your decision then to extend  
9 the incision, was that a joint decision or do  
10 you not recall?

11 A Honestly, I don't recall. I mean, oftentimes I  
12 will mark out an incision. I don't make the  
13 entire incision until I've made the decision  
14 that I actually need to do something.

15 So whether or not I marked out the zig  
16 zag incision and we made that right at the  
17 beginning versus a small incision that we then  
18 dissected down, identified the injury and then  
19 extended it is equally likely. I have no idea.

20 Q Okay. So focusing back on the report that you  
21 described this, but it reads, Beginning of the  
22 procedure was conducted by Dr. Jones who  
23 dissected down to the level of the biceps  
24 tendon. This was retracted anteriorly and the  
25 peroneal nerve was identified.

1           My first question is: During this part  
2           of the procedure, do you remember where you  
3           were positioned relative to Dr. Jones?

4    A    Probably right next to him.

5    Q    And so were you able to grossly visualize what  
6           you're describing in the report that I just  
7           read?

8    A    Yes.

9    Q    So at this point you weren't using any kind of  
10          loop magnification or anything?

11   A    I always use loop magnification. I had  
12          probably 3.2 loops on.

13   Q    The whole procedure?

14   A    (Witness nods head up and down.)

15   Q    Yes?

16   A    Yes.

17   Q    Okay. Was Dr. Jones, to your knowledge,  
18          wearing -- to your memory, was he wearing those  
19          loops as well during this part of the procedure  
20          where the dissection was taken down to the  
21          level of the biceps tendon?

22   A    I don't recall.

23   Q    Based on your memory, or according to the  
24          report, were both of you able to visualize the  
25          biceps tendon and visualize it being retracted

1 anteriorly?

2 A I don't know that I can speak for Dr. Jones. I  
3 know that I identified the biceps tendon. He  
4 probably had to tell me that that was the  
5 biceps tendon because I don't routinely go in  
6 there. I don't know.

7 Q In terms of who was holding the retractor  
8 blades, do you know who was doing that?

9 A No. This was a very fluid procedure.

10 Q And then it says the peroneal nerve was  
11 identified. Did the both of you identify that?

12 A Yes, I believe so.

13 Q And you told me you were wearing loops at this  
14 point in time; did you need those loops to be  
15 able to identify that peroneal nerve?

16 A No. The peroneal nerve is probably -- in her  
17 was at least a diameter of a number 2 pencil.

18 So, no, you don't need loops to identify  
19 it.

20 Q Both you and Dr. Jones identified the peroneal  
21 nerve at that point, correct?

22 A I believe so, yes.

23 Q And then it says, At this point I took over the  
24 dissection.

25 So once the peroneal nerve was identified

1           and the biceps tendon was retracted, then you  
2           took over the case, correct?

3     A     Yes.

4     Q     It then says, I released some fibers of the  
5           biceps tendon longitudinally in a parallel  
6           direction with fibers proximally to allow  
7           better visualization.

8                 Can you just explain to me what you were  
9           doing there; what that means?

10    A     Well, the biceps tendon kind of inserts right  
11           on top of the -- a portion of the peroneal  
12           nerve. So we released a little bit of the  
13           tendon so that I could visualize the nerve  
14           better.

15    Q     When you say released, what do you mean?

16    A     Incise.

17    Q     Reading on it says, Under loop magnification, I  
18           then dissected the peroneal nerve distally and  
19           proximally, sacrificing some small muscle  
20           fibers of the biceps.

21                 First, did I read that correct?

22    A     Yes.

23    Q     Can you explain to me what you're doing here?  
24           Is this pulling the peroneal nerve away from  
25           the muscle tissue?

1 A Well, typically you don't want to pull on a  
2 nerve. So, no, I was getting rid of some small  
3 muscle fibers of the biceps to expose the nerve  
4 where it's supposed to be.

5 Q Okay. My question is: Was that -- that  
6 portion of the nerve that you're describing in  
7 the sentence I just referenced, was it embedded  
8 within the musculature or was it attached to  
9 the musculature? How would you describe --

10 A I don't recall. I just remember it was  
11 underneath.

12 Q When you say you dissected, was this blunt  
13 dissection or sharp dissection; do you  
14 remember?

15 A Typically blunt until I -- yeah, typically  
16 blunt.

17 Q Okay. So when I said pulling the peroneal  
18 nerve, that was a poor choice of words, but you  
19 were actually bluntly dissecting it off the  
20 muscle; is that fair?

21 A I don't know that it was adherent to the  
22 muscle. It was just -- I mean, there are  
23 multiple tissue planes and you dissect through  
24 tissue planes to get to what you want to see.

25 Q Okay.

1     A     And so whether it was -- whether I separated it  
2           from the muscle or I separated it from the  
3           subcutaneous fat, I don't know. I just  
4           dissected out the nerve.

5     Q     Up to this point in time where we're at --  
6           first of all, does your operative note document  
7           any kind of anatomical variance that this  
8           particular patient had with respect to her  
9           peroneal nerve?

10    A     No.

11    Q     Based on your memory of the case and having the  
12           opportunity to look at the operative note,  
13           Doctor, did you encounter any kind of  
14           anatomical variance or deviation with respect  
15           to Makenzie Dietz' peroneal nerve?

16    A     I don't know. I've never dissected out a  
17           peroneal nerve before, so I'm not qualified to  
18           comment on that.

19    Q     Reading on it says, Right at the level of the  
20           prior incision there was a quite noticeable  
21           narrowing of the peroneal nerve.

22           I'll take this in bits.

23           Is that the injured portion of the nerve  
24           ultimately, Doctor?

25    A     Yes.

1 Q And when you say, right at the level of the  
2 prior incision, it sounds obvious what it  
3 means, but now that I have you here, can you  
4 explain what that means?

5 A Well, it was not in the incision, because the  
6 incision would imply the skin, but it was deep  
7 to that.

8 Q But it was within the area of the original  
9 incision, not the extended incision, correct?

10 A Correct.

11 Q Okay. On further exploration, there were  
12 multiple strands of what appears to be a  
13 reabsorbable monofilament suture that was in  
14 the body of and around the nerve.

15 Did I read that part of the operative  
16 note correctly?

17 A Yes.

18 Q Again, this is something you were able to  
19 visualize grossly wearing your loop magnifiers?

20 A Yes.

21 Q Had you ever seen that during a surgery,  
22 strands of suture embedded in and around a  
23 nerve?

24 MR. ROSSI: Objection.

25 Go ahead. You may answer.

1 A Not to my recollection.

2 Q And when you say multiple, is there any way for  
3 you to quantify that?

4 A No.

5 Q If it were -- if it were two, would you have  
6 said two? I mean, sometimes it would be if I  
7 saw two I'd say two; if it was more than two  
8 I'd say multiple. Anything help clarify that  
9 any more?

10 A Well, I think -- again, this is in retrospect;  
11 there were pieces. So you start to get into  
12 semantics of what's a strand, what's a piece.

13 I honestly don't know. I mean, I removed  
14 more than one piece. Whether it was part of  
15 the same suture or another suture, I don't  
16 know.

17 Q Okay. Reading on, Further dissection revealed  
18 a portion of the nerve that proximately was  
19 still attached to the main body of the nerve  
20 but was buried within the joint space.

21 First, did I read that correctly?

22 A You did.

23 Q And can you tell me what that means in terms of  
24 what you encountered during this procedure?

25 A Part of the nerve was kind of pulled towards



1 the joint space.

2 Q So my question is: Did part of the nerve fray  
3 away from the main body of the nerve and was  
4 adherent to the joint capsule?

5 A What do you mean by fray?

6 Q I guess in my mind I have this image of a  
7 singular nerve and a portion of that nerve kind  
8 of got pulled away from it and was close to the  
9 joint capsule as opposed to the whole nerve  
10 getting pulled toward the joint capsule.

11 So I'm trying to understand --

12 A Well, the nerve is like an electrical wire. So  
13 there's multiple filaments, and one portion of  
14 that was pulled towards the joint or joint  
15 capsule.

16 Q Was it still attached to the main body or was  
17 it actually -- was there actually space in  
18 between the part that was pulled toward the  
19 joint capsule?

20 A You mean was it severed?

21 Q Yeah. Yeah, I guess --

22 A It was not severed.

23 Q And when you say buried within the joint space,  
24 what do you mean by that?

25 A I don't really know. It was not -- I don't

1 operate on knee joints. It was -- we did not  
2 go into the knee joint. So it was, in  
3 retrospect, not buried within the joint space.  
4 I think it was adherent to the stuff overlying  
5 the joint.

6 We did not make an incision in the joint  
7 capsule to retrieve the nerve. So it was  
8 probably stuck to the capsule as opposed to  
9 stuck to the -- in the joint space.

10 Q Okay. The dissection portion of this procedure  
11 we're talking about on December 23rd, was  
12 dissection carried out down to the joint  
13 capsule?

14 A As I recall, yes.

15 Q And this portion of the nerve -- peroneal nerve  
16 that you described was adherent to the capsule,  
17 correct?

18 A Correct.

19 Q Adhered by sutures, true?

20 A I don't know. I mean, the -- it was stuck. So  
21 wherever there's been surgery there's scar. So  
22 whether it was stuck to the scar or stuck by  
23 suture, I don't know.

24 Q Okay. Reading on, you say, This was dissected  
25 free and additional remnants of suture were

1 encountered.

2 My question: When you say, This was  
3 dissected free, did you dissect that portion of  
4 the peroneal nerve that was adherent to the  
5 capsule from the capsule?

6 A Correct.

7 Q And when you did that, you encountered  
8 additional remnants of suture, correct?

9 A Yes.

10 Q Can you give me some idea, Doctor, after you  
11 dissect the peroneal nerve off of the capsule,  
12 you know, how far does it then move? Do you  
13 understand what I'm getting at?

14 If you said it was adhered to the capsule  
15 and then you dissected it away, can you give me  
16 some idea of how far it moves?

17 A I don't know. I mean, it goes back to where it  
18 looks like it's supposed to be, but I don't  
19 have a distance that I can give you.

20 Keep in mind I'm wearing loops;  
21 everything is magnified and hard to estimate.

22 Q Well, you did a nice job estimating the  
23 diameter of the nerve, but we'll get to that.

24 A Well, it's because I measured it.

25 Q So the sentence, This was dissected free and

1 additional remnants of suture were encountered.

2 The next sentence is, I measured what I  
3 thought to be the injured area of the nerve to  
4 be approximately two centimeters, correct?

5 A Right. So I had a ruler and I -- as you see in  
6 those photographs, I marked out what I thought  
7 was injured and then I measured out with a  
8 ruler.

9 Q And you identified injury based on the  
10 narrowing in part, correct?

11 A Yes.

12 Q And what other information caused you to  
13 estimate that the injured area of the nerve was  
14 approximately two centimeters?

15 A Well, grossly or under loop magnification or  
16 some point I had a microscope out, you can  
17 assess nerve as to whether it's healthy or not  
18 healthy.

19 Healthy nerve has a very characteristic  
20 color and kind of a glistening appearance.  
21 Unhealthy nerve has another very characteristic  
22 appearance.

23 Q Okay. And I think you then go on to describe  
24 that. You say, Distal to the narrowed portion  
25 of the nerve was obviously severely injured.

1 It was much yellow and fatty appearing than the  
2 proximal portion of the nerve above the injury.  
3 This suggested to me Wallerian degeneration of  
4 the nerve.

5 Did I read that correctly?

6 A Yes.

7 Q Did I say the word Wallerian correctly?

8 A You did.

9 Q And then you say, A decision was therefore made  
10 to excise the injured portion of the nerve and  
11 repair it. Given the fact that I anticipated  
12 the injured area to be two centimeters or less,  
13 I felt this could be performed successfully  
14 with a nerve conduit as opposed to harvesting  
15 sural nerve.

16 My question is: It's a little unclear to  
17 me the part about, I anticipated the injured  
18 area to be two centimeters or less. Did you  
19 believe before you went into the surgery that  
20 the injured area of the nerve would not be that  
21 long?

22 A No, I didn't have any expectations. The only  
23 reason why I documented the actual distance is  
24 that nerve gaps, which we then created  
25 artificially, can be successfully repaired if

1 the gap is two centimeters or less using a  
2 nerve tube.

3 So if it had been five centimeters, then  
4 I might have made a different decision.

5 Q Okay. That's helpful.

6 And then I'm paraphrasing here, Doctor,  
7 but follow along if I'm not getting this right.  
8 It looks like you're, for lack of a better  
9 term, kind of trying to find some good healthy  
10 margins on the portion you're excising, right?

11 A Correct.

12 Q And beyond the two centimeters, you had to  
13 excise another two to three millimeters beyond  
14 that to find those healthy portions of the  
15 nerve proximally and distally, correct?

16 A Yes.

17 Q You then measured the diameter of the nerve  
18 distally and proximally, which was  
19 approximately four millimeters, correct?

20 A Yes.

21 Q And that's -- goes back to this idea when you  
22 were explaining to me that this nerve -- this  
23 portion of the nerve is about the width of a  
24 number 2 pencil, correct?

25 A Yes.

1 Q Is that -- you may or may not have experience;  
2 is that pretty typical of the peroneal nerve in  
3 a child of her age in terms of width?

4 A I don't know what the typical diameter is.

5 Q And so a 4 millimeter Stryker nerve tube was  
6 used because that approximated the same size?

7 A Yes.

8 Q The portion of the excised nerve was sent off  
9 to pathology, correct?

10 A Yes.

11 Q And did you at some point receive and review a  
12 pathology report?

13 A I did.

14 Q The final diagnosis, according to the pathology  
15 report says, Right knee, exploration of  
16 peroneal nerve: large nerve with surrounding  
17 scar and foreign body giant cell reaction with  
18 polarizable fragments of foreign material.

19 Did I read that correctly?

20 A Yes, you did.

21 Q The pathologist describes this as a large  
22 nerve, correct?

23 A Yes.

24 Q Is that consistent with your visualization of  
25 the nerve?

1 A Yes.

2 Q Under the gross description of the specimen  
3 that was submitted to pathology, it describes  
4 it as 1.5 x .4 x .4 centimeters, correct?

5 A Yes.

6 Q And is that the area of the nerve that you  
7 excised that you believe to be injured?

8 A Yes.

9 Q And was that the portion of the nerve that was  
10 adhered to the capsule?

11 A I don't know that I can answer that. I mean, I  
12 -- it's the portion of the nerve that was  
13 injured.

14 Q Somewhere along this 1.5 centimeter length of  
15 this nerve, was that the part of the nerve that  
16 had the suture in it?

17 A Presumably. I mean, the path report says that  
18 there were sutures in it or polarizable  
19 fragments of foreign material, which I assume  
20 is referring to suture.

21 Q And somewhere along this 1.5 centimeter length  
22 was the part of the nerve that was actually  
23 adhered to the capsule, correct?

24 A Again, I don't -- one could make that  
25 assumption, but I don't -- I honestly don't



1 recall.

2 There's not a linear sequence of events  
3 where -- I mean, it's not something that I'm  
4 paying attention to when I operate. I'm just  
5 trying to fix stuff.

6 Q Okay. As you sit here today, do you have any  
7 opinion or understanding as to the mechanism by  
8 which the nerve was sutured?

9 A Only from reading Dr. Jones' deposition.

10 Q Back to this gross description when it  
11 describes it as 1.5 times .4 times .4  
12 centimeters, am I correct that that's 1.5  
13 centimeters long, .4 centimeters wide and .4  
14 centimeter high?

15 A I think that's a safe assumption. It would be  
16 nice if they actually specified that, but  
17 everything contracts a little bit when you  
18 excise it, so an excised area of two  
19 centimeters may contract a little bit to 1.5  
20 centimeters.

21 Q And that was going to be similar to my next  
22 question is: I think you described the injured  
23 portion of the nerve as being narrowed,  
24 correct?

25 A Correct.

1 Q And so assuming -- if we assume that the  
2 portion that you sent to pathology was the  
3 injured narrowed portion of the nerve, would .4  
4 centimeters be a little narrower than the nerve  
5 otherwise would have been?

6 I think you see what I'm driving at. I'm  
7 trying to get an idea of how wide this lady's  
8 nerve was and it was described as narrowed and  
9 it's .4 centimeters width --

10 A I don't have a good answer to that.

11 I'm using a ruler that is graded in  
12 millimeters but not sub-graded into anything,  
13 and it's kind of a quick and dirty -- you know,  
14 what's the diameter that I need to -- that the  
15 nerve is going to fit into for a tube, whether  
16 it's 4.5 or 3.8.

17 Q Let me try it this way --

18 A I don't know.

19 Q Let me try it this way: You did document that  
20 this area of the injured portion of the nerve  
21 was noticeably narrowed, correct?

22 A Yes.

23 Q If that portion of the nerve was .4 centimeters  
24 wide, would you expect the healthy portions of  
25 the nerve to be a little bit wider than that?

1 A Presumably, yes.

2 And just reading this, it says  
3 approximately four millimeters. So it's not a  
4 measurement down to angstrom level.

5 Q I want to switch gears a little bit, Doctor.

6 I presume that the brachial plexus injury  
7 work you do is primarily nerve-related  
8 procedures, correct?

9 A Correct.

10 Q Those involve stretch injuries, rupture  
11 injuries, that kind of thing?

12 A Correct.

13 Q Do you have any -- and I'm sure there's some  
14 general guidelines or rules that you go by in  
15 terms of the sooner you get to an injured nerve  
16 the better chance of recovery, that kind of  
17 thing?

18 A Yes.

19 Q With respect to the mechanism here where the  
20 nerve is actually either encircled or entrapped  
21 or has been pierced by sutures, based on your  
22 experience, training, familiarity with nerve  
23 injury and performing surgeries to hopefully  
24 repair nerves, is there some kind of window of  
25 time within which if you get to that kind of

1           nerve and release it that it will more likely  
2           than not heal?

3                       MR. ROSSI:  Objection.

4                       Go ahead.  You may answer.

5    A    So yes and no.  The kind of accepted time  
6           period for -- so there is no time period for  
7           sensory recovery of a nerve.

8                       You can reconstruct a nerve theoretically  
9           ten years down the road and eventually get  
10          sensory recovery.

11                      There is a generally accepted time limit  
12          for motor recovery where the motor end plates,  
13          which are the satellite dish receptors on the  
14          muscle to accept the nerve stimulation of 18 to  
15          24 months from the time of injury to get signal  
16          to those motor end plates.  Otherwise they  
17          atrophy and then they don't recover.

18    Q    In a situation like we have here where there's  
19           actually -- the nerve is demyelinated and  
20           there's Wallerian degeneration, are you aware  
21           of any kind of time window in which you have to  
22           release the nerve from being sutured or  
23           entrapped to prevent that from happening?  Do  
24           you understand my question?

25    A    It's the same time window.

1 MR. ROSSI: So what's the -- so  
2 it's clear on the record, what time window are  
3 you referencing?

4 THE WITNESS: Eighteen to  
5 twenty-four months.

6 MR. ROSSI: Thank you.

7 Q Are you aware of any medical literature that  
8 suggests that a peroneal nerve that has been  
9 entrapped by a suture will recover if released  
10 18 to 24 months thereafter?

11 A I'm not sure I understand the question. Can  
12 you rephrase it?

13 Q I apologize. I'm not being clear.

14 Let's try it this way: When you  
15 encountered this nerve, you performed a nerve  
16 conduit repair, correct?

17 A Yes.

18 Q Did you at that time then, based on the  
19 appearance of the nerve, believe that just  
20 releasing it, just taking the sutures out,  
21 releasing it from being adhered to the capsule,  
22 was not going to result from recovery of the  
23 nerve, just that alone?

24 MR. ROSSI: You look confused.  
25 If you don't understand the question --

1 A I still don't understand.

2 Q I guess what I'm trying to get at is if you had  
3 any expectation that just by releasing the  
4 nerve off the nerve capsule and removing the  
5 fibers, that this nerve could potentially  
6 recover, you wouldn't have excised it and put  
7 in a nerve conduit, right?

8 A Correct.

9 Q So at the time you performed this procedure on  
10 December 23rd, 2010, you believed, based on the  
11 appearance of the nerve and based on your  
12 education, training and understanding of nerve  
13 injuries, that this nerve was not going to  
14 recover simply by being released off the joint  
15 capsule and removing the sutures, correct?

16 A Well, I think that in combination with a nerve  
17 conduction study that showed no conduction  
18 across the course of the peroneal nerve. I  
19 mean, that's -- you have to take it all  
20 together.

21 So if there's no conduction and there's  
22 obvious scar, then presumably it's not going to  
23 recover on its own.

24 Q Okay. Then let me maybe try my original  
25 question that I was trying to get at now that

1           we've gone through this.

2                   Do you have any opinion or experience  
3           with respect to how long after the nerve is  
4           actually encircled or entrapped it would have  
5           to be released in order to recover on its own  
6           without the need for a conduit?

7    A    I'm still confused.  It seems hypothetical.

8    Q    It is.

9    A    So every situation of entrapment, encirclement  
10       could be different.  It could catch a little  
11       bit of the nerve, could catch a lot of the  
12       nerve.

13                So I can't really -- the question that I  
14       think you want me to answer is you have 18 to  
15       24 months before there's no chance of recovery,  
16       which is why typically you explore not four  
17       months before that window is gone, but earlier  
18       rather than later to try to make sure that you  
19       get recovery.

20   Q    When you were being consulted on this patient  
21       by Dr. Jones, did he ever indicate to you that  
22       he was concerned that the nerve might be  
23       entrapped by sutures and that was the problem?

24   A    No, I don't think that was ever discussed.  I  
25       think he said, I'm concerned that there's a

1       nerve injury that happened after my surgery, so  
2       we need to find out what happened.

3       Q     Let me ask you another hypothetical, Doctor.

4               If Dr. Jones had said to you, I'm  
5       concerned that this young woman has a nerve  
6       injury and I'm concerned that the nerve may be  
7       tethered to the joint capsule, would you have  
8       recommended exploratory surgery any sooner than  
9       you did?

10               MR. ROSSI: I'll object.

11               But go ahead.

12       A     I don't know if I have an opinion one way or  
13       the other. Until I had this case, I didn't  
14       even know what that meant.

15       Q     Until you had this case you had never heard of  
16       a peroneal nerve being tethered to a joint  
17       capsule during an arthroscopic procedure,  
18       correct?

19       A     Correct.

20       Q     Since Makenzie Dietz, have you ever heard of  
21       that happening?

22               MR. ROSSI: Objection.

23               Go ahead. You may answer.

24       A     No, but I haven't researched. I mean, that's  
25       not part of my area of expertise to know how



1 often that happens or doesn't.

2 Q If it did happen here at Akron Children's, you  
3 would be one of the doctors who would be  
4 consulted, correct?

5 A Yes.

6 Q And have you been consulted on any other cases  
7 since Makenzie Dietz?

8 MR. ROSSI: Objection.

9 A No.

10 - - - - -

11 (Thereupon, Deposition Exhibit 2 was marked  
12 for purposes of identification.)

13 - - - - -

14 Q Handing you what's been marked as Plaintiff's  
15 Exhibit Number 2; Doctor, is that the disk that  
16 has the photos on it that we're about to go  
17 through?

18 A I have no idea. I made a copy of a disk that I  
19 gave to Greg --

20 MR. ROSSI: And I made a copy for  
21 you.

22 A -- which he made a copy.

23 So as to chain of custody and that  
24 particular disk you have in your hand, I don't  
25 have the slightest idea what's on it except it

1 has her name on it.

2 MR. ROSSI: So you know and it's  
3 on the record, it's for your future reference,  
4 he gave me a disk and I copied that disk to  
5 your disk, which you have marked as Exhibit 2.

6 And I just reviewed it before, and it  
7 looks to be all the photos that you have hard  
8 copies of.

9 A Sorry; I'm not trying to be difficult.

10 Q You're not being difficult at all, Doctor.  
11 This is the first time I'm seeing this stuff.  
12 I'm trying to sort it out.

13 - - - - -

14 (Thereupon, Deposition Exhibit 3 was marked  
15 for purposes of identification.)

16 - - - - -

17 Q Handing you what's been marked Plaintiff's  
18 Exhibit 3; that's a picture of Makenzie Dietz,  
19 correct?

20 A Yes.

21 Q Did you take that or did someone in your office  
22 take it?

23 A I took it.

24 Q Do you know what date this was taken?

25 A Not offhand.

1 Q Why did you take that photo?

2 A I sometimes take photographs of my patients to  
3 help me remember situations, surgeries.

4 Q Okay. And that's stored on your computer here  
5 at the office, the photo?

6 A No. It's stored on my computer at home.

7 - - - - -

8 (Thereupon, Deposition Exhibit 4 was marked  
9 for purposes of identification.)

10 - - - - -

11 Q Handing you what's been marked as Plaintiff's  
12 Exhibit 4; is this -- first of all, did you  
13 take this photo as well?

14 A I did.

15 Q And this is a photo of the incision on the  
16 posterolateral side of Makenzie's right knee,  
17 correct?

18 A Yes.

19 Q Do you remember when you took this one?

20 A This was December 23rd, 2010 at the very  
21 beginning of that surgery.

22 Q This photo depicts the original incision  
23 performed in August of 2010, correct?

24 A Well, it's the scar from the original incision  
25 -- one of the original incisions. You can see

1 another one here. I don't know where all the  
2 other ones are.

3 Q Either by looking at this photo or based on  
4 your memory of the case, can you approximate  
5 what the length of the original incision was?

6 A No, not without a ruler next to it.

7 - - - - -

8 (Thereupon, Deposition Exhibit 5 was marked  
9 for purposes of identification.)

10 - - - - -

11 Q Handing you Exhibit 5, Doctor; just for the  
12 record, can you identify this photograph?

13 A It's a close up of the scar from Makenzie's  
14 lateral incision from the original surgery.

15 Q And this photo was taken by you on December  
16 23rd, 2010?

17 A Yes.

18 - - - - -

19 (Thereupon, Deposition Exhibit 6 was marked  
20 for purposes of identification.)

21 - - - - -

22 Q Handing you what's been marked as Deposition  
23 Exhibit 6; again, just for the record, is this  
24 a photograph you took of Makenzie Dietz' leg on  
25 December 23rd, 2010?

1 A Yes.

2 Q Can you -- is this a -- I probably took these  
3 out of order, but is this a photo that shows  
4 the nerve conduit in place?

5 A It is.

6 Q What structure is it that's being retracted  
7 there?

8 A The biceps musculus tendon unit.

9 Q And can we actually see the nerve on the  
10 margins -- on either margin of the conduit  
11 here?

12 A On the left side you can barely see. So you  
13 see the conduit, there's a suture, and right  
14 here, that little yellowish, white thing is  
15 nerve. Here, I'm not sure if you can see it.

16 MR. ROSSI: And just so the  
17 record is clear, when you said left, you meant  
18 left side of the photo?

19 A Which is proximal for the patient.

20 MR. ROSSI: And then when you  
21 pointed out your comment at the end --

22 A That's the distal end.

23 Q The patient's knee is in extension at this  
24 point?

25 A The patient's knee -- well, it's hard to tell.

1 Q Okay.

2 A I don't know.

3 - - - - -

4 (Thereupon, Deposition Exhibit 7 was marked  
5 for purposes of identification.)

6 - - - - -

7 Q Handing you Plaintiff's Exhibit 7; doctor,  
8 again, just for the record, is that another  
9 photograph that you took on December 23rd, 2010  
10 during the surgical procedure?

11 A Yes, it is.

12 Q Can you -- is this the portion where you -- is  
13 what is being depicted here is you actually  
14 marking off the portion of the peroneal nerve  
15 that you believe to be injured?

16 A Yes.

17 Q And you did that with looks like just a magic  
18 marker?

19 A A surgical marker, yes; a special sterile  
20 marker.

21 Q Understood.

22 So that's Makenzie Dietz' common peroneal  
23 nerve, correct?

24 A Yes.

25 Q And that's the condition it was in and that's

1 the way it appeared on December 23rd, 2010,  
2 correct?

3 A After we dissected it out, yes.

4 Q It looks like there's two retractors; it looks  
5 one is retracting the biceps tendon and muscle,  
6 correct?

7 A Yes.

8 Q And the other is retracting kind of the skin  
9 and the subcutaneous layers?

10 A Yes.

11 Q Is the knee in extension or flexion there; can  
12 you tell?

13 A Without having more proximal distal, I don't  
14 know.

15 - - - - -

16 (Thereupon, Deposition Exhibit 8 was marked  
17 for purposes of identification.)

18 - - - - -

19 Q Handing you what's been marked as Exhibit  
20 Number 8; again, is this a photograph that you  
21 took on December 23rd, 2010 during the surgery  
22 you performed with Dr. Jones?

23 A Yes.

24 Q This appears to be another photograph of the  
25 portion of the peroneal nerve that you

1 identified as being injured, correct?

2 A Yes.

3 Q This one is a little bit closer up, so I want  
4 to ask some more specific questions about this  
5 one.

6 Can you actually see portions or parts of  
7 the suture in this photograph?

8 A No.

9 Q Is this after the nerve has been released?

10 A Yes.

11 Q Can you see the joint capsule in this  
12 photograph?

13 A No.

14 Q Maybe just while I have this photo in front of  
15 me, when you say -- was this the same view you  
16 had when you found the peroneal nerve adhered  
17 to the capsule?

18 A I don't know. The retraction could be the  
19 same, could be different. I can't comment on  
20 that.

21 Q Does this photo, in your mind, depict the  
22 narrowing that you described in your operative  
23 report?

24 A It does.

25 Q I'm going to have to point it out, Doctor, but



1           what is that?

2     A     What is what?

3     Q     It looks a little bulbous to me, but --

4     A     Yes, this is scar. This is --

5                     MR. ROSSI: Why don't you just  
6     describe as best you can --

7     A     Right in the center of -- okay. So there's a  
8     picture of a lateral knee. In the center of  
9     the photo is a segment of peroneal nerve that  
10    has two purple dots on it.

11                    Almost equal distance between the two  
12    dots is a bulbous appearing area that I recall  
13    as being a neuroma or nerve scar.

14                    Just proximal to that, so just to the  
15    left, there's a slight narrowing to the nerve  
16    compared to the most proximal which is right  
17    underneath the biceps tendon.

18                    And as you go distal, so past the other  
19    purple dot, you can see that the nerve is  
20    fatter and there's a change in color.

21                    So that's what I referred to as the  
22    mullerian degeneration in the fatty changes.

23    Q     At this point in time in the procedure, the  
24    suture is still embedded in that area between  
25    the two purple dots, correct?

1 A I don't know. I mean, this -- these  
2 photographs are from my oral boards to become  
3 boarded in plastic surgery, which I am.

4 Whether the sutures had already been  
5 removed or were still there, I -- I don't have  
6 any recollection.

7 Q Let me try it this way: This portion between  
8 these purple dots is what you excised, correct?

9 A Correct.

10 Q And that's the portion that was sent to  
11 pathology, correct?

12 A Correct.

13 Q And pathology found sutures, based on your  
14 review of the report, within that portion,  
15 correct?

16 A Or if you want to be technical, they found some  
17 kind of foreign body something -- polarizable  
18 fragments of foreign material, which presumably  
19 is suture material.

20 Q Are you able to use this photo to maybe help me  
21 understand the position of the peroneal nerve  
22 that we're looking at before you released it  
23 away from the capsule?

24 A No.

25 - - - - -

1           (Thereupon, Deposition Exhibit 9 was marked  
2           for purposes of identification.)

3                           - - - - -

4   Q   Just to be complete, Doctor, I'm showing you  
5       what's been marked as Exhibit 9.  Again, is  
6       this another photograph that you took of  
7       Makenzie Dietz?

8   A   Yes.

9   Q   This is a photograph of the surgical scar --  
10      zig zagged scar -- zig zag incision that you  
11      described in your operative report of December  
12      23rd, correct?

13  A   Yes.

14  Q   So can we assume that this was taken well after  
15      the surgery was performed?

16  A   Yes.  I think if -- if you pull up the  
17      photograph, on a computer they're actually  
18      encoded with something that tells you the date,  
19      but I don't recall the exact date.

20  Q   Okay.  That'll spare a lot of those questions,  
21      I guess.

22               Are these all the photos that you have?

23  A   Yes.

24  Q   Are you in possession of any e-mails, letters,  
25      memos, notes, anything that pertains to this

1 patient other than the disk and these  
2 photographs that you've shown me?

3 A No.

4 Q As you sit here today --

5 A I don't know. I mean, did you ever e-mail me  
6 or did Michelle --

7 MR. ROSSI: Well, that's all  
8 privileged. I don't think he's asking about  
9 that.

10 A No. There's no other clinical information or  
11 anything related to -- I don't think the mom  
12 ever contacted me.

13 Q What about any -- did you ever make any  
14 notes -- handwritten notes or jotting things  
15 down on paper about this patient that you kept?

16 MR. ROSSI: Other than what's in  
17 the chart?

18 MR. DICELLO: Right. Right.

19 Q Independent from the medical record.

20 A No.

21 Q I'm going to take just a couple minutes, go  
22 through my notes. I don't think I have any  
23 other questions, but I might have a couple  
24 follow up.

25 A Okay.

1 (Discussion had off the record.)

2 Q We have your operative note that we've gone  
3 through and you've explained to me and I  
4 appreciate that. Did you have anything that  
5 you recall in terms of conversations with Dr.  
6 Jones during the procedure in terms of what  
7 your findings were?

8 A Other than what I've described, no  
9 recollection.

10 Q In other words, when the two of you discovered  
11 this, there was no, Oh, that's the problem,  
12 there's the problem? Nothing like that, that  
13 you remember?

14 A No. This was four years ago. I honestly don't  
15 recall.

16 Q What about after the procedure; was there a  
17 discussion between you and Dr. Jones about what  
18 was encountered?

19 A I'm sure there was, but I don't recall any  
20 specifics.

21 Q I mean, this was an abnormal finding in a  
22 patient, right?

23 A Correct.

24 Q By habit and practice, would you have expected  
25 that you would have discussed that finding with

1 the doctor?

2 A I'm sure we did. Whether intra-operatively,  
3 post-operatively, but as to specific  
4 recollections of those conversations, I don't  
5 have any.

6 Q Did you ever ask him, How did something like  
7 that happen?

8 A I don't think so.

9 Q As a surgeon, I presume that you suture  
10 different structures depending on whatever the  
11 procedure is if it calls for it, correct?

12 A Yes.

13 Q A surgeon should know what structure he is  
14 suturing before he ties off the sutures,  
15 correct?

16 MR. ROSSI: Objection.

17 You may answer. I think he's just  
18 generally asking you a question.

19 THE WITNESS: You said objection,  
20 but you didn't say I could continue.

21 MR. ROSSI: You're right; I did  
22 not.

23 You may answer.

24 A I presume so, yes.

25 Q Okay. And a surgeon, likewise, should know

1           what structure he is cutting before he cuts,  
2           correct?

3     A     Yes.

4     Q     And surgeons should know what sutures are in  
5           his operative field, correct?

6                         MR. ROSSI:  Same objection to all  
7           these questions.

8                         Go ahead.  You may answer.

9     A     Yes, but then you have to define what the  
10          operative field is.

11    Q     How do you define operative field?

12    A     The area that I'm operating in.

13    Q     So with that qualification, the answer to my  
14          last question is yes?

15                         MR. ROSSI:  Objection.

16                         Go ahead.

17    A     Rephrase the question please or restate it.

18    Q     Based on your definition of operative field  
19          that you gave us, a surgeon should know what  
20          structures are in his operative field?

21    A     Yes.

22    Q     You were able to visualize Makenzie Dietz'  
23          peroneal nerve after dissection grossly,  
24          correct?

25    A     Yes.

1 Q Did you, as you sit here today, recall  
2 encountering any problems locating that nerve?

3 MR. ROSSI: Objection.

4 Go ahead, if you can answer.

5 A I mean, to the extent that Dr. Jones guided me  
6 to where the peroneal nerve is, because he  
7 knows better than I do, yes, I had difficulty  
8 and he helped me and then we found it together.

9 Q Do you -- as you sit here today, do you have a  
10 recollection as to how long it took to identify  
11 the peroneal nerve?

12 A No.

13 Q I guess I should ask you about this document,  
14 too, Doctor, because I think this is your  
15 handwriting.

16 A I have the handwritten operative report in  
17 front of me.

18 Q Is this your handwriting?

19 A It is.

20 Q And your findings are, Suture through peroneal  
21 nerve, correct?

22 A Yes.

23 MR. DICELLO: Doctor, those are  
24 all the questions I have. Thanks for your  
25 patience.



1 THE WITNESS: Thank you.

2 MR. ROSSI: He will read.

3

4 (Deposition was concluded at 3:46 p.m.)

5 (Signature reserved.)

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 THE STATE OF OHIO, ) SS:  
2 COUNTY OF CUYAHOGA. )  
3

4 I, Kelly A. Hill, a Notary Public within and  
5 for the State of Ohio, duly commissioned and  
6 qualified, do hereby certify that PETER LETOURNEAU,  
7 M.D., was first duly sworn to testify the truth, the  
8 whole truth and nothing but the truth in the cause  
9 aforesaid; that the testimony then given by him was  
10 by me reduced to stenotypy in the presence of said  
11 witness, afterwards transcribed on a  
12 computer/printer, and that the foregoing is a true  
13 and correct transcript of the testimony so given by  
14 him as aforesaid.

15 I do further certify that this deposition was  
16 taken at the time and place in the foregoing caption  
17 specified. I do further certify that I am not a  
18 relative, counsel or attorney of either party, or  
19 otherwise interested in the event of this action.

20 IN WITNESS WHEREOF, I have hereunto set my hand  
21 and affixed my seal of office at Cleveland, Ohio, on  
22 this 4th day of February 2015.

23 Kelly A. Hill, Notary Public  
24 within and for the State of Ohio  
My Commission expires February 16th,  
2016.

THE STATE OF )  
 ) SS:

COUNTY OF

Before me, a Notary Public in and for said state and county, personally appeared the above-named PETER LETOURNEAU, M.D., who acknowledged that he did sign the foregoing transcript and that the same is a true and correct transcript of the testimony so given.

IN TESTIMONY WHEREOF, I have hereunto affixed  
my name and official seal at

this                      day of  
 , 2015.

PETER LETOURNEAU, M.D.

Notary Public

My Commission expires:

DEPOSITION ERRATA SHEET

Page No. Line No. Change to:

Reason for change:

Page No. Line No. Change to:

Reason for change:

Page No. Line No. Change to:

Reason for change:

Page No. Line No. Change to:

Reason for change:

Page No. Line No. Change to:

Reason for change:

Page No. Line No. Change to:

Reason for change:

Page No. Line No. Change to:

Reason for change:

Page No. Line No. Change to:

Reason for change:

Page No. Line No. Change to:

Reason for change:

Page No. Line No. Change to:

Reason for change:

Page No. Line No. Change to:

Reason for change:

Page No. Line No. Change to:

Reason for change:

Page No. Line No. Change to:

Reason for change:

Page No. Line No. Change to:

Reason for change:

SIGNATURE:

DATE: